

1 UNITED STATES PATENT AND TRADEMARK OFFICE

2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3
4 HOPEWELL PHARMA VENTURES, INC.

5 Petitioner,

6 v.

7 MERCK SERONO S.A.,

8 Patent Owner.

9 IPR2023-00480 (Patent 7,713,947 B2)

10 IPR2023-00481 (Patent 8,377,903 B2)

11 CONFIDENTIAL

12 VIDEOTAPED DEPOSITION OF

13 Nicholas Bodor, PhD, DSc, dhc, HoF

14 Pages 1 to 143

15 Thursday, February 15, 2024

16 9:34 a.m. - 2:03 p.m.

17 Bodor Laboratories

18 4400 Biscayne Boulevard

19 11th Floor

20 Miami, Florida

21 STENOGRAPHICALLY REPORTED BY:

22 NANCY E. PAULSEN, CRR, CRC, RPR

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

2

1 APPEARANCES:

2 On Behalf of the Petitioner

3 CHRISTINA E. DASHE, ESQUIRE (via Zoom)
4 ELDORA L. ELLISON, ESQUIRE (via Zoom)
5 OLGA A. PARTINGTON, ESQUIRE (via Zoom)
6 TYLER C. LIU, ESQUIRE (via Zoom)
7 MADELEINE C. BOND, ESQUIRE (in person)
8 Sterne Kessler Goldstein Fox
9 1101 K Street NW, 10th Floor
10 Washington, DC 20005
11 202-772-8525
12 Cdashe@sternekessler.com,
13 eellison@sternekessler.com,
14 opartington@sternekessler.com,
15 tliu@sternekessler.com,
16 Mbond@sternekessler.com

11 On Behalf of the Patent Owner

12 DAVID MLAVER, ESQUIRE (In person)
13 ASHER MCGUFFIN, ESQUIRE (In person)
14 WilmerHale
15 60 State Street
16 Boston, Massachusetts 02109
17 617-526-6197
18 David.mlaver@wilmerhale.com,
19 asher.mcguiffin@wilmerhale.com

18 Also Present:

19 Willem de Weerd, Merck KGaA (via Zoom)
20 Lhassan Elmilki, Videographer (In person)
21 Emil White, Remote Zoom Technician (via Zoom)
22 Michael Pietanza, In-room Zoom Technician

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

3

I N D E X

Deponent	Page
Nicholas Bodor, PhD, DSc, dhc, HoF	
DIRECT EXAMINATION BY MS. DASHE	6
CERTIFICATE OF REPORTER	141
CERTIFICATE OF OATH	143

E X H I B I T S

No.	Description	Page
Exhibit 1022	Bodor PCT application.....	101
Exhibit 1055	IVAX Corporation's Form 10-K for fiscal year 2003.....	79
Exhibit 1056	IVAX Corporation's Form 10-K for fiscal year 2004.....	83
Exhibit 1057	US Patent Number 7,888,328.....	111
Exhibit 1058	File history for US Patent Number 8,785,415.....	116
Exhibit 2029	US Patent Number 8,785,415.....	114
Exhibit 2054	Bodor declaration.....	14
Exhibit 2069	US Patent Number 7,888,328.....	109

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

4

1	REMOTE ZOOM TECHNICIAN WHITE: Thank you to	09:32:33
2	everyone for attending this proceeding remotely and	09:32:33
3	in person. We anticipate things will run smoothly	09:32:36
4	going forward.	09:32:41
5	Please remember to speak slowly and do your	09:32:42
6	best not to talk over one another. Please be aware	09:32:45
7	that we are recording this proceeding for backup	09:32:47
8	purposes as well.	09:32:50
9	Any off-the-record discussion should be had	09:32:51
10	away from the computer, and please remember to mute	09:32:53
11	your mic for those conversations.	09:32:56
12	Please have your video enabled to help the	09:32:58
13	reporter identify who is speaking, but if you are	09:33:01
14	unable to connect video and you are connecting via	09:33:04
15	phone, we just ask that you identify yourself each	09:33:07
16	time before speaking.	09:33:09
17	I apologize in advance for any more	09:33:10
18	technical-related interruptions. Thank you.	09:33:13
19	VIDEOGRAPHER ELMILKI: Here begins Media	09:33:20
20	Number 1 in the videotape of Dr. Nicholas Bodor in	09:33:23
21	the matter Hopewell Pharma Venture, Inc., versus	09:33:26
22	Merck Serono, SA, in the Court of the United	09:33:33

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

5

1	States -- of the United States Patent and Trial	09:33:38
2	Office, Case Number IPR2023-2 -- 00480 and	09:33:41
3	IPR2023-00481.	09:33:53
4	Today is Thursday, February 15, 2024, and the	09:33:55
5	time -- the time on the video monitor is 9:34 a.m.	09:34:01
6	Eastern Time. The videographer for today is	09:34:04
7	Lhassan Elmilki, representing Planet Depo, and the	09:34:10
8	video deposition is taking place at 440 [sic]	09:34:13
9	Biscayne Boulevard, Suite 980, Miami, Florida, ZIP	09:34:17
10	Code is 33137.	09:34:22
11	Would the counsel please voice identify	09:34:23
12	themselves and state whom they -- who -- who they	09:34:26
13	represent.	09:34:29
14	MS. DASHE: I'm Christina Dashe from Sterne	09:34:29
15	Kessler on behalf of Petitioner Hopewell. With me	09:34:32
16	today remotely are Eldora Ellison, Olga Partington,	09:34:36
17	and Tyler Lui, also of Sterne Kessler. And with me	09:34:43
18	today in person with the witness is Madeleine Bond,	09:34:45
19	also of Sterne Kessler.	09:34:48
20	MR. MLAYER: Good morning, this is David	09:34:50
21	Mlayer of WilmerHale on behalf of the patent owner.	09:34:52
22	With me are Asher McGuffin and by remote Willem de	09:34:56

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

6

1	Weerd of Merck KGaA.	09:35:03
2	THE COURT REPORTER: Doctor, would you raise	09:35:18
3	your right hand, please.	
4	Do you swear or affirm the testimony you are	
5	about to give will be the truth and nothing but the	
6	truth?	
7	THE WITNESS: I do.	09:35:19
8	THE COURT REPORTER: Thank you.	
9	THEREUPON,	
10	NICHOLAS BODOR, PHD, DSC, DHC, HOF,	
11	having been duly sworn to tell the truth, was examined	
12	and testified as follows:	
13	DIRECT EXAMINATION	
14	BY MS. DASHE:	
15	Q. Dr. Bodor, could you please state and spell	09:35:22
16	your name for the record?	09:35:26
17	A. I am Nicholas Bodor, N-I-C-H-O-L-A-S,	09:35:27
18	B-O-D-O-R.	09:35:34
19	Q. What is your address?	09:35:35
20	A. Home address is 10225 Collins Avenue,	09:35:36
21	Apartment 1002, Bal Harbour, Florida, 33154.	09:35:41
22	Q. Have you ever been deposed before?	09:35:50

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

7

1	A.	Not like this.	09:35:57
2	Q.	... this?	09:35:58
3		Have you ever sat for a deposition in any	09:36:02
4		capacity?	09:36:04
5	A.	I have to ask opinion. About 40 years ago, I	09:36:05
6		was involved in a patent interference case and I was	09:36:14
7		deposed, but not like this, it was just the counsel on	09:36:20
8		both sides.	09:36:26
9	Q.	The deposition 20 years ago -- or 40 years	09:36:26
10		ago, excuse me, in the patent interference case, have	09:36:35
11		you ever been deposed before?	09:36:39
12	A.	No.	09:36:40
13	Q.	Okay. I would like to go over some ground	09:36:40
14		rules for today's deposition. To start, I'll be asking	09:36:45
15		you some questions, and your counsel may object, but	09:36:47
16		it's important for the court reporter that we don't all	09:36:51
17		talk over one another today.	09:36:53
18		Is that all right?	09:36:56
19	A.	Yes.	09:36:57
20	Q.	And similarly, the court reporter cannot	09:36:58
21		really take down nonverbal answers, like shaking your	09:37:03
22		head or saying "um-hum." Can you please agree to give	09:37:06

1	verbal answers today?	09:37:11
2	A. Yes.	09:37:11
3	Q. Sometimes your counsel may object to my	09:37:12
4	questions, but you still have to answer them unless	09:37:16
5	counsel directs you not to answer them. Will you agree	09:37:19
6	to abide by this rule?	09:37:22
7	A. Yes.	09:37:23
8	Q. Now, if at any point today you need a break,	09:37:23
9	please let me know and I will try to accommodate, but	09:37:29
10	the patent office rules require that you do answer any	09:37:32
11	pending questions before we take a break.	09:37:35
12	Will you agree to abide by this rule?	09:37:37
13	A. Yes.	09:37:40
14	Q. If I ask a question today that you don't	09:37:40
15	understand or need further clarification, will you agree	09:37:45
16	to let me know, and I can try to clarify and ask a	09:37:48
17	better question?	09:37:51
18	A. Yes.	09:37:52
19	Q. However, if you answer a question that I ask,	09:37:52
20	I will assume that you understood it. Is that okay?	09:37:57
21	A. Yes.	09:38:01
22	Q. Now, because we are operating on a remote	09:38:01

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

9

1	basis for today's deposition, there might be some	09:38:05
2	technical difficulties. If anything happens that	09:38:08
3	interferes with your ability to understand or answer my	09:38:12
4	questions, will you agree to let me know?	09:38:15
5	A. Yes.	09:38:16
6	Q. Now, the patent office rules require that I	09:38:20
7	tell you that during my questioning today, you are not	09:38:23
8	permitted to discuss your testimony with counsel unless	09:38:26
9	you are discussing an issue relating to privilege.	09:38:31
10	Will you agree to abide by that rule?	09:38:32
11	A. Yes.	09:38:36
12	Q. ... why you cannot give truthful testimony	09:38:41
13	today?	09:38:45
14	A. I -- I don't understand.	09:38:46
15	MR. MLAYER: Christina, the first few words of	09:38:49
16	your question were cut off.	09:38:52
17	BY MS. DASHE:	09:38:53
18	Q. Is there any reason, Dr. Bodor, that you	09:38:54
19	cannot give truthful testimony today?	09:38:57
20	A. No reason.	09:38:58
21	Q. Where are you located for today's deposition?	09:38:59
22	A. You mean the -- this room? It's --	09:39:08

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

10

1	Q. Yes, please.	09:39:12
2	A. It's 4400 Biscayne Boulevard, on the 11th	09:39:13
3	Floor, conference room.	09:39:18
4	Q. And besides the counsel and videographer and	09:39:21
5	court reporter that already announced their presence on	09:39:26
6	the record today, is there anyone else in the room with	09:39:28
7	you?	09:39:31
8	A. No.	09:39:31
9	Q. Okay. Whose computer are you using for	09:39:32
10	today's deposition?	09:39:36
11	A. I don't know.	09:39:38
12	Q. It's someone else's?	09:39:39
13	A. Yes.	09:39:42
14	Q. Do you have any files or programs open on your	09:39:42
15	computer besides the deposition video platform?	09:39:49
16	A. I don't have any file with me.	09:39:53
17	Q. Do you have access to any other files or	09:39:54
18	programs besides today's deposition video platform?	09:40:01
19	A. No. No. Not here. I don't have anything.	09:40:05
20	MR. MLAYER: Counsel, if it's helpful, we're	09:40:08
21	using Planet Depo's computer.	09:40:11
22	MS. DASHE: Thank you.	09:40:15

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

11

1	BY MS. DASHE:	09:40:16
2	Q. Do you have any other electronic devices with	09:40:16
3	you today, like a cell phone, things of that nature?	09:40:18
4	A. I have a cell phone. It was just put away by	09:40:20
5	the counsel.	09:40:24
6	Q. You won't be accessing your cell phone to	09:40:25
7	receive messages or phone calls during the deposition	09:40:29
8	today?	09:40:31
9	A. I don't plan to, unless I am directed to do	09:40:31
10	so.	09:40:40
11	But it is turned off, by the way.	09:40:40
12	Q. And including on breaks, you won't be	09:40:42
13	accessing your cell phone for text messages, phone calls	09:40:46
14	relating to the deposition today?	09:40:50
15	A. I don't plan to, no.	09:40:52
16	Q. Okay. Did you bring anything else with you to	09:40:54
17	your deposition today?	09:41:00
18	A. No, just my medication if I need it.	09:41:01
19	MR. MLAYER: And, Counsel, we do have an	09:41:09
20	otherwise unmarked copy of Dr. Bodor's declaration	09:41:13
21	with us, if -- if that will assist. So we can	09:41:17
22	provide that to him if -- if you need.	09:41:21

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

12

1	MS. DASHE: And, Counsel, that is unmarked, no	09:41:25
2	notes or anything like that?	09:41:27
3	MR. MLAYER: That's correct.	09:41:28
4	MS. DASHE: Okay.	09:41:30
5	MR. MLAYER: And Ms. Bond has had an	09:41:31
6	opportunity to inspect it and see if that's the	09:41:33
7	case.	09:41:35
8	MS. DASHE: Okay. Thank you.	09:41:38
9	THE WITNESS: One more thing I want to	09:41:39
10	ment- -- I don't know if my iWatch, it does matter?	09:41:41
11	MR. MLAYER: It -- not if you're not using it.	09:41:45
12	THE WITNESS: Okay.	09:41:48
13	BY MS. DASHE:	09:41:50
14	Q. To totally clarify, Dr. Bodor, will you agree	09:41:51
15	not to access your cell phone, or your Apple watch, or	09:41:55
16	any other electronic device to receive messages or	09:41:57
17	discuss your deposition today?	09:42:00
18	A. Yes.	09:42:02
19	Q. Okay, thank you.	09:42:02
20	What did you do to prepare for this	09:42:09
21	deposition?	09:42:11
22	A. I reviewed my deposition [sic] and my patent,	09:42:17

1	and I had discussion with my counsel yesterday.	09:42:21
2	Q. Patent, do you mean the Bodor PCT application?	09:42:32
3	A. Yes, the Bodor PCT and the other two at-issue	09:42:40
4	patents.	09:42:45
5	Q. US patents, like Bodor --	09:42:45
6	A. Yes.	09:42:47
7	Q. Yes?	09:42:47
8	A. Yes, patents which I am the sole inventor.	09:42:47
9	Not with Dandiker.	09:42:57
10	Q. So you reviewed two issued US patents where	09:42:57
11	you were the sole inventor?	09:43:03
12	A. I think, yes.	09:43:05
13	Q. Do you recall what numbers those were?	09:43:06
14	A. No.	09:43:09
15	Q. You also said that you met with counsel	09:43:09
16	yesterday. Who was that counsel?	09:43:18
17	A. Next to me, David and Asher.	09:43:22
18	Q. These patents that you reviewed, what were the	09:43:33
19	titles of the patents that you reviewed?	09:43:35
20	A. I think it's "Cladribine Oral Formulation" or	09:43:37
21	something like that. I don't know the exact title.	09:43:51
22	It was "Oral formulation of cladribine" --	09:43:55

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

14

1	Q.	Did you meet with your counsel yesterday?	09:43:55
2	A.	Yes.	09:43:59
3	Q.	I'm sorry, I talked over you. What did you	09:43:59
4		say?	09:44:02
5	A.	I think it was "Oral Formulation for	09:44:02
6		Cladribine."	09:44:12
7	Q.	For how long did you meet with counsel	09:44:12
8		yesterday to prepare for today's deposition?	09:44:16
9	A.	I would say it was about three to four hours.	09:44:19
10	Q.	... counsel, did you talk to anyone else	09:44:22
11		regarding the substance of your deposition today?	09:44:31
12	A.	No.	09:44:33
13	MS. DASHE:	Emil, I would like you to	09:44:44
14		introduce tab 1, which is the Bodor declaration,	09:44:46
15		it's been marked as Exhibit 2054 in these	09:44:49
16		proceedings.	09:44:53
17	REMOTE ZOOM TECHNICIAN WHITE:	Stand by.	09:45:02
18	MR. MLAYER:	Counsel, if we're going to ask	09:45:03
19		questions about the declaration, is it all right if	09:45:05
20		I hand him the paper copy of his declaration so he	09:45:07
21		can refer to it?	09:45:10
22	MS. DASHE:	That is just fine, Counsel.	09:45:11

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

15

1	MR. MLAYER: Thank you.	09:45:13
2	THE WITNESS: Thank you.	09:45:14
3	REMOTE ZOOM TECHNICIAN WHITE: Now showing	09:45:19
4	what has been marked as Exhibit 2054.	09:45:20
5	BY MS. DASHE:	09:45:27
6	Q. Dr. Bodor, can you see the document on the	09:45:28
7	screen as well?	09:45:30
8	A. Yes.	09:45:31
9	Q. And Exhibit 2054, this is your declaration	09:45:31
10	that you submitted in Cases IPR2023-00480 and	09:45:36
11	IPR2023-00481?	09:45:52
12	A. Yes.	09:45:52
13	Q. And if I refer to "this case" or "this	09:45:53
14	proceeding," I will be collectively referring to both of	09:45:55
15	these IPRs. Will you understand that?	09:45:59
16	A. Yes.	09:46:02
17	Q. If you could please turn to the last page of	09:46:04
18	your declaration.	09:46:07
19	MS. DASHE: Which is page 13 of the PDF on the	09:46:08
20	screen, Emil.	09:46:12
21	A. Yes.	09:46:15
22	BY MS. DASHE:	09:46:15

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

16

1	Q.	Let me know when you're there. Okay.	09:46:15
2		And your declaration is signed and dated	09:46:19
3		December 21st, 2023?	09:46:24
4	A.	Yes.	09:46:26
5	Q.	That's your signature that we see on the page?	09:46:27
6	A.	Yes.	09:46:30
7	Q.	Do you stand by your testimony in your	09:46:31
8		declaration in this case?	09:46:38
9	A.	Yes.	09:46:39
10	Q.	You were asked to provide this declaration as	09:46:40
11		an inventor of the Bodor PCT patent application titled	09:46:43
12		"Oral Formulations to Cladribine"?	09:46:50
13	A.	Yes.	09:46:53
14	Q.	When were you first approached to provide your	09:46:54
15		declaration in this case?	09:46:56
16	A.	I don't remember the exact date, but must have	09:47:00
17		been some -- sometime in December.	09:47:07
18		Late --	09:47:08
19	Q.	And that would be December 2023?	09:47:08
20	A.	Yes.	09:47:11
21	Q.	Who approached you to provide your declaration	09:47:11
22		in this case?	09:47:23

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

17

1	MR. MLAYER: If you recall who it was, you can	09:47:23
2	answer, but I'll caution you not to reveal the	09:47:25
3	substance of any communication with counsel.	09:47:27
4	A. I really don't remember with who. It was	09:47:30
5	maybe my assistant who took the -- the message from the	09:47:35
6	patent office -- I mean the law office.	09:47:43
7	BY MS. DASHE:	09:47:46
8	Q. So was it Merck or its counsel, then, that	09:47:46
9	reached out to you to provide your declaration in this	09:47:51
10	case?	09:47:53
11	Yes-or-no question.	09:47:53
12	A. I assume so. Yeah.	09:47:55
13	Q. So you have no reason to believe anybody	09:48:01
14	besides Merck's counsel reached out to you to provide	09:48:04
15	your declaration in this case; right?	09:48:08
16	A. No.	09:48:10
17	Q. How long did you spend preparing your	09:48:10
18	declaration?	09:48:16
19	A. I think about four or five hours.	09:48:19
20	Q. Was that over the course of multiple days?	09:48:20
21	A. Yes.	09:48:29
22	Q. Do you know who else provided a declaration in	09:48:29

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

18

1	this case?	09:48:35
2	A. I -- I just learned that I believe Yogesh	09:48:41
3	Dandiker did too, my coinventor.	09:48:47
4	Q. Just learned, how recently was that?	09:48:50
5	A. I think yesterday.	09:48:56
6	Q. And so you learned that from counsel?	09:48:57
7	A. Yes.	09:48:59
8	Q. And have you read Dr. Dandiker's declaration	09:49:00
9	in this case?	09:49:07
10	A. No.	09:49:08
11	Q. And are you aware of anyone else who provided	09:49:08
12	a declaration in this case?	09:49:16
13	A. No.	09:49:18
14	Q. And so because you just learned yesterday that	09:49:18
15	Dr. Dandiker provided a declaration, you did not assist	09:49:21
16	him in drafting his declaration; correct?	09:49:25
17	A. I didn't talk to Dr. Dandiker for 20 years.	09:49:28
18	Q. And so Dr. Dandiker also did not help you	09:49:38
19	draft or provide your declaration --	09:49:45
20	A. No.	09:49:46
21	Q. -- in this case; correct?	09:49:46
22	A. No.	09:49:47

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

19

1	Q.	Besides counsel, did you communicate with	09:49:47
2		anyone else in order to create your declaration?	09:49:52
3	A.	My assistant in my office.	09:49:59
4	Q.	And do you know what the substance of	09:50:00
5		Dr. Dandiker's declaration is in this case?	09:50:07
6	A.	No.	09:50:10
7	Q.	So besides your assistants in your office and	09:50:10
8		counsel, you did not communicate with anyone else in	09:50:21
9		order to create your declaration in this case; right?	09:50:24
10	A.	To prepare the declaration?	09:50:26
11		I did ask my former director of research at	09:50:31
12		IVAX to send me files related to cladribine.	09:50:39
13	Q.	And -- and who -- what was that person's name?	09:50:49
14	A.	That is Dr. Peter Buchwald.	09:50:52
15	Q.	Could you spell that for the record, please?	09:50:55
16	A.	B-U-C-H-W-A-L-D.	09:50:58
17	Q.	... speak or communicate with Dr. Buchwald in	09:51:03
18		the presence of counsel?	09:51:11
19	A.	No.	09:51:12
20	Q.	So what did you speak with Dr. Buchwald about	09:51:12
21		specifically?	09:51:20
22	A.	Just to send me -- actually, my assistant	09:51:20

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

20

1	called him to send me the -- if he has any file on the	09:51:23
2	cladribine work we have done in the research institute	09:51:32
3	in Hungary.	09:51:39
4	Q. Did he send you any files?	09:51:40
5	A. Yes.	09:51:42
6	Q. What files did he send you?	09:51:43
7	A. Monthly reports.	09:51:45
8	Q. Monthly reports?	09:52:00
9	A. Yes.	09:52:01
10	And two annual reports too.	09:52:02
11	Q. How did Dr. Buchwald send you these reports?	09:52:05
12	Was it by email? Mail? Something else?	09:52:11
13	A. I think by email, and my assistant then made	09:52:14
14	copies.	09:52:18
15	Q. ... is Dr. Buchwald?	09:52:18
16	THE COURT REPORTER: Excuse me, you broke off.	
17	This is the court reporter.	
18	BY MS. DASHE:	09:52:18
19	Q. Where is Dr. Buchwald?	09:52:18
20	A. He is a professor at University of Miami.	09:52:37
21	Q. When you say University of Miami, that's in	09:52:42
22	Florida?	09:52:54

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

21

1	A. Yes.	09:52:54
2	Q. And did you provide these monthly reports and	09:52:54
3	annual reports Dr. Buchwald sent you to counsel?	09:53:07
4	A. Yes.	09:53:10
5	Q. And so -- and Dr. Buchwald, did he work with	09:53:10
6	you on the cladribine project at IVAX?	09:53:28
7	A. No. No, he did not.	09:53:33
8	Q. How would Dr. Buchwald have had these files,	09:53:34
9	then?	09:53:39
10	A. Because he was my assistant at IVAX. He had	09:53:39
11	the position of assistant director of research. And so	09:53:46
12	he was contact in between the researchers in Budapest	09:53:52
13	and me and my assistants. So he was part of my team,	09:53:59
14	but he did not work on cladribine here, I don't believe	09:54:03
15	he had any involvement of any kind.	09:54:09
16	Q. ... a cladribine team at IVAX?	09:54:13
17	A. Yes, well, there was not really a team, but	09:54:21
18	most of the work was done, actually, at Budapest, at the	09:54:30
19	research institute, where they developed the analytical	09:54:36
20	methods and then the pharmacokinetic and bioavailability	09:54:39
21	studies.	09:54:48
22	But Buchwald was not part of it.	09:54:50

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

22

1	Q. ... talk to Dr. Buchwald about your	09:54:51
2	cladribine --	09:54:55
3	A. He was not part of this.	09:54:55
4	Q. Okay. So Dr. -- so Dr. Buchwald was not part	09:54:56
5	of the actual cladribine research?	09:54:59
6	A. Right.	09:55:01
7	Q. He was part of --	09:55:02
8	A. Correct.	09:55:04
9	Q. Okay. And when you reached out to	09:55:04
10	Dr. Buchwald about your cladribine files, did you	09:55:07
11	discuss those files with him at all?	09:55:12
12	A. No.	09:55:19
13	Q. Their substance?	09:55:20
14	A. No.	09:55:22
15	Q. And before you reached out to Dr. Buchwald	09:55:22
16	about these documents, when was the last time you had	09:55:25
17	spoken with him?	09:55:28
18	A. Last fall sometime. His father, who was my	09:55:29
19	best friend at the university, passed away, and then we	09:55:43
20	talked about his memories and...	09:55:50
21	Q. I'm sorry to hear that.	09:55:57
22	Besides reaching out to Dr. Buchwald about his	09:55:58

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

23

1 father, when was the last time you had spoken with him 09:56:02
2 before that? 09:56:06

3 A. We spoke in -- occasionally last year, because 09:56:06
4 I nominated him to be member of the Florida Academia of 09:56:12
5 Sciences, and so I needed update his CV. 09:56:22

6 But I talked to him on the phone. I -- I 09:56:29
7 don't remember when I met him in person. 09:56:32

8 Q. And so do you know if Merck provided these 09:56:33
9 documents you received from Dr. Buchwald to Hopewell? 09:56:42

10 A. I'm sorry, can I have -- you ask again? 09:56:45

11 Q. Yeah. 09:56:50

12 Do you know if Merck provided these -- 09:56:51

13 A. Merck. Yeah. 09:56:53

14 Q. -- documents to Hopewell? 09:56:55

15 A. No. No. These were our files in my I- -- in 09:56:57
16 IVAX office. 09:57:06

17 Q. And did you provide all of the files you 09:57:09
18 received from Dr. Buchwald to Merck's counsel? 09:57:12

19 A. Yes. 09:57:15

20 Q. Do you recall -- is it that you don't know 09:57:15
21 whether or not Merck provided the documents to Hopewell? 09:57:25
22 Or Merck did not provide the documents to Hopewell? 09:57:29

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 A. I really don't know if Merck had these
2 reports, because these were in Hungarian, internal
3 reports of the Hungarian research institute, which I was
4 director of, and which they did the analytical and
5 pharmacokinetic development.

09:57:34

09:57:42

09:57:46

09:57:50

09:57:56

6 Q. ... was a little bit different.

09:57:58

7 My question was did Merck not provide these
8 documents from Dr. Buchwald to Hopewell? Or do you not
9 know whether or not Merck provided these documents to
10 Hopewell?

09:58:03

09:58:07

09:58:12

09:58:14

11 A. I -- again, I said I don't know if Merck had
12 these reports, because these were Hungarian reports
13 internal to the institute. So I don't think Merck had
14 these reports.

09:58:22

09:58:23

09:58:27

09:58:31

15 Q. ... one way or another whether or not Merck
16 provided these reports from Dr. Buchwald to Hopewell;
17 correct?

09:58:32

09:58:34

09:58:38

18 A. I don't know.

09:58:38

19 Q. Okay. But Merck's counsel has these reports
20 from Dr. Buchwald at this point in time; correct?

09:58:42

09:58:47

21 A. Yes.

09:58:51

22 Q. Okay. Do you know what the substance of these

09:58:52

1	reports are from Dr. Buchwald?	09:58:58
2	A. Yes.	09:59:00
3	Q. And what is that?	09:59:00
4	MR. MLAYER: Objection, form.	09:59:03
5	You can answer.	09:59:07
6	A. I mentioned before that the bioavailability	09:59:08
7	and pharmacokinetic studies were done by the research	09:59:16
8	institute in Hungary. And these monthly and then annual	09:59:24
9	report related to the findings of animal and then human	09:59:27
10	studies of the very formulation which we developed in	09:59:34
11	my -- in our patent.	09:59:45
12	BY MS. DASHE:	09:59:46
13	Q. That formulation you're referring to in your	09:59:46
14	patent in your declaration is the complex --	09:59:49
15	A. Complex --	
16	Q. -- cladribine-cyclodextrin complex; correct?	09:59:55
17	A. Yes.	09:59:59
18	Q. Okay. And that's the formu- -- that --	09:59:59
19	scratch that.	10:00:02
20	The complex cladribine-cyclodextrin complex	10:00:02
21	described in your Bodor PCT application and your	10:00:09
22	declaration, that's your invention; right?	10:00:12

1	A.	That's my invention and Dr. Dandiker's	10:00:15
2		invention.	10:00:21
3	Q.	And you just referred to bioavailability and	10:00:21
4		pharmacokinetic studies related to the findings of	10:00:29
5		animal and human studies. What were those findings?	10:00:34
6	A.	Well, actually, all of those findings or	10:00:37
7		almost all you can find in our patents, in the PCT	10:00:39
8		patent.	10:00:47
9	Q.	The reports you received from Dr. Buchwald	10:00:48
10		contain study results that were ultimately disclosed in	10:00:53
11		your Bodor PCT application?	10:00:58
12	A.	Correct.	10:01:01
13	Q.	And when were these reports prepared?	10:01:01
14	A.	As I remember, it was in 2003, '4, '5. Mostly	10:01:05
15		'4.	10:01:14
16	Q.	And how many documents did you receive from	10:01:15
17		Dr. Buchwald?	10:01:24
18	A.	As I remember, about five or six monthly	10:01:26
19		reports, or -- monthly, and two annual reports in	10:01:40
20		English.	10:01:42
21	Q.	How many pages are the reports apiece?	10:01:43
22	A.	I would estimate about six or seven pages.	10:01:48

1	Q.	Five to six reports that you received total	10:01:53
2		about 30 to 40 pages? Is that right?	10:02:01
3	A.	Yes.	10:02:04
4	Q.	And you said that almost all of the findings	10:02:04
5		for your bioavailability and pharmacokinetic studies are	10:02:14
6		in the Bodor PC- -- Bodor PCT. Are there any findings	10:02:19
7		in these reports that are not reported in your Bodor PCT	10:02:26
8		application?	10:02:32
9	A.	Yes.	10:02:35
10	Q.	What findings are those?	10:02:35
11	A.	If I may disclose, they were some attempts to	10:02:39
12		modify cladribine structure. [REDACTED]	10:02:45
13		[REDACTED]	10:02:50
14	Q.	... modify the cladribine structure?	10:02:51
15	A.	Modify, yes.	10:02:57
16	Q.	But what would -- I'm sorry, I'm not a	10:02:59
17		scientist, so -- but what do you mean by modify the	10:03:01
18		cladribine structure?	10:03:05
19	A.	To make a derivative of cladribine.	10:03:05
20	Q.	What is a derivative of cladribine?	10:03:09
21	A.	To have a substituent introduced into the	10:03:14
22		molecule.	10:03:20

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

28

1 Q. Why were you trying to modify the cladribine
2 structure?

3 A. Maybe to find a different drug. That was our
4 main business, drug research.

5 Q. You said a derivative of cladribine is a
6 substituent to introduce into the molecule; is that
7 right?

8 A. Yes.

9 Q. And which substituent was that?

10 MR. MLAYER: I'm going to object on scope and
11 relevance. This has nothing to do with the
12 substance of Dr. Bodor's testimony.

13 THE WITNESS: But I --

14 MS. DASHE: And Dr. Bodor provided testimony
15 in his declaration regarding the formulation of the
16 cladribine complex. This is directly relevant to
17 that.

18 And so, Dr. Bodor, I will continue asking my
19 questions.

20 And, Counsel, I would like to remind you that
21 the rules at the PTO are one-word objections, so.

22 BY MS. DASHE:

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

29

1	Q. Dr. Bodor, just to reask my question here for	10:04:39
2	the record, what substituent were you trying to	10:04:44
3	introduce into cladribine?	10:04:46
4	MR. MLAYER: Objection, scope. Objection,	10:04:48
5	relevance.	10:04:49
6	THE WITNESS: But I can answer?	10:04:50
7	MR. MLAYER: You can answer.	10:04:51
8	A. [REDACTED]	10:04:52
9	[REDACTED]	10:05:01
10	[REDACTED]	10:05:06
11	[REDACTED] --	
12	BY MS. DASHE:	10:05:09
13	Q. Attempts related to --	10:05:09
14	A. [REDACTED]	10:05:11
15	Q. I'm sorry, could you repeat that, Dr. Bodor?	10:05:16
16	I did not mean to talk over you.	10:05:19
17	A. [REDACTED]	10:05:20
18	[REDACTED]	10:05:23
19	[REDACTED]	10:05:31
20	Q. And what indication -- scratch that.	10:05:33
21	This formulation you were trying to make, was	10:05:36
22	this for treating multiple sclerosis?	10:05:39

1 A. That was not -- it simply modified cladribine
2 molecule. And while the cladribine is effective, maybe
3 the activity will be modified.

4 But it was not directed to multiple sclerosis
5 or anything, it's just try to modify the cladribine
6 molecule.

7 [REDACTED]
8 [REDACTED]
9 Q. [REDACTED]

10 MR. MLAYER: Objection, scope. Objection,
11 relevance and form.

12 A. [REDACTED]
13 [REDACTED]
14 [REDACTED]

15 BY MS. DASHE.

16 Q. ... Dr. Buchwald and counsel, did you talk to
17 anyone else in order to create your declaration in this
18 case?

19 A. No.

20 But I didn't talk to Buchwald about
21 declaration.

22 Q. You talked to him about the reports.

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

31

1	A.	I did not talk about anything, just had him	10:07:15
2		send the -- everything he had on -- on the cladribine.	10:07:18
3	Q.	And did you communicate -- scratch that.	10:07:22
4		Did you otherwise communicate with anyone in	10:07:31
5		order to create your declaration besides counsel and	10:07:33
6		your discussions, communications with Buchwald to get	10:07:36
7		the reports?	10:07:41
8	A.	No.	10:07:41
9	Q.	Have you heard of Dr. Alain Munafo?	10:07:42
10	A.	No.	10:07:54
11	Q.	Have you heard of Dr. Bernd Meibohm?	10:07:55
12	A.	No.	10:07:59
13	Q.	Have you heard of Dr. Fred Lublin?	10:08:04
14	A.	No.	10:08:07
15	Q.	And just in case I'm mispronouncing these	10:08:07
16		names, I'll just go through those questions again, but	10:08:29
17		I'll spell the names for you in case -- to clarify	10:08:32
18		anything.	10:08:33
19		So have you heard of Dr. Alain Munafo, spelled	10:08:33
20		A-L-A-I-N, space, M-U-N-A-F-O?	10:08:37
21	A.	Still no.	10:08:45
22	Q.	And have you heard of Dr. Bernd Meibohm,	10:08:46

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

32

1	spelled B-E-R-N-D, space, M-E-I-B-O-H-M?	10:08:51
2	A. No.	10:09:01
3	Q. Have you heard of Dr. Fred Lublin, spelled	10:09:01
4	F-R-E-D, space, L-U-B-L-I-N?	10:09:07
5	A. No -- no.	10:09:11
6	Just for curiosity, --	10:09:13
7	Q. Were --	10:09:15
8	A. -- why should I know about them?	10:09:15
9	Q. I was just asking, so.	10:09:18
10	Your current billing rate is \$1,250 an hour?	10:09:22
11	A. Yes.	10:09:27
12	Q. And how much have you billed so far in this	10:09:27
13	case?	10:09:33
14	A. I would have to ask my assistant, but I guess	10:09:36
15	maybe five or six hours.	10:09:39
16	Q. So the amount of money that you are either	10:09:47
17	owed or have received for your work on this case is five	10:09:50
18	or six hours times \$1,250 an hour, roughly?	10:09:52
19	A. Yes.	10:09:57
20	Q. Are you owed any additional money for this	10:09:58
21	case?	10:10:09
22	A. I feel I am, based on yesterday and today.	10:10:09

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	Q.	Do you know approximately how much money that	10:10:13
2		will be?	10:10:16
3	A.	It depends on you very much.	10:10:16
4	Q.	But I think you said you spent a few hours	10:10:21
5		yesterday with counsel and then you've spent however	10:10:26
6		many hours today on your deposition.	10:10:29
7		Is the amount of money you will be owed just	10:10:31
8		the number of hours that you spent preparing and sitting	10:10:33
9		for this deposition times your current rate of \$1,250 an	10:10:35
10		hour?	10:10:40
11	A.	Yes.	10:10:40
12	Q.	Have you ever received any other compensation	10:10:41
13		from Merck or related entity?	10:10:48
14	A.	No.	10:10:50
15		Well, I shouldn't say --	10:10:50
16	Q.	Have you --	10:10:50
17	A.	No, no. In 2008, the very patent was	10:10:55
18		defended, I went to the patent office, had an interview	10:11:04
19		with the patent examiner with the counsel, which	10:11:09
20		ultimately led to the allowance of the patent.	10:11:13
21		So at that time, I was also compensated. I	10:11:16
22		don't remember what was that time my hourly rate.	10:11:20

1	Q.	When you say the patent, do you mean the	10:11:26
2		Bodor -- the Bodor PCT patent?	10:11:31
3	A.	Yes.	10:11:34
4	Q.	What patent office was that in front of?	10:11:34
5	A.	The US patent office in Washington.	10:11:36
6	Q.	Do you recall how much time you spent on	10:11:39
7		defending the Bodor PCT application in front of the	10:11:49
8		patent office?	10:11:52
9	A.	I don't know, but all in all, going there and	10:11:53
10		talking and then -- probably there is a couple of days.	10:11:56
11	Q.	What was discussed during your interview with	10:11:58
12		the patent office in 2008 about your Bodor PCT?	10:12:07
13	A.	Well, as I remember, it's really essence of	10:12:13
14		the patent that is a combination of two types of	10:12:16
15		complexes, inclusion and noninclusion complexes, and	10:12:22
16		this forms a unique complex complex. And that was	10:12:28
17		really the essence of the discussions.	10:12:34
18	Q.	Was your complex complex in your Bodor PCT --	10:12:38
19		actually, scratch that.	10:12:50
20		The complex complex you are referring to is	10:12:51
21		the complex cladribine-cyclodextrin complex; right?	10:12:52
22	A.	Yes.	10:12:58

1 Q. And why was that complex complex unique? Or 10:12:58
2 what did you tell the patent office as to why it was 10:13:03
3 unique? 10:13:06

4 A. Cyclodextrins were known then, and I had a 10:13:10
5 long time working on cyclodextrins. Cyclodextrins are 10:13:14
6 known to form inclusion complexes in which a molecule or 10:13:20
7 part of a molecule is incorporated into the middle of 10:13:26
8 the cyclodextrin by van der Waals forces. And that was 10:13:34
9 well-known. And we have done that before. 10:13:39

10 But as it turns out, certain conditions, 10:13:51
11 temperature and time, you can increase the cyclodextrin 10:13:54
12 or incorporate the cladribine incorporation into 10:14:00
13 cyclodextrin. But, of course, this will not be 10:14:03
14 inclusion complex, this additional one, because that is 10:14:08
15 limited and that was determined by the phase solubility 10:14:13
16 diagram. 10:14:17

17 So the ideal component is now hydrogen-bonded, 10:14:21
18 different type of complex, hydrogen-bonded complex, 10:14:25
19 which is in addition to the inclusion complex, and that 10:14:30
20 together is forming the complex complex, which is the 10:14:35
21 Merck or our PCT patent. 10:14:40

22 It almost tripled the amount of cladribine 10:14:48

1 which can be incorporated in -- in cyclodextrin in this 10:14:53
2 way. 10:14:58

3 Q. Did you want to increase the amount of 10:15:00
4 cladribine in your complex? 10:15:04

5 A. Yes. Yeah, because the molecule are very 10:15:06
6 difference, and more the ratio of the incorporation 10:15:13
7 would require large amounts of cyclodextrin if that 10:15:18
8 would be -- if the inclusion complex would be the only 10:15:23
9 one. 10:15:27

10 Q. ... something about requiring large amounts of 10:15:28
11 cyclodextrin. 10:15:41

12 Just so that I understand, were you trying to 10:15:43
13 increase or decrease the amount of cyclodextrin in your 10:15:48
14 formulation? 10:15:54

15 A. Yeah, the latter, to the cladribine ratio want 10:15:54
16 to decrease so you won't have a gigantic pill to take. 10:15:58

17 Q. Why would you want to decrease the relative 10:16:05
18 amount of cyclodextrin in your formulation? 10:16:08

19 A. There are several reasons, but one of the main 10:16:13
20 reason would be to make a saturated complex in which the 10:16:15
21 cladribine is in the highest thermodynamic activity 10:16:26
22 stage, so when taken as a pill and gets in contact with 10:16:32

1 the mucosa, the fact that it's in the highest 10:16:39

2 thermodynamic activity stage would allow the dilution to 10:16:45

3 drive the drug to the mucosa, so facilitate absorption. 10:16:50

4 Q. Why would you want a high thermodynamic state 10:16:56
5 for the cladribine? 10:17:01

6 MR. MLAYER: Objection to scope and relevance. 10:17:03

7 You can answer. 10:17:08

8 A. Well, just, as I said before, to optimize 10:17:08
9 absorption to the mucosa, intestinal mucosa. 10:17:17

10 BY MS. DASHE. 10:17:22

11 Q. ... optimizing the absorption of cladribine to 10:17:22
12 the intestinal mucosa do? Like, why do you want to do 10:17:30
13 that? 10:17:35

14 MR. MLAYER: Objection to scope and relevance 10:17:35
15 and also to the extent this calls for expert 10:17:37
16 testimony. 10:17:40

17 MS. DASHE: And, Counsel, again, "calls for 10:17:40
18 expert testimony" is not permitted under the PTO 10:17:43
19 rules. So single-word objections. 10:17:46

20 BY MS. DASHE: 10:17:49

21 Q. But, Dr. Bodor, if you would like me to repeat 10:17:49
22 my question, I can. 10:17:54

1 A. Yeah, I think the answer is obvious. I mean,
2 the main reason to do this kind of complex, number one,
3 to protect cy- -- cladribine from the acidity in the
4 symptom, and number two, to allow oral absorption. And
5 that was the objective of the whole project, and that
6 was the objective of making the complex complex.

7 Q. Why does absorption matter for the cladribine
8 formulation you were developing?

9 MR. MLAYER: Objection, scope, relevance,
10 foundation, form.

11 A. Again, that's obvious. We are comparing it to
12 injectable forms. When you inject something in
13 the circulatory system, it's there, you cannot remove.

14 What you want to achieve, a high blood levels
15 from an oral formulation. That's the basis of the --
16 the whole exercise.

17 BY MS. DASHE:

18 Q. Did you achieve the high blood levels of
19 cladribine with your complex cladribine-cyclodextrin
20 complex described in your Bodor PCT application?

21 MR. MLAYER: Objection, form.

22 A. Well, it is in the patent, so you can see the

1 results compared to injectable forms. You can achieve 10:19:33
2 more than 30 percent, that was the objective, to achieve 10:19:38
3 more than 30 percent bioavailability, and you -- we can 10:19:41
4 read the report, something like 39 or so percent. 10:19:48

5 BY MS. DASHE: 10:19:51

6 Q. ... objective to achieve 30 percent 10:19:51
7 bioavailability? 10:19:56

8 A. More than 30 percent I say. That was the 10:19:57
9 objective. 10:20:01

10 Q. And why was the objective to achieve more than 10:20:01
11 35 -- or, excuse me, scratch that. 10:20:04

12 Why was the objective to achieve more than 30 10:20:06
13 percent bioavailability? 10:20:10

14 MR. MLAYER: Objection to scope and relevance. 10:20:13

15 A. Because that would make it practical to have 10:20:16
16 a -- an oral formulation. Because below that, they have 10:20:20
17 a 10 percent or so percentage of bioavailability, 10:20:23
18 that's -- and it cannot be a successful drug. 10:20:29

19 BY MS. DASHE: 10:20:32

20 Q. ... successful drug? 10:20:36

21 A. Successful. 10:20:37

22 Q. Yeah, what do you mean by successful drug? 10:20:38

1 A. To be used in any kind of treatment. To 10:20:44
2 replace injectable forms by oral formulation, that's a 10:20:50
3 successful drug. 10:20:56
4 Q. Your complex cladribine-cyclodextrin complex 10:20:56
5 as described in your Bodor PCT application, you 10:21:07
6 described that in the application that it is a 10:21:12
7 successful drug? 10:21:16
8 A. Yes. 10:21:16
9 Q. Because it -- okay. 10:21:17
10 And what was the basis for the 30 percent 10:21:18
11 bioavailability complex -- excuse me, strike that. 10:21:22
12 What was the basis for the 30 percent cutoff 10:21:25
13 for the bioavailability? 10:21:28
14 A. I don't know exactly. That's just generally 10:21:30
15 in the field, you think achieve at least 30 percent 10:21:35
16 bioavailability. And that's one thing. The other thing 10:21:43
17 is reproducibility and reduced interpatient variation. 10:21:47
18 Q. ... by reduced interpatient variation? 10:21:54
19 A. Yes. 10:22:03
20 Q. I'm sorry, I don't -- just -- I'm asking 10:22:03
21 what -- when you say reduced interpatient variation, 10:22:05
22 what do you mean by that? 10:22:09

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

41

1 A. That one patient shows 10 percent, the other
2 50 percent. That cannot be a successful drug because
3 the variability by this patient who is treated is too
4 much, you cannot assure the desired blood concentration.

5 Q. Complex cladribine-cyclodextrin complex
6 described in your Bodor PCT application, you described
7 that as achieving reduced interpatient variation and
8 that's a successful drug; right?

9 A. Yes.

10 MR. MLAYER: Objection to --

11 Just let me put in my object and then you can
12 answer.

13 Objection to form, scope, relevance.

14 You can answer.

15 A. Yes.

16 And if you look in the patent, we have done a
17 very important demonstration of the reduction of the
18 interpatient variation, it's called crossover
19 pharmacokinetic studies, which means a group of patients
20 who are treated the different oral formulations and the
21 IV, so the very patients could serve as its own control.
22 Then you can compare the interpatient and inpatient

1	variations.	10:23:45
2	So that was what demonstrated the value of the	10:23:47
3	formu- -- of the complex.	10:23:52
4	BY MS. DASHE:	10:23:57
5	Q. And that was described in the Bodor PCT	10:23:57
6	application; right?	10:23:57
7	A. Yes. Yes.	10:23:57
8	Q. Okay. Now, besides the desired blood level	10:23:58
9	concentrations and this reduction in interpatient	10:24:01
10	variation, are there any other reasons to increase the	10:24:04
11	absorption of cladribine?	10:24:08
12	MR. MLAYER: Objection, scope, foundation.	10:24:10
13	You can answer.	10:24:15
14	A. I don't know what the reason. As I say, it's	10:24:16
15	obvious that what the objective is to develop an oral	10:24:22
16	formulation of an active drug, in this case cladribine.	10:24:28
17	BY MS. DASHE.	10:24:31
18	Q. ... want to reduce the interpatient variation	10:24:34
19	of the drug?	10:24:37
20	A. Well, I already addressed this, but I don't	10:24:42
21	like to repeat myself.	10:24:48
22	The interpatient variations means that	10:24:50

1 depending on the individual, the absorption is 10:24:52
2 different. Now, if you have a form of the cladribine 10:24:55
3 which does not depend on individual stomach content, 10:25:02
4 et cetera, but in all cases gives about the same blood 10:25:07
5 levels, then you are on the right way to develop a 10:25:15
6 successful drug. 10:25:19

7 Q. Interpatient variation in the cladribine 10:25:20
8 absorption, would that affect the therapeutic efficacy 10:25:30
9 between patients of the cladribine? 10:25:35

10 A. Of course. 10:25:36

11 MR. MLAYER: Objection, form, scope, 10:25:37
12 relevance. 10:25:39

13 You can answer. 10:25:41

14 A. Of course. 10:25:41

15 BY MS. DASHE: 10:25:42

16 Q. Now, you've said that it was obvious to try to 10:25:49
17 reduce the interpatient variation and increase the 10:25:56
18 cladribine absorption. To whom are you referring -- 10:26:02
19 like, it was obvious to whom? Like, obviously, it's not 10:26:05
20 obvious to me because I'm a lawyer. But who would it be 10:26:08
21 obvious to? 10:26:10

22 MR. MLAYER: Objection, form, scope, 10:26:12

1	foundation.	10:26:14
2	A. I didn't say it's obvious to reduce the	10:26:14
3	interpatient variation.	10:26:21
4	I mean, in drug discovery or drug development,	10:26:23
5	your objective is to have a drug which is equally or	10:26:26
6	close to equally effective in different patients, so	10:26:31
7	somebody who has blue eyes is not more sensitive to the	10:26:35
8	tablet what you give than the others.	10:26:44
9	BY MS. DASHE:	10:26:46
10	Q. Dr. Bodor, you are the founder and CEO of	10:26:53
11	Bodor Laboratories?	10:26:57
12	A. Yes.	10:26:58
13	Q. And has Bodor Laboratories ever received any	10:26:59
14	compensation from Merck or any related entity?	10:27:03
15	A. I don't believe so. No.	10:27:06
16	Q. You are also the executive director of the	10:27:11
17	University of Florida Center for Drug Discovery?	10:27:17
18	A. Well, I was. I was. I retired from that.	10:27:20
19	But I am graduate research professor emeritus, and I am	10:27:28
20	involved in some activities at the University. There is	10:27:32
21	a Nicholas Bodor Distinguished Lectureship Award given	10:27:38
22	at the University every year, which will be in April of	10:27:46

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

45

1	this year. And also there is a Nicholas Bodor	10:27:49
2	Distinguished Chair in drug discovery.	10:27:54
3	Q. The University of Florida for Drug -- Center	10:28:02
4	for Drug Discovery or the distinguished lectureship or	10:28:08
5	the distinguished chair received any compensation from	10:28:11
6	Merck or any other related entity?	10:28:14
7	A. Not as I know.	10:28:16
8	Q. Now, Dr. Bodor, I know you have a copy, a	10:28:17
9	paper copy of your declaration in front of you, but does	10:28:25
10	it have your Appendix A with your CV attached to it?	10:28:28
11	A. Not here, no. But I understand that's how --	10:28:31
12	Q. Okay.	10:28:38
13	A. -- you received it or...	10:28:38
14	Q. Okay. Then I'll just have --	10:28:41
15	MS. DASHE: Emil, could you please pull up	10:28:44
16	Appendix A of Dr. Bodor's CV, Exhibit 2054. It	10:28:46
17	should be page 14 of the PDF.	10:28:52
18	REMOTE ZOOM TECHNICIAN WHITE: 54 or 2045?	10:29:11
19	MS. DASHE: 2054. It was the tab 1 that I had	10:29:14
20	you introduce earlier today.	10:29:17
21	Yes. Okay.	10:29:26
22	And, Emil, could you scroll to the next page?	10:29:28

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	And maybe make that full-sized? It's kind of	10:29:35
2	cutting off the top and the bottom. Or, rather,	10:29:37
3	shrink it so that the whole page shows up on the	10:29:40
4	screen? Thank you.	10:29:43
5	BY MS. DASHE:	
6	Q. And, Dr. Bodor, this Appendix A, this is your	10:29:45
7	CV?	10:29:48
8	A. Yes. It looks like it.	10:29:51
9	Q. And your CV has, we see, 144 pages?	10:29:53
10	A. I understand, yes.	10:30:01
11	Q. Okay. And when did you last update this CV?	10:30:02
12	A. I don't believe I know, but my assistant	10:30:08
13	always puts on the new publications or patents or awards	10:30:16
14	or whatever happens. Anytime some important event	10:30:21
15	happens, she updates it.	10:30:27
16	Q. Has this CV -- or scratch that.	10:30:29
17	Was this CV updated before December 2023, when	10:30:38
18	you started working on your declaration?	10:30:42
19	MR. MLAYER: Objection, form.	10:30:44
20	You can answer.	10:30:50
21	A. I don't know when was the last time updated.	10:30:51
22	MS. DASHE: Emil, could you please go to page	10:31:00

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

47

1	131 of the PDF? Still on your CV.	10:31:04
2	And you could -- yeah. Thank you.	10:31:10
3	BY MS. DASHE:	10:31:12
4	Q. So, Dr. Bodor, this is a list of your	10:31:12
5	publications and your CV. Do you see where it says last	10:31:15
6	update, April 21, 2023?	10:31:19
7	A. Yes.	10:31:21
8	Q. Does that help you recall when your CV was	10:31:22
9	last updated?	10:31:30
10	A. Well, no, not really. That just means the	10:31:30
11	list of publications updated. Some other things could	10:31:33
12	have happened, I don't remember.	10:31:46
13	I think I achieved my lifetime achievement	10:31:46
14	award last May, so that's included somewhere.	10:31:50
15	But this update is mainly for the list of	10:31:53
16	publication.	10:31:55
17	Q. Was your CV -- was this CV updated before	10:31:56
18	December of 2023?	10:32:09
19	MR. MLAYER: Objection, form.	10:32:10
20	BY MS. DASHE:	10:32:11
21	Q. Scratch that.	10:32:12
22	Was this CV last updated before December 2023?	10:32:12

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

48

1 A. Yeah, I told you, I don't remember, I have
2 to -- to look. But this is -- when the list was
3 updated, the list of the publications, is April, but
4 not -- something must have -- or could have been added,
5 I don't know.

6 Again, my assistant takes care of --

7 Q. Did...

8 A. But it is irrelevant.

9 Q. Does your CV -- I was trying to get the --
10 does your CV accurately reflect your areas of scientific
11 expertise and credentials?

12 A. I would say yes.

13 MS. DASHE: So, Emil, could you please go to
14 page 57 of the PDF.

15 This will be page 43 of your CV, Dr. Bodor.

16 If you could, Emil, kind of let the whole
17 thing be on the page.

18 So -- thank you.

19 BY MS. DASHE:

20 Q. Dr. Bodor, your CV, provided as a part of
21 Exhibit 2054, your declaration, it contains a list of
22 the patents you've had issued --

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

49

1	A. Yes.	10:33:29
2	Q. -- here?	10:33:29
3	A. Yes.	10:33:33
4	Q. And I believe you're the named inventor on	10:33:33
5	over 300 patents; is that right?	10:33:36
6	A. Yes.	10:33:37
7	MS. DASHE: Emil, could you please go to page	10:33:41
8	73 of the PDF, we'll still be on the list of	10:33:48
9	patents.	10:33:51
10	BY MS. DASHE:	10:34:02
11	Q. Okay. And do you see item 228, "N. Bodor, Y.	10:34:03
12	Dandiker, 'Oral Formulations of Cladribine,' US Patent	10:34:08
13	7,888,328"? Do you see that?	10:34:12
14	A. Yes.	10:34:16
15	Q. And if I refer to this as the '328 patent,	10:34:16
16	you'll know what I'm referring to?	10:34:26
17	A. Yes.	10:34:28
18	Q. And is this '328 patent -- you refer to this	10:34:28
19	patent in your declaration; right?	10:34:33
20	A. Yes.	10:34:34
21	MS. DASHE: So then if we flip to the next	10:34:35
22	page, Emil, please.	10:34:37

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

50

1	BY MS. DASHE:	10:34:44
2	Q. So this would be page 60 of your CV. If we go	10:34:44
3	to item 252, towards the bottom of the page there, do	10:34:49
4	you see, Dr. Bodor, "N. Bodor and Y. Dandiker, 'Oral	10:34:56
5	Formulations of Cladribine,' US Patent 8,785,415"?	10:35:00
6	A. Yes.	10:35:06
7	Q. Okay. If I refer to this patent as the '415	10:35:09
8	patent, you'll know what I'm referring to?	10:35:15
9	A. Yes. Yeah.	10:35:21
10	Q. If you need it bigger, please let me know.	10:35:21
11	MR. MLAYER: Yeah, it would be helpful. The	10:35:24
12	screen is -- is maybe 3 feet away from Dr. Bodor,	10:35:25
13	so it's --	10:35:29
14	MS. DASHE: Got it.	10:35:29
15	MR. MLAYER: It would be helpful if we could	10:35:30
16	zoom in on the things we're -- we're talking about.	10:35:32
17	MS. DASHE: Yes.	10:35:33
18	Emil, could you go -- have it zoomed in on	10:35:35
19	item 252?	10:35:41
20	Thank you.	
21	BY MS. DASHE:	
22	Q. All right. Is that better, Dr. Bodor?	10:35:42

1	A.	Not yet.	10:35:44
2	Q.	Okay.	10:35:45
3	A.	Okay.	10:35:45
4	Q.	Oops. Well, that's a little much. There we	10:35:46
5		go.	10:35:52
6		Okay, so this `415 patent on item 252, that's	10:35:52
7		the `415 patent that you refer to in your declaration;	10:35:57
8		right?	10:36:01
9	A.	Yes.	10:36:01
10	Q.	Okay.	10:36:06
11		MS. DASHE: Emil, could we please go to page	10:36:06
12		81 of the PDF of Exhibit 2054.	10:36:10
13		BY MS. DASHE:	
14	Q.	And so, Dr. Bodor, again, this is still part	10:36:18
15		of your CV in Exhibit 2054. You provide a list of all	10:36:21
16		of your publications; right?	10:36:31
17	A.	Yeah. Yes.	10:36:33
18	Q.	And I believe you have more than 500; is that	10:36:34
19		right?	10:36:37
20	A.	Yes.	10:36:37
21	Q.	Have you retracted any of the publications	10:36:37
22		listed in your CV?	10:36:41

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

52

1	A. No.	10:36:42
2	Q. And have you issued any corrections?	10:36:43
3	A. No.	10:36:46
4	Q. Okay.	10:36:46
5	MS. DASHE: And could we also, Emil, please go	10:36:49
6	to page 26 of the PDF, still in Dr. Bodor's CV, of	10:36:54
7	Exhibit 2054.	10:37:02
8	So -- and if -- Emil, could you just have the	10:37:05
9	whole paper so we can see it so it's not so zoomed	10:37:10
10	in? We can zoom back in, but...	10:37:13
11	And Emil, if you could flip through, the	10:37:16
12	witness --	
13	BY MS. DASHE:	
14	Q. Page 12, 13, and 14 of your CV provides a	10:37:20
15	summary of your scientific interests and achievements;	10:37:24
16	right?	10:37:27
17	A. Yes.	10:37:27
18	Q. Okay.	10:37:31
19	MS. DASHE: And, Emil, could you please go to	10:37:33
20	page 14 of this section to page 28 of the PDF. And	10:37:38
21	could you zoom in, Emil, on the top paragraph so	10:37:42
22	everybody can see?	10:37:48

1 BY MS. DASHE:

10:37:53

2 Q. Dr. Bodor, can you see that text on the top
3 paragraph all right?

10:37:54

10:37:56

4 A. Yes.

10:37:56

5 Q. Okay. Do you see in the middle "Dr. Bodor's
6 US, and associated European and US, patents, 'Oral
7 Formulations of Cladribine'"? Do you see that?

10:37:58

10:38:04

10:38:09

8 MS. DASHE: Emil, if you could highlight that
9 for the -- for the witness.

10:38:16

10:38:17

10 A. Yes. Yes.

10:38:18

11 MS. DASHE: "Dr. Bodor's US" --

10:38:19

12 A. Yes.

10:38:20

13 BY MS. DASHE:

10:38:21

14 Q. Okay, you can see that.

10:38:21

15 Okay. And so the -- your US patents entitled
16 "Oral Formulations of Cladribine" referred to here are
17 those two patents we just looked at in your CV, the '328
18 and '415 patent; correct?

10:38:22

10:38:26

10:38:30

10:38:33

19 A. I assume so, except European patents mentioned
20 also here.

10:38:38

10:38:45

21 Q. Right. But the specific US patents referred
22 to here --

10:38:45

10:38:47

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

54

1	A. Yes.	10:38:48
2	Q. -- are the `328 and `415 patent?	10:38:49
3	A. Yeah.	10:38:51
4	Q. Okay.	
5	MS. DASHE: You can take that down, Emil.	10:38:55
6	Actually, we've been going for about an hour,	10:38:58
7	Dr. Bodor, if you would like to take a brief break.	10:39:01
8	THE WITNESS: I appreciate it.	10:39:04
9	MS. DASHE: Okay. I think we can take a	10:39:05
10	ten-minute break.	10:39:08
11	MR. MLAYER: Okay.	10:39:10
12	VIDEOGRAPHER ELMILKI: Okay. We off of the	10:39:10
13	record, the time now is 10:39 a.m.	10:39:12
14	(Recess taken.)	10:39:17
15	VIDEOGRAPHER ELMILKI: We are back on the	10:51:33
16	record, and the time now is 10:51 a.m.	10:51:43
17	BY MS. DASHE:	10:51:48
18	Q. Welcome back, Dr. Bodor.	10:51:48
19	A. Yes.	10:51:49
20	Q. During your -- during the break, did you speak	10:51:50
21	with counsel about the substance of your testimony?	10:51:53
22	A. No.	10:51:54

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1 Q. Okay. Now, Dr. Bodor, I'm going to ask you 10:51:55
2 some questions regarding your career and scientific 10:52:01
3 background. And I would like you to focus on the 2004 10:52:04
4 time frame unless I specifically say otherwise. Do you 10:52:08
5 understand that? 10:52:12

6 A. Yes. 10:52:12

7 Q. Okay. Now, you developed a number of drug 10:52:13
8 formulations; right? 10:52:17

9 MR. MLAYER: Objection, form. 10:52:19

10 A. I don't -- I wouldn't call that I developed 10:52:24
11 formulations. I invented some new drugs, yes, new 10:52:28
12 chemicals. 10:52:35

13 BY MS. DASHE: 10:52:36

14 Q. What's a formulation? 10:52:39

15 A. Like you take cladribine, which is a chemical, 10:52:41
16 and you formulate into a tablet. Or formulate 10:52:45
17 prednisolone into a liquid formulation or topical. 10:52:53
18 Those are formulations. 10:52:57

19 You make a drug accessible to the body. So 10:53:00
20 you modify the -- the drug substance surrounding to make 10:53:07
21 it another as a useful drug. 10:53:16

22 So formulation is a -- is a combination of the 10:53:20

1 drug, active drug and many other things. 10:53:23

2 Q. ... you said drug substances? 10:53:27

3 A. Drug substance. 10:53:37

4 Q. What's a drug substance? 10:53:38

5 A. For example, cladribine is a drug substance, a 10:53:39

6 chemical. 10:53:43

7 They call it anything -- 10:53:43

8 Q. ... of formulations because your complex 10:53:43

9 cladribine-cyclodextrin complex, that's a formulation; 10:53:58

10 right? 10:53:59

11 A. That's a formulation, yes. 10:53:59

12 Q. Okay. And have you developed formulations or 10:54:01

13 drug substances for different routes of administration? 10:54:07

14 A. Yes, for a number of my new chemical drugs, I 10:54:10

15 did develop some formulations, yes. 10:54:15

16 Q. What routes of administration did you develop 10:54:17

17 for formulations? 10:54:24

18 MR. MLAYER: Objection, form. 10:54:25

19 You can answer. 10:54:33

20 A. Yeah, for example, ophthalmic drugs, developed 10:54:34

21 eyedrops to be suspension, or gels for topical use on 10:54:37

22 the skin, or brain-targeted drugs using the redox system 10:54:47

1 and -- so variety. I was involved in a large number of 10:54:54
2 different fields using in my retrometabolic drug design 10:54:57
3 concept. 10:55:05

4 BY MS. DASHE. 10:55:07

5 Q. ... oral routes of administration for 10:55:08
6 formulations; right? 10:55:10

7 A. Oral -- 10:55:11

8 MR. MLAYER: Counsel, I think the first words 10:55:12
9 of your questions may be getting cut off by the 10:55:14
10 audio, because we keep getting, kind of, sentence 10:55:17
11 fragments. And I -- 10:55:23

12 MS. DASHE: Okay. Okay, I'll -- I'll -- I'll 10:55:24
13 reask the question. 10:55:26

14 BY MS. DASHE: 10:55:28

15 Q. So you developed formulations for oral route 10:55:29
16 of administration; right? 10:55:33

17 A. I wouldn't say -- my -- it's not my main field 10:55:34
18 to develop formulations, that is defined on the adaptive 10:55:42
19 mean, but, yes, we developed a number of formulations of 10:55:48
20 the drugs, I invented. 10:55:53

21 Q. Were oral -- sorry, let me just rephrase, I'm 10:55:58
22 not trying to be confusing, and I think my question came 10:56:11

1	out confusing.	10:56:13
2	So you have developed drugs for the oral route	10:56:16
3	of administration; correct?	10:56:21
4	A. As well. Not -- that wasn't --	10:56:22
5	Q. Okay.	
6	A. That wasn't my prime interest.	10:56:24
7	Q. What was your prime interest or main field in	10:56:26
8	your career?	10:56:30
9	A. There are several of them, but I say	10:56:31
10	brain-targeting drugs, ophthalmic drugs, and then	10:56:33
11	topical to be dermatological, like my most recent one is	10:56:39
12	for hyperhidrosis, and that's a topical use.	10:56:46
13	Q. And you developed the drug substances --	10:56:54
14	scratch that.	10:56:59
15	Your focus was on developing the drug	10:56:59
16	substances, not as much developing the drug	10:57:01
17	formulations; right?	10:57:05
18	A. My prime -- yes. My prime objective is to	10:57:06
19	develop a reactive new chemical entity for a specify	10:57:09
20	disease. And then, of course, you have to develop	10:57:14
21	formulation to be used for that particular reason.	10:57:17
22	Q. Now, there is other routes to administration,	10:57:21

1	right? Like injectables or --	10:57:27
2	A. Yes. Yes.	10:57:28
3	Q. -- inhaled drugs?	10:57:29
4	A. Yes.	10:57:31
5	Q. Have you -- what is the parenteral route to	10:57:32
6	administration?	10:57:38
7	A. Parenteral is different injectable, you know,	10:57:38
8	subQ or intravenous, intramuscular.	10:57:44
9	Q. Are there any benefits of the oral route of	10:57:51
10	administration over an injectable or parenteral route?	10:57:55
11	MR. MLAYER: Objection to scope, form,	10:58:00
12	foundation.	10:58:04
13	A. I say convenience.	10:58:05
14	BY MS. DASHE:	10:58:06
15	Q. What do you mean by convenience?	10:58:10
16	A. People rather take a pill than get injected.	10:58:11
17	Q. And does that increase patient compliance with	10:58:20
18	taking their medications?	10:58:23
19	A. Yes.	10:58:25
20	MR. MLAYER: Objection to scope and foundation	10:58:25
21	and form.	10:58:27
22	You can answer.	10:58:30

1	A. Yes. Yes. Of course.	10:58:30
2	BY MS. DASHE:	10:58:33
3	Q. Are there any cost savings for the oral route	10:58:35
4	of administration over injectables or parenterals?	10:58:39
5	MR. MLAYER: Objection to scope, form, and	10:58:45
6	foundation.	10:58:47
7	A. Yes.	10:58:47
8	BY MS. DASHE:	10:58:48
9	Q. And would the oral route of administration be	10:58:54
10	less painful or less uncomfortable than an injectable or	10:58:57
11	parenteral medication?	10:59:03
12	MR. MLAYER: Objection to scope, form, and	10:59:05
13	foundation.	10:59:07
14	A. Generally, yes.	10:59:07
15	BY MS. DASHE:	10:59:08
16	Q. Now, the oral medicines can be given in a slow	10:59:08
17	or extended-release form; right?	10:59:17
18	A. Yes.	10:59:18
19	Q. Is that possible with an injectable or	10:59:19
20	parenteral medication?	10:59:26
21	A. Yes.	10:59:27
22	Q. But is it more common to have an oral	10:59:27

1	formulation that is	10:59:33
2	MR. MLAYER: Objection.	10:59:35
3	BY MS. DASHE:	10:59:35
4	Q. a slow or extended release than an	10:59:35
5	injectable?	10:59:38
6	MR. MLAYER: Objection to form, scope,	10:59:39
7	relevance, and foundation.	10:59:40
8	A. Well, both are possible.	10:59:43
9	BY MS. DASHE:	10:59:47
10	Q. Now, you referred to oral dosage form a number	10:59:49
11	of times in your declaration. What do you mean by an	10:59:53
12	oral dosage form?	10:59:56
13	A. Those are oral, normally pills or can be,	10:59:59
14	well, a solution too. Oral, whatever you take it, by	11:00:05
15	mouth.	11:00:13
16	Q. Pills, could that be a tablet?	11:00:13
17	A. Yes.	11:00:19
18	Q. Is there any reason a drug developer might	11:00:19
19	prefer to use a solid tablet over an oral liquid?	11:00:26
20	MR. MLAYER: Objection to form, scope,	11:00:30
21	foundation.	11:00:32
22	A. Well, there could be many reasons. Again,	11:00:33

1	convenience, or cost, or compliance, stability.	11:00:39
2	Stability.	11:00:49
3	BY MS. DASHE.	11:00:52
4	Q. ... stability?	11:00:55
5	MR. MLAYER: I'm sorry, I think your question	11:00:58
6	was cut off again.	11:00:59
7	MS. DASHE: Oh, I'm sorry.	11:01:01
8	BY MS. DASHE:	11:01:02
9	Q. You refer to stability. What is stability?	11:01:02
10	A. A molecule, which is a drug, can undergo	11:01:05
11	degradation. And if it's in a solution, it's more	11:01:13
12	likely to degrade than if it's in a solid form. In a	11:01:22
13	solid form, it's more stable.	11:01:31
14	So the shelf life is different.	11:01:33
15	Q. ... specific formulation of a drug impact its	11:01:36
16	stability?	11:01:43
17	A. Yes.	11:01:43
18	Q. How so?	11:01:44
19	MR. MLAYER: Objection to scope, foundation,	11:01:47
20	form.	11:01:51
21	A. If you have a drug which is an ester, I am	11:01:51
22	specifically thinking of something, which would be	11:01:57

1 hydrolyzed in water, if you make an aqueous solution, 11:02:00
2 you let it sit, the drug will degrade within a given 11:02:06
3 time, days or weeks, who knows. 11:02:12

4 So, of course, the formulation affects 11:02:17
5 stability. 11:02:20

6 BY MS. DASHE. 11:02:21

7 Q. .. -er stability better than a lower stability 11:02:24
8 for a drug formulation? 11:02:25

9 MR. MLAYER: Objection to scope. 11:02:26

10 A. Well, you always go for high stability, 11:02:28
11 otherwise you have to throw -- throw out your drug after 11:02:34
12 a month or so. 11:02:40

13 BY MS. DASHE: 11:02:42

14 Q. Any other reasons besides the ones we've just 11:02:42
15 discussed as to why a drug formulation -- formulator 11:02:44
16 might prefer to use a solid tablet over an oral liquid? 11:02:48

17 MR. MLAYER: Objection to scope, foundation, 11:02:51
18 relevance. 11:02:53

19 A. There are many reasons, but I think it's 11:02:54
20 outside of our current interest. 11:03:00

21 BY MS. DASHE: 11:03:03

22 Q. But do you -- do you have any of those other 11:03:05

1	reasons in mind right now?	11:03:07
2	MR. MLAYER: Objection to scope, relevance, to	11:03:08
3	form.	11:03:12
4	A. The primary is really convenience and the	11:03:12
5	stability. You know, if it's a liquid formulation, it	11:03:20
6	has to be sterile. If it's a tablet, it doesn't have to	11:03:23
7	be sterile, you have -- you can handle it by hand.	11:03:29
8	BY MS. DASHE.	11:03:32
9	Q. ... reasons known in 2004?	11:03:39
10	A. Oh, yes.	11:03:41
11	MR. MLAYER: Objection to scope, relevance,	11:03:42
12	form.	11:03:44
13	You can answer.	11:03:46
14	A. Yes. These are all known in 2004.	11:03:47
15	BY MS. DASHE:	11:03:53
16	Q. You referred -- okay.	11:03:54
17	You referred to dosing regimen a number of	11:03:55
18	times in your declaration. What do you mean by dosing	11:04:00
19	regimen?	11:04:04
20	A. Well, dosing regimen in general, I say it's	11:04:04
21	the frequency of taking the -- a pill, or an injection,	11:04:10
22	or eyedrops, or whatever, that is the dosing regimen.	11:04:21

1	Q. ... or days you might have to take the	11:04:26
2	formulation, something like that?	11:04:34
3	A. Yes.	11:04:35
4	Q. Okay. Does the number of days you have to	11:04:35
5	take the drug in a dosing regimen matter?	11:04:40
6	MR. MLAYER: Objection to form, foundation,	11:04:44
7	scope.	11:04:47
8	A. It depends on the drug.	11:04:47
9	BY MS. DASHE:	11:04:51
10	Q. Well, what about for cladribine, does the	11:05:02
11	number of days you would take cladribine in a dosing	11:05:07
12	regimen matter?	11:05:09
13	MR. MLAYER: Objection to form, foundation,	11:05:10
14	scope.	11:05:12
15	A. Well, let me tell you something about this,	11:05:13
16	treatment, medical treatment and dosing regimen is	11:05:21
17	really not my field. And I don't have the expertise to	11:05:24
18	comment on the value or variation of dose regimens.	11:05:32
19	I was never involved in any of this.	11:05:44
20	BY MS. DASHE:	11:05:47
21	Q. Do you know if it can matter, the number of	11:05:49
22	days that one takes the cladribine in a dosing regimen?	11:05:51

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

66

1	MR. MLAYER: Objection to form, foundation,	11:05:56
2	scope.	11:05:57
3	A. I didn't understand the question. Can you	11:05:58
4	repeat the question?	11:06:03
5	BY MS. DASHE:	
6	Q. Of course.	11:06:05
7	Do you know if it can matter, the number of	11:06:06
8	days that one takes cladribine in a dosing regimen?	11:06:09
9	MR. MLAYER: Same objections.	11:06:12
10	A. Again, as I said, I don't know, but if you are	11:06:13
11	thinking about 2004, I didn't have any knowledge of	11:06:22
12	cladribine use and -- and certainly regimen and	11:06:26
13	whatever.	11:06:35
14	BY MS. DASHE:	11:06:35
15	Q. Now, you joined IVAX in 2000; right?	11:06:36
16	A. Um-hum (affirmative).	11:06:42
17	Q. And you left in 2006; right?	11:06:42
18	A. Yes.	11:06:44
19	Q. And after you left IVAX, excluding the	11:06:44
20	discussions with Dr. Buchwald we already talked about	11:06:51
21	today, did you have access to your documents and	11:06:53
22	communications from IVAX at any point after you left the	11:06:56

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

67

1	company?	11:07:00
2	MR. MLAYER: Objection to form.	11:07:00
3	You can answer.	11:07:06
4	A. I think, you know, I licensed at the beginning	11:07:09
5	to IVAX a new steroid, which was developed to some	11:07:17
6	extent, and after I left, I regained ownership, and so a	11:07:25
7	lot of documents, files, were transferred back to me.	11:07:39
8	BY MS. DASHE:	11:07:45
9	Q. Let me get to the relevant question here, I	11:07:45
10	guess, which is after you left IVAX in 2006, aside from	11:07:47
11	our discussions of Dr. Buchwald, did you have access to	11:07:52
12	your cladribine-related documents and communications at	11:07:56
13	IVAX at any point after you left the company?	11:08:00
14	A. No.	11:08:02
15	MR. MLAYER: Objection to form.	11:08:03
16	A. No.	11:08:04
17	BY MS. DASHE:	11:08:05
18	Q. Okay. Now, when you were at IVAX, you served	11:08:07
19	in various managing capacities, including chief	11:08:11
20	scientific officer?	11:08:16
21	A. Yes.	11:08:16
22	Q. How many people worked under you when you were	11:08:16

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

68

1 at IVAX? Approximately.

11:08:21

2 A. My main role was to drive the research
3 institute in Hungary, which at some point I had like 400
4 people.

11:08:23

11:08:33

11:08:37

5 In my office here in Miami, it was limited to
6 a few, like Peter Buchwald; my assistant, Mrs. Guy; and
7 there was another senior director, John Howes, who was
8 under me.

11:08:41

11:08:46

11:08:58

11:09:04

9 Q. Chief scientific officer, you were an officer
10 of the company?

11:09:04

11:09:11

11 A. Yes.

11:09:12

12 Q. Okay. And when you were at IVAX, were you
13 physically located in Hungary or Miami?

11:09:13

11:09:21

14 A. I was located in -- in Miami, and I usually
15 went for a week a month to Hungary.

11:09:25

11:09:30

16 Q. Primary office was in Miami, but the 400
17 people that worked under you were in Hungary; --

11:09:36

11:09:43

18 A. Yes.

11:09:47

19 Q. -- is that right?

11:09:48

20 A. Right.

11:09:48

21 Q. Okay. Now, you say in your declaration that
22 you were on a team at IVAX. How many overall teams were

11:09:49

11:09:57

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

69

1	there at IVAX when you were at the company?	11:10:01
2	A. I cannot tell. IVAX was a large corporation,	11:10:07
3	like 12,500 people, located in different part of the	11:10:12
4	world. And each site, like the UK, Hungary, so on, had	11:10:16
5	all kinds of teams based on the project they were	11:10:28
6	working on.	11:10:32
7	Q. chief scientific officer for other IVAX	11:10:32
8	locations outside of Hungary in the United States?	11:10:42
9	A. Well, in principle, yes, but I did not have,	11:10:47
10	like, for example, in the UK team report to me or the	11:10:53
11	team from Czechoslovakia or Chile or whatever. I mean,	11:10:58
12	I had meetings and discussions of -- scientific	11:11:08
13	meetings, but it's not direct reporting, no. The direct	11:11:11
14	reporting was the institute in Budapest.	11:11:15
15	Q. ... a number of different places that IVAX had	11:11:22
16	offices. Where were all those places?	11:11:25
17	A. As I mentioned, as I remember, the UK,	11:11:28
18	Ireland, Czechoslovakia, Chile. I don't know.	11:11:37
19	Q. Obviously Hungary and the United States --	11:11:48
20	A. Yes.	
21	Q. -- as well?	11:11:51
22	A. Yeah.	11:11:51

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	Q.	Okay. And I know -- you said your primary	11:11:52
2		office was in the United States and you visited Hungary	11:11:58
3		about, I think you said, once a month; is that right?	11:12:02
4	A.	Yeah, one week, 12 months, yeah.	11:12:04
5	Q.	Okay. How often would you visit IVAX's other	11:12:07
6		offices in person?	11:12:14
7	A.	I did not.	11:12:15
8	Q.	Okay.	11:12:15
9	A.	I mean, maybe once or twice I was in -- in	11:12:20
10		London and talked to the vice-chairman who was there, I	11:12:25
11		forgot his name. But I never had been to their offices	11:12:29
12		in Chile -- in -- in Czechoslovakia or Chile.	11:12:37
13	Q.	... visits to Hungary that you mentioned, were	11:12:47
14		these visits happening in 2004?	11:12:52
15	A.	I don't get -- I didn't get that question. In	11:13:00
16		2004 --	11:13:04
17	Q.	Did you -- go ahead.	11:13:05
18	A.	Yeah, in 2004, I was --	11:13:07
19	Q.	Well, let me re- -- let me rephrase.	11:13:10
20		I just -- the once-a-month visits to Hungary,	11:13:12
21		were you doing those once-a-month visits in 2004?	11:13:16
22	A.	Yes. Yeah.	11:13:21

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

71

1	Q.	Okay. And all of these other locations for	11:13:21
2		IVAX that you mentioned around the world, were they	11:13:26
3		doing research and development on drugs as well?	11:13:30
4	A.	Yes. I would say mostly --	11:13:32
5	Q.	And --	11:13:36
6	A.	-- development -- not basic research, but many	11:13:37
7		of them were just commercial sites.	11:13:41
8	Q.	What offices were doing drug developments --	11:13:45
9		MR. MLAYER: Objection --	11:13:58
10		BY MS. DASHE:	11:13:58
11	Q.	-- at IVAX when you were there?	11:13:59
12		MR. MLAYER: -- to form and relevance.	11:14:02
13	A.	To my knowledge, the UK; in Ireland,	11:14:04
14		Waterford; Czech -- the Czech Republic, Czechoslovakia	11:14:18
15		was then, site or something.	11:14:27
16		BY MS. DASHE:	11:14:29
17	Q.	The visits that you did to Hungary, did you	11:14:29
18		also make those visits in 2003?	11:14:33
19	A.	Yes, I -- after I became director, managing	11:14:37
20		director, which was in November 1999, I often visited	11:14:42
21		the research institute. That was the main research	11:14:51
22		institute of IVAX.	11:14:55

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

72

1	Q. During your time at IVAX from 2000 to 2006,	11:15:02
2	the offices that were doing drug development were the	11:15:07
3	United States, Hungary, the United Kingdom, Ireland, and	11:15:12
4	the Czech Republic; right?	11:15:20
5	A. Yes.	11:15:23
6	MR. MLAYER: Umm -- that's fine, just go ahead	11:15:24
7	and answer.	11:15:26
8	A. Yes.	11:15:27
9	Maybe more, maybe I don't remember some, you	11:15:28
10	know, but...	11:15:31
11	BY MS. DASHE:	11:15:35
12	Q. So there could have been more beyond what I	11:15:35
13	just listed, but you just don't recall at this time; --	11:15:37
14	A. Yes.	11:15:41
15	Q. -- is that right?	11:15:42
16	A. Right.	11:15:43
17	Q. Now, approximately how many drug development	11:15:43
18	projects would a team at IVAX work on?	11:15:50
19	A. Well, if the question is -- is -- my main job	11:15:55
20	was new drug discovery and development. There were many	11:16:04
21	other groups and sites who worked on the OTC drugs or --	11:16:09
22	but the new drug development and discovery was my field.	11:16:22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

73

1	And as I remember, at a given point, we had	11:16:27
2	like 12 or 13 active projects of different kinds,	11:16:30
3	epilepsy, inflammation, all kinds.	11:16:38
4	Q. ... teen active projects that you recall,	11:16:42
5	were those active in the 2003, 2004 time frame?	11:16:51
6	A. Yes.	11:16:54
7	Q. Did you have any role at IVAX for deciding	11:16:55
8	what to patent?	11:17:06
9	A. No.	11:17:08
10	Q. Do you know what the process was at IVAX for	11:17:09
11	deciding what to patent?	11:17:18
12	MR. MLAYER: Objection, privilege.	11:17:20
13	I'll -- you can answer yes or no.	11:17:22
14	A. I don't know. I can only talk about my own	11:17:27
15	intellectual topic.	
16	BY MS. DASHE:	11:17:36
17	Q. Did you have any role in deciding whether to	11:17:36
18	file your Bodor PCT application?	11:17:42
19	MR. MLAYER: I'll give you the same caution.	11:17:47
20	You can answer yes or no.	11:17:49
21	A. Well, not really. I mean, the filing was	11:17:50
22	decided by the patent office -- I mean the IVAX patent	11:17:57

1	office, the chairman, president, and they maybe asked me	11:18:04
2	sometimes, but I don't recall except my own patents.	11:18:14
3	BY MS. DASHE:	11:18:19
4	Q. You mentioned an IVAX patent office. Do you	11:18:24
5	know how big the IVAX patent office was?	11:18:27
6	A. I really don't --	11:18:30
7	Q. Like how many people, I mean?	11:18:32
8	A. Depends on time. I mean, we are talking about	11:18:33
9	six years. But most of the time, it was one or two	11:18:41
10	lawyer and then patent assistant.	11:18:45
11	I'd say the office was maybe four or five	11:18:54
12	people.	11:18:56
13	Q. The 2003, 2004 time frame?	11:18:56
14	A. That's what I remember, yes.	11:19:04
15	Q. And do you recall any of the names of the	11:19:06
16	people in the IVAX patent department?	11:19:14
17	A. I remember just one, who was head for quite	11:19:17
18	some time, I don't know when did she start. Her name	11:19:23
19	was Simona Levi-Minzi.	11:19:26
20	Q. Can you spell that for us spelling-challenged	11:19:30
21	folks on the record?	11:19:35
22	A. Simona, S-I-M-O-N-A, L-E-V-I dash M-I-N-Z-I.	11:19:35

1	Q.	Was she at IVAX in the 2003, 2004 time frame?	11:19:45
2	A.	I think so, yes. Not a hundred percent	11:19:56
3		positive, but that's what I remember.	11:19:59
4	Q.	Do you know if Simona is at -- still at IVAX	11:20:03
5		as a part of Teva now, or has she left?	11:20:10
6	A.	Well, IVAX doesn't exist anymore after 2006.	11:20:13
7		And I don't know if Simona stayed with Teva or not. I	11:20:22
8		lost -- I don't know --	
9	Q.	So you don't recall --	11:20:37
10	A.	I don't know where she is.	11:20:30
11	Q.	Okay. So you don't recall -- or, excuse me.	11:20:38
12		Do you recall the last time you spoke with Simona?	11:20:39
13	A.	Probably in 2006.	11:20:42
14	Q.	... have any role in drafting your Bodor PCT	11:20:43
15		application?	11:21:01
16	MR. MLAYER:	Objection, privilege.	11:21:01
17		You can answer yes or no.	11:21:02
18	A.	Not as I know.	11:21:04
19	BY MS. DASHE:		11:21:12
20	Q.	And did you communicate with Simona about your	11:21:12
21		Bodor PCT application?	11:21:18
22	A.	Maybe some -- to some extent, but the patent	11:21:22

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

76

1	was worked by an outside firm, patent -- what was	11:21:28
2	it -- Buckingham --	11:21:40
3	Q. Sorry.	11:21:41
4	A. Buchanan Inger- --	11:21:42
5	Q. Do you recall --	11:21:44
6	A. Buchanan Inger- --	
7	Q. I keep talking over you. I'm sorry.	11:21:45
8	A. Buchanan --	11:21:46
9	Q. Just so the record is clear because I keep,	11:21:48
10	kind of, heading in and out, so who was the outside firm	11:21:50
11	responsible for your Bodor PCT?	11:21:56
12	A. Buchanan Inger- -- something like that.	11:22:02
13	Q. Buchanan Ingersoll, does that ring a bell?	11:22:05
14	A. Yes.	11:22:10
15	Q. Okay. So -- okay. Did you communicate within	11:22:10
16	Buchanan Ingersoll lawyers about your Bodor -- or	11:22:15
17	scratch that.	11:22:22
18	Did you communicate with the Buchanan	11:22:23
19	Ingersoll lawyers when they submitted your PCT	11:22:25
20	application to the patent office?	11:22:30
21	MR. MLAYER: Objection to privilege, I'll	11:22:32
22	caution you, but you can answer yes or no.	11:22:34

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

77

1	A. Well, certainly I communicated with them, but	11:22:37
2	I don't know who filed the patent ultimately. The -- if	11:22:40
3	you look at the patent issued, well, two different	11:22:49
4	patents, I have either Dentson [sic] or Buchanan.	11:22:52
5	What -- both represented -- I worked with a	11:23:00
6	patent agent, Mary Katherine Baumeister, for many, many	11:23:02
7	years. So any patent which I worked on during my IVAX	11:23:10
8	time, I -- although we had a patent office, I did use	11:23:20
9	from Denton or -- or the other firm because of -- of	11:23:29
10	Baumeis- -- Kathy Baumeister.	11:23:07
11	Q. ... patent agent's name, but I missed it. Who	11:23:39
12	was this patent agent that you communicated with?	11:23:44
13	A. Mary Katherine Baumeister. B-A-U-M-E --	11:23:45
14	Q. Could you spell that?	11:23:51
15	A. B-A-U-M-E-I-S-T-E-R.	11:23:53
16	And before that, there was Norman Stepno. But	11:24:06
17	unfortunately, both of them are dead.	11:24:10
18	Q. Well, that takes care of my next question, so.	11:24:14
19	When was the last time you spoke with either	11:24:17
20	Mary or Norman?	11:24:19
21	A. I talked to Kathy maybe two years ago, she was	11:24:22
22	working on a patent for me.	11:24:29

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	Q. That had nothing...	11:24:31
2	A. Didn't get any --	11:24:39
3	MR. MCGUFFIN: Did --	11:24:43
4	BY MS. DASHE:	11:24:45
5	Q. Correct.	11:24:45
6	So when was the last time you communicated	11:24:45
7	with -- with Norman?	11:24:48
8	A. Oh, it must have been six, seven years. I	11:24:52
9	don't remember when he passed.	11:24:57
10	Q. Have you -- had you communicated with Mary or	11:25:06
11	Norman about your Bodor PCT or related US patents after	11:25:10
12	you left IVAX?	11:25:15
13	A. Yes, Mary -- or Kathy, we called her Kathy, I	11:25:16
14	actually didn't use the "Mary."	11:25:25
15	Kathy was with me, I said before, at the	11:25:27
16	patent office interview for the very application in	11:25:29
17	2008. And she was in contact with Merck people.	11:25:38
18	Q. And besides the 2008 patent office interview,	11:25:45
19	did you have any role in communicating with the patent	11:25:52
20	office about either your Bodor PCT or issued US patents,	11:25:57
21	the `328 and `415 patent?	11:26:05
22	A. No.	11:26:08

1	Q. Okay. Did you ever review IVAX's SEC or	11:26:08
2	Securities and Exchange Commission filings when you were	11:26:28
3	at the company?	11:26:29
4	A. No.	11:26:30
5	Q. Have you ever seen IVAX's SEC filings?	11:26:30
6	A. I don't believe so. I don't know.	11:26:40
7	Q. So you don't know if you've ever seen, for	11:26:43
8	example, a 10-K or an annual report for IVAX?	11:26:48
9	A. That's right, I don't know. I was not	11:26:53
10	involved in the financial part, although I was an	11:26:55
11	officer, but I was not involved in the finances.	11:27:01
12	Q. Okay.	11:27:10
13	MS. DASHE: Emil, could you please pull up tab	11:27:14
14	11, which is the IVAX Corporation's Form 10-K to	11:27:19
15	the SEC for fiscal year 2003, and mark that as	11:27:27
16	Hopewell's Exhibit 1055, please.	11:27:32
17	MR. MLAYER: I'm going to object to the	11:27:35
18	introduction of a document that hasn't been	11:27:37
19	previously produced and to the extent any new	11:27:42
20	argument is based on that and to foundation.	11:27:44
21	MS. DASHE: Emil, could you please zoom in on	11:28:03
22	the address under IVAX Corporation for Dr. Bodor?	11:28:06

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

80

1	BY MS. DASHE:	11:28:20
2	Q. Dr. Bodor, do you see it lists this 10-K,	11:28:20
3	Exhibit 1055, for IVAX Corporation?	11:28:23
4	A. Um-hum (affirmative).	11:28:29
5	Q. Do you see where it says 4400 Biscayne	11:28:29
6	Boulevard, Miami, Florida 33137?	11:28:32
7	A. Yes.	11:28:36
8	Q. And was that the address, the US address for	11:28:36
9	IVAX in 2003?	11:28:44
10	A. Yes.	11:28:45
11	Q. Okay. And so you have not previously seen	11:28:45
12	this 10-K?	11:28:51
13	A. I don't think I have seen any 10-Ks or looked	11:28:52
14	at it or -- I don't think so.	11:28:58
15	Q. Do you have any reason to believe that this	11:29:00
16	SEC filing for IVAX has any errors in it?	11:29:07
17	MR. MLAYER: Objection, foundation.	11:29:14
18	A. I have no reason one way or another.	11:29:16
19	MS. DASHE: Go to page 19 of the PDF for	11:29:23
20	Exhibit 1055, please.	11:29:25
21	And scroll to where it says "employees."	11:29:37
22	Go back up. You scrolled past it.	11:29:43

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

81

1	"Employees." There you go. Thank you.	11:29:47
2	BY MS. DASHE:	11:29:50
3	Q. And, Dr. Bodor, do you see where it says,	11:29:50
4	Exhibit 1055, the 2003 10-K for IVAX, --	11:29:55
5	A. Yes.	11:30:00
6	Q. -- "As of December 31, 2003, we had	11:30:00
7	approximately 8,719 employees worldwide"?	11:30:04
8	A. Yes.	11:30:07
9	Q. Do you see that? Okay.	11:30:08
10	A. Yeah.	11:30:13
11	Q. And is that number of employees that IVAX	11:30:14
12	listed here consistent with your recollection of the	11:30:18
13	number of employees that IVAX had in 2003?	11:30:19
14	A. I -- I don't have any recollection, really. I	11:30:21
15	said before 12,000, but I don't know when was it. You	11:30:28
16	know, at some point, IVAX was the largest generic firm	11:30:30
17	in the world. And so I don't know.	11:30:36
18	I don't have any reason to doubt that this	11:30:38
19	is -- this number is right.	11:30:41
20	MS. DASHE: Emil, turn to page 13 -- back to	11:30:49
21	page 13 of the PDF of Exhibit 1055, the 2003 10-K,	11:30:53
22	please.	11:31:03

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

82

1 And if you could scroll down to "Patents and 11:31:04

2 proprietary rights" on this page for the witness. 11:31:07

3 And, Emil, if you could zoom in on the "We 11:31:20

4 hold approximately" paragraph so the witness could 11:31:24

5 see it. 11:31:27

6 BY MS. DASHE: 11:31:34

7 Q. And so, Dr. Bodor, do you see here page 10 of 11:31:34

8 the 10-K, page 13 of Exhibit 1055, it says for IVAX 11:31:39

9 "Patents and proprietary rights," and "We hold 11:31:46

10 approximately 1,026 United States and foreign patents 11:31:49

11 and have filed several hundred United States and foreign 11:31:54

12 patent applications," do you see that? 11:31:59

13 A. Yes. 11:32:01

14 Q. And my question for you, is that number of 11:32:01

15 patents and patent applications consistent with your 11:32:06

16 recollection of the number IVAX had in 2003? 11:32:11

17 A. I have no recollection. I didn't know number 11:32:13

18 of -- 11:32:16

19 Q. Do you have any reason to believe this is 11:32:17

20 inaccurate? 11:32:19

21 MR. MLAYER: Objection to foundation. 11:32:20

22 A. I believe it is accurate. I don't see any 11:32:22

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

83

1 reason why they didn't report accurately.

11:32:25

2 MS. DASHE: You can take Exhibit 1055 down.

11:32:36

3 And could you please open tab 14, which will
4 be IVAX's -- IVAX Corporation's Form 10-K to the
5 SEC for fiscal year ending in December 31, 2004.
6 And that will be Exhibit 1056.

11:32:38

11:32:51

11:32:55

11:33:00

7 MR. MLAYER: And I'll object again to the
8 introduction of a new document and to any new
9 argument as well as to foundation.

11:33:03

11:33:05

11:33:08

10 REMOTE ZOOM TECHNICIAN WHITE: You said that's
11 the IVAX 2001?

11:33:25

11:33:27

12 MS. DASHE: No. So this will be tab 14, which
13 should be the IVAX 2004 10-K.

11:33:29

11:33:35

14 REMOTE ZOOM TECHNICIAN WHITE: My apologies.

11:33:44

15 MS. DASHE: No problem.

11:33:47

16 BY MS. DASHE:

11:34:00

17 Q. Okay. So --

11:34:00

18 MS. DASHE: Oh, Emil, that will be

11:34:04

19 Exhibit 1056, please.

11:34:08

20 BY MS. DASHE:

11:34:08

21 Q. Dr. Bodor, you can see Exhibit 1056 on the
22 screen?

11:34:20

11:34:23

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	A.	It's too small.	11:34:23
2	MS. DASHE:	Emil, maybe if you could zoom in a	11:34:25
3		little bit on the first page, yeah.	11:34:29
4	BY MS. DASHE:		11:34:33
5	Q.	Okay. So, Dr. Bodor, I'm showing you	11:34:34
6		Exhibit 1056 in this case, which is IVAX Corporation's	11:34:38
7		Form 10-K for the fiscal year ended December 31st, 2004.	11:34:43
8		And do you see in the middle there, it says	11:34:48
9		"4400 Biscayne Boulevard, Miami, Florida 33137"?	11:34:51
10	A.	Yes.	11:34:57
11	Q.	And again, that is the correct address for the	11:34:58
12		IVAX Corporation in the United States?	11:35:06
13	A.	It was, yes.	11:35:08
14	Q.	And do you have -- have you ever seen this	11:35:09
15		Form 10-K before?	11:35:17
16	A.	Maybe. I don't know.	11:35:22
17		I was never party to any --	11:35:25
18	Q.	Reason to --	
19	A.	-- interested in looking at 10-Ks.	11:35:27
20	Q.	Okay. But do you have any reason to believe	11:35:30
21		that IVAX's filing with the Securities and Exchange	11:35:32
22		Commission that we see here was -- has any errors in it?	11:35:38

1	MR. MLAYER: Objection, foundation.	11:35:43
2	A. I have no -- no reason to think.	11:35:45
3	MS. DASHE: Turn -- Emil, if you could please	11:35:50
4	turn to page 21 of the PDF, that will be page 16 of	11:35:53
5	the 10-K.	11:35:59
6	MR. MLAYER: While that's happening, I would	11:36:02
7	also like to object to this exhibit and the	11:36:03
8	previous exhibit as hearsay as well.	11:36:05
9	BY MS. DASHE:	11:36:14
10	Q. Okay. And, Dr. Bodor, could you -- do you see	11:36:14
11	"employees," kind of in the middle of the page?	11:36:17
12	MS. DASHE: Emil, if you could zoom in a	11:36:20
13	little bit.	11:36:22
14	A. Yes. It's a little too small, but I think	11:36:22
15	it's...	11:36:26
16	BY MS. DASHE:	11:36:33
17	Q. Yeah. There we go.	11:36:35
18	A. 10 -- 10,100.	11:36:36
19	Q. Right. So my question for you is IVAX's 10-K,	11:36:38
20	Exhibit 1056, says that as of December 31st, 2004, "We	11:36:43
21	had approximately 10,100 employees worldwide."	11:36:47
22	My question for you, is that number of	11:36:52

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

86

1 employees at IVAX shown here consistent with your 11:36:55

2 recollection of the ballpark number of employees IVAX 11:36:58

3 had in 2004? 11:37:02

4 MR. MLAYER: Objection to the form, hearsay, 11:37:03

5 scope, and relevance. 11:37:05

6 A. As I said before, I don't recall, and I don't 11:37:06

7 think I ever was particularly interested to -- to know 11:37:11

8 the total number of employees. 11:37:16

9 BY MS. DASHE. 11:37:20

10 Q. ... to doubt that IVAX had 10,100 employees as 11:37:25

11 of December 31, 2004? 11:37:32

12 A. No, I don't doubt. 11:37:33

13 Q. Okay. And so similarly, you have no reason to 11:37:38

14 doubt that during your time at IVAX, there were roughly 11:37:40

15 between 8 to 10,000 employees at the company? 11:37:42

16 A. Yes. You know, when I see the numbers, I 11:37:47

17 believe them. 11:37:51

18 Q. Okay. Excuse me, I'm dropping my papers here. 11:37:51

19 Just one second. 11:38:07

20 MS. DASHE: All right. Emil, if we could 11:38:23

21 please go to page 13 of the PDF for Exhibit 1056. 11:38:26

22 BY MS. DASHE: 11:38:39

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

87

1 Q. And do you see where it says "Patents and
2 proprietary rights"?

3 MS. DASHE: That's perfect, Emil, thank you.

4 BY MS. DASHE:

5 Q. Do you see that, Dr. Bodor?

6 A. No. I saw it before.

7 MS. DASHE: Emil, if you could zoom back in,
8 that was -- yeah.

9 A little bit more, Emil, please.

10 Thank you. That's great, right there.

11 BY MS. DASHE:

12 Q. Do you see now, Dr. Bodor, --

13 A. Yes.

14 Q. -- where it says "Patents and proprietary
15 rights"?

16 A. Um-hum (affirmative).

17 Q. Okay. And do you see in the second paragraph
18 under that subheader --

19 A. Yes.

20 Q. -- "We hold approximately 1,490 US and foreign
21 patents and have filed several hundred US and foreign
22 applications," do you see that?

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

88

1	A. Yes.	11:39:21
2	MR. MLAYER: Objection to hearsay.	11:39:22
3	BY MS. DASHE:	11:39:23
4	Q. Okay. And my question for you, is that number	11:39:23
5	of patents and patent applications consistent with your	11:39:26
6	recollection of the number IVAX had in 2004?	11:39:29
7	MR. MLAYER: Objection, foundation.	11:39:34
8	A. Well, as I said before, I have no recollection	11:39:35
9	how many patents that IVAX had. It was not my business.	11:39:39
10	BY MS. DASHE:	11:39:51
11	Q. Okay. But you have no reason to disbelieve	11:39:51
12	IVAX's SEC filing here that said the company holds	11:39:54
13	approximately 1,490 US and foreign patents and has	11:39:58
14	several hundred more applications?	11:40:03
15	MR. MLAYER: Objection, hearsay, foundation,	11:40:05
16	scope, relevance.	11:40:07
17	A. Yeah, I have no doubt -- or no reason to	11:40:08
18	doubt.	11:40:10
19	BY MS. DASHE:	11:40:19
20	Q. Your cladribine team at IVAX, that included, I	11:40:20
21	believe you mentioned, Dr. Yogesh Dandiker?	11:40:24
22	A. Yes.	11:40:33

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

89

1	MS. DASHE: Emil, you can take down	11:40:33
2	Exhibit 1056.	11:40:37
3	Thank you.	11:40:37
4	BY MS. DASHE:	11:40:38
5	Q. When did Dr. Dandiker join the cladribine team	11:40:38
6	at IVAX?	11:40:43
7	A. I really don't remember. I mean, I met	11:40:44
8	Dr. Dandiker once in my life, when we discussed his	11:40:52
9	findings about making the complex complex. And that	11:40:56
10	must have been sometime in 2003, but I don't know. I	11:41:03
11	don't remember.	11:41:07
12	Q. Okay.	11:41:07
13	A. I don't know when he got employed, when he	11:41:09
14	left.	11:41:13
15	Q. What office was Dr. Dandiker at in IVAX?	11:41:18
16	A. I thought he was working in Waterford,	11:41:24
17	Northern Ireland. Is that Northern? Or just Ireland?	11:41:29
18	But I --	11:41:36
19	Q. You met Dr. Dandiker once in your life?	11:41:37
20	A. Yes, in my office here in Miami.	11:41:40
21	Q. That -- when you -- the one time you met	11:41:42
22	Dr. -- scratch that.	11:41:55

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

90

1 Aside from meeting in person in your that 11:41:55
2 one time in Miami, how many times did you communicate 11:41:58
3 with Dr. Dandiker during your time at IVAX on 11:42:02
4 cladribine? 11:42:07

5 MR. MLAYER: Objection to form. 11:42:12

6 A. I really don't remember. Not many times. I 11:42:13
7 mean, the issue related to the patent was done by some 11:42:19
8 other people who talked to us separate, not -- I didn't 11:42:24
9 talk to him. 11:42:29

10 Maybe some of my other people contacted him 11:42:39
11 for some material, but I didn't talk to him. Don't 11:42:41
12 remember talking -- talking to him after. 11:42:46

13 BY MS. DASHE: 11:42:55

14 Q. Would you communicate with Dr. Dandiker via 11:42:55
15 email? 11:43:01

16 A. I don't recall any email communication. I 11:43:01
17 communi- -- I talked to him in person when he came to my 11:43:11
18 office. 11:43:13

19 Q. Communication in person in your office in 11:43:14
20 Miami in roughly 2003, was that the only communication 11:43:23
21 that you had with Dr. Dandiker at IVAX regarding 11:43:28
22 cladribine? 11:43:30

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	A. Yes.	11:43:30
2	Q. And you said you communicated with	11:43:31
3	Dr. Dandiker regarding, I want to make sure I get your	11:43:43
4	wording right here, "his findings about making the	11:43:48
5	complex complex."	11:43:51
6	A. Yes.	11:43:53
7	Q. What do you mean by that?	11:43:53
8	A. Well, he found, as I said before, that raising	11:43:56
9	the temperature and extending the time of combining	11:44:04
10	cladribine and hydroxypropyl beta cyclodextrin leads to	11:44:13
11	an increased incorporation of cladribine into the	11:44:21
12	cyclodextrin, which was an unusual finding, and I	11:44:27
13	discussed with him, because the inclusion complex	11:44:33
14	formation is actually decreasing with the increasing	11:44:38
15	temperature.	11:44:43
16	However, since cladribine is a complex	11:44:44
17	molecule which has this sugar relate -- part, then it	11:44:50
18	became obvious that that is contributing to a different	11:44:54
19	type of interaction with the	11:45:01
20	hydroxypropyl-beta-cyclodextrin.	11:45:04
21	And so that's what I described many times led	11:45:06
22	to this complex complex concept in actuality.	11:45:09

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

92

1	Q. Oh, go ahead.	11:45:16
2	And did you work together with Dr. Dandiker on	11:45:20
3	developing the complex cladribine-cyclodextrin complex?	11:45:23
4	A. Well, if the -- this is how we worked	11:45:31
5	together, that we discussed it in my office and we	11:45:34
6	agreed that --	11:45:43
7	Q. Work with -- together with Dr. Dandiker on	11:45:43
8	cladribine at IVAX involved the one meeting that you had	11:45:48
9	in your office in Miami in roughly 2003; is that right?	11:45:53
10	A. Yes.	11:45:56
11	Q. Now, you mentioned you had some other team	11:45:57
12	members at IVAX. Who were they?	11:46:06
13	A. Not the cladribine team, if that's what you	11:46:15
14	mean. In the cladribine team at IVAX I considered was	11:46:17
15	Dr. Steve Marcus.	11:46:23
16	Q. I meant -- I should have said about the	11:46:25
17	cladribine team. So let me just rephrase to make sure	11:46:32
18	we're all on the same page.	11:46:35
19	So the members of your cladribine team at IVAX	11:46:36
20	were Dr. Dandiker and Dr. Steve Marcus; is that right?	11:46:40
21	A. And a large number of researchers in the	11:46:47
22	research institute in Hungary who did the actual --	11:46:52

1	Q.	What were their names?	11:47:00
2	A.	-- work on the pharmacokinetic and	11:47:02
3		bioavailability studies.	11:47:08
4		Oh, Dandiker, I am sure he had other people	11:47:08
5		working with him. They made the complex complex, which	11:47:11
6		was then provided to Hungary for the studies.	11:47:15
7	Q.	Other people were on the cladribine team at	11:47:17
8		IVAX that you mentioned?	11:47:27
9	A.	Yes. I told you about the people at the	11:47:32
10		research -- research institute in Budapest. But again,	11:47:39
11		I am sure that there were others in Waterford working	11:47:41
12		with Dr. Dandiker.	11:47:49
13	Q.	... figure out is what number of people at	11:47:50
14		IVAX worked on the cladribine project.	11:47:55
15	A.	Well, besides these whom I mentioned, only the	11:48:01
16		people in Hungary. There were quite a number, five or	11:48:05
17		six involved development of the analytical technique,	11:48:11
18		and then receiving the blood samples from different	11:48:15
19		sites in Europe and also the dog studies which were	11:48:22
20		performed at the Budapest institute.	11:48:32
21		But these are, again --	11:48:43
22	Q.	Besides Dr. Dandiker -- Dr. Dandiker and	11:48:45

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

94

1	Dr. Steve Marcus, you don't recall the specific names of	11:48:58
2	the other IVAX people who worked on cladribine; is that	11:49:02
3	right?	11:49:05
4	A. That's right.	11:49:05
5	Q. All right. So we discussed Dr. Dandiker and	11:49:05
6	his role on the cladribine project. What was Dr. Steve	11:49:20
7	Marcus' role on the cladribine project at IVAX?	11:49:27
8	A. Well, all I can tell you, that Steve was the	11:49:30
9	originator in 2000 sometime, I was fairly new and he was	11:49:34
10	fairly new, he was the vice president of clinical	11:49:43
11	affairs, he came to my office and talked about	11:49:45
12	cladribine.	11:49:54
13	So he introduced to me, he said that there is	11:49:55
14	an interest in cladribine if I could find a way to make	11:49:58
15	it orally bioavailable. And I looked at the structure	11:50:03
16	and I said I think there should be a way complexing with	11:50:11
17	cyclodextrins.	11:50:15
18	After that, I really don't -- didn't have	11:50:19
19	any -- much interaction with Steve about the cladribine.	11:50:22
20	Q. ... by something you --	11:50:31
21	A. I had many interactions otherwise.	11:50:32
22	Q. Go ahead.	11:50:36

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	A.	But not about cladribine.	11:50:37
2	Q.	And you said that -- okay. You said that	11:50:39
3		Steve came into your office in 2000 and said that there	11:50:42
4		was an interest in cladribine if you could find a way to	11:50:46
5		make it orally bioavailable?	11:50:51
6	A.	Yes. Yeah, he told me that he had contacts	11:50:55
7		for -- in the -- at Scripp, and Scripp has developed	11:51:05
8		cladribine, I think they developed for using in areas	11:51:10
9		leukemia. And Steve knew about it because he was	11:51:17
10		basically an oncologist.	11:51:21
11		So that's where it started, he knew about	11:51:25
12		cladribine and he came to me and asked me if I could	11:51:27
13		look a way to make it orally bioavailable. He told me	11:51:30
14		that it's -- as it's sensitive, fairly low solubility.	11:51:37
15	Q.	That was Scripps' institute that Dr. Marcus	11:51:42
16		told you had developed cladribine?	11:51:52
17	A.	Yes.	11:51:54
18	Q.	Is that right?	11:51:54
19	A.	Yeah.	11:51:54
20	Q.	Okay. And so you told Dr. Marcus that you	11:52:03
21		thought you could create cladribine-cyclodextrin	11:52:08
22		complex.	11:52:13

1	A. Yes.	11:52:13
2	Q. When did you first make your complex	11:52:13
3	cladribine-cyclodextrin complex?	11:52:20
4	A. I honestly don't remember, but it relates to	11:52:20
5	the other two patents, the applications, which I am the	11:52:23
6	sole inventor. And I must have -- some people I	11:52:30
7	instructed to do the study and how to do it, but I don't	11:52:34
8	remember whom and where. But the phase solubility	11:52:38
9	diagrams are included in those applications.	11:52:47
10	And then it ended up I guess somehow in --	11:52:49
11	with Dandiker, and Dandiker changed the conditions, and	11:52:53
12	that's how we arrived to the complex complex.	11:52:59
13	Q. What conditions did Dandiker change?	11:53:10
14	A. I mentioned that before. He looked --	11:53:13
15	extended the time of the mixing and raised the	11:53:17
16	temperature. And as I talked to him, that was our	11:53:20
17	discussion main focus. That was against the concept of	11:53:26
18	inclusion complex, but it became evident that there is	11:53:32
19	something that is going on that is the hydrogen-bonded	11:53:35
20	complex.	11:53:41
21	Q. ... sometime in 2003 you said?	11:53:41
22	MR. MLAYER: I'm sorry, your question was cut	11:53:49

1	off again.	11:53:58
2	MS. DASHE: Oh.	11:53:58
3	BY MS. DASHE:	
4	Q. This discussion with Dr. Dandiker, that was	11:53:58
5	sometime in 2003 you said; right?	11:54:00
6	A. Well, I don't remember the date. But it must	11:54:09
7	have been before we filed the patent application.	11:54:16
8	Q. ... charged with keeping your complex	11:54:18
9	cladribine-cyclodextrin complex confidential before you	11:54:25
10	filed your Bodor PCT application?	11:54:30
11	MR. MLAYER: Objection, form.	11:54:36
12	A. Would you repeat that question?	11:54:37
13	BY MS. DASHE:	
14	Q. Yes.	11:54:40
15	Were you -- were you -- strike that.	11:54:42
16	Were you and your team at IVAX charged with	11:54:45
17	keeping your complex cladribine-cyclodextrin complex	11:54:50
18	confidential before you filed your Bodor PCT	11:54:55
19	application?	11:54:59
20	MR. MLAYER: Objection, form.	11:55:01
21	A. Well, of course, any work done at IVAX we kept	11:55:02
22	confidential. We never published.	11:55:07

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

98

1 BY MS. DASHE:

11:55:12

2 Q. Before you filed your Bodor PCT application,
3 you kept your complex cladribine-cyclodextrin complex
4 confidential; is that right?

11:55:20

11:55:24

11:55:31

5 A. Confidential from whom?

11:55:31

6 Of course. It was the company
7 confidentiality. We didn't talk about internal work
8 with outsiders.

11:55:35

11:55:39

11:55:46

9 Q. So you didn't talk about your complex
10 cladribine-cyclodextrin -- excuse me, let me rephrase
11 that.

11:55:47

11:55:57

11:56:00

12 So did IVAX as a company keep your complex
13 cladribine-cyclodextrin complex confidential from the
14 public before you filed your Bodor PCT application?

11:56:00

11:56:09

11:56:12

15 MR. MLAYER: Objection, form, foundation,
16 scope, relevance.

11:56:18

11:56:21

17 A. I assume so. I mean, I have no reason to
18 believe that IVAX went and advertised our work.

11:56:22

11:56:24

19 BY MS. DASHE:

11:56:27

20 Q. So this initial conversation with Dr. Marcus,
21 you said it happened in 2000. Do you recall when in
22 2000 that would have been?

11:56:33

11:56:40

11:56:43

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

99

1	MR. MLAVER: Objection to form.	11:56:43
2	A. I assume it was in 2000 because it was early	11:56:44
3	my position at IVAX. And Steve Marcus just joined also	11:56:52
4	IVAX not long before me.	11:57:02
5	And so we are both early. I was new coming	11:57:04
6	from university. So Steve came to my office and talked	11:57:09
7	about cladribine.	11:57:16
8	I --	11:57:21
9	BY MS. DASHE:	11:57:22
10	Q. That -- and forgive me if I've already covered	11:57:23
11	this, I just want to make it clear.	11:57:25
12	After that initial conversation with Dr. Steve	11:57:26
13	Marcus about cladribine, did you have any other	11:57:28
14	communications with Dr. Marcus about cladribine at IVAX?	11:57:32
15	A. I am sure that I told him later, after we have	11:57:36
16	succeeded to make complex, the results. I don't know	11:57:41
17	what -- don't remember what his reaction was. These --	11:57:47
18	these are the complexes in -- included in the other	11:57:52
19	patents which were never used.	11:57:58
20	But you have to understand, if I may say,	11:58:10
21	cladribine, on the larger scale for me in my position,	11:58:14
22	was a very minor issue, it was not a main thing. So I	11:58:18

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

100

1 don't remember too much about it. It became more 11:58:23
2 important after the patent examiner in 2008 finally 11:58:29
3 allowed it. 11:58:38

4 Q. What was Dr. Marcus' role on the cladribine 11:58:39
5 project at IVAX? Aside from that one conversation you 11:58:54
6 had with him, what was his role? 11:58:58

7 A. I don't know. I mean, with me, we were just 11:58:59
8 communicating about this complexation. If he talked to 11:59:05
9 others -- I know he talked to the president, Dr. Frost, 11:59:11
10 because he told me that he -- Dr. Frost is interested. 11:59:16
11 And, you know, he probably talked to other people, I 11:59:19
12 don't know. 11:59:23

13 Q. Cladribine was somewhat of a minor project for 11:59:23
14 you -- 11:59:33

15 A. Yes. 11:59:33

16 Q. -- at the time at IVAX and it happened a long 11:59:33
17 time ago, -- 11:59:36

18 A. Yes. 11:59:37

19 Q. -- you just don't really recall the details of 11:59:37
20 folks' roles? 11:59:40

21 A. That's correct. 11:59:41

22 Q. Okay. 11:59:42

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

101

1	MS. DASHE: You know, we've been going for	11:59:44
2	about an hour, so I'm happy to take a break if you	11:59:45
3	would like to, Dr. Bodor.	11:59:48
4	THE WITNESS: I appreciate it.	11:59:50
5	VIDEOGRAPHER ELMILKI: Okay. We are off the	11:59:52
6	record, and the time now is 11:59.	11:59:54
7	(Recess taken.)	11:59:59
8	VIDEOGRAPHER ELMILKI: We are back on the	12:46:54
9	record, and the time now is 12:46 p.m.	12:46:56
10	BY MS. DASHE:	12:47:02
11	Q. Welcome back, Dr. Bodor. During the break,	12:47:02
12	did you speak with your counsel about your testimony at	12:47:05
13	all?	12:47:08
14	A. No.	12:47:08
15	Q. We've been talking a bit about the Bodor PCT	12:47:08
16	today, so let's actually pull that up. That would be	12:47:19
17	tab 2, Exhibit 1022 in this case.	12:47:23
18	MR. MLAYER: Is that supposed to appear on the	12:47:40
19	screen?	12:47:42
20	Oh, sorry. We see it now.	12:47:43
21	BY MS. DASHE:	12:47:49
22	Q. Okay. And we are looking at Exhibit 1022 in	12:47:49

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

102

1	this case, the Bodor PCT application.	12:48:00
2	MS. DASHE: Emil, could you zoom in on item	12:48:05
3	75, where it says "Inventors/applicants"?	12:48:08
4	BY MS. DASHE:	12:48:27
5	Q. And, Dr. Bodor, do you see the that PCT	12:48:27
6	application lists you and Dr. Dandiker as inventors?	12:48:30
7	A. Yes.	12:48:33
8	Q. Did you read the Bodor PCT application before	12:48:34
9	it was filed?	12:48:48
10	A. Most of it, I guess, yes.	12:48:49
11	THE COURT REPORTER: Could you repeat that?	12:48:55
12	THE WITNESS: Most of it.	12:48:57
13	THE COURT REPORTER: Thank you.	
14	A. I mean, many times I read these things, just	12:48:58
15	focusing on the important things, what I see in the	12:49:01
16	examples and the claims. The preliminaries don't matter	12:49:06
17	that much.	12:49:13
18	BY MS. DASHE.	12:49:15
19	Q. ... stand by the disclosures within the Bodor	12:49:15
20	PCT application?	12:49:18
21	MR. MLAYER: So I think the -- the first words	12:49:19
22	of your question were cut off there.	12:49:21

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	MS. DASHE: Oh, no. Okay.	12:49:23
2	BY MS. DASHE:	12:49:25
3	Q. Dr. Bodor, do you stand by the disclosures of	12:49:25
4	your Bodor PCT application?	12:49:30
5	A. Yes.	12:49:36
6	Yes. Yes.	12:49:36
7	Q. What was your specific contribution to the	12:49:37
8	complex cladribine-cyclodextrin complex disclosed in the	12:49:45
9	Bodor PCT?	12:49:54
10	A. Well, I described before, first the concept of	12:49:54
11	using cyclodextrins and using hydroxypropyl-beta-	12:49:58
12	cyclodextrin, which is one of my favorites for many	12:50:07
13	years, then doing the actual phase solubility diagram in	12:50:09
14	the interpretation, and talking to Yogesh Dandiker about	12:50:20
15	his finding, then I give an explanation of the happening	12:50:29
16	why this complex complex is not just a simple inclusion	12:50:34
17	process of a cyclodextrin.	12:50:45
18	Q. You mentioned hydroxy something, I missed the	12:50:50
19	word.	12:50:52
20	A. Hydroxypropyl.	
21	Q. What was that word and how do you spell it?	12:50:53
22	A. Hydroxypropyl. Hydroxy, and propyl,	12:50:55

1	P-R-O-P-Y-L.	12:51:00
2	Important thing, the hydroxypropyl-beta-	12:51:03
3	cyclodextrin is a intrinsically amorphous material,	12:51:11
4	because it's a mixture of a variety of isomers, and that	12:51:15
5	makes it very water soluble.	12:51:20
6	It has a lot of advantages.	12:51:33
7	Q. ... those advantages?	12:51:36
8	MR. MLAYER: Sorry, we only got the last two	12:51:38
9	words of your question.	12:51:40
10	BY MS. DASHE:	12:51:41
11	Q. What are some of those advantages you just	12:51:42
12	mentioned, Dr. Bodor?	12:51:44
13	A. I just said the high water solubility and the	12:51:47
14	safety, it was tested in people in many different	12:51:50
15	conditions, even intravenous administration, it's very	12:51:58
16	safe.	12:52:01
17	Q. The findings that Dr. Dandiker communicated to	12:52:07
18	you in that one in-person meeting, what else did he	12:52:10
19	specifically contribute to the complex	12:52:15
20	cladribine-cyclodextrin complex disclosed in the Bodor	12:52:21
21	PCT?	12:52:25
22	MR. MLAYER: Objection to form.	12:52:25

1 A. Well, first I want to clarify one thing. To
2 my knowledge, the inventorship is decided by the patent
3 lawyer. So it's not up to me to judge what Yogesh's
4 contribution was.

5 But, of course, he is the one who -- who found
6 that you can increase the certain conditions, the
7 incorporation of cladribine in cyclodextrin, in this
8 cyclodextrin only, and then we figured out the
9 conditions to optimize formulation of the complex
10 complex.

11 BY MS. DASHE:

12 Q. Just to make sure that I was clear, because I
13 don't want to muck anything up here, I was simply asking
14 what were Dr. Dandiker's scientific contributions to the
15 complex cladribine-cyclodextrin complex disclosed in the
16 Bodor PCT?

17 A. And I said, he found that you can increase the
18 incorporation of cladribine in the cyclodextrin under
19 certain conditions. Because before that, we always just
20 made the -- the -- the inclusion complex, which is
21 probably 30 to 40 percent of the total product.

22 Q. So the inventorship thing.

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

106

1	You said that -- well, let me scratch that.	12:54:16
2	What is your under- -- or scratch that again.	12:54:22
3	Do you have an understanding of the legal	12:54:25
4	requirements for inventorship?	12:54:28
5	MR. MLAYER: Objection to scope and	12:54:30
6	foundation.	12:54:36
7	A. My understanding is that somebody has to be	12:54:36
8	responsible at least for one of the claims or invented	12:54:40
9	one of the claims to be a potential inventor.	12:54:47
10	But again, that is decided by the patent	12:54:51
11	lawyer.	12:54:53
12	BY MS. DASHE.	12:54:54
13	Q. ... responsible for?	12:55:01
14	THE COURT REPORTER: We lost the beginning of	12:55:04
15	your question.	12:55:06
16	BY MS. DASHE:	12:55:07
17	Q. You said "My understanding is someone has to	12:55:10
18	be responsible for at least one of the claims."	12:55:13
19	A. Yes.	12:55:16
20	Q. My question to you is what do you mean by	12:55:16
21	"responsible for"?	12:55:20
22	A. That his contribution is that that specific	12:55:22

1	claim was his idea or the result of his work or	12:55:27
2	whatever.	12:55:36
3	Q. Do you have any understanding of the legal	12:55:36
4	requirements for conception?	12:55:44
5	A. For what?	12:55:49
6	Q. Conception.	12:55:50
7	A. Confection?	12:55:54
8	Q. Conception, C-O-N- --	12:55:56
9	A. Conception, yeah.	
10	Q. -- C-E-P-T-I-O-N.	12:55:59
11	A. I am not a lawyer, so I -- I -- I cannot	12:56:01
12	really define you.	12:56:05
13	And again, if we -- we are talking --	12:56:10
14	Q. And similarly -- oh, go ahead.	12:56:11
15	A. If you are talking about inventorship again, I	12:56:13
16	rely on whatever the patent lawyer decided who is that	12:56:19
17	inventor. It's not up to me.	12:56:26
18	Q. ... of any understanding of the difference or	12:56:28
19	any differences between conception and inventorship?	12:56:36
20	MR. MLAYER: Objection, foundation and form.	12:56:46
21	A. I -- I don't know.	12:56:47
22	I mean, the concept can be an invention.	12:56:56

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

108

1	Maybe not all inventions are concepts, I don't know.	12:57:00
2	This is semantics.	12:57:05
3	BY MS. DASHE:	12:57:06
4	Q. And do you have any understanding of any	12:57:06
5	differences between reduction to practice and	12:57:10
6	inventorship?	12:57:15
7	MR. MLAYER: Objection, form, foundation.	12:57:15
8	A. Yes, I do. Reduction to practice is to	12:57:17
9	practically demonstrate the concept or the invention.	12:57:26
10	BY MS. DASHE:	12:57:33
11	Q. And did you read the Bodor PCT application	12:57:42
12	before you signed your declaration in this case?	12:57:46
13	MR. MLAYER: Objection, form, asked and	12:57:49
14	answered.	12:57:53
15	A. Yes. I said that before, it was.	12:57:54
16	BY MS. DASHE:	12:58:01
17	Q. And when was the last time that you read the	12:58:05
18	Bodor PCT application?	12:58:08
19	A. Maybe before I signed this declaration or	12:58:10
20	deposition.	12:58:24
21	MS. DASHE: And so, Emil, you can take down	12:58:31
22	Exhibit 1022.	12:58:36

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

109

1	And could you please pull up tab 6, which has	12:58:38
2	already been marked as Exhibit 2069 in these	12:58:43
3	proceedings, and it's US Patent Number 7,888,328.	12:58:47
4	And, Emil, could you zoom in a little bit on	12:59:08
5	the top of the -- of Exhibit 2069 so Dr. Bodor can	12:59:11
6	see? Thank you.	12:59:17
7	BY MS. DASHE:	12:59:19
8	Q. So, Dr. Bodor, Exhibit 2069 on the screen	12:59:19
9	here, this is the '328 patent that you referred to in	12:59:25
10	your declaration; right?	12:59:32
11	A. Yes.	12:59:33
12	Q. And it names you and Dr. Dandiker as	12:59:33
13	inventors?	12:59:36
14	A. Yes.	12:59:36
15	Q. And did you read the '328 patent before it was	12:59:37
16	filed?	12:59:42
17	MR. MLAYER: Objection, scope.	12:59:44
18	A. I would say yes.	12:59:45
19	BY MS. DASHE:	12:59:47
20	Q. Okay. Do you stand by the disclosures of your	12:59:47
21	'328 patent?	12:59:52
22	A. Yes.	12:59:55

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

110

1	Q.	And we see here that the 32 patent is assigned	12:59:55
2		to Ares Trading SA. Do you see that?	13:00:03
3	A.	Yes.	13:00:07
4	Q.	Did you receive any sort of compensation for	13:00:07
5		this assignment?	13:00:11
6	A.	No.	13:00:12
7	Q.	Did you receive any awards or accolades,	13:00:12
8		plaques on the wall, anything like that?	13:00:17
9	A.	No.	13:00:19
10	Q.	Did Dr. Dandiker?	13:00:21
11	A.	I don't know.	13:00:22
12	Q.	And did you read the '328 patent,	13:00:23
13		Exhibit 2069, before you signed your declaration in this	13:00:37
14		case?	13:00:45
15	A.	Yes.	13:00:45
16	Q.	When was the last time you read the '328	13:00:46
17		patent?	13:00:49
18	A.	Maybe a few days ago. I didn't read --	13:00:49
19		MS. DASHE: Emil --	13:00:56
20	BY MS. DASHE:		
21	Q.	Oh, go on.	13:00:57
22	A.	I didn't read it all. I mean, it's a long	13:00:58

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

111

1	patent, a lot of introductory statements, which I -- I	13:01:00
2	just glanced to, is a...	13:01:12
3	Q. ... last time you read the '328 patent before	13:01:12
4	you signed your declaration in this case?	13:01:15
5	A. Yes.	13:01:18
6	Q. Oh, I think my question might have been cut	13:01:26
7	off.	13:01:28
8	My question was when was the last time you	13:01:28
9	read the '328 patent before you signed your declaration	13:01:32
10	in this case?	13:01:35
11	MR. MLAYER: Objection to form.	13:01:37
12	A. Maybe sometime in December. Was it --	13:01:41
13	BY MS. DASHE:	
14	Q. 2023?	13:01:47
15	A. Yes.	13:01:47
16	MS. DASHE: All right, Emil, you can take down	13:01:53
17	Exhibit 2069.	13:01:56
18	And could you please introduce tab 10. And	13:01:59
19	this will be Exhibit 1057 in these proceedings.	13:02:05
20	And this is the full file history for US Patent	13:02:09
21	Number 7,888,328.	13:02:16
22	MR. MLAYER: I want to object again to a new	13:02:20

1	document to the extent any new argument is	13:02:23
2	introduced.	13:02:26
3	BY MS. DASHE:	13:02:43
4	Q. All right. Dr. Bodor, for one thing, have you	13:02:43
5	seen the whole prosecution history for your `328 patent	13:02:49
6	before?	13:02:53
7	A. I don't know. Probably.	13:03:02
8	I mean, this was a long time ago.	13:03:09
9	Q. ... read through the whole -- have you ever	13:03:12
10	read through the whole thing?	13:03:14
11	A. Yes, I did. At that time, I guess. I don't	13:03:19
12	know when. See, again, this goes back to 2008 or	13:03:21
13	before.	13:03:32
14	Q. Anticipated my next question.	13:03:37
15	When was the last time you read through the	13:03:38
16	prosecution history for the `328 patent?	13:03:41
17	A. I don't remember. I guess that was --	13:03:51
18	Q. Did you --	13:03:56
19	A. -- before my interview at the patent office.	13:03:57
20	Q. So that would have been sometime in the 2008	13:04:03
21	time frame?	13:04:10
22	A. Yes.	13:04:10

1	MS. DASHE: Emil, if you could please go to	13:04:15
2	page 159 of the PDF of Exhibit 1057, please.	13:04:17
3	And, Emil, if you could zoom in about the top	13:04:31
4	third of the document so everybody can see.	13:04:35
5	Maybe zoom out just a little bit.	13:04:38
6	Thank you. That's great.	13:04:40
7	BY MS. DASHE:	
8	Q. Now, Dr. Bodor, we see here a declaration from	13:04:48
9	the below-named inventors of the `328 patent. Do you	13:04:53
10	see that?	13:04:58
11	A. Um-hum (affirmative). Yes.	13:04:58
12	Q. Okay.	13:05:03
13	MS. DASHE: And, Emil, if you could flip to	13:05:04
14	the next page of Exhibit 1057.	13:05:09
15	And, Emil, do you see how there is a table?	13:05:13
16	Could you center around the table?	13:05:15
17	Thank you.	
18	BY MS. DASHE:	
19	Q. And so, Dr. Bodor, do you see on page 160 of	13:05:25
20	the PDF of Exhibit 1057 a table of the inventors of the	13:05:28
21	`328 patent?	13:05:35
22	A. Yes.	13:05:36

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

114

1	Q.	And it shows your name in that table?	13:05:36
2	A.	Yes.	13:05:40
3	Q.	That is your signature in the table?	13:05:46
4	A.	Yes.	13:05:47
5	Q.	And it also shows Dr. Dandiker's name and	13:05:51
6		signature below that; right?	13:05:54
7	A.	Yes.	13:05:55
8		MS. DASHE: Okay, Emil, you can take down	13:05:55
9		Exhibit 1057.	13:05:57
10		And could you please pull up tab 3,	13:06:00
11		Exhibit 2029 in these proceedings, which is US	13:06:09
12		Patent Number 8,785,415.	13:06:13
13		Thank you.	13:06:25
14		And again, Emil, if you could zoom in maybe on	13:06:31
15		the top third of the page.	13:06:33
16		BY MS. DASHE:	
17	Q.	Dr. Bodor, this Exhibit 2029, that is the '415	13:06:43
18		patent that you have referred to in your declaration;	13:06:48
19		right?	13:06:50
20	A.	Yes.	13:06:50
21	Q.	And again, it names you and Dr. Dandiker as an	13:06:51
22		inventor?	13:06:58

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

1	A. Yes.	13:06:59
2	Q. Did you read the `415 patent before it was	13:06:59
3	filed?	13:07:10
4	A. Yes.	13:07:10
5	Q. And do you stand by the disclosures of your	13:07:11
6	`415 patent?	13:07:21
7	A. Yes.	13:07:24
8	Q. And again we see the `415 patent is assigned	13:07:25
9	to Ares Trading, SA, do you see that?	13:07:33
10	A. Yes.	13:07:36
11	Q. Did you receive any compensation or awards or	13:07:37
12	accolades for this assignment?	13:07:39
13	A. No.	13:07:41
14	Q. Did Dr. Dandiker?	13:07:43
15	A. I don't know.	13:07:44
16	Q. Did you read the `415 patent, Exhibit 2029,	13:07:54
17	before you signed your declaration in this case?	13:08:01
18	A. Most of it. I didn't read the whole patent	13:08:08
19	because it's long and verbose. I didn't read the whole	13:08:11
20	thing in order to sign the affidavit.	13:08:17
21	Q. When was the last time you read the `415	13:08:19
22	patent?	13:08:27

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

116

1	A.	In full?	13:08:27
2	Q.	Well, how about let's start with at all. When	13:08:31
3		was the last time you read any part of the `415 patent?	13:08:34
4	A.	It was, I said, before the patent office	13:08:37
5		interview.	13:08:45
6	Q.	When was the last time you read the `415	13:08:45
7		patent before signing your declaration in this case?	13:09:01
8	A.	I'd say December '23.	13:09:02
9	MS. DASHE:	Okay, Emil, you can take down	13:09:11
10		Exhibit 2029.	13:09:15
11		And could you please pull up tab 4, which will	13:09:19
12		be marked as Exhibit 1058 in these proceedings, and	13:09:21
13		that will be the file history for US Patent Number	13:09:24
14		8,785,415.	13:09:29
15	MR. MLAYER:	And same objection to a new	13:09:31
16		document.	13:09:34
17		I'll also note that we haven't been served	13:09:34
18		copies of these exhibits, so, you know, reserve the	13:09:37
19		right to make any objection that may be necessary	13:09:43
20		to the contents that we aren't literally looking	13:09:46
21		at.	13:09:49
22	BY MS. DASHE:		13:10:19

1 Q. So in front of you is Exhibit 1058 in these
2 proceedings, which is the full file history for your
3 `415 patent.

4 My question for you is have you ever seen the
5 full prosecution history for your `415 patent before?

6 A. Not as I recall. I am sure the patent lawyer
7 who handled it did it, but she never showed it to me. I
8 don't recall seeing the full file.

9 MS. DASHE: And, Counsel, before I move on,
10 with respect to the new documents, you're being
11 provided with copies during the deposition, and we
12 agreed to remote, and you have the download link,
13 so you have access to the documents during the
14 deposition today.

15 BY MS. DASHE:

16 Q. And, Dr. Bodor, when was -- or have you
17 ever -- scratch that.

18 And for -- I apologize if I covered this
19 before, but I just want to make sure that the record is
20 clear.

21 Have you ever read through the whole
22 prosecution history for the `415 patent?

1	A. Not as I recall.	13:11:49
2	MS. DASHE: Emil, could you please go to page	13:11:51
3	9 of the PDF of Exhibit 1058?	13:12:05
4	BY MS. DASHE:	
5	Q. Okay. And again, Dr. Bodor, here we see a	13:12:16
6	declaration from the below-named inventors, the '415	13:12:20
7	patent, do you see that?	13:12:25
8	A. Yes.	13:12:27
9	Q. Okay. And you also see in the third paragraph	13:12:27
10	down, it says "I believe I am the original first and	13:12:33
11	either sole inventor or joint inventor of the subject	13:12:36
12	matter which is claimed and for which a patent is	13:12:41
13	sought"?	13:12:45
14	A. Yes.	13:12:45
15	MS. DASHE: Emil, could you please flip to the	13:12:46
16	next page and again center on the table that you	13:12:48
17	see?	13:12:52
18	BY MS. DASHE:	13:13:00
19	Q. So, Dr. Dandiker, do you see the table listing	13:13:00
20	inventors on page 10 of the PDF of Exhibit 1058?	13:13:04
21	A. I am not Dandiker.	13:13:14
22	You called me Dr. Dandiker.	13:13:17

1	Q.	I was looking at "Dandiker" on the page.	13:13:19
2		Dr. Bodor, do you see the table listing the	13:13:23
3		inventors of the '415 patent --	13:13:27
4	A.	Yes.	13:13:31
5	Q.	-- on page 10 of the PDF?	13:13:31
6	A.	Yes.	
7	Q.	Okay. I apologize for that.	
8		And so it shows that -- it shows your name at	13:13:31
9		the top of the table; right?	13:13:32
10	A.	Yes.	13:13:34
11	Q.	And that's your signature right underneath?	13:13:34
12	A.	Yes.	13:13:36
13	Q.	Okay. And then again it shows Dr. Dandiker's	13:13:37
14		name and signature?	13:13:41
15	A.	Yes.	13:13:42
16	Q.	Okay.	13:13:47
17	MS. DASHE:	Okay, Emil, you can take down	13:13:47
18		Exhibit 1058.	13:13:49
19		And could you actually bring up, Emil,	13:13:53
20		Exhibit 1022 that we've already introduced, which	13:13:56
21		would be the Bodor PCT.	13:13:58
22	MR. MLAYER:	I just wanted to say at the next	13:14:09

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

120

1	break, would it be okay to email us that download	13:14:12
2	link? We're not sure we have it.	13:14:16
3	MS. DASHE: Chat, I believe, on the Zoom link.	13:14:18
4	MR. MLAYER: Oh. I am not in the Zoom because	13:14:21
5	I am here. So if you could just --	13:14:23
6	MS. DASHE: Oh.	13:14:26
7	MR. MLAYER: -- send an email, that would be	13:14:26
8	great.	13:14:28
9	MS. DASHE: Emil -- Emil, is that possible, to	13:14:30
10	send that link to opposing counsel via their email?	13:14:33
11	REMOTE ZOOM TECHNICIAN WHITE: Yes, I can --	13:14:39
12	excuse me, my apologies. Yes, I can -- I can	13:14:40
13	forward that to you, Counsel.	13:14:44
14	MS. DASHE: Thank you very much, Emil.	13:14:46
15	BY MS. DASHE:	13:14:48
16	Q. Okay. Dr. Bodor, we have your Bodor PCT up on	13:14:48
17	the screen. Do you see that?	13:14:56
18	A. Yes. Sort of.	13:14:57
19	Q. Okay. Sort of. Yeah.	13:15:00
20	MS. DASHE: Emil, could you just zoom into the	13:15:08
21	top part so Dr. Bodor can be sure that he's looking	13:15:10
22	at the right thing?	13:15:12

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

121

1	BY MS. DASHE:	13:15:19
2	Q. You got your Bodor PCT, you can see it,	13:15:20
3	Dr. Bodor?	13:15:24
4	A. Yes.	13:15:24
5	Q. Okay.	13:15:24
6	MS. DASHE: And, Emil, could you please go to	13:15:29
7	page 25 of the PDF of Exhibit 1022?	13:15:31
8	And this is actually page 23 of the actual	13:15:36
9	Bodor PCT application.	13:15:41
10	Emil, could you please go down to the bottom	13:15:44
11	third of this page?	13:15:46
12	All right. Yeah. And then just zoom in on --	13:15:55
13	starting on line 26, just kind of zoom in on that	13:15:58
14	bottom part so it's centered and everybody can see.	13:16:01
15	Okay.	13:16:05
16	BY MS. DASHE:	13:16:06
17	Q. And, Dr. Bodor, do you see starting page 23 of	13:16:06
18	your reference, 25 of the PDF, --	13:16:09
19	A. Um-hum (affirmative).	13:16:13
20	Q. -- starting on line 24, it mentions two US	13:16:13
21	provisional patent applications, both entitled	13:16:20
22	"Cladribine Regimen for Treating Multiple Sclerosis,"	13:16:24

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

122

1	filed on March 25th, 2004, and incorporated by reference	13:16:28
2	into the Bodor PCT, do you see that?	13:16:34
3	A. Yes.	13:16:36
4	Q. And you see that the specific application	13:16:37
5	numbers are left blank?	13:16:41
6	A. Yes.	13:16:42
7	Q. But in the brackets next to the blank space,	13:16:43
8	do you see some attorney docket numbers?	13:16:52
9	A. Yes.	13:16:54
10	Q. Those are IVAX attorney docket numbers?	13:16:54
11	A. I don't know.	13:17:02
12	Q. They do say IVAX, though; right?	13:17:03
13	A. Well, it starts with IVAXX0022 [sic]. So it	13:17:11
14	may be IVAX docket number.	13:17:18
15	Q. Before you filed your Bodor PCT application,	13:17:19
16	did you read those two provisional applications	13:17:32
17	referenced here?	13:17:37
18	A. No.	13:17:37
19	Q. And before you filed your Bodor PCT	13:17:37
20	application, did you have any understanding of the	13:17:44
21	contents or the subject matter of the two provisional	13:17:48
22	applications?	13:17:52

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

123

1	A. No.	13:17:52
2	Excuse me. I did not put in -- I mean, you	13:17:54
3	understand that this was written by one of the patent	13:17:57
4	lawyers, and, I mean, I -- I don't remember reading any	13:18:02
5	of this.	13:18:09
6	Q. Who would know about these two provisional	13:18:09
7	applications if -- on page 23 of your Bodor PCT	13:18:18
8	reference?	13:18:22
9	A. Whoever filed. I don't know.	13:18:22
10	Q. Do you know their names?	13:18:32
11	A. Of the lawyers?	13:18:33
12	Q. Let me -- let me just rephrase.	13:18:35
13	Do you know the...	13:18:37
14	IN-ROOM ZOOM TECHNICIAN PIETANZA: We lost	13:18:47
15	connection.	13:18:48
16	MR. MLAYER: Can you just let Christina know	13:18:49
17	that the Zoom is --	13:18:52
18	MS. BOND: Yeah, okay.	13:18:55
19	IN-ROOM ZOOM TECHNICIAN PIETANZA: I'm	13:18:55
20	messaging our tech right now.	
21	MR. MLAYER: The Zoom has died.	13:19:11
22	MS. DASHE: Progress.	13:19:11

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

124

1	MR. MLAYER: Looks like we're all back.	
2	MS. DASHE: Can people hear me?	13:19:12
3	THE WITNESS: Yes.	13:19:13
4	MR. MLAYER: Yes.	13:19:13
5	MS. DASHE: I cannot hear them.	13:19:13
6	MR. MLAYER: Yes, you --	
7	MS. DASHE: Ah, here we go.	13:19:15
8	MR. MLAYER: You disappeared for a minute	13:19:16
9	there, so.	13:19:17
10	MS. DASHE: Okay.	13:19:25
11	BY MS. DASHE:	13:19:25
12	Q. Let me -- let me repeat my question, then.	13:19:25
13	So do you know who wrote on page 23 of your	13:19:31
14	Bodor PCT application, lines 24 to the end of the	13:19:41
15	page, --	13:19:47
16	A. No, I don't.	13:19:48
17	Q. -- that we see here on the screen?	13:19:48
18	A. I don't.	13:19:50
19	Q. But it would have been one of the lawyers;	13:19:51
20	right?	13:20:00
21	A. Yes, it would have been one of the lawyers at	13:20:00
22	IVAX.	13:20:06

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

125

1	Q. Okay. Do you know who wrote any part of the	13:20:07
2	Bodor PCT application?	13:20:19
3	MR. MLAYER: Objection to form.	13:20:19
4	A. I really don't.	13:20:22
5	MS. DASHE: Emil, could you please go to the	13:20:38
6	first page of Exhibit 1022, please.	13:20:40
7	BY MS. DASHE:	13:20:51
8	Q. And, Dr. Bodor, do you see where it says	13:20:51
9	agents, Norman Stepno, for Burns, Doane or Doane or	13:20:55
10	however you pronounce that?	13:21:00
11	A. Yes.	13:21:02
12	Q. Did you communicate with Norman Stepno about	13:21:02
13	the Bodor PCT application?	13:21:07
14	MR. MLAYER: Objection, privileged.	13:21:10
15	I'll instruct the witness to answer a limited	13:21:12
16	answer to yes or no.	13:21:15
17	A. No.	13:21:18
18	MS. DASHE: Okay, Emil, you can take down	13:21:24
19	Exhibit 1022, please.	13:21:28
20	Thank you.	13:21:36
21	BY MS. DASHE:	13:21:37
22	Q. So, Dr. Bodor, in 2002, IVAX entered into an	13:21:38

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

126

1	agreement with Serono for the development of an oral	13:21:42
2	formulation of cladribine for the treatment of MS;	13:21:44
3	right?	13:21:47
4	A. I -- I don't know that for a fact until fairly	13:21:47
5	recently. I was not part of that kind of agreement.	13:21:56
6	Q. So just so the record is clear, then, and	13:22:04
7	because your audio broke up a little bit, you were not	13:22:07
8	personally involved with the agreement between IVAX and	13:22:11
9	Serono; is that right?	13:22:14
10	A. That's right.	13:22:16
11	Q. Okay. Do you at all know how the agreement	13:22:16
12	between IVAX and Serono came about?	13:22:27
13	A. I don't know.	13:22:30
14	Q. Okay. Now, as a part of IVAX and Serono	13:22:30
15	having an agreement, Serono also had a team that worked	13:22:41
16	on cladribine; right?	13:22:47
17	A. I assume so. I -- I don't know.	13:22:48
18	I mean, it was --	13:22:49
19	Q. Did you ever communicate with -- oh, go ahead.	13:22:51
20	A. That was clearly part of the IVAX/Serono	13:22:53
21	agreement, which I was not part of.	13:22:58
22	Q. Okay. Did you ever communicate with anybody	13:22:59

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

127

1	about cladribine at Serono?	13:23:03
2	A. No.	13:23:07
3	Q. Okay. And do you have any knowledge of what	13:23:07
4	individuals at Serono worked on cladribine?	13:23:12
5	A. Well, I do, because I saw the publication, the	13:23:18
6	paper, the study about this complex complex.	13:23:24
7	But I	13:23:33
8	Q. So aside from your thirdhand knowledge of a	13:23:33
9	public paper --	13:23:38
10	A. Yes.	13:23:40
11	Q. Go ahead.	13:23:40
12	A. Yeah, I didn't have any other --	13:23:41
13	Q. So aside -- oh, yeah.	13:23:43
14	Okay, I talked over you, so I'm just going to	13:23:46
15	reask my question so it's clear. And I'm sorry for	13:23:48
16	doing that, sir. So let me just ask one more time.	13:23:51
17	So aside from your thirdhand knowledge from a	13:23:53
18	public paper, did you have any other knowledge of who at	13:23:58
19	Serono worked on the cladribine project?	13:23:59
20	A. No.	13:24:02
21	MR. MLAYER: Objection to form.	13:24:03
22	BY MS. DASHE:	13:24:12

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

128

1 Q. And do you have any knowledge of the joint 13:24:13
2 work allocation between IVAX and Serono beyond what's in 13:24:16
3 the agreement between the two parties? 13:24:20

4 MR. MLAYER: Objection to form. 13:24:24

5 A. Again, I don't know about the agreement. 13:24:25

6 In -- in 2004, when I was director of the 13:24:30
7 institute, indirectly some came through because -- it 13:24:38
8 must have been based on the agreement, how they have 13:24:42
9 done some clinical studies in Europe, but the blood 13:24:45
10 samples from the patients were sent to the institute to 13:24:54
11 be analyzed. 13:24:58

12 So I knew that there must be some kind of 13:25:02
13 bio- -- bioavailability study ongoing, but I didn't know 13:25:09
14 that it -- that it was an IVAX/Serono joint or whatever. 13:25:11

15 BY MS. DASHE: 13:25:21

16 Q. Some came through regarding clinical studies. 13:25:24
17 I'm not -- I guess I'm not quite following what you were 13:25:27
18 saying. Could you just maybe repeat that and explain 13:25:29
19 yourself a little bit more? 13:25:33

20 MR. MLAYER: Objection to form. 13:25:34

21 A. As I have seen in the reports given to me on 13:25:34
22 the cladribine project at the institute, it was clear 13:25:48

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

129

1 that four or three or four different clinical sites in 13:25:54
2 Europe were used to study single doses of complex 13:26:01
3 complex, and blood level -- blood samples were collected 13:26:09
4 and sent to the institute to be analyzed. 13:26:14

5 And this was the basis of the disclosures in 13:26:22
6 our patent. 13:26:27

7 BY MS. DASHE: 13:26:27

8 Q. Studies on single doses of the complex complex 13:26:27
9 and the blood samples, these were studies that were done 13:26:38
10 at IVAX? 13:26:42

11 A. No. These studies, as I said, I don't know 13:26:42
12 the sites, but it indicated in the report that sites in 13:26:45
13 Europe, in Belgium, in Poland, and I don't remember 13:26:51
14 where, but I don't know where sites and who all was 13:26:56
15 conducting the studies, who was the involved physician 13:26:59
16 and who was from part of IVAX or Serono, whoever. 13:27:03

17 So that -- I tell you what I know. 13:27:10

18 Q. ... have any first -- you don't have any 13:27:11
19 firsthand knowledge of the division of labor between 13:27:17
20 IVAX and Serono pursuant to the agreement between the 13:27:20
21 two companies; is that right? 13:27:23

22 A. I have -- no, I have no idea, because I didn't 13:27:25

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

130

1	even know about the agreement.	13:27:27
2	Q. Okay. And so, then, you don't have any	13:27:32
3	specific recollection of anyone at Serono communicating	13:27:36
4	any dosing regimens for cladribine directly to you;	13:27:40
5	right?	13:27:44
6	A. Actually, I don't even know anybody from	13:27:44
7	Serono. I don't remember ever meeting anybody.	13:27:47
8	Q. You don't remember anybody from Serono	13:27:52
9	indicating a dosing regimen to you; right?	13:28:01
10	A. Or any, anything. I had no connection with	13:28:04
11	Serono.	13:28:10
12	MS. DASHE: A quick five-minute break or --	13:28:14
13	Dr. Bodor, is that -- is that all right?	13:28:18
14	THE WITNESS: Sure. That's fine.	13:28:20
15	MR. MLAYER: Okay. We'll be back on at 1:33.	13:28:21
16	VIDEOGRAPHER ELMILKI: Okay. We are off the	13:28:24
17	record, and the time now is 1:28 p.m.	13:28:26
18	(Recess taken.)	13:28:29
19	VIDEOGRAPHER ELMILKI: We are back on the	13:45:25
20	record, and the time is now 1:45 p.m.	13:45:26
21	BY MS. DASHE:	13:45:30
22	Q. Dr. Bodor, did you discuss the substance of	13:45:32

1	your testimony during the breaks today?	13:45:35
2	A. No.	13:45:36
3	Q. Okay. Now, going back to my line of	13:45:37
4	questioning before we broke, I just want to clean up a	13:45:42
5	few things here with the timelines, I'm a little bit	13:45:45
6	fuzzy on that.	13:45:49
7	Now, before 2004, were you aware of the	13:45:50
8	existence of any joint work between Serono and IVAX on	13:45:55
9	cladribine at that time?	13:46:01
10	A. No.	13:46:01
11	Q. And so then before you filed your Bodor PCT	13:46:01
12	application, were you aware of the existence of any	13:46:09
13	joint work between Serono and IVAX on cladribine at that	13:46:12
14	time?	13:46:17
15	A. No.	13:46:17
16	MR. MLAYER: Objection to form.	13:46:18
17	BY MS. DASHE:	13:46:18
18	Q. When did you first become aware that IVAX and	13:46:22
19	Serono had entered into a joint research agreement?	13:46:29
20	A. That's a good question. I don't think I ever	13:46:47
21	knew that there is an agreement. I was not involved.	13:46:49
22	So even though I -- I cannot say that I was ever aware	13:46:54

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

132

1 of the agreement until I read some publication

13:46:57

2 somewhere, press release or something like that.

13:47:06

3 Q. So the first time you became knowledgeable of
4 the specific agreement between IVAX and Serono was when
5 Merck approached you to write your declaration in this
6 case?

13:47:09

13:47:15

13:47:20

13:47:23

7 A. No, not really. I mean, as I said before, I
8 just assumed that there was an agreement because
9 somebody said, oh, no, IVAX sent the samples to be
10 analyzed at the institute of the -- that the
11 bioavailability clinical trials.

13:47:24

13:47:30

13:47:36

13:47:40

13:47:48

12 So I assumed that it was somebody from Serono
13 who was involved in sending the material, but I'm not
14 sure. It might --

13:47:52

13:47:54

13:47:58

15 Q. And approximately when was that?

13:47:59

16 A. That was in 2003 sometime.

13:48:00

17 Q. Let -- well, hold on. So you -- you were not
18 aware of -- strike that.

13:48:13

13:48:18

19 When did you become aware specifically of the
20 joint research agreement between Serono and IVAX?

13:48:23

13:48:28

21 A. I never really saw a specific agreement. So
22 I --

13:48:35

13:48:43

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

133

1	Q. My question is a little different, it's not	13:48:43
2	when did you see the agreement, it's when did you first	13:48:45
3	become aware that there -- of the existence of an	13:48:48
4	agreement between IVAX and Serono regarding cladribine?	13:48:51
5	A. It could be before the patent office interview	13:48:59
6	in 2008.	13:49:06
7	Q. But would it have been much before that? 2008	13:49:07
8	would be roughly the time that you first became aware	13:49:16
9	that --	13:49:19
10	A. You see, I don't know about the agreement,	13:49:20
11	didn't know about the agreement, but could be that	13:49:23
12	somebody from Serono was involved with IVAX for the --	13:49:27
13	for people and organized these clinical trials, which	13:49:35
14	was analyzed at the institute, but I was not in the know	13:49:41
15	about the agreement or kind of agreement. I still don't	13:49:48
16	know. I don't know what was --	13:49:51
17	Q. So --	13:49:54
18	A. -- what was the subject, --	13:49:55
19	Q. So then --	13:49:56
20	A. -- what was the conditions, what was the	13:49:57
21	terms, I don't know.	13:49:59
22	Q. Okay. So then the first time you became aware	13:49:59

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

134

1 of the existence of an agreement between IVAX and Serono 13:50:04
2 regarding cladribine was roughly in the 2008 time frame; 13:50:10
3 is that right? 13:50:16

4 A. Well, it -- yes. And if I think back, see, it 13:50:16
5 is the patent which we filed in 2004 ultimately was 13:50:22
6 assigned to R. Arens. So that's -- I think that is part 13:50:27
7 of the Serono or something like that. 13:50:33

8 But again, it is something that is not clear 13:50:38
9 to me, I was not involved in. 13:50:40

10 Q. So when did you first become aware -- strike 13:50:46
11 that.

12 What was -- when did you first become aware of 13:50:56
13 any existence of any joint cladribine work between IVAX 13:51:02
14 and Serono? 13:51:08

15 A. We are going back and forth on the same issue. 13:51:22

16 I did not know about IVAX/Serono agreement 13:51:24
17 whenever that happened, I don't know, 2003, '4. I 13:51:27
18 assume within that period there was some kind of 13:51:35
19 agreement because the material studied in Europe and the 13:51:37
20 material was sent to IVAX in Budapest for analysis, and 13:51:45
21 that's what was in my patent. 13:51:53

22 So that -- that shows the flavor, except I did 13:51:55

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

135

1	not know what was said on those involvement. I never	13:52:00
2	have met -- the Serono people never came to visit	13:52:04
3	Budapest and -- and that's all I can say.	13:52:10
4	Q. What material -- excuse me.	13:52:15
5	You referenced material that was sent to IVAX	13:52:21
6	in Budapest for analysis. What material was that?	13:52:26
7	A. I mentioned before, the blood samples drawn	13:52:28
8	from patients, in the hundreds of blood samples, based	13:52:33
9	on these crossover studies comparing various oral	13:52:37
10	formulation and subcutaneous or inter- -- intervenous,	13:52:45
11	which are in the patent.	13:52:51
12	Q. And who sent this material?	13:52:53
13	A. The clinical sites from Poland and whatever, I	13:53:01
14	don't know, they sent to the institute. Who organized	13:53:07
15	it and collect it, I don't know. I assume that my --	13:53:13
16	Q. Okay, so you don't --	13:53:16
17	A. My deputy director at the institute and the	13:53:17
18	clinical director, they knew where the samples come	13:53:22
19	from, but I never knew, it really didn't matter, it was	13:53:29
20	just one of the jobs they did.	13:53:34
21	Q. So you do not know who organized, collected,	13:53:38
22	and sent these blood sample materials to IVAX; is that	13:53:41

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

136

1	right?	13:53:47
2	A. Yes. That's correct.	13:53:48
3	Q. And so then do you know why these materials	13:53:50
4	were sent to IVAX?	13:53:58
5	A. They were sent for analysis. I told you I	13:53:59
6	knew about --	13:54:03
7	Q. Well, what sort --	13:54:03
8	A. Analytical methods were developed for	13:54:05
9	cyclodextrin from blood samples at the institute, and	13:54:17
10	then the samples were received and analyzed.	13:54:20
11	Q. But you don't know -- I'm just trying to -- to	13:54:23
12	get a frame of reference here.	13:54:27
13	These weren't samples that were being sent	13:54:32
14	from Serono? Or do you know?	13:54:34
15	A. I don't think it was Serono. It was the	13:54:35
16	clinical sites where the studies were done. Who	13:54:40
17	organized these clinical studies, I don't know, I just	13:54:43
18	assumed was done by IVAX with Serono or alone, but	13:54:47
19	primarily IVAX because the samples came to the IVAX	13:54:58
20	institute in Budapest.	13:55:05
21	Q. So does any of this have anything to do with	13:55:05
22	Serono's work on cladribine?	13:55:07

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

137

1	A. What work are you related -- the published	13:55:09
2	one?	13:55:16

3	The published work had important --	13:55:16
---	-------------------------------------	----------

4	Q. I'm just saying -- I guess I'm a little bit	13:55:18
5	confused is -- is all.	13:55:23

6	So this discussion we're having about samples	13:55:25
7	and analysis, does this relate to Serono's work on	13:55:27
8	cladribine at all, setting aside any publications or	13:55:31
9	anything like that?	13:55:35

10	MR. MLAYER: Objection, foundation.	13:55:36
----	------------------------------------	----------

11	A. Yeah, I don't know. You are assuming things	13:55:39
12	which I -- I don't know. Maybe these IVAX study of	13:55:41
13	the -- which entered in my patent, maybe those studies	13:55:50
14	were shared with Serono or they knew about it, I don't	13:55:54
15	know. But again, I was not involved with the	13:56:01
16	Serono/IVAX interaction.	13:56:05

17	MS. DASHE: Counsel, could we take a -- a	13:56:39
18	quick break? We're almost done, I just want to	13:56:41
19	make -- consolidate my notes and make sure we can	13:56:43
20	bring this home quickly.	13:56:46

21	MR. MCGUFFIN: That would be great.	13:56:48
----	------------------------------------	----------

22	MR. MLAYER: Let's go ahead and go off the	13:56:49
----	---	----------

CONFIDENTIAL
Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

138

1	record.	13:56:52
2	MS. DASHE: Okay.	13:56:52
3	VIDEOGRAPHER ELMILKI: Off the record, and the	13:56:53
4	time now is 1:56 p.m.	13:56:54
5	(Recess taken.)	13:57:06
6	VIDEOGRAPHER ELMILKI: We are back on the	14:00:38
7	record, and the time now is 2:00 p.m.	14:00:44
8	MS. DASHE: Good afternoon, Dr. Bodor. I have	14:00:49
9	no further questions for you.	14:00:51
10	THE WITNESS: Great.	14:00:54
11	Thank you.	14:01:00
12	MR. MLAYER: No questions for me. Let's go	14:01:02
13	off the record.	14:01:05
14	MS. DASHE: Thank you.	14:01:06
15	All right, thank you very much. Thank you for	14:01:06
16	your time, Dr. Bodor, I really appreciate it.	14:01:08
17	THE WITNESS: Thank you.	14:01:11
18	VIDEOGRAPHER ELMILKI: Wait. Wait.	14:01:12
19	We are off the record, and the time now is	14:01:13
20	2:01 p.m.	14:01:15
21	THE COURT REPORTER: Let me check, I don't	
22	have it in front of me, but I know someone is	

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

139

1 getting a rough draft. Is that both sides or is it

2 just --

14:01:38

3 MR. MCGUFFIN: I mean, I don't think we've put

14:01:38

4 in our order. It would be great if we can get a

14:01:40

5 rough.

14:01:41

6 THE COURT REPORTER: Okay. This evening.

7 Okay.

8 MR. MCGUFFIN: Yeah.

14:01:42

9 MS. DASHE: Yes, us too as well, please, for

14:01:42

10 the Hopewell team.

14:01:45

11 THE COURT REPORTER: Okay. And regular time

14:01:48

12 on the transcript delivery?

14:01:49

13 MS. DASHE: Oh, regular versus expedited.

14:02:09

14 THE COURT REPORTER: Yes.

15 MS. DASHE: Is what you're saying?

16 THE COURT REPORTER: Yes.

17 MS. DASHE: Let me quickly confer with my team

14:02:22

18 here.

14:02:23

19 Expedited, please. Thank you.

14:02:30

20 THE COURT REPORTER: When would you like it?

14:02:32

21 MS. DASHE: Sometime today would be great if

14:02:36

22 you could.

14:02:44

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

140

1	(Off the record from 2:03 p.m. to 2:03 p.m.)	14:03:00
2	THE COURT REPORTER: I could have it probably	
3	in 24 hours.	
4	(Off the record from 2:03 p.m. to 2:03 p.m.)	
5	MS. DASHE: Final as soon as possible, please.	
6	Expedited on the -- 24 hours on the full, nice,	
7	pretty copy is wonderful.	
8	THE COURT REPORTER: Good. Yes. I will have	
9	you the draft before too long.	
10	And would y'all like a copy -- expedited copy?	14:03:13
11	MR. MCGUFFIN: We'll take the same, yeah,	14:03:13
12	rough when it's ready, expedited when it's ready.	14:03:15
13	(Off the record from 2:03 p.m. to 2:03 p.m.)	14:03:33
14	MR. MLAYER: And I just forgot to say on the	14:03:34
15	record that we would like to designate the	14:03:35
16	transcript confidential under the protective order.	14:03:38
17	If you could have it marked that way. And that's	14:03:43
18	all for me.	14:03:45
19	(This deposition was concluded at 2:03 p.m.)	
20		
21		
22		

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

141

CERTIFICATE OF REPORTER - NOTARY PUBLIC

STATE OF FLORIDA)

COUNTY OF SARASOTA)

I, Nancy E. Paulsen, CRR, CRC, RPR, RSA certify
that I was authorized to and did stenographically report
the deposition of Nicholas Bodor, PhD, DSc, dhc, HoF and
that the foregoing pages are a true and complete record
of my stenographic notes taken during said deposition.

I, Nancy E. Paulsen, CRR, CRC, RPR, RSA
Notary Public within and for the State of Florida
do hereby certify:

That Nicholas Bodor, PhD, DSc, dhc, HoF, the
witness whose deposition is hereinbefore set forth,
was duly sworn by me before the commencement of such
deposition and that such deposition was taken before
me and is a true record of the testimony given by such
witness.

I further certify that the adverse party,
MERCK SERONO S.A., was represented by counsel at the

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF
Conducted on February 15, 2024

142

1 deposition.

2 I further certify that the deposition of
3 Nicholas Bodor, PhD, DSc, dhc, HoF, occurred at the
4 offices of Bodor Laboratories, 4400 Biscayne Boulevard,
5 11th Floor, Miami, Florida, 33137, on Thursday,
6 February 15, 2024, 9:34 a.m. to 2:03 p.m.

7 I further certify that I am not related to
8 any of the parties to this action by blood or
9 marriage, I am not employed by or an attorney to any
10 of the parties to this action, and that I am in no way
11 interested, financially or otherwise, in the outcome
12 of this matter.

13
14 Dated this 16th day of February, 2024.

15
16 

17 NANCY E. PAULSEN, CRR, CRC, RPR, FPR

18 CERTIFICATE OF OATH
19
20
21
22

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

143

1 STATE OF FLORIDA)

2 COUNTY OF SARASOTA)

3
4 I, the undersigned authority, certify that
5 Nicholas Bodor, PhD, DSc, dhc, HoF, personally appeared
6 before me on February 15, 2024, produced a Florida
7 driver's license for identification, and was duly sworn.

8
9 WITNESS my hand and official seal this 16th day of
10 February, 2024.

11
12
13 

14 _____
15 Nancy E. Paulsen, CRR, CRC, RPR
16 Notary Public
17 State of Florida at Large
18 My Commission Number: HH421500
19 Expires: September 17, 2027
20
21
22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

144

A			
a-l-a-i-n	38:7, 42:11,	103:13, 121:8	affirm
31:20	43:1, 43:8,	actuality	6:4
abide	43:18	91:22	affirmative
8:6, 8:12, 9:10	academia	actually	66:16, 80:4,
ability	23:4	19:22, 21:18,	87:16, 113:11,
9:3	access	26:6, 34:19,	121:19
about	10:17, 12:15,	54:6, 78:14,	after
6:5, 7:5, 14:9,	66:21, 67:11,	91:14, 101:16,	63:11, 66:19,
14:19, 17:19,	117:13	119:19, 121:8,	66:22, 67:6,
19:20, 22:1,	accessible	130:6	67:10, 67:13,
22:10, 22:16,	55:19	adaptive	71:19, 75:6,
22:20, 22:22,	accessing	57:18	78:11, 90:12,
26:18, 26:22,	11:6, 11:13	added	94:18, 99:12,
27:2, 30:20,	accolades	48:4	99:15, 100:2
30:22, 31:1,	110:7, 115:12	addition	afternoon
32:8, 34:12,	accommodate	35:19	138:8
36:10, 43:4,	8:9	additional	again
50:16, 54:6,	accurate	32:20, 35:14	23:10, 24:11,
54:21, 65:10,	82:22	address	30:7, 31:16,
65:15, 66:11,	accurately	6:19, 6:20,	37:17, 38:11,
66:20, 70:3,	48:10, 83:1	79:22, 80:8,	48:6, 51:14,
73:14, 74:8,	achieve	84:11	61:22, 62:6,
75:20, 76:16,	38:14, 38:18,	addressed	66:10, 83:7,
78:11, 78:20,	39:1, 39:2,	42:20	84:11, 93:10,
89:9, 91:4,	39:6, 39:10,	administration	93:21, 97:1,
92:16, 93:9,	39:12, 40:15	56:13, 56:16,	106:2, 106:10,
94:11, 94:19,	achieved	57:5, 57:16,	107:13, 107:15,
95:1, 95:9,	47:13	58:3, 58:22,	111:22, 112:12,
95:11, 98:7,	achievement	59:6, 59:10,	114:14, 114:21,
98:9, 99:7,	47:13	60:4, 60:9,	115:8, 118:5,
99:13, 99:14,	achievements	104:15	118:16, 119:13,
100:1, 100:8,	52:15	advance	128:5, 134:8,
101:2, 101:12,	achieving	4:17	137:15
101:15, 103:14,	41:7	advantages	against
107:15, 113:3,	acidity	104:6, 104:7,	96:17
116:2, 123:6,	38:3	104:11	agent
125:12, 126:12,	action	adverse	77:6, 77:12
127:1, 127:6,	142:8, 142:10	141:21	agent's
128:5, 130:1,	active	advertised	77:11
133:10, 133:11,	42:16, 56:1,	98:18	agents
133:15, 134:16,	73:2, 73:4, 73:5	affairs	125:9
136:6, 137:6,	activities	94:11	ago
137:14	44:20	affect	7:5, 7:9, 7:10,
absorption	activity	43:8	77:21, 100:17,
37:3, 37:9,	30:3, 36:21,	affects	110:18, 112:8
37:11, 38:4,	37:2	63:4	agree
	actual	affidavit	7:22, 8:5,
	22:5, 92:22,	115:20	8:12, 8:15, 9:4,

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

145

9:10, 12:14 agreed 92:6, 117:12 agreement 126:1, 126:5, 126:8, 126:11, 126:15, 126:21, 128:3, 128:5, 128:8, 129:20, 130:1, 131:19, 131:21, 132:1, 132:4, 132:8, 132:20, 132:21, 133:2, 133:4, 133:10, 133:11, 133:15, 134:1, 134:16, 134:19 ah 124:7 ahead 70:17, 72:6, 92:1, 94:22, 107:14, 126:19, 127:11, 137:22 alain 31:9, 31:19 all 7:16, 7:18, 14:19, 22:11, 23:17, 26:6, 26:7, 27:4, 34:9, 43:4, 50:22, 51:15, 53:3, 64:14, 69:5, 69:16, 71:1, 73:3, 86:20, 92:18, 94:5, 94:8, 101:13, 108:1, 110:22, 111:16, 112:4, 116:2, 121:12, 124:1, 126:11, 129:14, 130:13, 135:3, 137:5, 137:8, 138:15, 140:18 allocation 128:2	allow 37:2, 38:4 allowance 33:20 allowed 100:3 almost 26:7, 27:4, 35:22, 137:18 alone 136:18 already 10:5, 42:20, 66:20, 99:10, 109:2, 119:20 also 2:30, 5:17, 5:19, 13:15, 18:18, 33:21, 37:15, 44:16, 45:1, 52:5, 53:20, 71:18, 85:7, 93:19, 99:3, 114:5, 116:17, 118:9, 126:15 although 77:8, 79:10 always 46:13, 63:10, 105:19 amorphous 104:3 amount 32:16, 33:7, 35:22, 36:3, 36:13, 36:18 amounts 36:7, 36:10 analysis 134:20, 135:6, 136:5, 137:7 analytical 21:19, 24:4, 93:17, 136:8 analyzed 128:11, 129:4, 132:10, 133:14,	136:10 animal 25:9, 26:5 announced 10:5 annual 20:10, 21:3, 25:8, 26:19, 79:8 another 4:6, 7:17, 24:15, 55:21, 68:7, 80:18 answer 8:4, 8:5, 8:10, 8:19, 9:3, 17:2, 25:5, 29:6, 29:7, 37:7, 38:1, 41:12, 41:14, 42:13, 43:13, 46:20, 56:19, 59:22, 64:13, 67:3, 72:7, 73:13, 73:20, 75:17, 76:22, 125:15, 125:16 answered 108:14 answers 7:21, 8:1 anticipate 4:3 anticipated 112:14 any 4:9, 4:17, 7:3, 8:8, 8:10, 9:18, 10:14, 10:16, 10:17, 11:2, 12:16, 17:3, 20:1, 20:4, 21:15, 27:6, 32:20, 33:12, 40:1, 42:10, 44:13, 44:14, 45:5, 45:6, 51:21, 52:2,	59:9, 60:3, 61:18, 63:14, 63:22, 65:19, 66:11, 66:22, 67:13, 73:7, 73:17, 74:15, 75:14, 77:7, 78:2, 78:19, 79:19, 80:13, 80:15, 80:16, 81:14, 81:18, 82:19, 82:22, 83:8, 84:17, 84:20, 84:22, 90:16, 94:19, 97:21, 99:13, 107:3, 107:18, 107:19, 108:4, 110:4, 110:7, 112:1, 115:11, 116:3, 116:19, 122:20, 123:4, 125:1, 127:3, 127:12, 127:18, 128:1, 129:18, 130:2, 130:4, 130:10, 131:8, 131:12, 134:13, 136:21, 137:8, 142:8, 142:9 anybody 17:13, 126:22, 130:6, 130:7, 130:8 anymore 75:6 anyone 10:6, 14:10, 18:11, 19:2, 19:8, 30:17, 31:4, 130:3 anything 9:2, 10:19, 11:16, 12:2, 30:5, 31:1, 31:18, 56:7, 105:13, 110:8, 130:10, 136:21,
---	--	--	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

146

137:9 anytime 46:14 apartment 6:21 apiece 26:21 apologies 83:14, 120:12 apologize 4:17, 117:18, 119:7 appeal 1:3 appear 101:18 appearances 2:1 appeared 143:5 appendix 45:10, 45:16, 46:6 apple 12:15 applicants 102:3 application 3:11, 13:2, 16:11, 25:21, 26:11, 27:8, 34:7, 38:20, 40:5, 40:6, 41:6, 42:6, 73:18, 75:15, 75:21, 76:20, 78:16, 97:7, 97:10, 97:19, 98:2, 98:14, 102:1, 102:6, 102:8, 102:20, 103:4, 108:11, 108:18, 121:9, 122:4, 122:15, 122:20, 124:14, 125:2, 125:13, 131:12 applications 82:12, 82:15,	87:22, 88:5, 88:14, 96:5, 96:9, 121:21, 122:16, 122:22, 123:7 appreciate 54:8, 101:4, 138:16 approached 16:14, 16:21, 132:5 approximately 33:1, 68:1, 72:17, 81:7, 82:4, 82:10, 85:21, 87:20, 88:13, 132:15 april 44:22, 47:6, 48:3 aqueous 63:1 areas 48:10, 95:8 aren't 116:20 arens 134:6 ares 110:2, 115:9 argument 79:20, 83:9, 112:1 around 71:2, 113:16 arrived 96:12 asher 2:22, 2:28, 5:22, 13:17 aside 67:10, 90:1, 100:5, 127:8, 127:13, 127:17, 137:8 asked 16:10, 74:1, 95:12, 108:13	asking 7:14, 28:18, 32:9, 40:20, 105:13 assigned 110:1, 115:8, 134:6 assignment 110:5, 115:12 assist 11:21, 18:15 assistant 17:5, 19:3, 19:22, 20:13, 21:10, 21:11, 32:14, 46:12, 48:6, 68:6, 74:10 assistants 19:7, 21:13 associated 53:6 assume 8:20, 17:12, 53:19, 98:17, 99:2, 126:17, 134:18, 135:15 assumed 132:8, 132:12, 136:18 assuming 137:11 assure 41:4 at-issue 13:3 attached 45:10 attempts 27:11, 29:9, 29:13, 29:17 attending 4:2 attorney 122:8, 122:10, 142:9 audio 57:10, 126:7	authority 143:4 authorized 141:8 avenue 6:20 award 44:21, 47:14 awards 46:13, 110:7, 115:11 aware 4:6, 18:11, 131:7, 131:12, 131:18, 131:22, 132:18, 132:19, 133:3, 133:8, 133:22, 134:10, 134:12 away 4:10, 11:4, 22:19, 50:12 B b-a-u-m-e 77:13 b-a-u-m-e-i-s-t-- e-r 77:15 b-e-r-n-d 32:1 b-o-d-o-r 6:18 b-u-c-h-w-a-l-d 19:16 b2 1:16, 1:17 back 52:10, 54:15, 54:18, 67:7, 80:22, 81:20, 87:7, 101:8, 101:11, 112:12, 124:1, 130:15, 130:19, 131:3, 134:4, 134:15, 138:6 background 55:3
---	--	--	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

147

backup 4:7	133:3, 134:10, 134:12	beginning 67:4, 106:14	126:12, 128:2, 128:3, 129:19,
bal 6:21	been 6:11, 6:22,	begins 4:19	129:20, 131:8,
ballpark 86:2	7:11, 14:15,	behalf 2:2, 2:19,	131:13, 132:4,
based 32:22, 69:5,	15:4, 16:17,	5:15, 5:21	132:20, 133:4,
79:20, 128:8,	48:4, 54:6,	being 117:10, 136:13	134:1, 134:13
135:8	70:11, 72:12,	belgium 129:13	beyond 72:12, 128:2
basic 71:6	78:8, 79:18,	believe 17:13, 18:2,	big 74:5
basically 95:10	89:10, 97:7,	21:14, 44:15,	bigger 50:10
basis 9:1, 38:15,	98:22, 101:1,	46:12, 49:4,	billed 32:12
40:10, 40:12,	101:15, 109:2,	51:18, 79:6,	billing 32:10
129:5	111:6, 112:20,	80:15, 82:19,	bio 128:13
baumeis 77:10	116:17, 124:19,	82:22, 84:20,	bioavailability 21:20, 25:6,
baumeister 77:6, 77:10,	124:21, 128:8,	86:17, 88:21,	26:3, 27:5,
77:13	133:7	98:18, 118:10,	39:3, 39:7,
became 71:19, 91:18,	before 1:3, 4:16,	120:3	39:13, 39:17,
96:18, 100:1,	6:22, 7:11,	bell 76:13	40:11, 40:13,
132:3, 133:8,	8:11, 22:15,	below 39:16, 114:6	40:16, 93:3,
133:22	23:2, 25:6,	below-named 113:9, 118:6	128:13, 132:11
because 8:22, 18:14,	35:9, 37:8,	benefits 59:9	bioavailable 94:15, 95:5,
21:10, 23:3,	46:17, 47:17,	bernd 31:11, 31:22	95:13
24:2, 24:12,	47:22, 77:16,	besides 10:4, 10:15,	biscayne 1:30, 5:9,
30:8, 35:14,	78:15, 81:15,	10:18, 17:14,	10:2, 80:5,
36:5, 39:15,	84:15, 86:6,	19:1, 19:7,	84:9, 142:4
39:16, 40:9,	87:6, 88:8,	22:22, 31:5,	bit 24:6, 84:3,
41:2, 43:20,	91:8, 96:14,	42:8, 63:14,	85:13, 87:9,
56:8, 57:10,	97:7, 97:9,	78:18, 93:15,	101:15, 109:4,
76:9, 77:9,	97:18, 98:2,	93:22	113:5, 126:7,
91:13, 95:9,	98:14, 99:4,	best 4:6, 22:19	128:19, 131:5,
99:2, 100:10,	102:8, 103:10,	better 8:17, 50:22,	137:4
104:4, 105:12,	105:19, 108:12,	63:7	blank 122:5, 122:7
105:19, 115:19,	108:15, 108:19,	between 21:12, 43:9,	blood 38:14, 38:18,
120:4, 126:7,	109:15, 110:13,	86:15, 107:19,	41:4, 42:8,
127:5, 128:7,	111:3, 111:9,	108:5, 126:8,	43:4, 93:18,
129:22, 132:8,	112:6, 112:13,		128:9, 129:3,
134:19, 136:19	112:19, 115:2,		129:9, 135:7,
become 131:18, 132:19,	115:17, 116:4,		
	116:7, 117:5,		
	117:9, 117:19,		
	122:15, 122:19,		
	131:4, 131:7,		
	131:11, 132:7,		
	133:5, 133:7,		
	135:7, 140:9,		
	141:17, 141:18,		
	143:6		

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

148

135:8, 135:22, 136:9, 142:8 blue 44:7 board 1:3 bodor's 11:20, 28:12, 45:16, 52:6, 53:5, 53:11 body 55:19 bond 2:7, 5:18, 12:5, 123:18 boston 2:25 both 7:8, 15:14, 61:8, 77:5, 77:17, 99:5, 121:21, 139:1 bottom 46:2, 50:3, 121:10, 121:14 boulevard 1:30, 5:9, 10:2, 80:6, 84:9, 142:4 brackets 122:7 brain-targeted 56:22 brain-targeting 58:10 break 8:8, 8:11, 54:7, 54:10, 54:20, 101:2, 101:11, 120:1, 130:12, 137:18 breaks 11:12, 131:1 brief 54:7 bring 11:16, 119:19, 137:20	broke 20:16, 126:7, 131:4 buchanan 76:4, 76:6, 76:8, 76:12, 76:13, 76:16, 76:18, 77:4 buchwald 19:14, 19:17, 19:20, 20:11, 20:15, 20:19, 21:3, 21:5, 21:8, 21:22, 22:1, 22:4, 22:10, 22:15, 22:22, 23:9, 23:18, 24:8, 24:16, 24:20, 25:1, 26:9, 26:17, 30:16, 30:20, 31:6, 66:20, 67:11, 68:6 buckingham 76:2 budapest 21:12, 21:18, 69:14, 93:10, 93:20, 134:20, 135:3, 135:6, 136:20 burns 125:9 business 28:4, 88:9 C c-e-p-t-i-o-n 107:10 c-o-n 107:8 call 55:10, 56:7 called 20:1, 41:18, 78:13, 118:22 calls 11:7, 11:13,	37:15, 37:17 came 57:22, 90:17, 94:11, 95:3, 95:12, 99:6, 126:12, 128:7, 128:16, 135:2, 136:19 cannot 7:20, 9:12, 9:19, 38:13, 39:18, 41:2, 41:4, 69:2, 107:11, 124:5, 131:22 capacities 67:19 capacity 7:4 care 48:6, 77:18 career 55:2, 58:8 case 5:2, 7:6, 7:10, 12:7, 15:13, 16:8, 16:15, 16:22, 17:10, 17:15, 18:1, 18:9, 18:12, 18:21, 19:5, 19:9, 30:18, 31:15, 31:17, 32:13, 32:17, 32:21, 42:16, 84:6, 101:17, 102:1, 108:12, 110:14, 111:4, 111:10, 115:17, 116:7, 132:6 cases 15:10, 43:4 caution 17:2, 73:19, 76:22 cdashe@sternekes- sler 2:12	cell 11:3, 11:4, 11:6, 11:13, 12:15 center 44:17, 45:3, 113:16, 118:16 centered 121:14 ceo 44:10 certain 35:10, 105:6, 105:19 certainly 66:12, 77:1 certificate 3:6, 3:7, 141:1, 142:18 certify 141:7, 141:14, 141:21, 142:2, 142:7, 143:4 cetera 43:4 chair 45:2, 45:5 chairman 74:1 change 96:13 changed 96:11 charged 97:8, 97:16 chat 120:3 check 138:21 chemical 55:15, 56:6, 56:14, 58:19 chemicals 55:12 chemist 29:18 chief 67:19, 68:9,
---	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

149

69:7 chile 69:11, 69:18, 70:12 christina 2:3, 5:14, 9:15, 123:16 circulatory 38:13 cladribine 13:20, 13:22, 14:6, 16:12, 19:12, 20:2, 21:6, 21:14, 21:16, 22:2, 22:5, 22:10, 27:12, 27:14, 27:18, 27:19, 27:20, 28:1, 28:5, 28:16, 29:3, 30:1, 30:2, 30:5, 31:2, 35:12, 35:22, 36:4, 36:15, 36:21, 37:5, 37:11, 38:3, 38:7, 38:19, 42:11, 42:16, 43:2, 43:7, 43:9, 43:18, 49:12, 50:5, 53:7, 53:16, 55:15, 56:5, 65:10, 65:11, 65:22, 66:8, 66:12, 88:20, 89:5, 90:4, 90:22, 91:10, 91:11, 91:16, 92:8, 92:13, 92:14, 92:17, 92:19, 93:7, 93:14, 94:2, 94:6, 94:7, 94:12, 94:14, 94:19, 95:1, 95:4, 95:8, 95:12,	95:16, 99:7, 99:13, 99:14, 99:21, 100:4, 100:13, 105:7, 105:18, 121:22, 126:2, 126:16, 127:1, 127:4, 127:19, 128:22, 130:4, 131:9, 131:13, 133:4, 134:2, 134:13, 136:22, 137:8 cladribine-cyclo- dextrin 25:16, 25:20, 34:21, 38:19, 40:4, 41:5, 56:9, 92:3, 95:21, 96:3, 97:9, 97:17, 98:3, 98:10, 98:13, 103:8, 104:20, 105:15 cladribine-relat- ed 67:12 cladribines 29:9 claim 107:1 claimed 118:12 claims 102:16, 106:8, 106:9, 106:18 clarification 8:15 clarify 8:16, 12:14, 31:17, 105:1 clean 131:4 clear 76:9, 99:11, 105:12, 117:20, 126:6, 127:15, 128:22, 134:8 clearly 126:20	clinical 94:10, 128:9, 128:16, 129:1, 132:11, 133:13, 135:13, 135:18, 136:16, 136:17 close 44:6 code 5:10 coinventor 18:3 collect 135:15 collected 129:3, 135:21 collectively 15:14 collins 6:20 com 2:12, 2:13, 2:14, 2:15, 2:16, 2:27, 2:28 combination 34:14, 55:22 combining 91:9 come 135:18 coming 99:5 commencement 141:17 comment 65:18 commercial 71:7 commission 79:2, 84:22, 143:18 common 60:22 communi 90:17 communicate 19:1, 19:8, 19:17, 31:3,	31:4, 75:20, 76:15, 76:18, 90:2, 90:14, 125:12, 126:19, 126:22 communicated 77:1, 77:12, 78:6, 78:10, 91:2, 104:17 communicating 78:19, 100:8, 130:3 communication 17:3, 90:16, 90:19, 90:20 communications 31:6, 66:22, 67:12, 99:14 companies 129:21 company 67:1, 67:13, 68:10, 69:1, 79:3, 86:15, 88:12, 98:6, 98:12 compare 41:22 compared 39:1 comparing 38:11, 135:9 compensated 33:21 compensation 33:12, 44:14, 45:5, 110:4, 115:11 complete 141:10 complex 25:14, 25:15, 25:16, 25:20, 28:16, 34:16, 34:18, 34:20, 34:21, 35:1, 35:14, 35:18, 35:19, 35:20,
---	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

150

36:4, 36:8, 36:20, 38:2, 38:6, 38:19, 38:20, 40:4, 40:11, 41:5, 42:3, 56:8, 56:9, 89:9, 91:5, 91:13, 91:16, 91:22, 92:3, 93:5, 95:22, 96:2, 96:3, 96:12, 96:18, 96:20, 97:8, 97:9, 97:17, 98:3, 98:9, 98:12, 98:13, 99:16, 103:8, 103:16, 104:19, 104:20, 105:9, 105:10, 105:15, 105:20, 127:6, 129:2, 129:3, 129:8 complexation 100:8 complexes 34:15, 35:6, 99:18 complexing 94:16 compliance 59:17, 62:1 component 35:17 computer 4:10, 10:9, 10:15, 10:21 concentration 41:4 concentrations 42:9 concept 57:3, 91:22, 96:17, 103:10, 107:22, 108:9 conception 107:4, 107:6, 107:8, 107:9,	107:19 concepts 108:1 concluded 140:19 conditions 35:10, 96:11, 96:13, 104:15, 105:6, 105:9, 105:19, 133:20 conducting 129:15 confection 107:7 confer 139:17 conference 10:3 confidential 1:20, 97:9, 97:18, 97:22, 98:4, 98:5, 98:13, 140:16 confidentiality 98:7 confused 137:5 confusing 57:22, 58:1 connect 4:14 connecting 4:14 connection 123:15, 130:10 considered 92:14 consistent 81:12, 82:15, 86:1, 88:5 consolidate 137:19 contact 21:12, 36:22, 78:17 contacted 90:10 contacts 95:6	contain 26:10 contains 48:21 content 43:3 contents 116:20, 122:21 continue 28:18 contribute 104:19 contributing 91:18 contribution 103:7, 105:4, 106:22 contributions 105:14 control 41:21 convenience 59:13, 59:15, 62:1, 64:4 conversation 98:20, 99:12, 100:5 conversations 4:11 copies 20:14, 116:18, 117:11 copy 11:20, 14:20, 45:8, 45:9, 140:7, 140:10 corporation 69:2, 79:22, 80:3, 84:12 corporation's 3:12, 3:14, 79:14, 83:4, 84:6 correct 12:3, 18:16, 18:21, 22:8, 24:17, 24:20, 25:16, 26:12,	53:18, 58:3, 78:5, 84:11, 100:21, 136:2 corrections 52:2 cost 60:3, 62:1 could 6:15, 15:17, 19:15, 29:15, 29:18, 30:13, 41:21, 45:15, 45:22, 46:22, 47:2, 47:11, 48:4, 48:13, 48:16, 49:7, 50:15, 50:18, 51:11, 52:5, 52:8, 52:11, 52:19, 52:21, 53:8, 61:16, 61:22, 72:12, 77:14, 79:13, 79:21, 82:1, 82:3, 82:4, 83:3, 84:2, 85:3, 85:10, 85:12, 86:20, 87:7, 94:14, 95:4, 95:12, 95:21, 102:2, 102:11, 109:1, 109:4, 111:18, 113:1, 113:3, 113:13, 113:16, 114:10, 114:14, 116:11, 118:2, 118:15, 119:19, 120:5, 120:20, 121:6, 121:10, 125:5, 128:18, 133:5, 133:11, 137:17, 139:22, 140:2, 140:17 counsel 5:11, 7:7, 7:15, 8:3, 8:5, 9:8, 10:4,
--	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

151

10:20, 11:5, 11:19, 12:1, 13:1, 13:15, 13:16, 14:1, 14:7, 14:10, 14:18, 14:22, 17:3, 17:8, 17:14, 18:6, 19:1, 19:8, 19:18, 21:3, 23:18, 24:19, 28:20, 30:16, 31:5, 33:5, 33:19, 37:17, 54:21, 57:8, 101:12, 117:9, 120:10, 120:13, 137:17, 141:22 county 141:5, 143:2 couple 27:12, 34:10 course 17:20, 35:13, 43:10, 43:14, 58:20, 60:1, 63:4, 66:6, 97:21, 98:6, 105:5 court 4:22, 6:2, 6:8, 7:16, 7:20, 10:5, 20:16, 20:17, 102:11, 102:13, 106:14, 138:21, 139:6, 139:11, 139:14, 139:16, 139:20, 140:2, 140:8 covered 99:10, 117:18 crc 1:35, 141:7, 141:12, 142:17, 143:15 create 19:2, 19:9, 30:17, 31:5,	95:21 credentials 48:11 crossover 41:18, 135:9 crr 1:35, 141:7, 141:12, 142:17, 143:15 curiosity 32:6 current 32:10, 33:9, 63:20 cut 9:16, 57:9, 62:6, 96:22, 102:22, 111:6 cutoff 40:12 cutting 46:2 cv 23:5, 45:10, 45:16, 46:7, 46:9, 46:11, 46:16, 46:17, 47:1, 47:5, 47:8, 47:17, 47:22, 48:9, 48:10, 48:15, 48:20, 50:2, 51:15, 51:22, 52:6, 52:14, 53:17 cy 38:3 cyclodextrin 35:8, 35:11, 35:13, 36:1, 36:7, 36:11, 36:13, 36:18, 91:12, 103:12, 103:17, 104:3, 105:7, 105:8, 105:18, 136:9 cyclodextrins 35:4, 35:5,	94:17, 103:11 czech 71:14, 72:4 czechoslovakia 69:11, 69:18, 70:12, 71:14 D dandiker 13:9, 18:3, 18:15, 18:17, 18:18, 49:12, 50:4, 88:21, 89:5, 89:8, 89:15, 89:19, 90:3, 90:14, 90:21, 91:3, 92:2, 92:7, 92:20, 93:4, 93:12, 93:22, 94:5, 96:11, 96:13, 97:4, 102:6, 103:14, 104:17, 109:12, 110:10, 114:21, 115:14, 118:19, 118:21, 118:22, 119:1 dandiker's 18:8, 19:5, 26:1, 105:14, 114:5, 119:13 dash 74:22 date 16:16, 97:6 dated 16:2, 142:14 david 2:21, 2:27, 5:20, 13:17 day 142:14, 143:9 days 17:20, 34:10, 63:3, 65:1, 65:4, 65:11, 65:22, 66:8,	110:18 dc 2:10 de 2:31, 5:22 dead 77:17 december 16:3, 16:17, 16:19, 46:17, 47:18, 47:22, 81:6, 83:5, 84:7, 85:20, 86:11, 111:12, 116:8 decided 73:22, 105:2, 106:10, 107:16 deciding 73:7, 73:11, 73:17 declaration 3:20, 11:20, 14:14, 14:19, 14:20, 15:9, 15:18, 16:2, 16:8, 16:10, 16:15, 16:21, 17:9, 17:15, 17:18, 17:22, 18:8, 18:12, 18:15, 18:16, 18:19, 19:2, 19:5, 19:9, 19:10, 25:14, 25:22, 28:15, 30:17, 30:21, 31:5, 45:9, 46:18, 48:21, 49:19, 51:7, 61:11, 64:18, 68:21, 108:12, 108:19, 109:10, 110:13, 111:4, 111:9, 113:8, 114:18, 115:17, 116:7, 118:6, 132:5
--	--	--	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

152

decrease 36:13, 36:16, 36:17 decreasing 91:14 defended 33:18 defending 34:7 define 107:12 defined 57:18 degradation 62:11 degrade 62:12, 63:2 delivery 139:12 demonstrate 108:9 demonstrated 42:2 demonstration 41:17 denton 77:9 dentson 77:4 department 74:16 depend 43:3 depending 43:1 depends 33:3, 65:8, 74:8 depo 5:7 depo's 10:21 deponent 3:2 deposed 6:22, 7:7, 7:11 deposition 1:21, 5:8, 7:3,	7:9, 7:14, 9:1, 9:21, 10:10, 10:15, 10:18, 11:7, 11:14, 11:17, 12:17, 12:21, 12:22, 14:8, 14:11, 33:6, 33:9, 108:20, 117:11, 117:14, 140:19, 141:9, 141:11, 141:16, 141:18, 142:1, 142:2 deputy 135:17 der 35:8 derivative 27:19, 27:20, 28:5, 29:9 dermatological 58:11 described 25:21, 38:20, 40:5, 40:6, 41:6, 42:5, 91:21, 103:10 description 3:10 design 57:2 designate 140:15 desired 41:4, 42:8 details 100:19 determined 35:15 develop 42:15, 43:5, 56:15, 56:16, 57:18, 58:19, 58:20 developed 21:19, 25:10, 55:7, 55:10, 56:12, 56:20,	57:15, 57:19, 58:2, 58:13, 67:5, 95:7, 95:8, 95:16, 136:8 developer 61:18 developing 38:8, 58:15, 58:16, 92:3 development 24:5, 44:4, 71:3, 71:6, 72:2, 72:17, 72:20, 72:22, 93:17, 126:1 developments 71:8 device 12:16 devices 11:2 dhc 1:22, 3:3, 6:10, 141:9, 141:15, 142:3, 143:5 diagram 35:16, 103:13 diagrams 96:9 died 123:21 difference 36:6, 107:18 differences 107:19, 108:5 different 24:6, 28:3, 35:18, 41:20, 43:2, 44:6, 56:13, 57:2, 59:7, 62:14, 69:3, 69:15, 73:2, 77:3, 91:18, 93:18, 104:14, 129:1, 133:1	difficulties 9:2 dilution 37:2 direct 3:4, 6:13, 69:13 directed 11:9, 30:4 directly 28:16, 130:4 director 19:11, 21:11, 24:4, 44:16, 68:7, 71:19, 71:20, 128:6, 135:17, 135:18 directs 8:5 disappeared 124:8 disbelieve 88:11 disclose 27:11 disclosed 26:10, 103:8, 104:20, 105:15 disclosures 102:19, 103:3, 109:20, 115:5, 129:5 discovery 44:4, 44:17, 45:2, 45:4, 72:20, 72:22 discuss 9:8, 12:17, 22:11, 130:22 discussed 34:11, 63:15, 89:8, 91:13, 92:5, 94:5 discussing 9:9 discussion 4:9, 13:1, 96:17, 97:4,
--	--	---	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

153

137:6 discussions 31:6, 34:17, 66:20, 67:11, 69:12 disease 58:20 distinguished 44:21, 45:2, 45:4, 45:5 division 129:19 doane 125:9 docket 122:8, 122:10, 122:14 doctor 6:2 document 15:6, 79:18, 83:8, 112:1, 113:4, 116:16 documents 22:16, 23:9, 23:14, 23:21, 23:22, 24:8, 24:9, 26:16, 66:21, 67:7, 67:12, 117:10, 117:13 dog 93:19 doing 70:21, 71:3, 71:8, 72:2, 103:13, 127:16 done 20:2, 21:18, 25:7, 35:9, 41:16, 90:7, 97:21, 128:9, 129:9, 136:16, 136:18, 137:18 dosage 61:10, 61:12 dose 65:18	doses 129:2, 129:8 dosing 64:17, 64:18, 64:20, 64:22, 65:5, 65:11, 65:16, 65:22, 66:8, 130:4, 130:9 doubt 81:18, 86:10, 86:12, 86:14, 88:17, 88:18 down 7:21, 54:5, 82:1, 83:2, 89:1, 108:21, 111:16, 114:8, 116:9, 118:10, 119:17, 121:10, 125:18 download 117:12, 120:1 draft 18:19, 139:1, 140:9 drafting 18:16, 75:14 drawn 135:7 drive 37:3, 68:2 driver's 143:7 dropping 86:18 drug 28:3, 28:4, 37:3, 39:18, 39:20, 39:22, 40:3, 40:7, 41:2, 41:8, 42:16, 42:19, 43:6, 44:4, 44:5, 44:17, 45:2, 45:3, 45:4, 55:7, 55:19, 55:20,	55:21, 56:1, 56:2, 56:3, 56:4, 56:5, 56:13, 57:2, 58:13, 58:15, 58:16, 61:18, 62:10, 62:15, 62:21, 63:2, 63:8, 63:11, 63:15, 65:5, 65:8, 71:8, 72:2, 72:17, 72:20, 72:22 drugs 55:11, 56:14, 56:20, 56:22, 57:20, 58:2, 58:10, 59:3, 71:3, 72:21 dsc 1:22, 3:3, 6:10, 141:9, 141:15, 142:3, 143:5 duly 6:11, 141:17, 143:7 during 9:7, 11:7, 34:11, 54:20, 72:1, 77:7, 86:14, 90:3, 101:11, 117:11, 117:13, 131:1, 141:11 E each 4:15, 69:4 earlier 45:20 early 99:2, 99:5 eastern 5:6 eellison@sternek- essler 2:13	effective 30:2, 44:6 efficacy 43:8 either 32:16, 77:4, 77:19, 78:20, 118:11 eldora 2:4, 5:16 electronic 11:2, 12:16 ellison 2:4, 5:16 elmilki 2:32, 4:19, 5:7, 54:12, 54:15, 101:5, 101:8, 130:16, 130:19, 138:3, 138:6, 138:18 else 10:6, 11:16, 14:10, 17:22, 18:11, 19:2, 19:8, 20:12, 30:17, 104:18 else's 10:12 email 20:12, 20:13, 90:15, 90:16, 120:1, 120:7, 120:10 emeritus 44:19 emil 2:33, 14:13, 15:20, 45:15, 45:22, 46:22, 48:13, 48:16, 49:7, 49:22, 50:18, 51:11, 52:5, 52:8, 52:11, 52:19, 52:21, 53:8, 54:5, 79:13, 79:21, 81:20,
--	--	---	--

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

154

82:3, 83:18, 84:2, 85:3, 85:12, 86:20, 87:3, 87:7, 87:9, 89:1, 102:2, 108:21, 109:4, 110:19, 111:16, 113:1, 113:3, 113:13, 113:15, 114:8, 114:14, 116:9, 118:2, 118:15, 119:17, 119:19, 120:9, 120:14, 120:20, 121:6, 121:10, 125:5, 125:18 employed 89:13, 142:9 employees 80:21, 81:1, 81:7, 81:11, 81:13, 85:11, 85:21, 86:1, 86:2, 86:8, 86:10, 86:15 enabled 4:12 end 124:14 ended 84:7, 96:10 ending 83:5 english 26:20 entered 125:22, 131:19, 137:13 entitled 53:15, 121:21 entity 33:13, 44:14, 45:6, 58:19 epilepsy 73:3 equally 44:5, 44:6	er 63:7 errors 80:16, 84:22 esquire 2:3, 2:4, 2:5, 2:6, 2:7, 2:21, 2:22 essence 34:13, 34:17 ester 62:21 estimate 26:22 et 43:4 europe 93:19, 128:9, 129:2, 129:13, 134:19 european 53:6, 53:19 even 104:15, 130:1, 130:6, 131:22 evening 139:6 event 46:14 ever 6:22, 7:3, 7:11, 33:12, 44:13, 79:1, 79:5, 79:7, 84:14, 86:7, 112:9, 117:4, 117:17, 117:21, 126:19, 126:22, 130:7, 131:20, 131:22 every 44:22 everybody 52:22, 113:4, 121:14 everyone 4:2 everything 31:2	evident 96:18 exact 13:21, 16:16 exactly 40:14 examination 3:4, 6:13 examined 6:11 examiner 33:19, 100:2 example 56:5, 56:20, 69:10, 79:8 examples 102:16 except 53:19, 74:2, 134:22 exchange 79:2, 84:21 excluding 66:19 excuse 7:10, 20:16, 39:11, 40:11, 75:11, 86:18, 98:10, 120:12, 123:2, 135:4 executive 44:16 exercise 38:16 exhibit 3:11, 3:12, 3:14, 3:16, 3:17, 3:19, 3:20, 3:21, 14:15, 15:4, 15:9, 45:16, 48:21, 51:12, 51:15, 52:7, 79:16, 80:3, 80:20, 81:4, 81:21, 82:8, 83:2, 83:6, 83:19, 83:21,	84:6, 85:7, 85:8, 85:20, 86:21, 89:2, 101:17, 101:22, 108:22, 109:2, 109:5, 109:8, 110:13, 111:17, 111:19, 113:2, 113:14, 113:20, 114:9, 114:11, 114:17, 115:16, 116:10, 116:12, 117:1, 118:3, 118:20, 119:18, 119:20, 121:7, 125:6, 125:19 exhibits 116:18 exist 75:6 existence 131:8, 131:12, 133:3, 134:1, 134:13 expedited 139:13, 139:19, 140:6, 140:10, 140:12 expert 37:15, 37:18 expertise 48:11, 65:17 expires 143:19 explain 128:18 explanation 103:15 extended 61:4, 96:15 extended-release 60:17 extending 91:9 extent 37:15, 67:6, 75:22, 79:19, 112:1
--	---	--	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

155

eyedrops 56:21, 64:22	111:20, 116:13, 117:2, 117:8	firm 76:1, 76:10, 77:9, 81:16	folks 74:21, 100:20
eyes 44:7	filed 77:2, 82:11, 87:21, 97:7, 97:10, 97:18, 98:2, 98:14, 102:9, 109:16, 115:3, 122:1, 122:15, 122:19, 123:9, 131:11, 134:5	first 9:15, 16:14, 57:8, 84:3, 96:2, 102:21, 103:10, 105:1, 118:10, 125:6, 129:18, 131:18, 132:3, 133:2, 133:8, 133:22, 134:10, 134:12	following 128:17
F			follows 6:12
f-r-e-d 32:4	files 10:14, 10:17, 19:12, 20:4, 20:6, 21:8, 22:10, 22:11, 23:15, 23:17, 67:7	firsthand 129:19	forces 35:8
facilitate 37:3	filing 73:21, 80:16, 84:21, 88:12	fiscal 3:13, 3:15, 79:15, 83:5, 84:7	foregoing 141:10
fact 37:1, 126:4	filings 79:2, 79:5	five 17:19, 26:18, 27:1, 32:15, 32:17, 74:11, 93:16	foreign 82:10, 82:11, 87:20, 87:21, 88:13
fairly 94:9, 94:10, 95:14, 126:4	final 140:5	five-minute 130:12	forgive 99:10
fall 22:18	finally 100:2	flavor 134:22	forgot 70:11, 140:14
far 32:12	finances 79:11	flip 49:21, 52:11, 113:13, 118:15	form 3:12, 3:14, 25:4, 30:11, 35:6, 38:10, 38:21, 41:13, 43:2, 43:11, 43:22, 46:19, 47:19, 55:9, 56:18, 59:11, 59:21, 60:5, 60:12, 60:17, 61:6, 61:10, 61:12, 61:20, 62:12, 62:13, 62:20, 64:3, 64:12, 65:6, 65:13, 66:1, 67:2, 67:15, 71:12, 79:14, 83:4, 84:7, 84:15, 86:4, 90:5, 97:11, 97:20, 98:15, 99:1, 104:22, 107:20, 108:7, 108:13, 111:11, 125:3, 127:21, 128:4, 128:20, 131:16
father 22:18, 23:1	financial 79:10	floor 1:31, 2:9, 10:3, 142:5	formation 91:14
favorites 103:12	financially 142:11	florida 1:32, 5:9, 6:21, 20:22, 23:4, 44:17, 45:3, 80:6, 84:9, 141:4, 141:13, 142:5, 143:1, 143:6, 143:17	
february 1:26, 5:4, 142:6, 142:14, 143:6, 143:10	find 26:7, 28:3, 94:14, 95:4	focus 55:3, 58:15, 96:17	
feel 32:22	finding 91:12, 103:15	focusing 102:15	
feet 50:12	findings 25:9, 26:4, 26:5, 26:6, 27:4, 27:6, 27:10, 89:9, 91:4, 104:17		
few 9:15, 33:4, 68:6, 110:18, 131:5	fine 14:22, 72:6, 130:14		
field 40:15, 57:17, 58:7, 65:17, 72:22			
fields 57:2			
figure 93:13			
figured 105:8			
file 3:17, 10:16, 20:1, 73:18,			

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

156

former 19:11 forming 30:12, 35:20 forms 34:16, 38:12, 39:1, 40:2 formu 25:18, 42:3 formulate 55:16 formulation 13:20, 13:22, 14:5, 25:10, 25:13, 28:15, 29:21, 36:14, 36:18, 38:8, 38:15, 39:16, 40:2, 42:16, 55:14, 55:17, 55:22, 56:9, 56:11, 58:21, 61:1, 62:15, 63:4, 63:8, 63:15, 64:5, 65:2, 105:9, 126:2, 135:10 formulations 16:12, 41:20, 49:12, 50:5, 53:7, 53:16, 55:8, 55:11, 55:18, 56:8, 56:12, 56:15, 56:17, 57:6, 57:15, 57:18, 57:19, 58:17 formulator 63:15 forth 134:15, 141:16 forward 4:4, 120:13 found 91:8, 105:5, 105:17 foundation 38:10, 42:12,	44:1, 59:12, 59:20, 60:6, 60:13, 61:7, 61:21, 62:19, 63:17, 65:6, 65:13, 66:1, 79:20, 80:17, 82:21, 83:9, 85:1, 88:7, 88:15, 98:15, 106:6, 107:20, 108:7, 137:10 founder 44:10 four 14:9, 17:19, 74:11, 129:1 fox 2:8 fpr 142:17 fragments 57:11 frame 55:4, 73:5, 74:13, 75:1, 112:21, 134:2, 136:12 fred 31:13, 32:3 frequency 64:21 friend 22:19 front 34:4, 34:7, 45:9, 117:1, 138:22 frost 100:9, 100:10 full 111:20, 116:1, 117:2, 117:5, 117:8, 140:6 full-sized 46:1 further 8:15, 138:9,	141:21, 142:2, 142:7 fuzzy 131:6 G gels 56:21 general 64:20 generally 40:14, 60:14 generic 81:16 getting 57:9, 57:10, 139:1 gigantic 36:16 give 6:5, 7:22, 9:12, 9:19, 44:8, 73:19, 103:15 given 44:21, 60:16, 63:2, 73:1, 128:21, 141:19 gives 43:4 glanced 111:2 go 7:13, 31:16, 46:22, 48:13, 49:7, 50:2, 50:18, 51:5, 51:11, 52:5, 52:19, 63:10, 70:17, 72:6, 80:19, 80:22, 81:1, 85:17, 86:21, 92:1, 94:22, 107:14, 110:21, 113:1, 118:2, 121:6, 121:10, 124:7, 125:5, 126:19,	127:11, 137:22, 138:12 goes 112:12 going 4:4, 14:18, 28:10, 34:9, 54:6, 55:1, 79:17, 96:19, 101:1, 127:14, 131:3, 134:15 goldstein 2:8 good 5:20, 131:20, 138:8, 140:8 graduate 44:19 great 87:10, 113:6, 120:8, 137:21, 138:10, 139:4, 139:21 ground 7:13 group 41:19 groups 72:21 guess 32:14, 67:10, 96:10, 102:10, 112:11, 112:17, 128:17, 137:4 guy 68:6 H hand 6:3, 14:20, 64:7, 143:9 handle 64:7 handled 117:7 happened 47:12, 98:21, 100:16, 134:17
--	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

157

happening 70:14, 85:6, 103:15 happens 9:2, 46:14, 46:15 happy 101:2 harbour 6:21 head 7:22, 74:17 heading 76:10 hear 22:21, 124:2, 124:5 heard 31:9, 31:11, 31:13, 31:19, 31:22, 32:3 hearsay 85:8, 86:4, 88:2, 88:15 help 4:12, 18:18, 47:8 helpful 10:20, 50:11, 50:15 here 4:19, 10:19, 21:14, 29:1, 45:11, 49:2, 53:16, 53:20, 53:22, 67:9, 68:5, 81:12, 82:7, 84:22, 86:1, 86:18, 88:12, 89:20, 91:4, 105:13, 109:9, 110:1, 113:8, 118:5, 120:5, 122:17, 124:7, 124:17, 131:5, 136:12, 139:18 hereby 141:14	hereinbefore 141:16 hh 143:18 high 37:4, 38:14, 38:18, 63:10, 104:13 highest 36:21, 37:1 highlight 53:8 history 3:17, 111:20, 112:5, 112:16, 116:13, 117:2, 117:5, 117:22 hof 1:22, 3:3, 6:10, 141:9, 141:15, 142:3, 143:5 hold 82:4, 82:9, 87:20, 132:17 holds 88:12 home 6:20, 137:20 honestly 96:4 hopewell 1:6, 4:21, 5:15, 23:9, 23:14, 23:21, 23:22, 24:8, 24:10, 24:16, 139:10 hopewell's 79:16 hour 32:10, 32:18, 33:10, 54:6, 101:2 hourly 33:22 hours 14:9, 17:19,	32:15, 32:18, 33:4, 33:6, 33:8, 140:3, 140:6 howes 68:7 however 8:19, 33:5, 91:16, 125:10 human 25:9, 26:5 hundred 75:2, 82:11, 87:21, 88:14 hundreds 135:8 hungarian 24:2, 24:3, 24:12 hungary 20:3, 25:8, 68:3, 68:13, 68:15, 68:17, 69:4, 69:8, 69:19, 70:2, 70:13, 70:20, 71:17, 72:3, 92:22, 93:6, 93:16 hydrogen-bonded 35:17, 35:18, 96:19 hydrolyzed 63:1 hydroxy 103:18, 103:22 hydroxypropyl 103:20, 103:22 hydroxypropyl-be- ta 103:11, 104:2 hydroxypropyl-be- ta-cyclodextrin 91:10, 91:20 hyperhidrosis 58:12 I idea 107:1, 129:22	ideal 35:17 identification 143:7 identify 4:13, 4:15, 5:11 impact 62:15 important 7:16, 41:17, 46:14, 100:2, 102:15, 104:2, 137:3 in-person 104:18 in-room 2:34, 123:14, 123:19 inaccurate 82:20 inc 1:6, 4:21 included 47:14, 88:20, 96:9, 99:18 including 11:12, 67:19 inclusion 34:15, 35:6, 35:14, 35:19, 36:8, 91:13, 96:18, 103:16, 105:20 incorporate 35:12 incorporated 35:7, 36:1, 122:1 incorporation 35:12, 36:6, 91:11, 105:7, 105:18 increase 35:11, 36:3, 36:13, 42:10, 43:17, 59:17, 105:6, 105:17
--	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

158

increased 91:11	25:8, 68:3, 69:14, 71:21,	interruptions 4:18	113:20, 118:6, 118:20, 119:3
increasing 91:14	71:22, 92:22, 93:10, 93:20,	intervenous 135:10	inventorship 105:2, 105:22,
indicate 27:13	95:15, 128:7, 128:10, 128:22,	interview 33:18, 34:11,	106:4, 107:15, 107:19, 108:6
indicated 129:12	129:4, 132:10, 133:14, 135:14,	78:16, 78:18, 112:19, 116:5,	involved 7:6, 44:20,
indicating 130:9	135:17, 136:9, 136:20	133:5	57:1, 65:19,
indication 29:20	instruct 125:15	intestinal 37:9, 37:12	79:10, 79:11,
indirectly 128:7	instructed 96:7	intramuscular 59:8	92:8, 93:17,
individual 43:1, 43:3	intellectual 73:15	intrapatient 41:22	126:8, 129:15,
individuals 127:4	inter 135:10	intravenous 59:8, 104:15	131:21, 132:13,
inflammation 73:3	interaction 91:19, 94:19,	intrinsically 104:3	133:12, 134:9, 137:15
inger 76:4, 76:6, 76:12	137:16	introduce 14:14, 28:6,	involvement 21:15, 135:1
ingersoll 76:13, 76:16, 76:19	interactions 94:21	29:3, 45:20, 111:18	ipr 1:16, 1:17,
inhaled 59:3	interest 58:6, 58:7, 63:20, 94:14,	introduced 27:21, 94:13, 112:2, 119:20	5:3, 15:10, 15:11
initial 98:20, 99:12	95:4	introduction 79:18, 83:8	ipr-2 5:2
inject 38:12	interested 84:19, 86:7, 100:10, 142:11	introductory 111:1	iprs 15:15
injectable 38:12, 39:1, 40:2, 59:7, 59:10, 60:10, 60:19, 61:5	interests 52:15	invented 55:11, 57:20, 106:8	ireland 69:18, 71:13,
injectables 59:1, 60:4	interference 7:6, 7:10	invention 25:22, 26:1, 26:2, 107:22, 108:9	72:3, 89:17
injected 59:16	interferes 9:3	inventions 108:1	irrelevant 48:8
injection 64:21	internal 24:2, 24:13, 98:7	inventor 13:8, 13:11, 16:11, 49:4, 96:6, 106:9, 107:17, 114:22, 118:11	isomers 104:4
inspect 12:6	interpatient 40:17, 40:18, 40:21, 41:7, 41:18, 41:22, 42:9, 42:18, 42:22, 43:7, 43:17, 44:3	inventors 102:3, 102:6, 109:13, 113:9,	issue 9:9, 29:19, 90:7, 99:22, 134:15
institute 20:2, 21:19, 24:3, 24:13,	interpretation 103:14		issued 13:10, 48:22, 52:2, 77:3, 78:20
			item 49:11, 50:3, 50:19, 51:6, 102:2
			iv 41:21
			ivax's 70:5, 79:1,

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

159

79:5, 83:4, 84:21, 85:19, 88:12 ivaxx 122:13 iwatch 12:10	kessler 2:8, 5:15, 5:17, 5:19 kgaa 2:31, 6:1 kind 21:15, 38:2, 40:1, 46:1, 48:16, 57:10, 76:10, 85:11, 121:13, 126:5, 128:12, 133:15, 134:18 kinds 69:5, 73:2, 73:3 kingdom 72:3 knew 95:9, 95:11, 128:12, 131:21, 135:18, 135:19, 136:6, 137:14 knowledge 66:11, 71:13, 105:2, 127:3, 127:8, 127:17, 127:18, 128:1, 129:19 knowledgeable 132:3 known 35:4, 35:6, 64:9, 64:14 knows 63:3 ks 80:13, 84:19	44:13, 142:4 large 36:7, 36:10, 57:1, 69:2, 92:21, 143:17 larger 99:21 largest 81:16 last 15:17, 22:16, 22:18, 23:1, 23:3, 46:11, 46:21, 47:5, 47:9, 47:14, 47:22, 75:12, 77:19, 78:6, 104:8, 108:17, 110:16, 111:3, 111:8, 112:15, 115:21, 116:3, 116:6 late 16:18 later 99:15 latter 36:15 law 17:6 lawyer 43:20, 74:10, 105:3, 106:11, 107:11, 107:16, 117:6 lawyers 76:16, 76:19, 123:4, 123:11, 124:19, 124:21 leads 91:10 learned 18:2, 18:4, 18:6, 18:14 least 40:15, 106:8, 106:18 lectureship 44:21, 45:4	led 33:20, 91:21 left 66:17, 66:19, 66:22, 67:6, 67:10, 67:13, 75:5, 78:12, 89:14, 122:5 legal 106:3, 107:3 less 60:10 let's 101:16, 116:2, 137:22, 138:12 leukemia 95:9 level 42:8, 129:3 levels 38:14, 38:18, 43:5 levi-minzi 74:19 lhassan 2:32, 5:7 license 143:7 licensed 67:4 life 62:14, 89:8, 89:19 lifetime 47:13 likely 62:12 limited 35:15, 68:5, 125:15 line 121:13, 121:20, 131:3 lines 124:14 link 117:12, 120:2, 120:3, 120:10
J			
job 72:19 jobs 135:20 john 68:7 join 89:5 joined 66:15, 99:3 joint 118:11, 128:1, 128:14, 131:8, 131:13, 131:19, 132:20, 134:13 judge 105:3			
K			
k 3:12, 3:14, 79:8, 79:14, 80:2, 80:12, 81:4, 81:21, 82:8, 83:4, 83:13, 84:7, 84:15, 85:5, 85:19 katherine 77:6, 77:13 kathy 77:10, 77:21, 78:13, 78:15 keep 57:10, 76:7, 76:9, 98:12 keeping 97:8, 97:17 kept 97:21, 98:3			
	L		
	l-e-v-i 74:22 l-u-b-l-i-n 32:4 labor 129:19 laboratories 1:29, 44:11,		

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

160

liquid 55:17, 61:19, 63:16, 64:5 list 47:4, 47:11, 47:15, 48:2, 48:3, 48:21, 49:8, 51:15 listed 51:22, 72:13, 81:12 listing 118:19, 119:2 lists 80:2, 102:6 literally 116:20 little 24:6, 51:4, 84:3, 85:13, 85:14, 87:9, 109:4, 113:5, 126:7, 128:19, 131:5, 133:1, 137:4 liu 2:6 located 9:21, 68:13, 68:14, 69:3 locations 69:8, 71:1 london 70:10 long 14:7, 17:17, 35:5, 99:4, 100:16, 110:22, 112:8, 115:19, 140:9 look 41:16, 48:2, 77:3, 95:13 looked 53:17, 80:13, 94:15, 96:14 looking 84:19, 101:22,	116:20, 119:1, 120:21 looks 46:8, 124:1 lost 75:8, 106:14, 123:14 lot 67:7, 104:6, 111:1 low 95:14 lower 63:7 lublin 31:13, 32:3 lui 5:17 M m-e-i-b-o-h-m 32:1 m-i-n-z-i 74:22 m-u-n-a-f-o 31:20 made 20:13, 93:5, 105:20 madeleine 2:7, 5:18 mail 20:12 main 28:4, 36:19, 38:2, 57:17, 58:7, 68:2, 71:21, 72:19, 96:17, 99:22 mainly 47:15 make 27:19, 29:8, 29:18, 29:21, 30:13, 36:20, 39:15, 46:1, 55:19, 55:20, 63:1, 71:18,	91:3, 92:17, 94:14, 95:5, 95:13, 96:2, 99:11, 99:16, 105:12, 116:19, 117:19, 137:19 makes 104:5 making 38:6, 89:9, 91:4 managing 67:19, 71:19 many 26:16, 26:21, 33:6, 56:1, 61:22, 63:19, 67:22, 68:22, 71:6, 72:17, 72:20, 74:7, 77:6, 88:9, 90:2, 90:6, 91:21, 94:21, 102:14, 103:12, 104:14 march 122:1 marcus 92:15, 92:20, 94:1, 94:7, 95:15, 95:20, 98:20, 99:3, 99:13, 99:14, 100:4 mark 79:15 marked 14:15, 15:4, 109:2, 116:12, 140:17 marriage 142:9 mary 77:6, 77:13, 77:20, 78:10, 78:13, 78:14 massachusetts 2:25	material 90:11, 104:3, 132:13, 134:19, 134:20, 135:4, 135:5, 135:6, 135:12 materials 135:22, 136:3 matter 4:21, 12:10, 38:7, 65:5, 65:12, 65:21, 66:7, 102:16, 118:12, 122:21, 135:19, 142:12 maybe 17:5, 28:3, 30:2, 32:15, 46:1, 50:12, 70:9, 72:9, 74:1, 74:11, 75:22, 77:21, 84:2, 84:16, 90:10, 108:1, 108:19, 110:18, 111:12, 113:5, 114:14, 128:18, 137:12, 137:13 mbond@sternekess- ler 2:16 mcguffin 2:22, 5:22, 78:3, 137:21, 139:3, 139:8, 140:11 mcguffin@wilmerh- ale 2:28 mean 9:22, 13:2, 17:6, 27:17, 29:16, 30:9, 30:14, 34:1, 38:1, 39:22, 40:22, 44:4, 57:19, 59:15, 61:11, 64:18,
---	--	--	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

161

69:11, 70:9, 73:21, 73:22, 74:7, 74:8, 89:7, 90:7, 91:7, 92:14, 98:17, 100:7, 102:14, 106:20, 107:22, 110:22, 112:8, 123:2, 123:4, 126:18, 132:7, 139:3 means 41:19, 42:22, 47:10 meant 92:16 media 4:19 medical 65:16 medication 11:18, 60:11, 60:20 medications 59:18 medicines 60:16 meet 14:1, 14:7 meeting 90:1, 92:8, 104:18, 130:7 meetings 69:12, 69:13 meibohm 31:11, 31:22 member 23:4 members 92:12, 92:19 memories 22:20 ment 12:10 mentioned 25:6, 53:19, 69:17, 70:13, 71:2, 74:4,	88:21, 92:11, 93:8, 93:15, 96:14, 103:18, 104:12, 135:7 mentioning 30:8 mentions 121:20 merck 1:12, 2:31, 4:22, 6:1, 17:8, 23:8, 23:12, 23:13, 23:21, 23:22, 24:1, 24:7, 24:9, 24:11, 24:13, 24:15, 33:13, 35:21, 44:14, 45:6, 78:17, 132:5, 141:22 merck's 17:14, 23:18, 24:19 message 17:5 messages 11:7, 11:13, 12:16 messaging 123:20 met 13:15, 23:7, 89:7, 89:19, 89:21, 135:2 methods 21:20, 136:8 miami 1:32, 5:9, 20:20, 20:21, 68:5, 68:13, 68:14, 68:16, 80:6, 84:9, 89:20, 90:2, 90:20, 92:9, 142:5 mic 4:11 michael 2:34	middle 35:7, 53:5, 84:8, 85:11 might 9:1, 61:18, 63:16, 65:1, 111:6, 132:14 mind 64:1 minor 99:22, 100:13 minute 124:8 mispronouncing 31:15 missed 77:11, 103:18 mixing 96:15 mixture 104:4 mlaver@wilmerhale 2:27 modified 30:1, 30:3 modify 27:12, 27:14, 27:15, 27:17, 28:1, 30:5, 55:20 molecule 27:22, 28:6, 30:2, 30:6, 30:12, 30:13, 35:6, 35:7, 36:5, 62:10, 91:17 money 32:16, 32:20, 33:1, 33:7 monitor 5:5 month 63:12, 68:15, 70:3 monthly 20:7, 20:8, 21:2, 25:8,	26:18, 26:19 months 27:12, 29:9, 70:4 moot 29:14, 29:19 more 4:17, 12:9, 36:6, 39:2, 39:3, 39:8, 39:10, 39:12, 44:7, 51:18, 60:22, 62:11, 62:13, 72:9, 72:12, 87:9, 88:14, 100:1, 127:16, 128:19 morning 5:20 most 21:18, 58:11, 74:9, 102:10, 102:12, 115:18 mostly 26:14, 71:4 mouth 61:15 move 117:9 much 32:12, 33:1, 33:3, 34:6, 41:4, 51:4, 58:16, 94:19, 100:1, 102:17, 120:14, 133:7, 138:15 muck 105:13 mucosa 37:1, 37:3, 37:9, 37:12 multiple 17:20, 29:22, 30:4, 121:22 munafu 31:9, 31:19 must 16:16, 48:4,
---	---	--	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

162

78:8, 89:10, 96:6, 97:6, 128:8, 128:12 mute 4:10 myself 42:21	new 46:13, 55:11, 56:14, 58:19, 67:5, 72:20, 72:22, 79:19, 83:8, 94:9, 94:10, 99:5, 111:22, 112:1, 116:15, 117:10 next 13:17, 45:22, 49:21, 77:18, 112:14, 113:14, 118:16, 119:22, 122:7 nice 140:6 nicholas 1:22, 3:3, 4:20, 6:10, 6:17, 44:21, 45:1, 141:9, 141:15, 142:3, 143:5 nominated 23:4 noninclusion 34:15 nonverbal 7:21 normally 61:13 norman 77:16, 77:20, 78:7, 78:11, 125:9, 125:12 northern 89:17 notary 141:1, 141:13, 143:16 note 116:17 notes 12:2, 137:19, 141:11 nothing 6:5, 28:11,	78:1 november 71:20 number 3:16, 3:18, 3:19, 3:21, 4:20, 5:2, 33:8, 38:2, 38:4, 55:7, 56:14, 57:1, 57:19, 61:10, 64:17, 65:4, 65:11, 65:21, 66:7, 69:15, 81:11, 81:13, 81:19, 82:14, 82:16, 82:17, 85:22, 86:2, 86:8, 88:4, 88:6, 92:21, 93:13, 93:16, 109:3, 111:21, 114:12, 116:13, 122:14, 143:18 numbers 13:13, 86:16, 122:5, 122:8, 122:10 nw 2:9	56:18, 59:11, 59:20, 60:5, 60:12, 61:2, 61:6, 61:20, 62:19, 63:9, 63:17, 64:2, 64:11, 65:6, 65:13, 66:1, 67:2, 67:15, 71:9, 73:12, 75:16, 76:21, 80:17, 82:21, 85:1, 86:4, 88:2, 88:7, 88:15, 90:5, 97:11, 97:20, 98:15, 99:1, 104:22, 106:5, 107:20, 108:7, 108:13, 109:17, 111:11, 116:15, 116:19, 125:3, 125:14, 127:21, 128:4, 128:20, 131:16, 137:10 objections 28:21, 37:19, 66:9 objective 38:5, 38:6, 39:2, 39:6, 39:9, 39:10, 39:12, 42:15, 44:5, 58:18 obvious 38:1, 38:11, 42:15, 43:16, 43:19, 43:20, 43:21, 44:2, 91:18 obviously 43:19, 69:19 occasionally 23:3 occurred 142:3 off-the-record 4:9
N n 49:11, 50:4 n-i-c-h-o-l-a-s 6:17 n-oxide 29:8, 29:18 name 6:16, 19:13, 70:11, 74:18, 77:11, 114:1, 114:5, 119:8, 119:14 named 49:4 names 31:16, 31:17, 74:15, 93:1, 94:1, 109:12, 114:21, 123:10 nancy 1:35, 141:7, 141:12, 142:17, 143:15 nature 11:3 necessary 116:19 need 8:8, 8:15, 11:18, 11:22, 50:10 needed 23:5 never 65:19, 70:11, 84:17, 97:22, 99:19, 117:7, 132:21, 135:1, 135:2, 135:19			
		O oath 3:7, 142:18 object 7:15, 8:3, 28:10, 41:11, 79:17, 83:7, 85:7, 111:22 objection 25:4, 29:4, 30:10, 37:6, 37:14, 38:9, 38:21, 39:14, 41:10, 41:13, 42:12, 43:11, 43:22, 46:19, 47:19, 55:9,	

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

163

office 1:1, 5:2, 8:10, 9:6, 17:6, 19:3, 19:7, 23:16, 33:18, 34:4, 34:5, 34:8, 34:12, 35:2, 68:5, 68:16, 70:2, 73:22, 74:1, 74:4, 74:5, 74:11, 76:20, 77:8, 78:16, 78:18, 78:20, 89:15, 89:20, 90:18, 90:19, 92:5, 92:9, 94:11, 95:3, 99:6, 112:19, 116:4, 133:5 officer 67:20, 68:9, 69:7, 79:11 offices 69:16, 70:6, 70:11, 71:8, 72:2, 142:4 official 143:9 often 70:5, 71:20 oh 62:7, 64:10, 78:8, 83:18, 92:1, 93:4, 97:2, 101:20, 103:1, 107:14, 110:21, 111:6, 120:4, 120:6, 126:19, 127:13, 132:9, 139:13 olga 2:5, 5:16 once 70:3, 70:9, 89:8, 89:19 once-a-month 70:20, 70:21	oncologist 95:10 one 4:6, 7:17, 12:9, 24:15, 35:14, 36:9, 36:19, 38:2, 40:16, 41:1, 58:11, 65:22, 66:8, 70:4, 74:9, 74:17, 80:18, 86:19, 89:21, 90:2, 92:8, 100:5, 103:12, 104:18, 105:1, 105:5, 106:8, 106:9, 106:18, 112:4, 123:3, 124:19, 124:21, 127:16, 135:20, 137:2 one-word 28:21 ones 63:14 ongoing 128:13 only 36:8, 73:14, 90:20, 93:15, 104:8, 105:8 oops 51:4 opartington@ster- nekessler 2:14 open 10:14, 83:3 operating 8:22 ophthalmic 56:20, 58:10 opinion 7:5 opportunity 12:6 opposing 120:10	optimize 37:8, 105:9 optimizing 37:11 oral 13:20, 13:22, 14:5, 16:12, 38:4, 38:15, 39:16, 40:2, 41:20, 42:15, 49:12, 50:4, 53:6, 53:16, 57:5, 57:7, 57:15, 57:21, 58:2, 59:9, 60:3, 60:9, 60:16, 60:22, 61:10, 61:12, 61:13, 61:14, 61:19, 63:16, 126:1, 135:9 orally 94:15, 95:5, 95:13 order 19:2, 19:9, 30:17, 31:5, 115:20, 139:4, 140:16 organized 133:13, 135:14, 135:21, 136:17 original 118:10 originator 94:9 otc 72:21 other 10:17, 11:2, 12:16, 13:3, 33:12, 40:16, 41:1, 42:10, 45:6, 47:11, 56:1, 58:22, 63:14, 63:22, 69:7, 70:5, 71:1, 72:21, 77:9, 90:8, 90:10, 92:11, 93:4, 93:7, 94:2, 96:5, 99:13, 99:18, 100:11, 127:12, 127:18 others 44:8, 93:11, 100:9 otherwise 11:20, 31:4, 55:4, 63:11, 94:21, 142:11 out 17:9, 17:14, 22:9, 22:15, 22:22, 35:10, 58:1, 63:11, 76:10, 93:13, 105:8, 113:5 outcome 29:11, 29:14, 142:11 outside 63:20, 69:8, 76:1, 76:10 outsiders 98:8 over 4:6, 7:13, 7:17, 14:3, 17:20, 29:16, 49:5, 59:10, 60:4, 61:19, 63:16, 76:7, 127:14 overall 68:22 owed 32:17, 32:20, 33:7 own 41:21, 73:14, 74:2 owner 1:14, 2:19, 5:21
--	--	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

164

ownership 67:6	59:10, 60:11, 60:20	patient 41:1, 41:3, 59:17	118:3, 118:20, 119:5, 121:7, 121:18
P	parenterals 60:4	patients 41:19, 41:21, 43:9, 44:6, 128:10, 135:8	pending 8:11
p-r-o-p-y-l 104:1	part 21:13, 21:22, 22:3, 22:4, 22:7, 35:7, 48:20, 51:14, 69:3, 75:5, 79:10, 91:17, 116:3, 120:21, 121:14, 125:1, 126:5, 126:14, 126:20, 126:21, 129:16, 134:6	paulsen 1:35, 141:7, 141:12, 142:17, 143:15	people 59:16, 67:22, 68:4, 68:17, 69:3, 74:7, 74:12, 74:16, 78:17, 90:8, 90:10, 93:4, 93:7, 93:9, 93:13, 93:16, 94:2, 96:6, 100:11, 104:14, 124:2, 133:13, 135:2
page 3:2, 3:10, 15:17, 15:19, 16:5, 45:17, 45:22, 46:3, 46:22, 48:14, 48:15, 48:17, 49:7, 49:22, 50:2, 50:3, 51:11, 52:6, 52:14, 52:20, 80:19, 81:20, 81:21, 82:2, 82:7, 82:8, 84:3, 85:4, 85:11, 86:21, 92:18, 113:2, 113:14, 113:19, 114:15, 118:2, 118:16, 118:20, 119:1, 119:5, 121:7, 121:8, 121:11, 121:17, 123:7, 124:13, 124:15, 125:6	particular 58:21	pc 27:6	percent 39:2, 39:3, 39:4, 39:6, 39:8, 39:13, 39:17, 40:10, 40:12, 40:15, 41:1, 41:2, 75:2, 105:21
	particularly 86:7	pct 3:11, 13:2, 13:3, 16:11, 25:21, 26:7, 26:11, 27:6, 27:7, 34:2, 34:7, 34:12, 34:18, 35:21, 38:20, 40:5, 41:6, 42:5, 73:18, 75:14, 75:21, 76:11, 76:19, 78:11, 78:20, 97:10, 97:18, 98:2, 98:14, 101:15, 102:1, 102:5, 102:8, 102:20, 103:4, 103:9, 104:21, 105:16, 108:11, 108:18, 119:21, 120:16, 121:2, 121:9, 122:2, 122:15, 122:19, 123:7, 124:14, 125:2, 125:13, 131:11	percentage 39:17
pages 1:24, 26:21, 26:22, 27:2, 46:9, 141:10	parties 128:3, 142:8, 142:10	pdf 15:19, 45:17, 47:1, 48:14, 49:8, 51:12, 52:6, 52:20, 80:19, 81:21, 85:4, 86:21, 113:2, 113:20,	perfect 87:3
painful 60:10	partington 2:5, 5:16		performed 93:20
paper 14:20, 45:9, 52:9, 127:6, 127:9, 127:18	party 84:17, 141:21		period 134:18
papers 86:18	passed 22:19, 78:9		permitted 9:8, 37:18
paragraph 52:21, 53:3, 82:4, 87:17, 118:9	past 80:22		person 2:7, 2:21, 2:22, 2:32, 4:3, 5:18, 23:7, 70:6, 90:1, 90:17, 90:19
parenteral 59:5, 59:7,	patents 13:4, 13:5, 13:8, 13:10, 13:18, 13:19, 26:7, 46:13, 48:22, 49:5, 49:9, 53:6, 53:15, 53:17, 53:19, 53:21, 74:2, 77:4, 78:11, 78:20, 82:1, 82:9, 82:10, 82:15, 87:1, 87:14, 87:21, 88:5, 88:9, 88:13, 96:5, 99:19		person's 19:13
			personally 126:8, 143:5
			peter 19:14, 68:6

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

165

petitioner 1:8, 2:2, 5:15 pharma 1:6, 4:21 pharmacokinetic 21:20, 24:5, 25:7, 26:4, 27:5, 41:19, 93:2 phase 35:15, 96:8, 103:13 phd 1:22, 3:3, 6:10, 141:9, 141:15, 142:3, 143:5 phone 4:15, 11:3, 11:4, 11:6, 11:7, 11:13, 12:15, 23:6 physically 68:13 physician 129:15 pietanza 2:34, 123:14, 123:19 pill 36:16, 36:22, 59:16, 64:21 pills 61:13, 61:16 place 5:8 places 69:15, 69:16 plan 11:9, 11:15 planet 5:7, 10:21 plaques 110:8 platform 10:15, 10:18 please 4:5, 4:6, 4:10,	4:12, 5:11, 6:3, 6:15, 7:22, 8:9, 10:1, 15:17, 19:15, 45:15, 46:22, 48:13, 49:7, 49:22, 50:10, 51:11, 52:5, 52:19, 79:13, 79:16, 79:21, 80:20, 81:22, 83:3, 83:19, 85:3, 86:21, 87:9, 109:1, 111:18, 113:1, 113:2, 114:10, 116:11, 118:2, 118:15, 121:6, 121:10, 125:5, 125:6, 125:19, 139:9, 139:19, 140:5 point 8:8, 24:20, 66:22, 67:13, 68:3, 73:1, 81:16 poland 129:13, 135:13 position 21:11, 99:3, 99:21 positive 75:3 possible 60:19, 61:8, 120:9, 140:5 potential 106:9 practical 39:15 practically 108:9 practice 108:5, 108:8 prednisolone 55:17 prefer 61:19, 63:16	preliminaries 102:16 prepare 12:20, 14:8, 19:10 prepared 26:13 preparing 17:17, 33:8 presence 10:5, 19:18 present 2:30 president 74:1, 94:10, 100:9 press 132:2 pretty 140:7 previous 85:8 previously 79:19, 80:11 primarily 136:19 primary 64:4, 68:16, 70:1 prime 58:6, 58:7, 58:18 principle 69:9 privilege 9:9, 73:12, 75:16, 76:21 privileged 125:14 probably 34:10, 75:13, 100:11, 105:21, 112:7, 140:2 problem 83:15 proceeding 4:2, 4:7, 15:14 proceedings 14:16, 109:3,	111:19, 114:11, 116:12, 117:2 process 73:10, 103:17 produced 79:19, 143:6 product 105:21 professor 20:20, 44:19 programs 10:14, 10:18 progress 123:22 project 21:6, 38:5, 69:5, 93:14, 94:6, 94:7, 100:5, 100:13, 127:19, 128:22 projects 72:18, 73:2, 73:4 pronounce 125:10 proprietary 82:2, 82:9, 87:2, 87:14 propyl 103:22 prosecution 112:5, 112:16, 117:5, 117:22 protect 38:3 protective 140:16 provide 11:22, 16:10, 16:14, 16:21, 17:9, 17:14, 18:19, 21:2, 23:17, 23:22, 24:7, 51:15 provided 17:22, 18:11, 18:15, 23:8, 23:12, 23:21,
---	---	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

166

24:9, 24:16, 28:14, 48:20, 93:6, 117:11 provides 52:14 provisional 121:21, 122:16, 122:21, 123:6 pto 28:21, 37:18 public 98:14, 127:9, 127:18, 141:1, 141:13, 143:16 publication 47:16, 127:5, 132:1 publications 46:13, 47:5, 47:11, 48:3, 51:16, 51:21, 137:8 published 97:22, 137:1, 137:3 pull 45:15, 79:13, 101:16, 109:1, 114:10, 116:11 purposes 4:8 pursuant 129:20 put 11:4, 41:11, 123:2, 139:3 puts 46:13	70:15, 72:19, 77:18, 82:14, 85:19, 85:22, 88:4, 96:22, 97:12, 102:22, 104:9, 106:15, 106:20, 111:6, 111:8, 112:14, 117:4, 124:12, 127:15, 131:20, 133:1 questioning 9:7, 131:4 questions 7:15, 8:4, 8:11, 9:4, 14:19, 28:19, 31:16, 55:2, 57:9, 138:9, 138:12 quick 130:12, 137:18 quickly 137:20, 139:17 quite 74:17, 93:16, 128:17	reactive 58:19 read 18:8, 39:4, 102:8, 102:14, 108:11, 108:17, 109:15, 110:12, 110:16, 110:18, 110:22, 111:3, 111:9, 112:9, 112:10, 112:15, 115:2, 115:16, 115:18, 115:19, 115:21, 116:3, 116:6, 117:21, 122:16, 132:1 reading 123:4 ready 140:12 really 7:21, 17:4, 21:17, 24:1, 34:13, 34:17, 47:10, 64:4, 65:17, 73:21, 74:6, 81:14, 89:7, 90:6, 94:18, 100:19, 107:12, 125:4, 132:7, 132:21, 135:19, 138:16 reask 29:1, 57:13, 127:15 reason 9:18, 9:20, 17:13, 30:8, 36:20, 38:2, 42:14, 58:21, 61:18, 80:15, 80:18, 81:18, 82:19, 83:1, 84:18, 84:20, 85:2, 86:13, 88:11, 88:17, 98:17 reasons 36:19, 42:10,	61:22, 63:14, 63:19, 64:1, 64:9 recall 13:13, 17:1, 23:20, 34:6, 47:8, 72:13, 73:4, 74:2, 74:15, 75:9, 75:11, 75:12, 76:5, 86:6, 90:16, 94:1, 98:21, 100:19, 117:6, 117:8, 118:1 receive 11:7, 12:16, 26:16, 110:4, 110:7, 115:11 received 23:9, 23:18, 26:9, 27:1, 32:17, 33:12, 44:13, 45:5, 45:13, 136:10 receiving 93:18 recent 58:11 recently 18:4, 126:5 recess 54:14, 101:7, 130:18, 138:5 recollection 81:12, 81:14, 82:16, 82:17, 86:2, 88:6, 88:8, 130:3 record 6:16, 10:6, 19:15, 29:2, 54:13, 54:16, 74:21, 76:9, 101:6, 101:9, 117:19, 126:6, 130:17, 130:20, 138:1, 138:3,
Q	R		
question 8:14, 8:17, 8:19, 9:16, 17:11, 24:7, 29:1, 37:22, 57:13, 57:22, 62:5, 66:3, 66:4, 67:9,	raise 6:2 raised 96:15 raising 91:8 rate 32:10, 33:9, 33:22 rather 46:2, 59:16 ratio 36:6, 36:15 reached 17:9, 17:14, 22:9, 22:15 reaching 22:22 reaction 99:17		

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

167

138:7, 138:13, 138:19, 140:1, 140:4, 140:13, 140:15, 141:10, 141:19 recording 4:7 redox 56:22 reduce 42:18, 43:17, 44:2 reduced 40:17, 40:18, 40:21, 41:7 reduction 41:17, 42:9, 108:5, 108:8 refer 14:21, 15:13, 49:15, 49:18, 50:7, 51:7, 62:9 reference 121:18, 122:1, 123:8, 136:12 referenced 122:17, 135:5 referred 26:3, 53:16, 53:21, 61:10, 64:16, 64:17, 109:9, 114:18 referring 15:14, 25:13, 34:20, 43:18, 49:16, 50:8 reflect 48:10 regained 67:6 regarding 14:11, 28:15, 55:2, 90:21, 91:3, 128:16, 133:4, 134:2 regimen 64:17, 64:19, 64:20, 64:22,	65:5, 65:12, 65:16, 65:22, 66:8, 66:12, 121:22, 130:9 regimens 65:18, 130:4 regular 139:11, 139:13 relate 91:17, 137:7 related 19:12, 25:9, 26:4, 29:13, 33:13, 44:14, 45:6, 78:11, 90:7, 137:1, 142:7 relates 96:4 relating 9:9, 11:14 relative 36:17 release 61:4, 132:2 relevance 28:11, 29:5, 30:11, 37:6, 37:14, 38:9, 39:14, 41:13, 43:12, 61:7, 63:18, 64:2, 64:11, 71:12, 86:5, 88:16, 98:16 relevant 28:16, 67:9 rely 107:16 remember 4:5, 4:10, 16:16, 17:4, 23:7, 26:14, 26:18, 33:22, 34:13, 47:12, 48:1, 69:17, 72:9, 73:1, 74:14, 74:17,	75:3, 78:9, 89:7, 89:11, 90:6, 90:12, 96:4, 96:8, 97:6, 99:17, 100:1, 112:17, 123:4, 129:13, 130:7, 130:8 remind 28:20 remote 2:33, 4:1, 5:22, 8:22, 14:17, 15:3, 45:18, 83:10, 83:14, 117:12, 120:11 remotely 4:2, 5:16 remove 38:13 repeat 29:15, 37:21, 42:21, 66:4, 97:12, 102:11, 124:12, 128:18 rephrase 57:21, 70:19, 92:17, 98:10, 123:12 replace 40:2 report 25:9, 27:13, 39:4, 69:10, 79:8, 83:1, 129:12, 141:8 reported 1:34, 27:7 reporter 3:6, 4:13, 6:2, 6:8, 7:16, 7:20, 10:5, 20:16, 20:17, 102:11, 102:13, 106:14, 138:21, 139:6, 139:11, 139:14, 139:16, 139:20,	140:2, 140:8, 141:1 reporting 69:13, 69:14 reports 20:7, 20:8, 20:10, 20:11, 21:2, 21:3, 24:2, 24:3, 24:12, 24:14, 24:16, 24:19, 25:1, 26:9, 26:13, 26:19, 26:21, 27:1, 27:7, 30:8, 30:22, 31:7, 128:21 represent 5:13 represented 77:5, 141:22 representing 5:7 reproducibility 40:17 republic 71:14, 72:4 require 8:10, 9:6, 36:7 requirements 106:4, 107:4 requiring 36:10 research 19:11, 20:2, 21:11, 21:19, 22:5, 24:3, 25:7, 28:4, 44:19, 68:2, 71:3, 71:6, 71:21, 92:22, 93:10, 131:19, 132:20 researchers 21:12, 92:21 reserve 116:18 respect 117:10
---	---	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

168

responsible 76:11, 106:8, 106:13, 106:18, 106:21 result 107:1 results 26:10, 39:1, 99:16 retired 44:18 retracted 51:21 retrometabolic 57:2 reveal 17:2 review 79:1 reviewed 12:22, 13:10, 13:18, 13:19 right 6:3, 7:18, 14:19, 17:15, 19:9, 22:6, 25:22, 27:2, 28:7, 34:21, 41:8, 42:6, 43:5, 49:5, 49:19, 50:22, 51:8, 51:16, 51:19, 52:16, 53:3, 53:21, 55:8, 56:10, 57:6, 57:16, 58:17, 59:1, 60:17, 64:1, 66:15, 66:17, 68:19, 68:20, 70:3, 72:4, 72:15, 72:16, 79:9, 81:19, 85:19, 86:20, 87:10, 91:4, 92:9, 92:20, 94:3, 94:4, 94:5, 95:18,	97:5, 98:4, 109:10, 111:16, 112:4, 114:6, 114:19, 116:19, 119:9, 119:11, 120:22, 121:12, 122:12, 123:20, 124:20, 126:3, 126:9, 126:10, 126:16, 129:21, 130:5, 130:9, 130:13, 134:3, 136:1, 138:15 rights 82:2, 82:9, 87:2, 87:15 ring 76:13 role 68:2, 73:7, 73:17, 75:14, 78:19, 94:6, 94:7, 100:4, 100:6 roles 100:20 room 9:22, 10:3, 10:6 rough 139:1, 139:5, 140:12 roughly 32:18, 86:14, 90:20, 92:9, 133:8, 134:2 route 57:15, 58:2, 59:5, 59:9, 59:10, 60:3, 60:9 routes 56:13, 56:16, 57:5, 58:22 rpr 1:35, 141:7, 141:12, 142:17, 143:15	rsa 141:7, 141:12 rule 8:6, 8:12, 9:10 rules 7:14, 8:10, 9:6, 28:21, 37:19 run 4:3 S s-i-m-o-n-a 74:22 sa 4:22, 110:2, 115:9 safe 104:16 safety 104:14 said 13:15, 24:11, 27:4, 28:5, 29:17, 30:7, 33:4, 37:8, 43:16, 56:2, 66:10, 70:1, 70:3, 78:15, 81:15, 83:10, 86:6, 88:8, 88:12, 91:2, 91:8, 92:16, 94:13, 94:16, 95:2, 95:3, 96:21, 97:5, 98:21, 104:13, 105:17, 106:1, 106:17, 108:15, 116:4, 129:11, 132:7, 132:9, 135:1, 141:11 same 43:4, 66:9, 73:19, 92:18, 116:15, 134:15, 140:11 sample 135:22	samples 93:18, 128:10, 129:3, 129:9, 132:9, 135:7, 135:8, 135:18, 136:9, 136:10, 136:13, 136:19, 137:6 sarasota 141:5, 143:2 sat 7:3 saturated 36:20 savings 60:3 saw 87:6, 127:5, 132:21 say 14:4, 14:9, 20:21, 33:15, 34:1, 39:8, 40:21, 42:14, 44:2, 48:12, 55:4, 57:17, 58:9, 59:13, 64:20, 68:21, 71:4, 74:11, 99:20, 109:18, 116:8, 119:22, 122:12, 131:22, 135:3, 140:14 saying 7:22, 128:18, 137:4, 139:15 says 47:5, 80:5, 80:21, 81:3, 82:8, 84:8, 85:20, 87:1, 87:14, 102:3, 118:10, 125:8 scale 99:21 sciences 23:5 scientific 48:10, 52:15,
--	---	--	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

169

55:2, 67:20, 68:9, 69:7, 69:12, 105:14 scientist 27:17 sclerosis 29:22, 30:4, 121:22 scope 28:10, 29:4, 30:10, 37:6, 37:14, 38:9, 39:14, 41:13, 42:12, 43:11, 43:22, 59:11, 59:20, 60:5, 60:12, 61:6, 61:20, 62:19, 63:9, 63:17, 64:2, 64:11, 65:7, 65:14, 66:2, 86:5, 88:16, 98:16, 106:5, 109:17 scratch 25:19, 29:20, 31:3, 34:19, 39:11, 46:16, 47:21, 58:14, 76:17, 89:22, 106:1, 106:2, 117:17 screen 15:7, 15:20, 46:4, 50:12, 83:22, 101:19, 109:8, 120:17, 124:17 scripp 95:7 scripps 95:15 scroll 45:22, 80:21, 82:1 scrolled 80:22 seal 143:9	sec 79:1, 79:5, 79:15, 80:16, 83:5, 88:12 second 86:19, 87:17 section 52:20 securities 79:2, 84:21 see 12:6, 15:6, 16:5, 38:22, 46:9, 47:5, 49:11, 49:13, 50:4, 52:9, 52:22, 53:2, 53:5, 53:7, 53:14, 80:2, 80:5, 81:3, 81:9, 82:5, 82:7, 82:12, 82:22, 83:21, 84:8, 84:22, 85:10, 86:16, 87:1, 87:5, 87:12, 87:17, 87:22, 101:20, 102:5, 102:15, 109:6, 110:1, 110:2, 112:12, 113:4, 113:8, 113:10, 113:15, 113:19, 115:8, 115:9, 118:5, 118:7, 118:9, 118:17, 118:19, 119:2, 120:17, 121:2, 121:14, 121:17, 122:2, 122:4, 122:8, 124:17, 125:8, 133:2, 133:10, 134:4 seeing 117:8 seen 79:5, 79:7,	80:11, 80:13, 84:14, 112:5, 117:4, 128:21 semantics 108:2 send 19:12, 19:22, 20:1, 20:4, 20:6, 20:11, 31:2, 120:7, 120:10 sending 132:13 senior 68:7 sensitive 44:7, 95:14 sent 21:3, 128:10, 129:4, 132:9, 134:20, 135:5, 135:12, 135:14, 135:22, 136:4, 136:5, 136:13 sentence 57:10 separate 90:8 september 143:19 serono 1:12, 4:22, 126:1, 126:9, 126:12, 126:14, 126:15, 126:20, 127:1, 127:4, 127:19, 128:2, 128:14, 129:16, 129:20, 130:3, 130:7, 130:8, 130:11, 131:8, 131:13, 131:19, 132:4, 132:12, 132:20, 133:4, 133:12, 134:1, 134:7, 134:14, 134:16, 135:2, 136:14, 136:15,	136:18, 137:14, 137:16, 141:22 serono's 136:22, 137:7 serve 41:21 served 67:18, 116:17 set 141:16 setting 137:8 seven 26:22, 78:8 several 36:19, 58:9, 82:11, 87:21, 88:14 shaking 7:21 shared 137:14 shelf 62:14 should 4:9, 32:8, 45:17, 83:13, 92:16, 94:16 shouldn't 33:15 showed 117:7 showing 15:3, 84:5 shown 86:1 shows 41:1, 46:3, 114:1, 114:5, 119:8, 119:13, 134:22 shrink 46:3 sic 5:8, 12:22, 77:4, 122:13 sides 7:8, 139:1
--	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

170

sign 115:20 signature 16:5, 114:3, 114:6, 119:11, 119:14 signature-b7fzp 143:13 signature-mig2k 142:15 signed 16:2, 108:12, 108:19, 110:13, 111:4, 111:9, 115:17 signing 116:7 similarly 7:20, 86:13, 107:14 simona 74:19, 74:22, 75:4, 75:7, 75:12, 75:20 simple 103:16 simply 30:1, 105:13 since 91:16 single 129:2, 129:8 single-word 37:19 sir 127:16 sit 63:2 site 69:4, 71:15 sites 71:7, 72:21, 93:19, 129:1, 129:12, 129:14, 135:13, 136:16 sitting 33:8 six 26:18, 26:22,	27:1, 32:15, 32:18, 74:9, 78:8, 93:17 skin 56:22 slow 60:16, 61:4 slowly 4:5 small 84:1, 85:14 smoothly 4:3 sole 13:8, 13:11, 96:6, 118:11 solid 61:19, 62:12, 62:13, 63:16 solubility 35:15, 95:14, 96:8, 103:13, 104:13 soluble 104:5 solution 61:14, 62:11, 63:1 some 7:13, 7:15, 9:1, 16:17, 27:11, 44:20, 46:14, 47:11, 55:2, 55:11, 56:15, 67:5, 68:3, 72:9, 74:18, 75:22, 81:16, 90:7, 90:10, 90:11, 92:11, 96:6, 104:11, 122:8, 128:7, 128:9, 128:12, 128:16, 132:1, 134:18 somebody 44:7, 106:7, 132:9, 132:12, 133:12	somehow 96:10 someone 10:12, 106:17, 138:22 something 13:21, 20:12, 36:10, 38:12, 39:4, 48:4, 62:22, 65:2, 65:15, 71:15, 76:12, 94:20, 96:19, 103:18, 132:2, 134:7, 134:8 sometime 16:17, 22:18, 89:10, 94:9, 96:21, 97:5, 111:12, 112:20, 132:16, 139:21 sometimes 8:3, 74:2 somewhat 100:13 somewhere 47:14, 132:2 soon 140:5 sorry 14:3, 22:21, 23:10, 27:16, 29:15, 40:20, 57:21, 62:5, 62:7, 76:3, 76:7, 96:22, 101:20, 104:8, 127:15 sort 110:4, 120:18, 120:19, 136:7 sought 118:13 space 31:20, 32:1, 32:4, 122:7 speak 4:5, 19:17,	19:20, 54:20, 101:12 speaking 4:13, 4:16 specific 53:21, 62:15, 94:1, 103:7, 106:22, 122:4, 130:3, 132:4, 132:21 specifically 19:21, 55:4, 62:22, 104:19, 132:19 specify 58:19 spell 6:15, 19:15, 31:17, 74:20, 77:14, 103:21 spelled 31:19, 32:1, 32:3 spelling-challen- ged 74:20 spend 17:17 spent 33:4, 33:5, 33:8, 34:6 spoke 23:3, 75:12, 77:19 spoken 22:17, 23:1 st 16:3, 84:7, 85:20 stability 62:1, 62:2, 62:4, 62:9, 62:16, 63:5, 63:7, 63:10, 64:5 stable 62:13 stage 36:22, 37:2
---	--	---	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

171

stand 14:17, 16:7, 102:19, 103:3, 109:20, 115:5 start 7:14, 74:18, 116:2 started 46:18, 95:11 starting 121:13, 121:17, 121:20 starts 122:13 state 2:24, 5:12, 6:15, 37:4, 141:4, 141:13, 143:1, 143:17 statements 111:1 states 1:1, 5:1, 69:8, 69:19, 70:2, 72:3, 82:10, 82:11, 84:12 stayed 75:7 stenographic 141:11 stenographically 1:34, 141:8 stepno 77:16, 125:9, 125:12 sterile 64:6, 64:7 sterne 2:8, 5:14, 5:17, 5:19 steroid 67:5 steve 92:15, 92:20, 94:1, 94:6, 94:8, 94:19, 95:3, 95:9, 99:3, 99:6,	99:12 still 8:4, 31:21, 47:1, 49:8, 51:14, 52:6, 75:4, 133:15 stomach 43:3 street 2:9, 2:24 strike 40:11, 97:15, 132:18, 134:10 structure 27:12, 27:14, 27:18, 28:2, 94:15 studied 134:19 studies 21:21, 25:7, 25:10, 26:4, 26:5, 27:5, 41:19, 93:3, 93:6, 93:19, 128:9, 128:16, 129:8, 129:9, 129:11, 129:15, 135:9, 136:16, 136:17, 137:13 study 26:10, 96:7, 127:6, 128:13, 129:2, 137:12 subcutaneous 135:10 subheader 87:18 subject 118:11, 122:21, 133:18 submitted 15:10, 76:19 subq 59:8 substance 14:11, 17:3, 19:4, 22:13,	24:22, 28:12, 54:21, 55:20, 56:3, 56:4, 56:5, 130:22 substances 56:2, 56:13, 58:13, 58:16 substituent 27:21, 28:6, 28:9, 29:2 succeeded 99:16 successful 27:13, 29:10, 29:18, 30:7, 30:9, 30:14, 39:18, 39:20, 39:21, 39:22, 40:3, 40:7, 41:2, 41:8, 43:6 sugar 91:17 suite 5:9 summary 52:15 supposed 101:18 sure 91:3, 92:17, 93:4, 93:11, 99:15, 105:12, 117:6, 117:19, 120:2, 120:21, 130:14, 132:14, 137:19 surrounding 55:20 suspension 56:21 swear 6:4 sworn 6:11, 141:17, 143:7 symptom 38:4 system 38:13, 56:22	T tab 14:14, 45:19, 79:13, 83:3, 83:12, 101:17, 109:1, 111:18, 114:10, 116:11 table 113:15, 113:16, 113:20, 114:1, 114:3, 118:16, 118:19, 119:2, 119:9 tablet 44:8, 55:16, 61:16, 61:19, 63:16, 64:6 take 7:21, 8:11, 36:16, 54:5, 54:7, 54:9, 55:15, 59:16, 61:14, 65:1, 65:5, 65:11, 83:2, 89:1, 101:2, 108:21, 111:16, 114:8, 116:9, 119:17, 125:18, 137:17, 140:11 taken 36:22, 54:14, 101:7, 130:18, 138:5, 141:11, 141:18 takes 48:6, 65:22, 66:8, 77:18 taking 5:8, 59:18, 64:21 talk 4:6, 7:17, 14:10, 18:17, 22:1, 29:16, 30:16, 30:20, 31:1, 73:14,
---	---	--	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

172

90:9, 90:11, 98:7, 98:9 talked 14:3, 22:20, 23:6, 30:22, 66:20, 70:10, 77:21, 90:8, 90:17, 94:11, 96:16, 99:6, 100:8, 100:9, 100:11, 127:14 talking 34:10, 50:16, 74:8, 76:7, 90:12, 101:15, 103:14, 107:13, 107:15 target 30:12, 30:13 team 21:13, 21:16, 21:17, 68:22, 69:10, 69:11, 72:18, 88:20, 89:5, 92:11, 92:13, 92:14, 92:17, 92:19, 93:7, 97:16, 126:15, 139:10, 139:17 teams 68:22, 69:5 tech 123:20 technical 9:2 technical-related 4:18 technician 2:33, 2:34, 4:1, 14:17, 15:3, 45:18, 83:10, 83:14, 120:11, 123:14, 123:19 technique 93:17 teen 73:4	tell 6:11, 9:7, 35:2, 65:15, 69:2, 94:8, 129:17 temperature 35:11, 91:9, 91:15, 96:16 ten-minute 54:10 terms 133:21 tested 104:14 testified 6:12 testimony 6:4, 9:8, 9:12, 9:19, 16:7, 28:12, 28:14, 37:16, 37:18, 54:21, 101:12, 131:1, 141:19 teva 75:5, 75:7 text 11:13, 53:2 th 1:31, 2:9, 10:2, 122:1, 142:5, 142:14, 143:9 thank 4:1, 4:18, 6:8, 10:22, 12:8, 12:19, 15:1, 15:2, 46:4, 47:2, 48:18, 50:20, 81:1, 87:3, 87:10, 89:3, 102:13, 109:6, 113:6, 113:17, 114:13, 120:14, 125:20, 138:11, 138:14, 138:15, 138:17, 139:19 themselves 5:12	therapeutic 43:8 thereupon 6:9 thermodynamic 36:21, 37:2, 37:4 thing 12:9, 40:16, 48:17, 99:22, 104:2, 105:1, 105:22, 112:4, 112:10, 115:20, 120:22 things 4:3, 11:3, 47:11, 50:16, 56:1, 102:14, 102:15, 131:5, 137:11 think 13:12, 13:20, 14:5, 17:19, 18:5, 20:13, 24:13, 33:4, 38:1, 40:15, 47:13, 54:9, 57:8, 57:22, 62:5, 63:19, 67:4, 70:3, 75:2, 80:13, 80:14, 85:2, 85:14, 86:7, 94:16, 95:8, 102:21, 111:6, 131:20, 134:4, 134:6, 136:15, 139:3 thinking 62:22, 66:11 third 113:4, 114:15, 118:9, 121:11 thirdhand 127:8, 127:17 thought 89:16, 95:21 three 14:9, 129:1	through 31:16, 52:11, 112:9, 112:10, 112:15, 117:21, 128:7, 128:16 throw 63:11 thursday 1:26, 5:4, 142:5 time 4:16, 5:5, 5:6, 22:16, 23:1, 24:20, 33:21, 33:22, 34:6, 35:5, 35:11, 46:21, 54:13, 54:16, 55:4, 63:3, 72:1, 72:13, 73:5, 74:8, 74:9, 74:13, 74:18, 75:1, 75:12, 77:8, 77:19, 78:6, 86:14, 89:21, 90:2, 90:3, 91:9, 96:15, 100:16, 100:17, 101:6, 101:9, 108:17, 110:16, 111:3, 111:8, 112:8, 112:11, 112:15, 112:21, 115:21, 116:3, 116:6, 127:16, 130:17, 130:20, 131:9, 131:14, 132:3, 133:8, 133:22, 134:2, 138:4, 138:7, 138:16, 138:19, 139:11 timelines 131:5 times 32:18, 33:9, 61:11, 64:18, 90:2, 90:6,
--	--	--	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

173

91:21, 102:14 title 13:21 titled 16:11 titles 13:19 tliu@sternekessler 2:15 today 5:4, 5:6, 5:16, 5:18, 7:17, 8:1, 8:8, 8:14, 9:7, 9:13, 9:19, 10:6, 11:3, 11:8, 11:14, 11:17, 12:17, 14:11, 32:22, 33:6, 45:20, 66:21, 101:16, 117:14, 131:1, 139:21 today's 7:14, 9:1, 9:21, 10:10, 10:18, 14:8 together 35:20, 92:2, 92:5, 92:7 told 48:1, 93:9, 95:6, 95:13, 95:16, 95:20, 99:15, 100:10, 136:5 took 17:5 top 46:2, 52:21, 53:2, 109:5, 113:3, 114:15, 119:9, 120:21 topic 73:15 topical 55:17, 56:21, 58:11, 58:12	total 27:1, 86:8, 105:21 totally 12:14 towards 50:3 trademark 1:1 trading 110:2, 115:9 transcript 139:12, 140:16 transferred 67:7 treated 41:3, 41:20 treating 29:22, 121:22 treatment 40:1, 65:16, 126:2 trial 1:3, 5:1 trials 132:11, 133:13 tried 29:8 tripled 35:22 true 141:10, 141:19 truth 6:5, 6:6, 6:11 truthful 9:12, 9:19 try 8:9, 8:16, 30:5, 43:16 trying 28:1, 29:2, 29:21, 36:12, 48:9, 57:22, 136:11 turn 15:17, 81:20, 85:3, 85:4 turned 11:11	turns 35:10 twice 70:9 two 13:3, 13:10, 20:10, 26:19, 34:14, 38:4, 53:17, 74:9, 77:3, 77:21, 96:5, 104:8, 121:20, 122:16, 122:21, 123:6, 128:3, 129:21 tyler 2:6, 5:17 type 35:18, 91:19 types 34:14 U uk 69:4, 69:10, 69:17, 71:13 ultimately 26:10, 33:20, 77:2, 134:5 um-hum 7:22, 66:16, 80:4, 87:16, 113:11, 121:19 umm 72:6 unable 4:14 uncomfortable 60:10 under 37:18, 67:22, 68:8, 68:17, 79:22, 87:18, 105:18, 106:2, 140:16 undergo 62:10 underneath 119:11	undersigned 143:4 understand 8:15, 9:3, 9:14, 15:15, 36:12, 45:11, 46:10, 55:5, 66:3, 99:20, 123:3 understanding 106:3, 106:7, 106:17, 107:3, 107:18, 108:4, 122:20 understood 8:20 unfortunately 77:17 unique 34:16, 35:1, 35:3 united 1:1, 4:22, 5:1, 69:8, 69:19, 70:2, 72:3, 82:10, 82:11, 84:12 university 20:20, 20:21, 22:19, 44:17, 44:20, 44:22, 45:3, 99:6 unless 8:4, 9:8, 11:9, 55:4 unmarked 11:20, 12:1 until 126:4, 132:1 unusual 91:12 update 23:5, 46:11, 47:6, 47:15 updated 46:17, 46:21, 47:9, 47:11, 47:17, 47:22,
---	--	---	--

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

174

48:3 updates 46:15 use 56:21, 58:12, 61:19, 63:16, 66:12, 77:8, 78:14 useful 55:21 using 10:9, 10:21, 12:11, 56:22, 57:2, 95:8, 103:11 usually 68:14	via 2:3, 2:4, 2:5, 2:6, 2:31, 2:33, 4:14, 90:14, 120:10 vice 94:10 vice-chairman 70:10 video 4:12, 4:14, 5:5, 5:8, 10:15, 10:18 videographer 2:32, 4:19, 5:6, 10:4, 54:12, 54:15, 101:5, 101:8, 130:16, 130:19, 138:3, 138:6, 138:18 videotape 4:20 videotaped 1:21 visit 70:5, 135:2 visited 70:2, 71:20 visits 70:13, 70:14, 70:20, 70:21, 71:17, 71:18 voice 5:11	91:3, 99:11, 105:1, 105:13, 111:22, 117:19, 131:4, 137:18 wanted 119:22 washington 2:10, 34:5 watch 12:15 water 63:1, 104:5, 104:13 waterford 71:14, 89:16, 93:11 way 11:11, 24:15, 36:2, 43:5, 80:18, 94:14, 94:16, 95:4, 95:13, 140:17, 142:10 we'll 49:8, 130:15, 140:11 we're 10:20, 14:18, 50:16, 92:18, 120:2, 124:1, 137:6, 137:18 we've 54:6, 63:14, 101:1, 101:15, 119:20, 139:3 week 68:15, 70:4 weeks 63:3 weerd 2:31, 6:1 welcome 54:18, 101:11 well-known 35:9 went 33:18, 68:15, 98:18	weren't 136:13 whatever 46:14, 61:14, 64:22, 66:13, 69:11, 107:2, 107:16, 128:14, 135:13 whenever 134:17 whether 23:21, 24:9, 24:15, 73:17 white 2:33, 4:1, 14:17, 15:3, 45:18, 83:10, 83:14, 120:11 whoever 123:9, 129:16 whole 29:19, 38:5, 38:16, 46:3, 48:16, 52:9, 112:5, 112:9, 112:10, 115:18, 115:19, 117:21 willem 2:31, 5:22 wilmerhale 2:23, 5:21 within 63:2, 76:15, 102:19, 134:18, 141:13 witness 5:18, 6:7, 12:9, 12:12, 15:2, 28:13, 29:6, 52:12, 53:9, 54:8, 82:2, 82:4, 101:4, 102:12, 124:3, 125:15, 130:14, 138:10, 138:17, 141:16, 141:20, 143:9 wonderful 140:7
V			
value 42:2, 65:18 van 35:8 variability 41:3 variation 40:17, 40:18, 40:21, 41:7, 41:18, 42:10, 42:18, 43:7, 43:17, 44:3, 65:18 variations 42:1, 42:22 variety 57:1, 104:4 various 67:19, 135:9 venture 4:21 ventures 1:6 verbal 8:1 verbose 115:19 versus 4:21, 139:13	W		
	waals 35:8 wait 138:18 wall 110:8 want 12:9, 36:3, 36:15, 36:17, 37:4, 37:12, 38:14, 42:18,		

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

175

word 103:19, 103:21	yeah 17:12, 23:11, 23:13, 36:5, 36:15, 38:1, 39:22, 47:2, 48:1, 50:9, 50:11, 51:17, 54:3, 56:20, 69:22, 70:4, 70:18, 70:22, 81:10, 84:3, 85:17, 87:8, 88:17, 95:6, 95:19, 107:9, 120:19, 121:12, 123:18, 127:12, 127:13, 137:11, 139:8, 140:11	2:6, 2:31, 2:33, 2:34, 4:1, 14:17, 15:3, 45:18, 50:16, 52:10, 52:21, 79:21, 82:3, 83:10, 83:14, 84:2, 85:12, 87:7, 102:2, 109:4, 113:3, 113:5, 114:14, 120:3, 120:4, 120:11, 120:20, 121:12, 121:13, 123:14, 123:17, 123:19, 123:21 zoomed 50:18, 52:9	1,026 82:10 1,490 87:20, 88:13 10 2:9, 3:12, 3:14, 39:17, 41:1, 54:13, 54:16, 79:8, 79:14, 80:2, 80:12, 80:13, 81:4, 81:21, 82:7, 82:8, 83:4, 83:13, 84:7, 84:15, 84:19, 85:5, 85:18, 85:19, 111:18, 118:20, 119:5 10,000 86:15 10,100 85:18, 85:21, 86:10 1002 6:21 101 3:11 1022 3:11, 101:17, 101:22, 108:22, 119:20, 121:7, 125:6, 125:19 10225 6:20 1055 3:12, 79:16, 80:3, 80:20, 81:4, 81:21, 82:8, 83:2 1056 3:14, 83:6, 83:19, 83:21, 84:6, 85:20, 86:21, 89:2 1057 3:16, 111:19, 113:2, 113:14,
wording 91:4			
words 9:15, 57:8, 102:21, 104:9			
work 20:2, 21:5, 21:14, 21:18, 32:17, 72:18, 92:2, 92:7, 93:2, 97:21, 98:7, 98:18, 107:1, 128:2, 131:8, 131:13, 134:13, 136:22, 137:1, 137:3, 137:7			
worked 67:22, 68:17, 72:21, 76:1, 77:5, 77:7, 92:4, 93:14, 94:2, 126:15, 127:4, 127:19	year 3:13, 3:15, 23:3, 44:22, 45:1, 79:15, 83:5, 84:7	\$ \$1,250 32:10, 32:18, 33:9	
working 35:5, 46:18, 69:6, 77:22, 89:16, 93:5, 93:11	years 7:5, 7:9, 18:17, 74:9, 77:7, 77:21, 78:8, 103:13	0	
world 69:4, 71:2, 81:17	yes-or-no 17:11	00 138:7 0022 122:13 00480 1:16, 5:2, 15:10 00481 1:17, 5:3, 15:11 01 138:20 02109 2:25 03 1:27, 140:1, 140:4, 140:13, 140:19, 142:6	
worldwide 81:7, 85:21	yesterday 13:1, 13:16, 14:1, 14:8, 18:5, 18:14, 32:22, 33:5	1	
wouldn't 55:10, 57:17	yogesh 18:2, 88:21, 103:14		
write 132:5	yogesh's 105:3		
written 123:3	yourself 4:15, 128:19		
wrote 124:13, 125:1	z		
Y	zip 5:9		
y'all 140:10	zoom 2:3, 2:4, 2:5,		

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

176

113:20, 114:9 1058 3:17, 116:12, 117:1, 118:3, 118:20, 119:18 109 3:21 11 1:31, 10:2, 79:14, 101:6, 142:5 1101 2:9 111 3:16 114 3:19 116 3:17 12 52:14, 70:4, 73:2, 101:9 12,000 81:15 12,500 69:3 13 15:19, 52:14, 73:2, 81:20, 81:21, 82:8, 86:21 131 47:1 14 3:20, 45:17, 52:14, 52:20, 83:3, 83:12 141 3:6 143 1:24, 3:7 144 46:9 15 1:26, 5:4, 142:6, 143:6 159 113:2	16 85:4, 142:14, 143:9 160 113:19 17 143:19 19 80:19 1999 71:20 2 2 1:27, 138:7, 138:20, 140:1, 140:4, 140:13, 140:19, 142:6 20 7:9, 18:17 2000 66:15, 72:1, 94:9, 95:3, 98:21, 98:22, 99:2 20005 2:10 2001 83:11 2002 125:22 2003 3:13, 26:14, 71:18, 73:5, 74:13, 75:1, 79:15, 80:9, 81:4, 81:6, 81:13, 81:21, 82:16, 89:10, 90:20, 92:9, 96:21, 97:5, 132:16, 134:17 2004 3:15, 55:3, 64:9, 64:14, 66:11, 70:14, 70:16, 70:18, 70:21, 73:5,	74:13, 75:1, 83:5, 83:13, 84:7, 85:20, 86:3, 86:11, 88:6, 122:1, 128:6, 131:7, 134:5 2006 66:17, 67:10, 72:1, 75:6, 75:13 2008 33:17, 34:12, 78:17, 78:18, 100:2, 112:12, 112:20, 133:6, 133:7, 134:2 202 2:11 2023 1:16, 1:17, 5:2, 5:3, 15:10, 15:11, 16:3, 16:19, 46:17, 47:6, 47:18, 47:22, 111:14 2024 1:26, 5:4, 142:6, 142:14, 143:6, 143:10 2027 143:19 2029 3:19, 114:11, 114:17, 115:16, 116:10 2045 45:18 2054 3:20, 14:15, 15:4, 15:9, 45:16, 45:19, 48:21, 51:12, 51:15, 52:7 2069 3:21, 109:2, 109:5, 109:8, 110:13, 111:17	21 16:3, 47:6, 85:4 228 49:11 23 116:8, 121:8, 121:17, 123:7, 124:13 24 121:20, 124:14, 140:3, 140:6 25 121:7, 121:18, 122:1 252 50:3, 50:19, 51:6 26 52:6, 121:13 28 52:20, 130:17 3 30 27:2, 39:2, 39:3, 39:6, 39:8, 39:12, 40:10, 40:12, 40:15, 105:21 300 49:5 31 81:6, 83:5, 84:7, 85:20, 86:11 32 110:1 328 49:15, 49:18, 53:17, 54:2, 78:21, 109:9, 109:15, 109:21, 110:12, 110:16, 111:3, 111:9, 112:5, 112:16, 113:9, 113:21 33 130:15
---	--	--	---

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

CONFIDENTIAL

Transcript of Nicholas Bodor, Ph.D., DSc, dhc, HoF

Conducted on February 15, 2024

177

33137 5:10, 80:6, 84:9, 142:5 33154 6:21 34 1:27, 5:5, 142:6 35 39:11 39 39:4, 54:13 4 4 26:14, 26:15, 134:17 40 7:5, 7:9, 27:2, 105:21 400 68:3, 68:16 415 50:7, 51:6, 51:7, 53:18, 54:2, 78:21, 114:17, 115:2, 115:6, 115:8, 115:16, 115:21, 116:3, 116:6, 117:3, 117:5, 117:22, 118:6, 119:3 421500 143:18 43 48:15 440 5:8 4400 1:30, 10:2, 80:5, 84:9, 142:4 45 130:20 46 101:9 5 5 26:14	50 41:2 500 51:18 51 54:16 526 2:26 54 45:18 55 82:8 56 138:4 57 48:14 58 118:20 59 101:6 6 60 2:24, 50:2 617 2:26 6197 2:26 7 7,713,947 1:16 7,888,328 3:16, 3:21, 49:13, 109:3, 111:21 73 49:8 75 102:3 772 2:11 79 3:12 8 8,377,903 1:17	8,719 81:7 8,785,415 3:18, 3:19, 50:5, 114:12, 116:14 81 51:12 83 3:14 8525 2:11 9 9 1:27, 5:5, 142:6 980 5:9	
--	--	--	--

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM