

Page 1

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

MASIMO CORPORATION)
 Petitioner,) Case Nos. IPR2023-00634
v.) U.S. Patent 10,627,783
APPLE INC.,)
 Patent Owner.)
_____)

DEPOSITION OF R. JAMES DUCKWORTH, Ph.D.

June 4, 2024

10:00 a.m.

Reported by: Eileen Mulvenna, CSR/RMR/CRR

DIGITAL EVIDENCE GROUP
1730 M Street, NW, Suite 812
Washington, D.C. 20036
(202) 232-0646

1 REMOTE VIDEOTAPED DEPOSITION of
2 R. JAMES DUCKWORTH, Ph.D., Declarant for Patent
3 Owner in the above-titled action, held on Wednesday,
4 June 4, 2024, commencing at approximately 9:56 a.m.,
5 before Eileen Mulvenna, CSR/RMR/CRR, Certified Shorthand
6 Reporter, Registered Merit Reporter, Certified
7 Realtime Reporter, and Notary Public of the State of
8 New York.

9
10
11
12
13
14
15
16
17
18
19
20
21
22

1 A P P E A R A N C E S:

2

3 FOR PETITIONER MASIMO CORPORATION:

4 KNOBBE MARTENS OLSON & BEAR, LLP

5 BY: BEN J. EVERTON, ESQUIRE

6 PHILIP M. NELSON, ESQUIRE

7 2040 Main Street, 14th Floor

8 Irvine, California 92614

9 949-760-0404

10 philip.nelson@knobbe.com

11

12 FOR PATENT OWNER APPLE INC.:

13 FISH & RICHARDSON P.C.

14 BY: KARAN JHURANI, ESQUIRE

15 1180 Peachtree Street NE, 21st Floor,

16 Atlanta, Georgia 30309

17 404-724-2807

18 jhurani@fr.com

19

20 Also Present:

21 Billy Fahnert, Video/document technician

22

1 I N D E X

2 WITNESS EXAMINATION BY PAGE

3 DR. R. JAMES DUCKWORTH

4 MR. JHURANI 7

5

6 E X H I B I T S

7 PAGE

8 Exhibit 1 No Bates numbers, Paper 11

9 entitled, Effect of

10 Circular Coil Dimensions on

11 Resonant Coupling Wireless

12 Power Transfer System

13 Exhibit 2 No Bates numbers, 24

14 Supplemental Declaration of

15 R. James Duckworth, Ph.D.

16 re U.S. Patent No.

17 10,627,783

18 Exhibit 3 No Bates numbers, 49

19 Supplemental Declaration of

20 R. James Duckworth, Ph.D.

21 re U.S. Patent No.

22 10,942,491

1 (Continued)

2 E X H I B I T S

3 Exhibit 14 No Bates numbers, 36

4 International Application

5 with Publication No. WO

6 2005/092182

7 Exhibit 15 No Bates numbers, U.S. 32

8 Patent No. 6,265,789

9

10

11

12

13

14

15

16

17

18

19

20

21

22

1 THE VIDEOGRAPHER: We are on the
2 record.

3 This is the remote video deposition of
4 Dr. James Duckworth in the matter of Masimo
5 Corporation versus Apple, Inc., filed in the
6 United States Patent and Trademark Office.

7 My name is Billy Fahnert. I am the
8 video technician today. The court reporter
9 is Eileen Mulvenna. We both represent
10 Digital Evidence Group.

11 Today's date is June 4, 2024. The
12 time is 9:56 a.m. Eastern Standard Time.

13 All parties have stipulated to the
14 witnesses being sworn in remotely.

15 Will counsel please identify
16 yourselves for the record, and then the
17 witness will be sworn in.

18 MR. JHURANI: Sure. This is Karan
19 Jhurani with Fish & Richardson and appearing
20 on behalf of patent owner Apple Incorporated.

21 MR. EVERTON: Ben Everton from Knobbe
22 Martens on behalf of Masimo Corporation,

Page 7

1 petitioner in this case. With me I have my
2 colleague Philip Nelson.

* * *

4 Whereupon,

5 R. JAMES DUCKWORTH, Ph.D.,
6 a witness called for examination, having been
7 first duly sworn, was examined and testified as
8 follows:

* * *

10 EXAMINATION

11 BY MR. JHURANI:

12 Q. Sir, could you please state your name
13 for the record.

14 A. Reginald James Duckworth.

15 Q. Thank you.

16 Sir, I'm going to go over some ground
17 rules, but you've done this before, so it should be
18 quick.

19 You understand that you're testifying
20 under oath today; correct?

21 A. Yes.

22 Q. You understand that in today's

1 deposition, I'm going to ask you a series of
2 questions?

3 A. Yes.

4 Q. If you don't understand a question,
5 will you please let me know?

6 A. Yes.

7 Q. And if you answer the question, is it
8 fair for me to accept that you understood the
9 question I posed?

10 A. Yes.

11 Q. You understand that you're to give
12 truthful, complete and accurate testimony today;
13 correct?

14 A. Yes.

15 Q. Is there any reason, medical or
16 otherwise, you can't give truthful, complete and
17 accurate testimony today?

18 A. Nope.

19 Q. All right. Sir, did you prepare for
20 today's deposition?

21 A. Yes.

22 Q. What did you do to prepare?

1 A. I read my supplemental declarations
2 and the -- and the associated exhibits.

3 Q. And these are the supplemental
4 declarations for the '491 and '783 patents; correct?

5 A. Yes, that's correct.

6 Q. Did you have any discussions in
7 preparation for today's deposition?

8 A. I discussed my declarations with
9 counsel for Masimo.

10 Q. Which counsel in particular?

11 A. Ben and Philip, the counselors that
12 are on this call, and a couple of other attorneys
13 with Masimo.

14 Q. Did you review anything other than
15 your declarations and the exhibits that you cited in
16 those supplemental declarations?

17 A. No.

18 Q. Do you have any of those materials
19 with you today?

20 A. Yes. Masimo printed clean copies for
21 me, so I have copies of my declarations here.

22 Q. Okay. Do you have any markings on

1 those documents?

2 A. No. These are clean -- clean, new
3 copies.

4 Q. Do you plan to use these documents
5 today?

6 A. Yes. I prefer reading from paper
7 copies. Just easier to find material.

8 Q. Sure. That's fine, sir. All I'll ask
9 is if you're referring to a material in response to
10 a question that I pose, that you identify to me what
11 document you're reviewing. And I might ask you
12 where you are in the document.

13 Is that fair?

14 A. That's fair.

15 Q. All right. Great.

16 MR. JHURANI: Real quick maybe for the
17 court reporter or the videographer, do we
18 have the exhibits that I sent over keyed up
19 that I could call out and we can pull them up
20 if needed?

21 THE VIDEOGRAPHER: Yes, I have the
22 exhibits.

1 MR. JHURANI: Perfect. Okay.

2 Let's bring up Depo Exhibit 1, which
3 is Exhibit 2028.

4 Just for the record, I'll read out
5 that this exhibit is a paper titled, "Effect
6 of Circular Coil Dimensions on Resonant
7 Coupling Wireless Power Transfer System,"
8 authored by Mohamad and other authors.

9 (Exhibit 1, No Bates numbers, Paper
10 entitled, Effect of Circular Coil Dimensions
11 on Resonant Coupling Wireless Power Transfer
12 System, received and marked.)

13 BY MR. JHURANI:

14 Q. Sir, do you recognize this exhibit?

15 A. Yes, I do.

16 Q. And do you recall reviewing it in
17 preparing your supplemental declarations?

18 A. Yes, I do.

19 Q. Let's take a look at the second
20 paragraph under the introduction on this first page.
21 It begins with "Currently." And I'll read the first
22 sentence for the record.

1 "Currently, most of the wearable
2 electronics are using the power source to operate."

3 Do you see that, sir?

4 A. Yes, I do.

5 Q. What would be examples of wearable
6 electronics?

7 A. An electronic watch, for example. A
8 device that's attached to the -- to the wearer.

9 Q. Okay. If we keep reading in this
10 paragraph, the second sentence reads, "The operation
11 requires a physical connection for powering or
12 charging from a power source."

13 Do you see that sentence?

14 A. Yes, I do.

15 Q. Is this sentence referring to wired
16 charging?

17 MR. EVERTON: Objection to form.

18 THE WITNESS: I think the paper makes
19 it very clear what it's referring to. The
20 operation requires a physical connection for
21 powering or charging from a power source.

22

1 BY MR. JHURANI:

2 Q. What is your understanding of
3 "physical connection" as used in this sentence, sir?

4 A. I wouldn't like to say anything more
5 than how it's describing, "The operation requires a
6 physical connection for powering or charging from a
7 power source."

8 Q. And what does "a physical connection
9 for...charging from a power source" mean to you?

10 A. I'd like to answer that by saying
11 later in the paragraph, it talks about a "wireless
12 power transfer technology offers an energy
13 transmission of electrical power from a source to a
14 contactless load across an air gap to provide cable
15 and connector-free solutions."

16 So the way I read this paragraph, this
17 is talking about wireless power transfer does not
18 need a contact -- a physical contact connection
19 between the device and the charger.

20 Q. Would a power transfer solution that
21 uses a wired connection between the device and the
22 charger require physical connection between the

1 device and the charger?

2 MR. EVERTON: Object to form.

3 THE WITNESS: If you have a wired
4 connection, which is what I believe you're
5 asking -- if you have a wired connection,
6 then you will have a physical electrical
7 contact. You'll have electrical contact in
8 the wire, and you will also have an
9 electrical set of contacts in the device.

10 BY MR. JHURANI:

11 Q. Let's look at that last sentence of
12 the paragraph, which says, "Thus, the wireless power
13 transfer technology offers -- offers an energy
14 transmission of electric power from a source to a
15 contactless load across an air gap to provide cable
16 and connector-free solutions."

17 In the context of this sentence, what
18 would a source be?

19 A. A source is something that would be
20 providing the power. So this would be a dock, for
21 example, that's got a coil. So the source would be
22 the source of the power that's being transferred to

1 the wearable device.

2 Q. What would the contactless load be?

3 A. Well, as it says in that sentence
4 there, "for charging wearable electronics." So the
5 load would be the receive coil in the wearable
6 electronics.

7 Q. And what is an air gap that's
8 referenced here in this sentence?

9 A. An air gap is -- is a gap between the
10 source and the load. As opposed to a wide
11 connection where you've got direct contact between
12 the wired cable and the contacts, with wireless
13 charging, you don't have that electrical -- direct
14 electrical connection.

15 It says the transmission of electrical
16 power from a source to the contactless load is
17 across an air gap. So you have a separation between
18 the -- the source and the load.

19 Q. So then the power transmission would
20 be from a transmitting coil to a receive coil across
21 the air gap; is that right?

22 MR. EVERTON: Object to form.

1 THE WITNESS: Is -- I'm not quite sure
2 now what you're asking me because that's not
3 what the -- that paragraph is saying. So are
4 you asking me a general question now or
5 something else?

6 BY MR. JHURANI:

7 Q. Well, I believe you said the source
8 would include a transmitting coil; correct?

9 A. For wireless power transfer systems,
10 as described, for example, in this paper, you've
11 got -- if we look at Figure 3, for example, of the
12 page, you've got a transmitter coil and you've got a
13 receiver coil. And the title of that figure is
14 "Block diagram of a wireless power transfer."

15 So that's -- that's what I'm
16 describing. I didn't know whether you were asking
17 me something different to, for example, what's shown
18 in Figure 3 there.

19 Q. Sure. So let's talk about Figure 3.

20 Here, the wireless power transfer
21 would be from the -- from the transmit coil to the
22 receive coil; is that right?

1 A. That's what that diagram is showing,
2 yes.

3 Q. Let's turn to page 5 of this exhibit.
4 We can bring up the paragraph right before the
5 section headed, "Conclusion."

6 A. Yes.

7 Q. Sir, I want to draw your attention to
8 the sentence that reads, "The wireless power
9 transfer rate is affected by the specification of
10 transmitter and receiver coils as well as air gap
11 distance."

12 Do you see that?

13 A. I do.

14 Q. Is the "air gap distance" here again
15 referring to the distance between the coils?

16 A. So please ask me the question again.

17 Q. What is your understanding of "air gap
18 distance" as used in this sentence?

19 A. That's -- my understanding is, as used
20 in that sentence related to this paper, that's the
21 separation of the transmitter coil from the receiver
22 coil.

1 Q. So the wireless power transfer rate is
2 affected by the separation between the transmitter
3 and receiver coils; right?

4 A. Well, an example to respond to that is
5 Figure 13 that shows the output power over distance.
6 And you can see as the distance increases from zero
7 centimeters to 10 centimeters, the power that's
8 transmitted there, the output power, decreases.

9 And I use an example of Figure 13 in
10 my declaration, pointing out that at 1-centimeter
11 distance, for example, you can have about an 8-watt
12 power transfer.

13 Q. Why is it that the separation between
14 the coils -- strike that.

15 Why does the increasing distance
16 between coils reduce wireless power transfer rate?

17 MR. EVERTON: Object to form.

18 THE WITNESS: Is there something
19 you're referring to in my -- my declarations
20 or is this a general question? Can you point
21 me to my declaration about this?

22

1 BY MR. JHURANI:

2 Q. I'm asking you as an expert in this
3 field, sir.

4 Why does distance between the coils
5 affect the wireless power transfer rate?

6 MR. EVERTON: Object to form.

7 THE WITNESS: So if we look on page 2
8 under Section III, "inductive coupling
9 charging works by transferring the energy
10 across a gap using an electromagnetic field."

11 The magnetic flux, the electromagnetic
12 field, spreads out. If you look again at
13 Figure 3, for example, the magnetic flux,
14 when it leaves the transmitter coil there,
15 spreads out. And the farther away you place
16 the receiver coil, the weaker that magnetic
17 flux -- that electromagnetic field will be.

18 BY MR. JHURANI:

19 Q. Why is it that the weaker
20 electromagnetic flux -- strike that.

21 What impact does the magnetic flux
22 have on the wireless power transfer?

1 A. I'm confused by your question. Can
2 you ask it again, please.

3 Q. Sure.

4 You mentioned that the farther away
5 you place the receiver coil, the weaker that
6 magnetic flux will be. So I'm trying to understand
7 what impact a weaker magnetic flux would have on
8 wireless power transfer.

9 A. I believe I answered that question.
10 The weaker magnetic flux will result in weaker power
11 transfer at the receive coil.

12 Q. If the coils were closer together,
13 would there be greater magnetic flux between the
14 two?

15 MR. EVERTON: Object to form.

16 THE WITNESS: The -- sorry. Please
17 ask the question again.

18 BY MR. JHURANI:

19 Q. Sure.

20 If the transmit and receive coils were
21 closer together, would there be greater magnetic
22 flux between the two?

1 MR. EVERTON: Same objection.

2 THE WITNESS: The amount of magnetic
3 flux will be determined by the
4 characteristics of the transmitter coil.

5 BY MR. JHURANI:

6 Q. So I believe you testified that the
7 "weaker magnetic flux will result in weaker power
8 transfer at the receive coil."

9 What would cause -- what would be the
10 outcome of a stronger magnetic flux on the receive
11 coil?

12 A. So you're asking me a general question
13 here, and it was a little bit involved, that
14 question. Would you mind repeating it, please.

15 Q. Sure.

16 You testified that the "weaker
17 magnetic flux will result in weaker power transfer
18 at the receive coil."

19 I want to understand what would be --
20 what would happen to power transfer at the receive
21 coil if you have stronger magnetic flux?

22 A. Again, that was quite a long question.

1 You said I testified about a weaker -- we need to
2 put these statements into -- into context. So I'm
3 confused about what specifically you're asking me
4 about. Because this is, you know, nothing -- this
5 is not statements that I've got in my declaration
6 there. So I'm trying to understand what it is that
7 you're asking and in what context that you're asking
8 this.

9 Q. We're still talking about wireless
10 charging, and I'm asking what would happen to
11 wireless -- strike that.

12 I'm asking about wireless power
13 transfer and the effect on that in the context of a
14 stronger magnetic flux as opposed to weaker flux
15 that you testified about earlier.

16 A. So --

17 MR. EVERTON: Objection to form.

18 THE WITNESS: I'm sorry, Ben.

19 So if you have stronger magnetic flux,
20 a result of a stronger electromagnetic field,
21 then if that is passing through the receive
22 coil, you will have -- if that stronger

1 magnetic flux field is passing through the
2 receive coil, then you will have stronger
3 power transfer.

4 Again, this is a very general --
5 general description that I'm providing. All
6 of the details on exactly the magnitudes
7 would be dependent on a lot of factors that
8 we don't seem to be covering here.

9 BY MR. JHURANI:

10 Q. What other factors are you talking
11 about, sir?

12 A. Well, what size of coil, what -- what
13 dimensions of the coil. A lot of factors. You
14 just -- you're just asking me generally about the
15 magnetic flux.

16 Q. Okay. Any other factors come to mind
17 that might affect wireless power transfer?

18 A. Again, in what context are we talking
19 about here?

20 Q. In the context of wireless power
21 transfer.

22 A. We can refer to -- the Mohamad paper,

1 for example, that we were looking at shows various
2 coils, different number of turns, different spacing,
3 for example.

4 Q. Thank you, sir.

5 MR. JHURANI: Let's bring up Depo
6 Exhibit 2, which is Dr. Duckworth's
7 declaration in the '783 patent.

8 (Exhibit 2, No Bates numbers,
9 Supplemental Declaration of R. James
10 Duckworth, Ph.D. re U.S. Patent No.
11 10,627,783, received and marked.)

12 BY MR. JHURANI:

13 Q. Sir, do you recognize this exhibit
14 that's being shown here?

15 A. Yes, I do.

16 MR. JHURANI: Just for the record, the
17 exhibit is titled, "Supplemental Declaration
18 of R. James Duckworth, Ph.D.," and it's got
19 the patent number listed on the face,
20 10,627,783.

21 BY MR. JHURANI:

22 Q. Let's turn to paragraph 332.

1 MR. JHURANI: And maybe we can bring
2 that up as well.

3 THE WITNESS: 332, did you say?

4 BY MR. JHURANI:

5 Q. Correct.

6 Feel free to read that paragraph and
7 I'll have a few questions for you when you're done.

8 (Document review.)

9 THE WITNESS: Yes, I've read that
10 paragraph, 332.

11 BY MR. JHURANI:

12 Q. Okay. Great.

13 I'll draw your attention to the
14 sentence somewhere in the middle of the paragraph
15 that begins with, "In fact, Honda itself refers to a
16 continuum of outcomes."

17 Do you see that?

18 MR. JHURANI: Maybe we can highlight
19 that, too.

20 THE WITNESS: Yes, I found that.

21 BY MR. JHURANI:

22 Q. All right. What continuum of outcomes

1 are you referring to here?

2 A. Let me refer to Honda then that I cite
3 there. So this is Exhibit 1006.

4 In fact, as the next sentence
5 describes those, "(as [the] gap between coils
6 increases, 'the degree of magnetic coupling
7 weakens')" and "(as the distance between the coils
8 decreases, the 'transmission efficiency increases in
9 accordance with a quadratic curve')."

10 Q. What are the continuum of outcomes,
11 sir?

12 MR. EVERTON: Object to form.

13 THE WITNESS: So "(as [the] gap
14 between [the] coils increases, 'the degree of
15 magnetic coupling weakens')" and "(as the
16 distance between the coils decreases, the
17 'transmission efficiency increases in
18 accordance with the quadratic curve')."

19 Those are the Honda statements.

20 BY MR. JHURANI:

21 Q. Let's take that first statement that
22 you're referring to, "as the gap between coils

1 increases, the degree of magnetic coupling weakens."

2 Is that degree of magnetic coupling
3 referring to coupling between charging coils?

4 A. Honda states, in Column 2, line 39,
5 "For this reason, the gap between the primary and
6 the secondary coils is increased and the degree of
7 magnetic coupling weakens."

8 Q. So the degree of magnetic coupling is
9 between two coils; correct?

10 A. Well, we're specifically talking about
11 Honda, where it talks about the gap between the
12 primary and the secondary coils.

13 Q. So the degree of magnetic coupling in
14 that context is between the primary and secondary
15 coils; correct?

16 A. That's what Honda states. "For this
17 reason, the gap between the primary and the
18 secondary coils is increased and the degree of
19 magnetic coupling weakens."

20 Q. What impact does a weakening magnetic
21 coupling have on wireless power transmission?

22 A. Honda continues in that sentence,

1 "...substantially reducing the charging current to
2 the secondary battery for charging."

3 Q. So in this context then, weakening of
4 the magnetic coupling would weaken wireless power
5 transmission?

6 A. I think I've answered that question
7 with the Honda statement. I believe that's very
8 clear. "For this reason, the gap between the
9 primary and secondary coils is increased and the
10 degree of magnetic coupling weakens, substantially
11 reducing the charging current to the secondary
12 battery for charging."

13 Q. Let's look at the next sentence -- or
14 the next citation in paragraph 332 where you state,
15 "(as the distance between the coils decreases, the
16 'transmission efficiency increases in accordance
17 with a quadratic curve')."

18 Do you see that?

19 A. I do, yes.

20 Q. What is "transmission efficiency"
21 referring to in this context?

22 A. So in Honda, Column 13, lines 13, "As

1 seen from Figure 14, as the sectional distance d
2 decreases, the transmission efficiency increases in
3 accordance with a [quadratic] curve."

4 Q. So is the "transmission efficiency"
5 referring to the efficiency of wireless power
6 transmission?

7 A. So the "transmission efficiency" is
8 the efficiency of the wireless power shown in
9 Figure 14 as the distance decreases between the two
10 coils.

11 Q. As the gap between the coils
12 decreases, there would be stronger magnetic coupling
13 between the coils; correct?

14 MR. EVERTON: Object to form.

15 THE WITNESS: Referring back to my
16 declaration, "In fact" -- "In fact, Honda
17 itself refers to continuum of outcomes," and
18 "(as [the] gap between the coils increases,
19 'the degree of magnetic coupling weakens')." "

20 As the gap between the coil decreases,
21 then the degree of magnetic coupling would
22 strengthen.

1 BY MR. JHURANI:

2 Q. Is -- so is magnetic coupling needed
3 for wireless transfer to happen between coils?

4 A. Well, we're looking back at the
5 Mohamad paper. That's Exhibit 2028. Figure 3 very
6 clearly shows the wireless power transfer showing
7 the magnetic flux passing between the transmitter
8 coil and the receiver coil.

9 Q. Right.

10 So then magnetic coupling would need
11 to be present between the coils -- strike that.

12 Magnetic coupling of the coils would
13 be needed to perform wireless transfer; correct?

14 MR. EVERTON: Object to form.

15 THE WITNESS: I believe I've answered
16 that question. Let me just repeat it.

17 So Figure 3 in Mohamad shows a block
18 diagram of wireless power transfer showing
19 the magnetic flux that passes between the
20 transmitter coil and the receiver coil for
21 wireless power transfer.

22

1 BY MR. JHURANI:

2 Q. Sorry, sir. I don't understand what
3 you're trying to draw from Figure 3. So I'll ask
4 again and maybe you can help me understand in the
5 context of Figure 3, of Mohamad.

6 Magnetic coupling of the coils would
7 be needed to perform wireless transfer; yes or no?

8 MR. EVERTON: Object to form.

9 THE WITNESS: I was pointing you to
10 Figure 3 because that's a great diagram
11 showing, as it describes, a block diagram of
12 wireless power transfer. Really can't be any
13 simpler or clearer than that.

14 For wireless power transfer, you have
15 your transmitter coil creates the
16 electromagnetic field. Then the magnetic
17 flux is what allows the power -- wireless
18 power transfer to take place, so...

19 BY MR. JHURANI:

20 Q. Could wireless power transfer occur
21 without magnetic coupling of the coils?

22 A. Again, as Mohamad points out, we

1 looked at that sentence earlier, "inductive coupling
2 charging works by transferring the energy across a
3 gap using an electromagnetic field."

4 Without that electromagnetic field,
5 without the magnetic flux, there would be no
6 wireless power transfer.

7 Q. All right, sir. Let's introduce for
8 the record -- I believe you've already referred to
9 it, but let's bring up Depo Exhibit 15, which is the
10 Honda reference.

11 MR. JHURANI: And I'll just say this
12 for the record, what we have as Depo
13 Exhibit 15 is Masimo Exhibit 1006, which is
14 U.S. Patent 6,265,789 to Honda and other
15 inventors.

16 (Exhibit 15, No Bates numbers, U.S
17 Patent No. 6,265,789, received and marked.)

18 BY MR. JHURANI:

19 Q. Sir, you've reviewed this exhibit;
20 correct?

21 A. Yes.

22 Q. Let's turn, sir, to Column 6 of Honda,

1 beginning at line 4. And line 4 begins Honda's
2 description of Figure 1. And it states, "Figure 1
3 is a plan view showing the construction of the
4 station and the electronic watch according to this
5 embodiment."

6 Do you see that?

7 A. Yes, I do.

8 Q. I believe this figure's description,
9 as shown in Column 6, refers to the watch as
10 "electronic watch 200."

11 Do you see that?

12 A. The next sentence says, "As shown,
13 electronic watch 200."

14 Q. Right. Thank you.

15 And then continuing down in this
16 column, around line 21 and 22, it says, "Figure 2 is
17 a sectional view shown in Figure 1, taken along line
18 A A."

19 MR. JHURANI: Maybe we can put up
20 Figure 2 on the same page, if possible.

21 BY MR. JHURANI:

22 Q. What is Figure 2 depicting here, sir?

1 MR. EVERTON: Object to form.

2 THE WITNESS: Well, Honda describes it
3 as Figure 2 is a sectional view shown in
4 Figure 1, taken along the line A A.

5 BY MR. JHURANI:

6 Q. And what devices are shown in
7 Figure 2?

8 A. Well, I could read what Honda says
9 there. "As shown, a watch-side coil 210 for the
10 data transmission and the battery charging is
11 arranged in a case back 212 of the watch body 201
12 and is covered with a cover glass 211."

13 I can continue if you'd like.

14 Q. The watch-side coil is in the
15 electronic watch 200, as shown here; correct?

16 A. I think I just read that. "As shown,
17 a watch-side coil 210."

18 Is that what you were referring to?

19 Q. Where is the watch-side coil 210?
20 What device is it in?

21 A. Well, as Honda describes it and as
22 clearly shown in Figure 2, "As shown, a watch-side

1 coil 210...is arranged in the case back 212 of the
2 watch body 201."

3 So it's in the watch body 201.

4 Q. And the watch body 201 is in the
5 electronic watch 200; correct?

6 A. In the previous paragraph that we were
7 looking at, it describes, "As shown, electronic
8 watch 200."

9 Q. And when the electronic watch 200 is
10 placed in the station, its coil would magnetically
11 couple with the station-side coil, correct?

12 A. If we go down in that same column, 6,
13 around about line 36, "When the electronic watch 200
14 is placed onto the station 100, the station-side
15 coil 110 and the watch-side coil 210 are physically
16 out of contact with each other, but magnetically
17 coupled with each other."

18 Q. The station-side has a component
19 labeled 111. That's the cover glass 111; correct?

20 A. Yes. Honda describes, in the previous
21 paragraph, "the watch-side coil 210 is covered with
22 a cover glass 111."

1 Q. Similarly, the watch -- electronic
2 watch 200 has a cover glass 211; correct?

3 A. "Covered with a cover glass 211,"
4 Honda states.

5 Q. And when the electronic watch 200 is
6 placed into the station 100, those two cover glasses
7 would be directly adjacent to each other; correct?

8 MR. EVERTON: Object to form.

9 THE WITNESS: That's what I described
10 in the previous paragraph from Honda. "When
11 the electronic watch 200 is placed onto the
12 station 100, the station-side coil 110 and
13 the watch-side coil 210 are physically out of
14 contact with each other, but magnetically
15 coupled with each other because the surfaces
16 of coil winding of both coils are generally
17 in parallel to each other."

18 MR. JHURANI: Let's turn to Depo
19 Exhibit 14.

20 (Exhibit 14, No Bates numbers,
21 International Application with Publication
22 No. WO 2005/092182, received and marked.)

1 MR. JHURANI: And Depo Exhibit 14 is
2 an international application with Publication
3 No. WO 2005/092182, and it's titled,
4 "Biological Information Measuring Device"
5 with inventors including Kotanagi.

6 BY MR. JHURANI:

7 Q. Sir, do you recall this exhibit?

8 A. Yes, Counselor. And can I ask that
9 we've been going for about an hour. Can we take a
10 short break?

11 Q. Sure thing. How long do you need?

12 A. Say ten minutes. Maybe reconvene
13 at -- what time is it -- 11 o'clock my time.

14 MR. JHURANI: Sounds good.

15 THE VIDEOGRAPHER: Going off the
16 record. The time is 10:52.

17 (Recess from the record.)

18 THE VIDEOGRAPHER: We are back on the
19 record. The time is 11 a.m.

20 BY MR. JHURANI:

21 Q. Let's turn, sir -- I think before the
22 break, we introduced Depo Exhibit 14, which is the

1 Kotanagi reference.

2 MR. JHURANI: And if we can just bring
3 it up.

4 BY MR. JHURANI:

5 Q. Sir, do you recognize this exhibit?

6 A. Yes, I do.

7 Q. Let's turn to paragraph 76 of this
8 reference. So the first line I'll read.

9 "For example, as illustrated in
10 Figure 8, the protruding part 4 may be formed so
11 that the outer periphery is circular."

12 Do you see that, sir?

13 A. I do.

14 Q. So this description of paragraph 76 is
15 in the context of Figure 8 of Kotanagi; correct?

16 A. That's what Kotanagi states in 76,
17 "For example, as illustrated in Figure 8."

18 Q. And the protruding part 4 in Figure 8
19 is formed so that its outer periphery is circular;
20 correct?

21 A. Kotanagi describes it as "the
22 protruding part 4 may be formed so that the outer

1 periphery is circular."

2 Q. Let's turn to paragraph 77. I think
3 it bleeds over to the next page, too.

4 MR. JHURANI: If it's not too much of
5 a bother, can we put Figure 8 on this screen
6 as well?

7 THE VIDEOGRAPHER: I can't pull up
8 three pages at the same time, which is what
9 it would require me to do.

10 MR. JHURANI: Okay. We'll hold off on
11 that.

12 BY MR. JHURANI:

13 Q. 77, sir -- paragraph 77,
14 Dr. Duckworth, is also in the context of Figure 8;
15 correct?

16 MR. EVERTON: Object to form.

17 THE WITNESS: All I can say is that
18 Kotanagi describes paragraph 76, "For
19 example, as illustrated in Figure 8, the
20 protruding part 4 may be formed." And then
21 in paragraph 77, Kotanagi says, "By forming
22 the protruding part...into a circle."

1 BY MR. JHURANI:

2 Q. So is paragraph 77, sir, talking about
3 Kotanagi's Figure 8?

4 A. In paragraph 77, a few sentences in,
5 he says, "Therefore, as illustrated in Figure 8."

6 Q. So, yes, paragraph 77 is talking about
7 Figure 8 of Kotanagi?

8 A. As I just -- yeah, described halfway
9 through -- Kotanagi says, halfway through
10 paragraph 77, "Therefore, as illustrated in
11 Figure 8."

12 Q. The last sentence of this paragraph,
13 77, reads, "Accordingly, the adherence of the
14 biological sensor part 8 can be improved."

15 Do you see that?

16 A. Yes, I do.

17 Q. What is the "biological sensor part
18 8"?

19 A. In Figure 8, I don't see a part
20 labeled 8.

21 Q. So do you have an understanding of
22 what "biological sensor part 8" is in the context of

1 Kotanagi?

2 A. All I can do is read what Kotanagi
3 states there. And I just explained I could not see
4 a part 8 in Figure 8.

5 Q. In the context of Figure -- in the
6 context of Kotanagi, do you have an understanding of
7 what the "biological sensor part 8" is?

8 A. So earlier on, for example, in
9 paragraph 64, it refers to "the biological sensor
10 part 8," which is shown in, for example, Figure --
11 Figure 2.

12 Q. And with that understanding, sir, what
13 is the "biological sensor part 8"?

14 A. I'm looking for the first description
15 in Kotanagi to refer to the "biological sensor
16 part 8."

17 So I believe paragraph 54 says, "As a
18 result, the biological sensor part 8 disposed on the
19 lower surface of the protruding part 4."

20 Then paragraph 64, which I was
21 referring to earlier, it says, "That is, it detects
22 that the biological sensor part 8 including the

1 LED 5 and the PD 6 is indeed in contact with the
2 living body surface B."

3 Q. Then turning back to paragraph 77, how
4 does the -- how is the adherence of the biological
5 sensor part 8 improved?

6 MR. EVERTON: Object to form.

7 THE WITNESS: Can you ask the question
8 again, Counselor, please.

9 BY MR. JHURANI:

10 Q. Sure.

11 The last sentence, just to reorient us
12 in paragraph 77, says, "Accordingly, the adherence
13 of the biological sensor part 8 can be improved."

14 Do you see that?

15 A. I do, yes.

16 Q. How is the adherence of the biological
17 sensor part 8 improved in the context of this
18 paragraph?

19 A. So the previous sentence in Kotanagi
20 describes, "it is possible to accommodate changes in
21 the thickness of the wrist, which makes it possible
22 to enhance adherence without creating a gap between

1 the lower surface 4a of the protruding Part 4 and
2 the living body surface B."

3 Q. Okay. And how does that sentence
4 inform the adherence of the biological sensor part 8
5 being improved?

6 A. Well, I think Kotanagi makes it very
7 clear with the sentence I just read, "which makes it
8 possible to enhance adherence without creating a
9 gap."

10 Q. "Without creating a gap between the
11 lower surface 4a of the protruding part...and the
12 living body surface"; is that what you're referring
13 to?

14 A. So the sentence says, yes. "Makes it
15 possible to enhance adherence without creating a gap
16 between the lower surface 4a of the protruding
17 part 4 and the living body surface B."

18 Q. How does that inform adherence of
19 biological sensor part 8, which is not referenced in
20 the previous sentence?

21 MR. EVERTON: Object to form.

22 THE WITNESS: The biological sensor

1 consists of the LED and the PD, when we
2 looked back at the earlier paragraphs there.
3 So as Kotanagi states in 77, it "makes it
4 possible to enhance adherence without
5 creating a gap between the lower surface 4a
6 of the protruding part 4 and the living body
7 surface B."

8 BY MR. JHURANI:

9 Q. How does the enhanced adherence of the
10 lower surface 4 of the protruding part affect
11 adherence of the biological sensor part 8?

12 MR. EVERTON: Object to form.

13 THE WITNESS: I really can't say more
14 than Kotanagi is stating there. It enhances
15 adherence without creating a gap.

16 BY MR. JHURANI:

17 Q. Where is the biological sensor part 8
18 in relation to the lower surface 4a of the
19 protruding part 4?

20 A. Can you ask your question again,
21 please, Counselor.

22 Q. Where is the biological sensor part 8

1 in relation to the lower surface 4a of the
2 protruding part?

3 A. If we refer back to, for example,
4 Figure 7 and the description of the biological
5 sensor part 8, "including the LED 5 and the PD 6 is
6 indeed in contact with the living body surface B."
7 And so...

8 Q. What paragraph are you reading from,
9 sir?

10 A. That was the one we were looking at
11 earlier, paragraph 64.

12 Q. So -- thank you. Thank you for that.
13 So where is the biological sensor
14 part 8 in relation to the lower surface 4a of the
15 protruding part?

16 A. Where -- where specifically are you
17 looking at now?

18 Q. I'm just asking -- I'm asking the same
19 question. I didn't see the answer in paragraph 64.

20 So I'm asking again, where is the
21 biological sensor part 8 in relation to the lower
22 surface 4a of the protruding part?

1 MR. EVERTON: Objection to form.

2 THE WITNESS: So paragraph 64 starts
3 with the sentence, "When the living body
4 surface B and the lower surface 4a of the
5 protruding part 4 are in close contact."

6 So there it describes the lower
7 surface 4a of the protruding part 4.

8 BY MR. JHURANI:

9 Q. Where, sir -- sorry. Are you done
10 answering?

11 A. Yes, uh-huh.

12 Q. So thank you.

13 I understand the first sentence of
14 paragraph 64 describes the lower surface 4a of the
15 protruding part 4.

16 Now my question is, where is the
17 biological sensor part 8 in relation to the lower
18 surface 4a of the protruding part 4?

19 MR. EVERTON: Objection to form.

20 THE WITNESS: So paragraph 46, "A
21 biological sensor part 8, which includes an
22 LED [and] a photodetector," and then

1 continues and then it says, "Is disposed" --
2 excuse me -- "is disposed on the lower
3 surface 4a of the protruding part 4."

4 BY MR. JHURANI:

5 Q. So the biological sensor part 8 is
6 disposed on the lower surface 4a of the protruding
7 part?

8 A. So I'm just checking paragraph 46
9 again. It starts with, "A biological sensor
10 part 8," and the sentence -- that same sentence ends
11 with "is disposed on the lower surface 4a of the
12 protruding part 4."

13 MR. JHURANI: Now let's go back to
14 paragraph 77. And maybe the next -- yeah,
15 there you go.

16 BY MR. JHURANI:

17 Q. So with that understanding, can you
18 explain how the adherence of the biological sensor
19 part 8 is improved in the context of paragraph 77?

20 A. Well, first of all, this is a
21 different embodiment. So in paragraph 75, "For
22 example, in the embodiment described above, the

1 shape of the protruding part 4 was a keyhole-like
2 shape," and then it says, "but the protruding part
3 is not limited to this shape and may be any shape."

4 And then paragraph 76, "For example,
5 as illustrated in Figure 8, the protruding part 4
6 may be formed so that the outer periphery is
7 circular."

8 So we're taking about a different
9 embodiment in Figure 8. And the best way I can
10 answer your question is what Kotanagi states in
11 paragraph 77, which says, "It is possible to
12 accommodate changes in the thickness of the wrist,
13 which makes it possible to enhance adherence without
14 creating a gap between the lower surface 4a of the
15 protruding part 4 and the living body surface B."

16 Q. So how is that -- how does that
17 improve the adherence of the biological sensor
18 part 8?

19 MR. EVERTON: Objection to form.

20 THE WITNESS: I really can't provide
21 any more information, Counselor, than I
22 already have. In paragraph 46, we saw that

1 Kotanagi describes "A biological sensor
2 part 8...is disposed on the lower surface 4a
3 of the protruding part 4."

4 And then in paragraph 77, he says,
5 "makes it possible to enhance adherence
6 without creating a gap between the lower
7 surface 4a of the protruding part 4."

8 BY MR. JHURANI:

9 Q. Thank you.

10 Let's bring up your declaration for
11 the '491 patent, which is Depo Exhibit 3.

12 (Exhibit 3, No Bates numbers,
13 Supplemental Declaration of R. James
14 Duckworth, Ph.D. re U.S. Patent No.
15 10,942,491, received and marked.)

16 MR. JHURANI: Just for the record,
17 Depo Exhibit 3 is the Supplemental
18 Declaration of R. James Duckworth, Ph.D.
19 bearing the patent number on the face
20 10,942,491.

21 BY MR. JHURANI:

22 Q. Sir, do you recognize Depo Exhibit 3?

1 A. Yes, I do.

2 Q. And this is a supplemental declaration
3 that you submitted for the IPR proceeding involving
4 the '491 patent?

5 A. That's correct.

6 MR. JHURANI: Let's bring up
7 paragraph 411, please. If we can
8 highlight -- and this one goes into the
9 second page. If you can highlight the
10 sentence that reads, "Specifically the
11 existing electrodes would detect skin contact
12 to trigger the ECG measurement and the added
13 electrodes would perform the ECG
14 measurement."

15 BY MR. JHURANI:

16 Q. Do you see that sentence, sir?

17 A. Yes. I've got that, yes.

18 Q. I'd like to understand this.

19 Is it your view that the existing
20 electrodes are used to trigger the ECG measurement?

21 A. I want to make sure that I'm answering
22 this in the correct context here. So earlier in

1 that same paragraph, I say that, "Similarly,
2 Kotanagi discloses using its electrodes to detect
3 skin contact as a threshold before detecting pulse
4 rate. A POSITA would have known how to use both
5 Kotanagi's existing electrodes and added electrodes
6 as taught by Choi to obtain an EKG [sic]. [So]
7 specifically, the existing electrodes would detect
8 skin contact to trigger the EKG measurement and the
9 added electrodes would perform the EKG measurement."

10 Is that your question, Counselor?

11 Q. That's helpful. Thank you, sir.

12 Would the existing electrodes, as
13 described here, also perform the EKG measurement?

14 A. In this my declaration, starting with
15 paragraph 409, I'm describing "Alternatively, if a
16 POSITA wanted to configure a watch [to] measure EKG
17 [sic] on two surfaces, a POSITA would have found it
18 obvious to add one or more additional electrodes (as
19 taught by Choi) to Kotanagi to obtain an EKG."

20 So the existing electrodes would
21 detect skin contact, and then the added electrodes
22 would perform the EKG measurement, as I describe in

1 this section.

2 Q. So in this section, the existing
3 electrodes would not be used to perform EKG
4 measurements; is that right?

5 A. In this section, I am adding one or
6 more electrodes to detect EKG. So the existing
7 electrodes are just used to detect -- let me just
8 make sure.

9 (Document review.)

10 THE WITNESS: Yes, so I think the
11 sentence you started with "Specifically, the
12 existing electrodes would detect skin
13 contact," and then "the added electrodes
14 would perform the EKG measurement."

15 BY MR. JHURANI:

16 Q. Okay. So for this section, the
17 existing electrodes would not be used to perform EKG
18 measurement; is that right?

19 A. That's correct. The added electrodes
20 will perform the EKG measurement.

21 Q. Okay. Let's turn to paragraph 400 of
22 this declaration.

1 Middle of the paragraph here, sir, you
2 say, "Kotanagi repeatedly describes the contact
3 detection electrodes as part of the 'biological
4 sensor'."

5 Do you see that?

6 A. Yes, I do. Excuse me.

7 Q. And for this sentence, you cite to
8 Exhibit 1005, which is Kotanagi, I believe; correct?

9 A. Yes, that's correct.

10 Q. And in the cited portions, Kotanagi
11 actually uses the phrase "biological sensor part";
12 correct?

13 A. Yes, that's correct.

14 Q. So then is it correct that the contact
15 detection electrodes are part of Kotanagi's
16 biological sensor part?

17 MR. EVERTON: Object to form.

18 THE WITNESS: Can you ask your
19 question again, please, Counselor.

20 BY MR. JHURANI:

21 Q. Sure.

22 With the understanding that

1 "biological sensor" as you refer to here, is
2 "biological sensor part 8" or just "biological
3 sensor part" in Kotanagi, my question is this: The
4 contact detection electrodes are part of Kotanagi's
5 biological sensor part; correct?

6 A. They're part of the biological sensor,
7 but -- the electrodes are separate contact, but
8 they're part of the biological sensor because when
9 they detect skin contact, then they trigger the --
10 the optical sensor measurement.

11 Q. Okay. And that's why the contact
12 detection electrodes are part of the biological
13 sensor part; right?

14 A. I didn't say that, no.

15 Q. Are the contact -- does Kotanagi use
16 the phrase just "biological sensor" or is it always
17 "biological sensor part"?

18 MR. EVERTON: Object to form.

19 THE WITNESS: Can you ask your
20 question again, please, Counselor.

21 BY MR. JHURANI:

22 Q. Sure.

1 So the contact detection electrodes
2 are part of Kotanagi's biological sensor part;
3 correct?

4 MR. EVERTON: Objection to form.

5 THE WITNESS: So paragraph 46 of
6 Kotanagi says, "A biological sensor part 8,
7 which includes an LED...photodetector...and a
8 contact detection means 7 for detecting
9 whether the LED 5 and PD 6 are in contact
10 with a living body surface B."

11 So the electrodes are 7 are the
12 contact detection means made up of 7a and 7b,
13 the pair of electrodes.

14 BY MR. JHURANI:

15 Q. And the contact detection electrodes
16 are part of the biological sensor part; correct?

17 A. As I just read in paragraph 46, "A
18 biological sensor part 8, which includes," and it
19 continues, "and a contact detection means 7," which
20 are the pair of electrodes.

21 Q. Got it. We can set that aside, sir.
22 Thank you.

1 A. Okay.

2 Q. Sir, in your experience in this field,
3 have you ever modeled or simulated wireless power
4 transmission between wireless charging coils?

5 MR. EVERTON: Objection to form.

6 THE WITNESS: Have I ever modeled,
7 you're asking me?

8 BY MR. JHURANI:

9 Q. Correct.

10 A. So just so -- can you ask the question
11 again, please.

12 Q. Sure.
13 Have you done, in your experience in
14 this field -- strike that. Let's start over.

15 In your experience, have you ever
16 modeled or simulated wireless power transmission
17 between wireless charging coils?

18 A. No, I have not.

19 Q. Have you used a tool named COMSOL?

20 A. Can you spell that, please.

21 Q. COMSOL is spelled C-O-M-S-O-L.

22 A. No, I have not.

1 Q. Have you used a tool named Ansys,

2 A-N-S-Y-S?

3 A. Many, many years ago.

4 Q. In what context?

5 A. If I remember correctly, some
6 electromagnetic simulation. We're going back
7 decades if I did that.

8 Q. Got it.

9 Have you used a tool named Feko,
10 F-E-K-O?

11 A. No, I have not.

12 Q. Did you do any modeling of wireless
13 power transmission between the charging coils as
14 provided in your proposed Kotanagi/Honda
15 combination?

16 MR. EVERTON: Objection to form.

17 THE WITNESS: No, I did not.

18 MR. JHURANI: Thank you, sir. I don't
19 have any further questions at this time.

20 MR. EVERTON: Can we take a ten-minute
21 break?

22 MR. JHURANI: Sure.

1 THE VIDEOGRAPHER: Going off the
2 record. The time is 11:43.

3 (Recess from the record.)

4 THE VIDEOGRAPHER: We are back on the
5 record. The time is 11:57.

6 MR. EVERTON: Masimo doesn't have any
7 questions for the witness.

8 THE VIDEOGRAPHER: We are going off
9 the record. This deposition is concluded at
10 11:58.

11 (Off the record at 11:58 a.m.)

12

13

14

15

16

17

18

19

20

21

22

1 STATE OF NEW YORK)
2)
3 COUNTY OF WESTCHESTER)
4)

5 I, EILEEN MULVENNA, CSR/RMR/CRR, a
6 Certified Court Reporter, Registered Merit Reporter,
7 Certified Realtime Reporter, and Notary Public in
8 and for the State of New York, do hereby certify:

9 That I reported the taking of the
10 deposition of the witness, DR. R. JAMES DUCKWORTH,
11 commencing on the 4th day of June, 2024, at the hour
12 of 9:56 a.m.


13 That prior to being examined, the witness
14 was duly sworn by me to testify to the truth, the
15 whole truth, and nothing but the truth.

16 That I thereafter transcribed my said
17 shorthand notes into typewriting and that the
18 typewritten transcript of said deposition is a
19 complete, true and accurate transcription of my
20 said shorthand notes taken down at said time, and
21 that a request has been made to review the
22 transcript.

I further certify that I am not a relative
or employee of an attorney or counsel of any of the
parties, nor a relative or employee of any attorney
or counsel involved in said action, nor a person
financially interested in the action.

IN WITNESS WHEREOF, I have hereunto
set my signature this 4th day of June, 2024.

18
19
20
21
22



EILEEN MULVENNA, CSR/RMR/CRR

1 R. James Duckworth, Ph.D., c/o
KNOBBE MARTENS OLSON & BEAR, LLP
2 2040 Main Street, 14th Floor
Irvine, California 92614

3
Case: Masimo Corporation v. Apple Inc.
4 Date of deposition: June 4, 2024
Deponent: R. James Duckworth, Ph.D.

5
6 Please be advised that the transcript in the above
referenced matter is now complete and ready for signature.
7 The deponent may come to this office to sign the transcript,
8 a copy may be purchased for the witness to review and sign,
9 or the deponent and/or counsel may waive the option of
10 signing. Please advise us of the option selected.
11 Please forward the errata sheet and the original signed
12 signature page to counsel noticing the deposition, noting the
13 applicable time period allowed for such by the governing
14 Rules of Procedure. If you have any questions, please do
15 not hesitate to call our office at (202)-232-0646.

16

17

18 Sincerely,

19 Digital Evidence Group

20 Copyright 2024 Digital Evidence Group

21 Copying is forbidden, including electronically, absent
22 express written consent.

1 Digital Evidence Group, L.L.C.
1730 M Street, NW, Suite 812
2 Washington, D.C. 20036
(202) 232-0646

3
4 SIGNATURE PAGE
Case: Masimo Corporation v. Apple Inc.
5 Witness Name: R. James Duckworth, Ph.D.
Deposition Date: June 4, 2024

6
7 I do hereby acknowledge that I have read
and examined the foregoing pages
of the transcript of my deposition and that:

8
9 (Check appropriate box):
() The same is a true, correct and
10 complete transcription of the answers given by
me to the questions therein recorded.
11 () Except for the changes noted in the
attached Errata Sheet, the same is a true,
12 correct and complete transcription of the
13 answers given by me to the questions therein
14 recorded.

15
16 _____
17 DATE WITNESS SIGNATURE

18
19
20
21 _____
22 DATE NOTARY

1 Digital Evidence Group, LLC
2 1730 M Street, NW, Suite 812
3 Washington, D.C. 20036
4 (202)232-0646

5

6 ERRATA SHEET

7

8 Case: Masimo Corporation v. Apple Inc.

9 Witness Name: R. James Duckworth, Ph.D.

10 Deposition Date: June 4, 2024

11 Page No. Line No. Change

12

13

14

15

16

17

18

19

20

21

22

Signature

Date

A	28:6 30:15	Bates 4:8,13,18	bring 11:2 17:4	cite 26:2 53:7
A-N-S-Y-S 57:2	answering 46:10	5:3,7 11:9 24:8	24:5 25:1 32:9	cited 9:15 53:10
a.m 1:14 2:4 6:12	50:21	32:16 36:20	38:2 49:10 50:6	clean 9:20 10:2,2
37:19 58:11	answers 61:10,13	49:12		clear 12:19 28:8
59:9	Ansys 57:1	battery 28:2,12	C	43:7
above-titled 2:3	APPEAL 1:2	34:10	C 3:1	clearer 31:13
absent 60:21	appearing 6:19	BEAR 3:4 60:1	C-O-M-S-O-L	clearly 30:6
accept 8:8	Apple 1:7 3:12	bearing 49:19	56:21	34:22
accommodate	6:5,20 60:3	beginning 33:1	c/o 60:1	close 46:5
42:20 48:12	61:4 62:8	begins 11:21	cable 13:14 14:15	closer 20:12,21
accurate 8:12,17	applicable 60:13	25:15 33:1	15:12	coil 4:10 11:6,10
59:12	application 5:4	behalf 6:20,22	California 3:8	14:21 15:5,20
acknowledge	36:21 37:2	believe 14:4 16:7	60:2	15:20 16:8,12
61:6	appropriate 61:9	20:9 21:6 28:7	call 9:12 10:19	16:13,21,22
action 2:3 59:16	approximately	30:15 32:8 33:8	60:15	17:21,22 19:14
59:16	2:4	41:17 53:8	called 7:6	19:16 20:5,11
add 51:18	arranged 34:11	Ben 3:5 6:21 9:11	case 1:5 7:1 34:11	21:4,8,11,18,21
added 50:12 51:5	35:1	22:18	35:1 60:3 61:4	22:22 23:2,12
51:9,21 52:13	aside 55:21	best 48:9	62:8	23:13 29:20
52:19	asking 14:5 16:2	Billy 3:21 6:7	cause 21:9	30:8,8,20,20
adding 52:5	16:4,16 19:2	biological 37:4	centimeters 18:7	31:15 34:9,14
additional 51:18	21:12 22:3,7,7	40:14,17,22	18:7	34:17,19 35:1
adherence 40:13	22:10,12 23:14	41:7,9,13,15,18	Certified 2:5,6	35:10,11,15,15
42:4,12,16,22	45:18,18,20	41:22 42:4,13	59:6,6	35:21 36:12,13
43:4,8,15,18	56:7	42:16 43:4,19	certify 59:7,14	36:16
44:4,9,11,15	associated 9:2	43:22 44:11,17	Change 62:11	coils 17:10,15
47:18 48:13,17	Atlanta 3:16	44:22 45:4,13	changes 42:20	18:3,14,16 19:4
49:5	attached 12:8	45:21 46:17,21	48:12 61:11	20:12,20 24:2
adjacent 36:7	61:11	47:5,9,18 48:17	characteristics	26:5,7,14,16,22
advise 60:10	attention 17:7	49:1 53:3,11,16	21:4	27:3,6,9,12,15
advised 60:6	25:13	54:1,2,2,5,6,8	charger 13:19,22	27:18 28:9,15
affect 19:5 23:17	attorney 59:15	54:12,16,17	14:1	29:10,11,13,18
44:10	59:15	55:2,6,16,18	charging 12:12	30:3,11,12 31:6
ago 57:3	attorneys 9:12	bit 21:13	12:16,21 13:6,9	31:21 36:16
air 13:14 14:15	authored 11:8	bleeds 39:3	15:4,13 19:9	56:4,17 57:13
15:7,9,17,21	authors 11:8	block 16:14 30:17	22:10 27:3 28:1	colleague 7:2
17:10,14,17		31:11	28:2,11,12 32:2	column 27:4
allowed 60:13	B	BOARD 1:2	34:10 56:4,17	28:22 32:22
allows 31:17	B 4:6 5:2 42:2	body 34:11 35:2	57:13	33:9,16 35:12
Alternatively	43:2,17 44:7	35:3,4 42:2	Check 61:9	combination
51:15	45:6 46:4 48:15	43:2,12,17 44:6	checking 47:8	57:15
amount 21:2	55:10	45:6 46:3 48:15	Choi 51:6,19	come 23:16 60:7
and/or 60:9	back 29:15 30:4	55:10	circle 39:22	commencing 2:4
answer 8:7 13:10	34:11 35:1	bother 39:5	circular 4:10	59:8
45:19 48:10	37:18 42:3 44:2	box 61:9	11:6,10 38:11	complete 8:12,16
answered 20:9	45:3 47:13 57:6	break 37:10,22	38:19 39:1 48:7	59:12 60:6
	58:4	57:21	citation 28:14	61:10,12

component 35:18	10:3,7	creating 42:22	deponent 60:4,7	11:6,10 23:13
COMSOL 56:19	copy 60:8	43:8,10,15 44:5	60:9	direct 15:11,13
56:21	Copying 60:21	44:15 48:14	deposition 1:12	directly 36:7
concluded 58:9	Copyright 60:20	49:6	2:1 6:3 8:1,20	discloses 51:2
Conclusion 17:5	Corporation 1:4	CSR/RMR/CRR	9:7 58:9 59:8	discussed 9:8
configure 51:16	3:3 6:5,22 60:3	1:17 2:5 59:5	59:12 60:4,12	discussions 9:6
confused 20:1	61:4 62:8	59:22	61:5,7 62:10	disposed 41:18
22:3	correct 7:20 8:13	current 28:1,11	describe 51:22	47:1,2,6,11
connection 12:11	9:4,5 16:8 25:5	Currently 11:21	described 16:10	49:2
12:20 13:3,6,8	27:9,15 29:13	12:1	36:9 40:8 47:22	distance 17:11,14
13:18,21,22	30:13 32:20	curve 29:3	51:13	17:15,18 18:5,6
14:4,5 15:11,14	34:15 35:5,11	curve' 26:9,18	describes 26:5	18:11,15 19:4
connector-free	35:19 36:2,7	28:17	31:11 34:2,21	26:7,16 28:15
13:15 14:16	38:15,20 39:15		35:7,20 38:21	29:1,9
consent 60:22	50:5,22 52:19	D	39:18 42:20	dock 14:20
consists 44:1	53:8,9,12,13,14	d 4:1 29:1	46:6,14 49:1	document 10:11
construction 33:3	54:5 55:3,16	D.C 1:21 61:2	53:2	10:12 25:8 52:9
contact 13:18,18	56:9 61:9,12	62:3	describing 13:5	documents 10:1
14:7,7 15:11	correctly 57:5	data 34:10	16:16 51:15	10:4
35:16 36:14	counsel 6:15 9:9	date 6:11 60:4	description 23:5	Dr 4:3 6:4 24:6
42:1 45:6 46:5	9:10 59:15,16	61:5,17,22	33:2,8 38:14	39:14 59:8
50:11 51:3,8,21	60:9,12	62:10,22	41:14 45:4	draw 17:7 25:13
52:13 53:2,14	Counselor 37:8	day 59:8,17	details 23:6	31:3
54:4,7,9,11,15	42:8 44:21	decades 57:7	detect 50:11 51:2	Duckworth 1:12
55:1,8,9,12,15	48:21 51:10	Declarant 2:2	51:7,21 52:6,7	2:2 4:3,15,20
55:19	53:19 54:20	declaration 4:14	52:12 54:9	6:4 7:5,14
contactless 13:14	counselors 9:11	4:19 18:10,21	detecting 51:3	24:10,18 39:14
14:15 15:2,16	COUNTY 59:3	22:5 24:7,9,17	55:8	49:14,18 59:8
contacts 14:9	couple 9:12 35:11	29:16 49:10,13	detection 53:3,15	60:1,4 61:5
15:12	coupled 35:17	49:18 50:2	54:4,12 55:1,8	62:9
context 14:17	36:15	51:14 52:22	55:12,15,19	Duckworth's
22:2,7,13 23:18	coupling 4:11	declarations 9:1	detects 41:21	24:6
23:20 27:14	11:7,11 19:8	9:4,8,15,16,21	determined 21:3	duly 7:7 59:10
28:3,21 31:5	26:6,15 27:1,2	11:17 18:19	device 12:8 13:19	
38:15 39:14	27:3,7,8,13,19	decreases 18:8	13:21 14:1,9	E
40:22 41:5,6	27:21 28:4,10	26:8,16 28:15	15:1 34:20 37:4	E 3:1,1 4:1,6 5:2
42:17 47:19	29:12,19,21	29:2,9,12,20	devices 34:6	earlier 22:15 32:1
50:22 57:4	30:2,10,12 31:6	degree 26:6,14	diagram 16:14	41:8,21 44:2
continue 34:13	31:21 32:1	27:1,2,6,8,13	17:1 30:18	45:11 50:22
Continued 5:1	court 6:8 10:17	27:18 28:10	31:10,11	easier 10:7
continues 27:22	59:6	29:19,21	different 16:17	Eastern 6:12
47:1 55:19	cover 34:12 35:19	dependent 23:7	24:2,2 47:21	ECG 50:12,13,20
continuing 33:15	35:22 36:2,3,6	depicting 33:22	48:8	effect 4:9 11:5,10
continuum 25:16	covered 34:12	Depo 11:2 24:5	Digital 1:20 6:10	22:13
25:22 26:10	35:21 36:3	32:9,12 36:18	60:19,20 61:1	efficiency 26:8,17
29:17	covering 23:8	37:1,22 49:11	62:1	28:16,20 29:2,4
copies 9:20,21	creates 31:15	49:17,22	dimensions 4:10	29:5,7,8

Eileen 1:17 2:5 6:9 59:5,22 EKG 51:6,8,9,13 51:16,19,22 52:3,6,14,17,20 electric 14:14 electrical 13:13 14:6,7,9 15:13 15:14,15 electrodes 50:11 50:13,20 51:2,5 51:5,7,9,12,18 51:20,21 52:3,6 52:7,12,13,17 52:19 53:3,15 54:4,7,12 55:1 55:11,13,15,20 electromagnetic 19:10,11,17,20 22:20 31:16 32:3,4 57:6 electronic 12:7 33:4,10,13 34:15 35:5,7,9 35:13 36:1,5,11 electronically 60:21 electronics 12:2,6 15:4,6 embodiment 33:5 47:21,22 48:9 employee 59:15 59:15 ends 47:10 energy 13:12 14:13 19:9 32:2 enhance 42:22 43:8,15 44:4 48:13 49:5 enhanced 44:9 enhances 44:14 entitled 4:9 11:10 errata 60:11 61:11 62:6 ESQUIRE 3:5,6 3:14 Everton 3:5 6:21	6:21 12:17 14:2 15:22 18:17 19:6 20:15 21:1 22:17 26:12 29:14 30:14 31:8 34:1 36:8 39:16 42:6 43:21 44:12 46:1,19 48:19 53:17 54:18 55:4 56:5 57:16 57:20 58:6 Evidence 1:20 6:10 60:19,20 61:1 62:1 exactly 23:6 examination 4:2 7:6,10 examined 7:7 59:9 61:7 example 12:7 14:21 16:10,11 16:17 18:4,9,11 19:13 24:1,3 38:9,17 39:19 41:8,10 45:3 47:22 48:4 examples 12:5 excuse 47:2 53:6 exhibit 4:8,13,18 5:3,7 11:2,3,5,9 11:14 17:3 24:6 24:8,13,17 26:3 30:5 32:9,13,13 32:16,19 36:19 36:20 37:1,7,22 38:5 49:11,12 49:17,22 53:8 exhibits 9:2,15 10:18,22 existing 50:11,19 51:5,7,12,20 52:2,6,12,17 experience 56:2 56:13,15 expert 19:2 explain 47:18	explained 41:3 express 60:22 F F-E-K-O 57:10 face 24:19 49:19 fact 25:15 26:4 29:16,16 factors 23:7,10 23:13,16 Fahnert 3:21 6:7 fair 8:8 10:13,14 farther 19:15 20:4 Feel 25:6 Feko 57:9 field 19:3,10,12 19:17 22:20 23:1 31:16 32:3 32:4 56:2,14 figure 16:11,13 16:18,19 18:5,9 19:13 29:1,9 30:5,17 31:3,5 31:10 33:2,2,16 33:17,20,22 34:3,4,7,22 38:10,15,17,18 39:5,14,19 40:3 40:5,7,11,19 41:4,5,10,11 45:4 48:5,9 figure's 33:8 filed 6:5 financially 59:16 find 10:7 fine 10:8 first 7:7 11:20,21 26:21 38:8 41:14 46:13 47:20 Fish 3:13 6:19 Floor 3:7,15 60:2 flux 19:11,13,17 19:20,21 20:6,7 20:10,13,22 21:3,7,10,17,21	22:14,14,19 23:1,15 30:7,19 31:17 32:5 follows 7:8 forbidden 60:21 foregoing 61:7 form 12:17 14:2 15:22 18:17 19:6 20:15 22:17 26:12 29:14 30:14 31:8 34:1 36:8 39:16 42:6 43:21 44:12 46:1,19 48:19 53:17 54:18 55:4 56:5 57:16 formed 38:10,19 38:22 39:20 48:6 forming 39:21 forward 60:11 found 25:20 51:17 free 25:6 further 57:19 59:14 G gap 13:14 14:15 15:7,9,9,17,21 17:10,14,17 19:10 26:5,13 26:22 27:5,11 27:17 28:8 29:11,18,20 32:3 42:22 43:9 43:10,15 44:5 44:15 48:14 49:6 general 16:4 18:20 21:12 23:4,5 generally 23:14 36:16 Georgia 3:16 give 8:11,16	given 61:10,13 glass 34:12 35:19 35:22 36:2,3 glasses 36:6 go 7:16 35:12 47:13,15 goes 50:8 going 7:16 8:1 37:9,15 57:6 58:1,8 good 37:14 governing 60:13 great 10:15 25:12 31:10 greater 20:13,21 ground 7:16 Group 1:20 6:10 60:19,20 61:1 62:1 H H 4:6 5:2 halfway 40:8,9 happen 21:20 22:10 30:3 headed 17:5 held 2:3 help 31:4 helpful 51:11 hereunto 59:17 hesitate 60:15 highlight 25:18 50:8,9 hold 39:10 Honda 25:15 26:2,19 27:4,11 27:16,22 28:7 28:22 29:16 32:10,14,22 34:2,8,21 35:20 36:4,10 Honda's 33:1 hour 37:9 59:8 I identify 6:15 10:10
--	--	--	---	--

III 19:8 illustrated 38:9 38:17 39:19 40:5,10 48:5 impact 19:21 20:7 27:20 improve 48:17 improved 40:14 42:5,13,17 43:5 47:19 include 16:8 includes 46:21 55:7,18 including 37:5 41:22 45:5 60:21 Incorporated 6:20 increased 27:6,18 28:9 increases 18:6 26:6,8,14,17 27:1 28:16 29:2 29:18 increasing 18:15 inductive 19:8 32:1 inform 43:4,18 information 37:4 48:21 interested 59:16 international 5:4 36:21 37:2 introduce 32:7 introduced 37:22 introduction 11:20 inventors 32:15 37:5 involved 21:13 59:16 involving 50:3 IPR 50:3 IPR2023-00634 1:5 Irvine 3:8 60:2	J J 3:5 James 1:12 2:2 4:3,15,20 6:4 7:5,14 24:9,18 49:13,18 59:8 60:1,4 61:5 62:9 Jhurani 3:14 4:4 6:18,19 7:11 10:16 11:1,13 13:1 14:10 16:6 19:1,18 20:18 21:5 23:9 24:5 24:12,16,21 25:1,4,11,18,21 26:20 30:1 31:1 31:19 32:11,18 33:19,21 34:5 36:18 37:1,6,14 37:20 38:2,4 39:4,10,12 40:1 42:9 44:8,16 46:8 47:4,13,16 49:8,16,21 50:6 50:15 52:15 53:20 54:21 55:14 56:8 57:18,22 jhurani@fr.com 3:18 June 1:13 2:4 6:11 59:8,17 60:4 61:5 62:10	39:18,21 40:7,9 41:1,2,6,15 42:19 43:6 44:3 44:14 48:10 49:1 51:2,19 53:2,8,10 54:3 54:15 55:6 Kotanagi's 40:3 51:5 53:15 54:4 55:2 Kotanagi/Honda 57:14	look 11:19 14:11 16:11 19:7,12 28:13 looked 32:1 44:2 looking 24:1 30:4 35:7 41:14 45:10,17 lot 23:7,13 lower 41:19 43:1 43:11,16 44:5 44:10,18 45:1 45:14,21 46:4,6 46:14,17 47:2,6 47:11 48:14 49:2,6	matter 6:4 60:6 mean 13:9 means 55:8,12,19 measure 51:16 measurement 50:12,14,20 51:8,9,13,22 52:14,18,20 54:10 measurements 52:4 Measuring 37:4 medical 8:15 mentioned 20:4 Merit 2:6 59:6 middle 25:14 53:1 mind 21:14 23:16 minutes 37:12 modeled 56:3,6 56:16 modeling 57:12 Mohamad 11:8 23:22 30:5,17 31:5,22 Mulvenna 1:17 2:5 6:9 59:5,22
	K Karan 3:14 6:18 keep 12:9 keyed 10:18 keyhole-like 48:1 Knobbe 3:4 6:21 60:1 know 8:5 16:16 22:4 known 51:4 Kotanagi 37:5 38:1,15,16,21	L L.L.C 61:1 labeled 35:19 40:20 leaves 19:14 LED 42:1 44:1 45:5 46:22 55:7 55:9 let's 11:2,19 14:11 16:19 17:3 24:5,22 26:21 28:13 32:7,9,22 36:18 37:21 38:7 39:2 47:13 49:10 50:6 52:21 56:14 limited 48:3 line 27:4 33:1,1 33:16,17 34:4 35:13 38:8 62:11 lines 28:22 listed 24:19 little 21:13 living 42:2 43:2 43:12,17 44:6 45:6 46:3 48:15 55:10 LLC 62:1 LLP 3:4 60:1 load 13:14 14:15 15:2,5,10,16,18 long 21:22 37:11	M M 1:21 3:6 61:1 62:2 magnetic 19:11 19:13,16,21 20:6,7,10,13,21 21:2,7,10,17,21 22:14,19 23:1 23:15 26:6,15 27:1,2,7,8,13 27:19,20 28:4 28:10 29:12,19 29:21 30:2,7,10 30:12,19 31:6 31:16,21 32:5 magnetically 35:10,16 36:14 magnitudes 23:6 Main 3:7 60:2 marked 11:12 24:11 32:17 36:22 49:15 markings 9:22 Martens 3:4 6:22 60:1 Masimo 1:4 3:3 6:4,22 9:9,13 9:20 32:13 58:6 60:3 61:4 62:8 material 10:7,9 materials 9:18	N N 3:1 4:1 name 6:7 7:12 61:5 62:9 named 56:19 57:1,9 NE 3:15 need 13:18 22:1 30:10 37:11 needed 10:20 30:2,13 31:7 Nelson 3:6 7:2 new 2:8 10:2 59:1 59:7 Nope 8:18 Nos 1:5 Notary 2:7 59:6 61:22 noted 61:11

notes 59:11,13 noticing 60:12 noting 60:12 number 24:2,19 49:19 numbers 4:8,13 4:18 5:3,7 11:9 24:8 32:16 36:20 49:12 NW 1:21 61:1 62:2	original 60:11 outcome 21:10 outcomes 25:16 25:22 26:10 29:17 outer 38:11,19,22 48:6 output 18:5,8 owner 1:8 2:3 3:12 6:20	40:17,19,22 41:4,7,10,13,16 41:18,19,22 42:5,13,17 43:1 43:4,11,17,19 44:6,10,11,17 44:19,22 45:2,5 45:14,15,21,22 46:5,7,15,17,18 46:21 47:3,5,7 47:10,12,19 48:1,2,5,15,18 49:2,3,7 53:3 53:11,15,16 54:2,3,4,5,6,8 54:12,13,17 55:2,2,6,16,16 55:18 particular 9:10 parties 6:13 59:15 passes 30:19 passing 22:21 23:1 30:7 patent 1:1,2,6,8 2:2 3:12 4:16 4:21 5:8 6:6,20 24:7,10,19 32:14,17 49:11 49:14,19 50:4 patents 9:4 PD 42:1 44:1 45:5 55:9 Peachtree 3:15 Perfect 11:1 perform 30:13 31:7 50:13 51:9 51:13,22 52:3 52:14,17,20 period 60:13 periphery 38:11 38:19 39:1 48:6 person 59:16 petitioner 1:5 3:3 7:1 Ph.D 1:12 2:2 4:15,20 7:5	24:10,18 49:14 49:18 60:1,4 61:5 62:9 Philip 3:6 7:2 9:11 philip.nelson@... 3:10 photodetector 46:22 55:7 phrase 53:11 54:16 physical 12:11,20 13:3,6,8,18,22 14:6 physically 35:15 36:13 place 19:15 20:5 31:18 placed 35:10,14 36:6,11 plan 10:4 33:3 please 6:15 7:12 8:5 17:16 20:2 20:16 21:14 42:8 44:21 50:7 53:19 54:20 56:11,20 60:6 60:10,11,14 point 18:20 pointing 18:10 31:9 points 31:22 portions 53:10 pose 10:10 posed 8:9 POSITA 51:4,16 51:17 possible 33:20 42:20,21 43:8 43:15 44:4 48:11,13 49:5 power 4:12 11:7 11:11 12:2,12 12:21 13:7,9,12 13:13,17,20 14:12,14,20,22 15:16,19 16:9	16:14,20 17:8 18:1,5,7,8,12 18:16 19:5,22 20:8,10 21:7,17 21:20 22:12 23:3,17,20 27:21 28:4 29:5 29:8 30:6,18,21 31:12,14,17,18 31:20 32:6 56:3 56:16 57:13 powering 12:11 12:21 13:6 prefer 10:6 preparation 9:7 prepare 8:19,22 preparing 11:17 present 3:20 30:11 previous 35:6,20 36:10 42:19 43:20 primary 27:5,12 27:14,17 28:9 printed 9:20 prior 59:9 Procedure 60:14 proceeding 50:3 proposed 57:14 protruding 38:10 38:18,22 39:20 39:22 41:19 43:1,11,16 44:6 44:10,19 45:2 45:15,22 46:5,7 46:15,18 47:3,6 47:12 48:1,2,5 48:15 49:3,7 provide 13:14 14:15 48:20 provided 57:14 providing 14:20 23:5 Public 2:7 59:6 Publication 5:5 36:21 37:2 pull 10:19 39:7
O	P			
o'clock 37:13 oath 7:20 Object 14:2 15:22 18:17 19:6 20:15 26:12 29:14 30:14 31:8 34:1 36:8 39:16 42:6 43:21 44:12 53:17 54:18 objection 12:17 21:1 22:17 46:1 46:19 48:19 55:4 56:5 57:16 obtain 51:6,19 obvious 51:18 occur 31:20 offers 13:12 14:13,13 office 1:1 6:6 60:7,15 Okay 9:22 11:1 12:9 23:16 25:12 39:10 43:3 52:16,21 54:11 56:1 OLSON 3:4 60:1 operate 12:2 operation 12:10 12:20 13:5 opposed 15:10 22:14 optical 54:10 option 60:9,10	P 3:1,1 P.C 3:13 page 4:2,7 11:20 16:12 17:3 19:7 33:20 39:3 50:9 60:12 61:4 62:11 pages 39:8 61:7 pair 55:13,20 paper 4:8 10:6 11:5,9 12:18 16:10 17:20 23:22 30:5 paragraph 11:20 12:10 13:11,16 14:12 16:3 17:4 24:22 25:6,10 25:14 28:14 35:6,21 36:10 38:7,14 39:2,13 39:18,21 40:2,4 40:6,10,12 41:9 41:17,20 42:3 42:12,18 45:8 45:11,19 46:2 46:14,20 47:8 47:14,19,21 48:4,11,22 49:4 50:7 51:1,15 52:21 53:1 55:5 55:17 paragraphs 44:2 parallel 36:17 part 38:10,18,22 39:20,22 40:14			

pulse 51:3	reason 8:15 27:5	related 17:20	saying 13:10 16:3	26:4 27:22
purchased 60:8	27:17 28:8	relation 44:18	says 14:12 15:3	28:13 32:1
put 22:2 33:19	recall 11:16 37:7	45:1,14,21	15:15 33:12,16	33:12 40:12
39:5	receive 15:5,20	46:17	34:8 39:21 40:5	42:11,19 43:3,7
Q	16:22 20:11,20	relative 59:14,15	40:9 41:17,21	43:14,20 46:3
quadratic 26:9	21:8,10,18,20	remember 57:5	42:12 43:14	46:13 47:10,10
26:18 28:17	22:21 23:2	remote 2:1 6:3	47:1 48:2,11	50:10,16 52:11
29:3	received 11:12	remotely 6:14	49:4 55:6	53:7
question 8:4,7,9	24:11 32:17	reorient 42:11	screen 39:5	sentences 40:4
10:10 16:4	36:22 49:15	repeat 30:16	second 11:19	separate 54:7
17:16 18:20	receiver 16:13	repeatedly 53:2	12:10 50:9	separation 15:17
20:1,9,17 21:12	17:10,21 18:3	repeating 21:14	secondary 27:6	17:21 18:2,13
21:14,22 28:6	19:16 20:5 30:8	reported 1:17	27:12,14,18	series 8:1
30:16 42:7	30:20	59:7	28:2,9,11	set 14:9 55:21
44:20 45:19	Recess 37:17 58:3	reporter 2:6,6,7	section 17:5 19:8	59:17
46:16 48:10	recognize 11:14	6:8 10:17 59:6	52:1,2,5,16	shape 48:1,2,3,3
51:10 53:19	24:13 38:5	59:6,6	sectional 29:1	sheet 60:11 61:11
54:3,20 56:10	49:22	represent 6:9	33:17 34:3	62:6
questions 8:2	reconvene 37:12	request 59:13	see 12:3,13 17:12	short 37:10
25:7 57:19 58:7	record 6:2,16	require 13:22	18:6 25:17	shorthand 2:5
60:14 61:10,13	7:13 11:4,22	39:9	28:18 33:6,11	59:11,13
quick 7:18 10:16	24:16 32:8,12	requires 12:11,20	38:12 40:15,19	showing 17:1
quite 16:1 21:22	37:16,17,19	13:5	41:3 42:14	30:6,18 31:11
R	49:16 58:2,3,5	Resonant 4:11	45:19 50:16	33:3
R 1:12 2:2 3:1 4:3	58:9,11	11:6,11	53:5	shown 16:17
4:15,20 7:5	recorded 61:10	respond 18:4	seen 29:1	24:14 29:8 33:9
24:9,18 49:13	61:14	response 10:9	selected 60:10	33:12,17 34:3,6
49:18 59:8 60:1	reduce 18:16	result 20:10 21:7	sensor 40:14,17	34:9,15,16,22
60:4 61:5 62:9	reducing 28:1,11	21:17 22:20	40:22 41:7,9,13	34:22 35:7
rate 17:9 18:1,16	refer 23:22 26:2	41:18	41:15,18,22	41:10
19:5 51:4	41:15 45:3 54:1	review 9:14 25:8	42:5,13,17 43:4	shows 18:5 24:1
read 9:1 11:4,21	reference 32:10	52:9 59:13 60:8	43:19,22 44:11	30:6,17
13:16 25:6,9	38:1,8	reviewed 32:19	44:17,22 45:5	sic 51:6,17
34:8,16 38:8	referenced 15:8	reviewing 10:11	45:13,21 46:17	sign 60:7,8
41:2 43:7 55:17	43:19 60:6	11:16	46:21 47:5,9,18	signature 59:17
61:6	referred 32:8	Richardson 3:13	48:17 49:1	60:6,12 61:4,17
reading 10:6 12:9	referring 10:9	6:19	53:11,16 54:1,2	62:22
45:8	12:15,19 17:15	right 8:19 10:15	54:3,5,6,8,10	signed 60:11
reads 12:10 17:8	18:19 26:1,22	15:21 16:22	54:13,16,17	signing 60:10
40:13 50:10	27:3 28:21 29:5	17:4 18:3 25:22	55:2,6,16,18	Similarly 36:1
ready 60:6	29:15 34:18	30:9 32:7 33:14	sensor' 53:4	51:1
Real 10:16	41:21 43:12	52:4,18 54:13	sent 10:18	simpler 31:13
really 31:12	refers 25:15	rules 7:17 60:14	sentence 11:22	simulated 56:3
44:13 48:20	29:17 33:9 41:9	S	12:10,13,15	56:16
Realtime 2:7 59:6	Reginald 7:14	S 3:1 4:6 5:2	13:3 14:11,17	simulation 57:6
	Registered 2:6	saw 48:22	15:3,8 17:8,18	Sincerely 60:18
	59:6		17:20 25:14	sir 7:12,16 8:19

10:8 11:14 12:3 13:3 17:7 19:3 23:11 24:4,13 26:11 31:2 32:7 32:19,22 33:22 37:7,21 38:5,12 39:13 40:2 41:12 45:9 46:9 49:22 50:16 51:11 53:1 55:21 56:2 57:18 size 23:12 skin 50:11 51:3,8 51:21 52:12 54:9 solution 13:20 solutions 13:15 14:16 sorry 20:16 22:18 31:2 46:9 Sounds 37:14 source 12:2,12,21 13:7,9,13 14:14 14:18,19,21,22 15:10,16,18 16:7 spacing 24:2 specifically 22:3 27:10 45:16 50:10 51:7 52:11 specification 17:9 spell 56:20 spelled 56:21 spreads 19:12,15 ss 59:2 Standard 6:12 start 56:14 started 52:11 starting 51:14 starts 46:2 47:9 state 2:7 7:12 28:14 59:1,7 statement 26:21 28:7 statements 22:2,5	26:19 states 1:1 6:6 27:4,16 33:2 36:4 38:16 41:3 44:3 48:10 stating 44:14 station 33:4 35:10,14 36:6 36:12 station-side 35:11,14,18 36:12 stipulated 6:13 Street 1:21 3:7,15 60:2 61:1 62:2 strengthen 29:22 strike 18:14 19:20 22:11 30:11 56:14 stronger 21:10,21 22:14,19,20,22 23:2 29:12 submitted 50:3 substantially 28:1,10 Suite 1:21 61:1 62:2 supplemental 4:14,19 9:1,3 9:16 11:17 24:9 24:17 49:13,17 50:2 sure 6:18 10:8 16:1,19 20:3,19 21:15 37:11 42:10 50:21 52:8 53:21 54:22 56:12 57:22 surface 41:19 42:2 43:1,2,11 43:12,16,17 44:5,7,10,18 45:1,6,14,22 46:4,4,7,14,18 47:3,6,11 48:14 48:15 49:2,7	55:10 surfaces 36:15 51:17 sworn 6:14,17 7:7 59:10 System 4:12 11:7 11:12 systems 16:9 T T 4:6 5:2 take 11:19 26:21 31:18 37:9 57:20 taken 33:17 34:4 59:13 talk 16:19 talking 13:17 22:9 23:10,18 27:10 40:2,6 talks 13:11 27:11 taught 51:6,19 technician 3:21 6:8 technology 13:12 14:13 ten 37:12 ten-minute 57:20 testified 7:7 21:6 21:16 22:1,15 testify 59:10 testifying 7:19 testimony 8:12 8:17 thank 7:15 24:4 33:14 45:12,12 46:12 49:9 51:11 55:22 57:18 thickness 42:21 48:12 thing 37:11 think 12:18 28:6 34:16 37:21 39:2 43:6 52:10 three 39:8 threshold 51:3	time 6:12,12 37:13,13,16,19 39:8 57:19 58:2 58:5 59:13 60:13 title 16:13 titled 11:5 24:17 37:3 today 6:8 7:20 8:12,17 9:19 10:5 today's 6:11 7:22 8:20 9:7 tool 56:19 57:1,9 Trademark 1:1 6:6 transcribed 59:11 transcript 59:12 59:14 60:6,7 61:7 transcription 59:12 61:10,12 transfer 4:12 11:7,11 13:12 13:17,20 14:13 16:9,14,20 17:9 18:1,12,16 19:5 19:22 20:8,11 21:8,17,20 22:13 23:3,17 23:21 30:3,6,13 30:18,21 31:7 31:12,14,18,20 32:6 transferred 14:22 transferring 19:9 32:2 transmission 13:13 14:14 15:15,19 26:8 26:17 27:21 28:5,16,20 29:2 29:4,6,7 34:10 56:4,16 57:13 transmit 16:21 20:20	transmitted 18:8 transmitter 16:12 17:10,21 18:2 19:14 21:4 30:7 30:20 31:15 transmitting 15:20 16:8 TRIAL 1:2 trigger 50:12,20 51:8 54:9 true 59:12 61:9 61:11 truth 59:10,10,10 truthful 8:12,16 trying 20:6 22:6 31:3 turn 17:3 24:22 32:22 36:18 37:21 38:7 39:2 52:21 turning 42:3 turns 24:2 two 20:14,22 27:9 29:9 36:6 51:17 typewriting 59:11 typewritten 59:12 U U.S 1:6 4:16,21 5:7 24:10 32:14 32:16 49:14 uh-huh 46:11 understand 7:19 7:22 8:4,11 20:6 21:19 22:6 31:2,4 46:13 50:18 understanding 13:2 17:17,19 40:21 41:6,12 47:17 53:22 understood 8:8 United 1:1 6:6 use 10:4 18:9
--	---	---	--	--

51:4 54:15 uses 13:21 53:11 V v 1:6 60:3 61:4 62:8 various 24:1 versus 6:5 video 6:3,8 Video/document 3:21 videographer 6:1 10:17,21 37:15 37:18 39:7 58:1 58:4,8 VIDEOTAPED 2:1 view 33:3,17 34:3 50:19 W waive 60:9 want 17:7 21:19 50:21 wanted 51:16 Washington 1:21 61:2 62:3 watch 12:7 33:4 33:9,10,13 34:11,15 35:2,3 35:4,5,8,9,13 36:1,2,5,11 51:16 watch-side 34:9 34:14,17,19,22 35:15,21 36:13 way 13:16 48:9 We'll 39:10 we're 22:9 27:10 30:4 48:8 57:6 we've 37:9 weaken 28:4 weakening 27:20 28:3 weakens 27:1,7 27:19 28:10 weakens' 26:7,15	29:19 weaker 19:16,19 20:5,7,10,10 21:7,7,16,17 22:1,14 wearable 12:1,5 15:1,4,5 wearer 12:8 Wednesday 2:3 WESTCHEST... 59:3 WHEREOF 59:17 wide 15:10 winding 36:16 wire 14:8 wired 12:15 13:21 14:3,5 15:12 wireless 4:11 11:7,11 13:11 13:17 14:12 15:12 16:9,14 16:20 17:8 18:1 18:16 19:5,22 20:8 22:9,11,12 23:17,20 27:21 28:4 29:5,8 30:3,6,13,18,21 31:7,12,14,17 31:20 32:6 56:3 56:4,16,17 57:12 witness 4:2 6:17 7:6 12:18 14:3 16:1 18:18 19:7 20:16 21:2 22:18 25:3,9,20 26:13 29:15 30:15 31:9 34:2 36:9 39:17 42:7 43:22 44:13 46:2,20 48:20 52:10 53:18 54:19 55:5 56:6 57:17 58:7 59:8 59:9,17 60:8	61:5,17 62:9 witnesses 6:14 WO 5:5 36:22 37:3 works 19:9 32:2 wouldn't 13:4 wrist 42:21 48:12 written 60:22 X X 4:1,6 5:2 Y yeah 40:8 47:14 years 57:3 York 2:8 59:1,7 Z zero 18:6 0 1 1 4:8 11:2,9 33:2 33:2,17 34:4 1-centimeter 18:10 10 18:7 10,627,783 1:6 4:17 24:11,20 10,942,491 4:22 49:15,20 10:00 1:14 10:52 37:16 100 35:14 36:6,12 1005 53:8 1006 26:3 32:13 11 4:8 37:13,19 11:43 58:2 11:57 58:5 11:58 58:10,11 110 35:15 36:12 111 35:19,19,22 1180 3:15 13 18:5,9 28:22 28:22 14 5:3 29:1,9 36:19,20 37:1	37:22 14th 3:7 60:2 15 5:7 32:9,13,16 1730 1:21 61:1 62:2 2 2 4:13 19:7 24:6,8 27:4 33:16,20 33:22 34:3,7,22 41:11 200 33:10,13 34:15 35:5,8,9 35:13 36:2,5,11 20036 1:21 61:2 62:3 2005/092182 5:6 36:22 37:3 201 34:11 35:2,3 35:4 202 1:22 61:2 202)-232-0646 60:15 202)232-0646 62:4 2024 1:13 2:4 6:11 59:8,17 60:4,20 61:5 62:10 2028 11:3 30:5 2040 3:7 60:2 21 33:16 210 34:9,17,19 35:1,15,21 36:13 211 34:12 36:2,3 212 34:11 35:1 21st 3:15 22 33:16 232-0646 1:22 61:2 24 4:13 3 3 4:18 16:11,18 16:19 19:13 30:5,17 31:3,5	31:10 49:11,12 49:17,22 30309 3:16 32 5:7 332 24:22 25:3,10 28:14 36 5:3 35:13 39 27:4 4 4 1:13 2:4 6:11 33:1,1 38:10,18 38:22 39:20 41:19 43:1,17 44:6,10,19 46:5 46:7,15,18 47:3 47:12 48:1,5,15 49:3,7 60:4 61:5 62:10 400 52:21 404-724-2807 3:17 409 51:15 411 50:7 46 46:20 47:8 48:22 55:5,17 49 4:18 491 9:4 49:11 50:4 4a 43:1,11,16 44:5,18 45:1,14 45:22 46:4,7,14 46:18 47:3,6,11 48:14 49:2,7 4th 59:8,17 5 5 17:3 42:1 45:5 55:9 54 41:17 6 6 32:22 33:9 35:12 42:1 45:5 55:9 6,265,789 5:8 32:14,17
---	--	---	--	---

64 41:9,20 45:11 45:19 46:2,14 7 7 4:4 45:4 55:8,11 55:19 75 47:21 76 38:7,14,16 39:18 48:4 77 39:2,13,13,21 40:2,4,6,10,13 42:3,12 44:3 47:14,19 48:11 49:4 783 9:4 24:7 7a 55:12 7b 55:12 8 8 38:10,15,17,18 39:5,14,19 40:3 40:5,7,11,14,18 40:19,20,22 41:4,4,7,10,13 41:16,18,22 42:5,13,17 43:4 43:19 44:11,17 44:22 45:5,14 45:21 46:17,21 47:5,10,19 48:5 48:9,18 49:2 54:2 55:6,18 8-watt 18:11 812 1:21 61:1 62:2 9 9:56 2:4 6:12 59:9 92614 3:8 60:2 949-760-0404 3:9				
--	--	--	--	--