



Planet Depos®
We Make It *Happen™*

Transcript of Daniel Patrick Foty, Ph.D.

Date: June 24, 2024

Case: Mianyang BOE Optoelectronics Tech. Co., et al -v- Samsung Display Co.
(PTAB)

Planet Depos

Phone: 888.433.3767

Email: transcripts@planetdepos.com

www.planetdepos.com

Conducted on June 24, 2024

1 IN THE UNITED STATES PATENT AND TRADEMARK OFFICE 2 ----- 3 BEFORE THE PATENT TRIAL AND APPEAL BOARD 4 ----- 5 MIANYANG BOE OPTOELECTRONICS TECHNOLOGY CO., LTD.; 6 WUHAN CHINA STAR OPTOELECTRONICS SEMICONDUCTOR 7 DISPLAY TECHNOLOGY CO., LTD.; TIANMA 8 MICROELECTRONICS CO. LTD.; AND VISIONOX 9 TECHNOLOGY, INC. 10 Petitioner, 11 v. 12 SAMSUNG DISPLAY CO., LTD. 13 Patent Owner. 14 ----- 15 Case No. IPR2023-00941 16 U.S. Patent No. 7,414,599 17 ----- 18 Deposition of DANIEL PATRICK FOTY, PH.D. 19 Conducted Virtually 20 Monday, June,24, 2024 21 9:04 a.m. EDT 22 [REDACTED]	3 1 A P P E A R A N C E S 2 ON BEHALF OF THE PETITIONER: 3 TRAVIS JENSEN, ESQUIRE 4 ORRICK, HERRINGTON & SUTCLIFFE, LLP 5 1000 Marsh Road 6 Menlo Park, CA 94025-1015 7 650.614.7400 8 9 JOHANNES HSU, ESQUIRE 10 ORRICK, HERRINGTON & SUTCLIFFE, LLP 11 2050 Main Street 12 Suite 1100 13 Irvine, CA 92614-8255 14 949.852.7776 15 ON BEHALF OF THE PATENT OWNER: 16 JARED FRISCH, ESQUIRE 17 DAVID A. GARR, ESQUIRE 18 COVINGTON & BURLING LLP 19 850 Tenth Street, NW 20 One City Center 21 Washington, DC 20001 22 202.662.6000
2 1 Job No.: 542793 2 Pages: 1 - 131 3 Stenographically reported by: Judith E. Bellinger, 4 RPR, CRR, CSR-TX CCR-WA, CCR-NM 5 Reported by: Judith E. Bellinger, RPR, CRR 6 7 8 Deposition of DANIEL PATRICK FOTY, PH.D., 9 conducted virtually. 10 11 12 13 14 15 16 17 18 Pursuant to notice, before Judith E. 19 Bellinger, Registered Professional Reporter, 20 Certified Realtime Reporter, and Notary Public in 21 and for the State of Maryland. 22	4 1 A P P E A R A N C E S C O N T I N U E D 2 3 ALSO PRESENT: 4 Jesse Castro, Videographer 5 Paul Kaminski, Planet Depos Technician 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 24, 2024

5 1 C O N T E N T S 2 EXAMINATION OF DANIEL PATRICK FOTY, PH.D. PAGE 3 By Mr. Frisch 7 4 E X H I B I T S 5 (Attached to the transcript) 6 Previously Marked: 7 Exhibits: PAGE 8 Exhibit 1001 United States Patent Number 52 9 7,414,599 B2 10 Exhibit 1002 Kimura 81 11 Exhibit 1026 "CMOS Circuits for Peripheral 78 12 Circuit Integrated Poly-Si TFT LCD 13 Fabricated at Low Temperature 14 Below 600° C" 15 Exhibit 1029 Supplemental Declaration of Daniel 13 16 Foty, Ph.D. 17 Exhibit 1031 Daubert reference 91 18 Exhibit 2008 Declaration of Dr. Wolfe 114 19 Exhibit 2018 Claim Chart: AMOLED Display in 103 20 Samsung Galaxy S21 (SDC Display 21 Panel Code XT4) 22 [REDACTED] 106 Paper 41 Petitioner's Reply Brief 15	7 1 Sutcliffe. And also attending remotely with me is 2 Hans Hsu. 3 THE VIDEOGRAPHER: The court reporter 4 today is Ms. Judy Bellinger, also representing 5 Planet Depos. 6 The witness may now be sworn. 7 DANIEL PATRICK FOTY, PH.D., 8 being first duly sworn, was examined 9 and testified as follows: 10 EXAMINATION BY COUNSEL FOR THE PATENT OWNER 11 BY MR. FRISCH: 12 Q Good morning, Dr. Foty. 13 A Good morning, Counselor. And when you 14 were reading your name you were a little bit 15 faint, so I don't know if you need to adjust the 16 microphone or whatever is picking up your voice. 17 Q Can you hear me okay now? 18 A I can hear you, but you're fainter than 19 everyone else, so. 20 Q Let me try to adjust my microphone 21 here. 22 Can you hear me better now?
6 1 P R O C E E D I N G S 2 3 THE VIDEOGRAPHER: Here begins Media 4 Number 1 in the videotaped deposition of 5 Dr. Daniel Foty in the matter of Mianyang BOE 6 Optoelectronics Technology Co., et al., v. Samsung 7 Display Co., PTAB, in the United States Patent and 8 Trademark Office before the Patent Trial and 9 Appeal Board, Case Number 10 IPR2023-00941-0987-0988-1075. 11 Today's date is June 24th, 2024. The 12 time on the video monitor is 9:04 a.m. 13 The remote videographer today is Jesse 14 Castro, representing Planet Depos. All parties of 15 this video deposition are attending remotely. 16 Would counsel, please, voice-identify 17 themselves and state whom they represent. 18 MR. FRISCH: Jared Frisch of Covington 19 & Burling, representing Patent Owner. With me is 20 my colleague David Garr. 21 MR. JENSEN: This is Travis Jensen for 22 petitioner, from the firm Orrick, Herrington &	8 1 A That's a little better. I'll advise if 2 I don't hear. As I said, you're a little fainter 3 than everyone else for some unknown reason. 4 Q Okay. If you're having trouble hearing 5 me, let me know; otherwise, we'll continue. 6 A Sounds like a plan. 7 Q Okay. Great. 8 Can you, please, state your full name 9 for the record. 10 A My name is Daniel Patrick Foty. 11 Q You've been deposed before, including 12 in this proceeding, correct? 13 A That is correct. 14 Q I'm just going to go through a few of 15 the basics today since I know you've been through 16 this before. 17 During the deposition I'll be asking 18 questions. If you don't understand one of my 19 questions, can you, please, let me know and I'll 20 try to clarify? 21 A As usual, thank you. 22 Q And if you answer a question, I'm going

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 24, 2024

9 1 to assume that you understood it. 2 Is that fair? 3 MR. JENSEN: Objection. 4 A Sorry. Go ahead, Travis. 5 MR. JENSEN: Object to form. 6 A That sounds fair. 7 Q We're taking this deposition remotely 8 today. You understand, however, that this 9 deposition is treated the same as if you were in 10 person with me, correct? 11 A I understand that, yes. 12 Q And where are you taking the deposition 13 from today? 14 A I'm at my residence in Fletcher, 15 Vermont. 16 Q Is there anyone else there with you? 17 A Other than cats, no. 18 Q Do you have any communication utilities 19 open on your computer aside from the Zoom platform 20 that we're using for the deposition? 21 A No, I do not. And in the interest of 22 courtesy, I've disabled the Voice over IP and my	11 1 use hard copies as well. 2 Is that okay? 3 A Yes. I'll use hard copies, as much as 4 I can, to make this easier. Of course if there's 5 something that has not been -- I don't have, I 6 can't pull that up out of thin air, obviously. 7 Q Sure. And the hard copies that you 8 have, do they have any notes on them or are they 9 clean copies? 10 A They are clean copies. Like I said, I 11 just have a pile of sticky notes to put in, as 12 needed, so I can find pages rather than put pens 13 in and have them fall all over the floor. 14 Q And during the deposition today, I 15 would ask that you not engage in communications 16 with anyone else other than through the Zoom 17 session, okay? 18 A That's my understanding of the general 19 rules and that's the way we'll be operating today. 20 Q Thank you. 21 Is there any reason you cannot give 22 full and accurate testimony today?
10 1 mobile phone is off, so we shouldn't be 2 interrupted by anything other than weather or an 3 electronics problem. 4 Q I appreciate that. Thank you. 5 Do you have any folders saved on your 6 computer with documents for today's deposition? 7 A Well, I have my files for the whole 8 matter, but I don't have anything open right now. 9 I have paper copies of all the documents, so to 10 make this easier for us today, and a little pad of 11 blank sticky notes in case I need to use 12 bookmarks. 13 Q When you say you've got "paper copies 14 of all the documents," which documents are you 15 talking about? 16 A The exhibits from the petition last 17 year and the exhibits with my declaration that was 18 filed on, I believe it was May 31st. 19 Q Okay. And as I use exhibits today, 20 I'll have them both put in the chat box, so you 21 can download them, and we'll try to put them on 22 the screen as well. But you should feel free to	12 1 A No. None that I'm aware of. 2 Q What did you do to prepare for today's 3 deposition? 4 A I reviewed the documents that have been 5 involved in this matter, which goes back over a 6 year now, and had a meeting with counsel last 7 week. 8 Q And who attended that meeting? 9 A Mr. Jensen and Mr. Hsu. 10 Q How long was that meeting? 11 A We met for about three and a half hours 12 on last Thursday, and about four, four and a half 13 hours on Friday. 14 Q So, about seven to eight hours total? 15 A My guess, if you add them up, yes. 16 That's on top of my own reviews. 17 Q In preparation for today's deposition, 18 did you speak with anyone other than counsel? 19 A No, I did not. 20 Q Did you review your supplemental 21 declaration in preparation for today? 22 A Yes, I did.

Conducted on June 24, 2024

13 1 Q I'd like to start out by looking at 2 your supplemental declaration, which was 3 Exhibit 1029. 4 (Exhibit 1029 previously marked for 5 identification and attached to the transcript.) 6 A I have a paper copy of that to my left. 7 THE WITNESS: And just for the 8 videography folks, I'm aware if I try to talk and 9 turn too far to my left, that can cause problems 10 for the audio, so I'll try to turn back to speak. 11 MR. FRISCH: If we could have 12 Exhibit 1029 dropped in the chat as well, I'd 13 appreciate it. 14 PLANET DEPOS TECHNICIAN: Okay. Thank 15 you. 16 Q If we could put Exhibit 1029 on the 17 screen as well, and go to the last page. 18 PLANET DEPOS TECHNICIAN: One second. 19 I'm having trouble opening it. 20 Q Dr. Foty, do you see a signature on the 21 last page here? 22 A Yes, I do.	15 1 up until the filing date. 2 Q How much time would you say you spent 3 working on this declaration? 4 A I'd have to go check my invoice 5 records, but maybe 60 hours. That's a rough 6 guess, but it's something on that. I'd have to go 7 pull up my invoice records to see the exact 8 number. 9 Q Did you write your declaration 10 yourself? 11 A Yes, I did. 12 Q Were there any parts of the declaration 13 that were written by anyone else? 14 A I received help from counsel for legal 15 issues and, of course, there was some 16 back-and-forth on that, but I wrote my 17 declaration. 18 Q I'd like to introduce another document 19 here, paper number 41. 20 MR. FRISCH: If we can put that in the 21 chat as well. 22 A Before we leave that, Counsel, there's
14 1 Q And this is page 62? 2 A It's not showing on my screen, but in 3 my copy, this is listed as being page 62. 4 Okay. There we go. I see the page 5 number now. 6 Q Great. 7 Is that your signature? 8 A Yes, it is. 9 Q And was your declaration signed on 10 May 31st, 2024? 11 A That's correct, yes, it was. 12 Q Is that when you finished working on 13 your declaration? 14 A Yes, it was, and that was the due date 15 and it was filed on that date. 16 Q When did you start working on your 17 supplemental declaration? 18 A I don't recall exactly when because I 19 believe we first got the POR sometime in March. 20 So I started studying and pulling things together 21 not too long after you folks filed your POR, and 22 then worked on it in various degrees of intensity	16 1 one typo I wanted to correct because it's kind of 2 embarrassing to me as an engineer. It's just a 3 typo. There were several typos, but there was 4 just one that I found embarrassing and I wanted to 5 correct. 6 MR. FRISCH: While we put paper 7 number 41 in the chat, why don't you tell me which 8 typo you would like to correct. 9 A In paragraph 39, the fourth line, 10 there's actually, I translated a term from 11 engineer speak to plain English, in the fourth 12 line, it's in brackets and it says "amorphous 13 polysilicone." That should read amorphous 14 silicon. In the original reference, the phrase 15 used, which was cryptic, in which I tried to 16 translate it, it's a-Si, a-Si, which is engineer 17 lingo for amorphous silicon. Sometimes the As, 18 instead of a Latin letter A, a Greek letter alpha 19 is used. So that should read amorphous silicon, 20 not amorphous polysilicone as there really is no 21 such thing as amorphous polysilicone. It's a 22 contradiction of physics.

Conducted on June 24, 2024

17 1 Q Okay. I see it here. 2 So in paragraph 39 on four lines down? 3 A Yes. As I said, this was -- this is 4 completely correct in the reference I was citing. 5 In the translation from engineer to English, I 6 botched it. It should just be amorphous silicon. 7 Q Did you mention there are other typos 8 that you're aware of? 9 A There may be a few, but they're not 10 material. Just the usual typos you see of 11 spellings and things like that. 12 Q Is there anything else that you'd like 13 to correct? 14 A Not at this time, no. 15 Q Paper 41 is a copy of Petitioner's 16 reply brief. 17 Is that a document you've seen before? 18 A By "reply brief," do you mean the POR? 19 Q No. 20 Do you have a copy? 21 A Oh, sorry, yes. I got confused there 22 for a moment.	19 1 Q Okay. I would like to go back to your 2 declaration, Exhibit 1029. And if we look at the 3 front page of your declaration, it lists out four 4 petitioners that you were working for in this 5 proceeding, correct? 6 A Yes, that is correct. 7 Q And those petitioners are Mianyang BOE, 8 China Star Optoelectronics, Tianma and Visionox, 9 correct? 10 A That's what it states, correct. 11 Q Did you have any discussions with 12 anyone at these companies in connection with this 13 supplemental declaration? 14 A No, I did not. 15 Q Did you have -- so, just to be clear, 16 did you have any discussion with anyone at BOE in 17 connection with this declaration? 18 A No, I did not. 19 Q I would like to move, now, to Section 3 20 of your supplemental declaration that starts on 21 page 3. 22 If you could let me know when you're
18 1 Yes, this is the petitioner's reply 2 brief and my declaration accompanies this, and 3 I've seen this, I've reviewed it, and I also have 4 a paper copy of that here with me. 5 Q Okay. Great. 6 And when have you seen this document? 7 A I obviously saw it after it was filed 8 on May 31st, and I saw it during its preparation. 9 Q Did you see this document before you 10 began working on your declaration? 11 A I don't think that was possible because 12 I started working on my declaration as soon as we 13 had your POR, so I was already working on my 14 declaration as this document began to be prepared, 15 since we're talking early March or some time like 16 that. 17 Q Did you draft or edit any portions of 18 this document? 19 A I did not draft or edit this. 20 Obviously, I was co-working with counsel as both 21 my declaration and the reply brief were being 22 prepared.	20 1 there. 2 A It's not on the screen, but I have -- 3 I'm there in my paper copy to my left. 4 Q Okay. Well, you can use your paper 5 copy, although if you need it up on the screen as 6 well and we're not there, just let me know. 7 PLANET DEPOS TECHNICIAN: It is on the 8 screen, is it not? 9 MR. FRISCH: On my screen it's showing 10 page 1, but we're looking for page 3. 11 PLANET DEPOS TECHNICIAN: Oh, okay. 12 One moment. 13 MR. FRISCH: Great. Thank you. 14 Q So, Dr. Foty, are you with me at 15 page 3, Section 3 of your report or your 16 supplemental declaration? 17 A Yes, I am. And of course, this table 18 continues on to next page. 19 Q Section 3 is your section on materials 20 considered for the supplemental declaration, 21 right? 22 A Yes, that's what this section

Conducted on June 24, 2024

21 1 describes. 2 Q It starts with paragraph 10, and in 3 paragraph 10 you say that you've reviewed and 4 considered Patent Owner's response and its 5 accompanying exhibits, right? 6 A Sorry, you faded out there, I didn't 7 get the last of that. 8 Q Sure. 9 So in paragraph 10 it says that you've 10 reviewed and considered Patent Owner's response 11 and its accompanying exhibits? 12 A Yes, as it says there, it says what I 13 reviewed and, of course, I reference I'm 14 incorporating the materials reviewed in the 15 original declaration from over a year ago. 16 Q Okay. And you've also listed a number 17 of exhibits in the table that you pointed out goes 18 from page 3 to 4? 19 A That is correct. 20 Q And as part of reviewing Patent Owner's 21 response, did you also review Dr. Wolfe's expert 22 declaration that was in support of that response?	23 1 Q At various places in your declaration 2 you reference an active matrix display. 3 What's your understanding of what an 4 active matrix display is? 5 A Again, you faded out at the end there, 6 Counselor, I didn't get the last part of that 7 question. 8 Q Yeah. I'll repeat it. I said at 9 various places in your supplemental declaration 10 you reference an active matrix display. 11 What is your understanding of what an 12 active matrix display is? 13 A My understanding, I think this came up 14 in my prior deposition as well, in February, my 15 understanding is an active matrix display is 16 called active because individual pixels can be 17 addressed rather than just, say, an entire row of 18 pixels. That's my understanding of how active 19 matrix is defined. 20 Q What allows the individual pixels in an 21 active matrix display to be addressed? 22 A Basically, the circuitry allows you to
22 1 A Yes, I reviewed his declaration. 2 Q And did you review the exhibits that he 3 cited in his declaration? 4 A Yes, I did. 5 Q Now, with that understanding, is what 6 is described in this section of your supplemental 7 declaration a complete list of materials you 8 considered? 9 A Yes, it is, along with my own 10 knowledge, of course. 11 Q I want to take a look at the documents 12 that are listed, particularly in the table. 13 Did you locate all the documents that 14 are in the table yourself or were some of them 15 provided to you? 16 A Some were located and provided to me, 17 some I located myself. 18 Q Do you recall which of these you 19 located yourself? 20 A Exhibits 1026 and 1027, I located 21 myself. And Exhibits 1031 through 1033, I located 22 myself.	24 1 do that, in this particular case, because of the 2 transistors and you have wiring to them and so 3 forth and so on. 4 Q And so an active matrix display uses 5 pixel circuits for each pixel? 6 A An active matrix display does use pixel 7 circuits. Since you brought that up, just one 8 thing I want to clarify is, until recently, in 9 this matter, it seemed like it was just a given 10 that a pixel circuit is the small circuit 11 associated with the pixel emitter and its 12 controller, such as described in the '599 patent 13 and also in Kimura. '599 only shows that one 14 embodiment, and I believe Kimura's circuit 15 embodiments are all pixel circuits. At his 16 deposition, Dr. Wolfe started referring to a pixel 17 cell circuit, or something to that effect, and at 18 one point he said something to the effect that 19 what we've been calling a pixel circuit, he was 20 calling a pixel cell circuit. And what he was 21 calling a pixel circuit encompassed other things 22 around it, like peripherals.

Conducted on June 24, 2024

25 1 Just for clarity, anytime I mention in 2 my materials, or today, a pixel circuit, I'm 3 referring to what is, essentially, the Figure 3 4 embodiment in '599 and the circuit embodiments in 5 Kimura, just the circuits associated with the 6 pixels, not the peripherals around it. Unless I 7 say otherwise, I just wanted to point that out. I 8 don't know why Dr. Wolfe used that different 9 definition, but he did, so I have to address it 10 briefly there to keep us clear. 11 Q Just to be clear, when you say "pixel 12 circuit," you're referring to the transistors and 13 capacitor that turn on a particular OLED element, 14 correct? 15 A Yes, I'm referring to the, as I said, 16 the scale of what's described in '599, the single 17 Figure 3 embodiment. And as I said, Kimura, I 18 know Kimura discusses peripheral circuits, but I 19 don't think he has a circuit embodiment that's 20 more than the pixel circuit, so I just wanted to 21 point that out because Dr. Wolfe introduced, at 22 his deposition, that terminology.	27 1 Q And the electronics to address the 2 individual pixels, in certain ways, are the 3 transistors and capacitors that you were talking 4 about that you would see in the figures, for 5 instance, in the '599 patent? 6 A That's fair. You have circuitry 7 involving transistors and capacitors, that typical 8 stuff that's used to control individual pixels. 9 Q You have that in active matrix 10 displays, right? 11 A Sorry, I didn't get that, you faded out 12 again. 13 Q What you just described, you said, 14 "That's fair. You have circuitry involving 15 transistors and capacitors." 16 You were talking about active matrix 17 displays there, correct? 18 A You have circuitry, of course, in any 19 display, but the circuitry that makes it active 20 lets you address the individual pixels, regardless 21 of the circuitry. That's what defines active 22 matrix, is the ability to address individual
26 1 Q Are you similarly familiar with passive 2 matrix displays? 3 A I'm familiar with them in general 4 because they preceded active matrix displays, 5 obviously, and have been around for a long time. 6 Q What is your understanding of the 7 difference between passive matrix and active 8 matrix displays? 9 A My understanding, as I said earlier, 10 the passive matrix types of displays don't address 11 individual pixels, they merely address rows in the 12 typical scan, the typical row scan that goes down 13 the screen, basically, has been done in displays 14 since at least as far back as when television was 15 first developed, I believe back in the 1930s. 16 Q So passive matrix displays don't have 17 what you were just describing as a pixel circuit, 18 correct? 19 A Well, they would have some way of 20 controlling the pixels, but they don't have the 21 electronics to let you address individual pixels 22 in particular ways.	28 1 pixels. 2 Q You would agree with me that computer 3 architecture, video research, and multimedia are 4 fields addressing technical issues that are 5 significantly different from those in pixel 6 circuits, active matrixes display designs and 7 electroluminescence, correct? 8 A You faded out again, Counsel. Let me 9 turn my speaker up a little bit since this is a 10 running problem. 11 PLANET DEPOS TECHNICIAN: Counsel, can 12 you go into the sound settings on Zoom and see if 13 you can increase the microphone level? 14 MR. FRISCH: Why don't we go off the 15 record for a moment and I'll see if I can address 16 it. 17 THE VIDEOGRAPHER: We are going off the 18 record. The time is 9:27 a.m. 19 (Recess taken.) 20 THE VIDEOGRAPHER: We are going back on 21 the record. The time is 9:30 p.m. -- a.m. 22

Conducted on June 24, 2024

29 1 BY MR. FRISCH: 2 Q Welcome back, Dr. Foty. I think, 3 hopefully, I've fixed the audio issues here, and 4 let me re-ask the question that I asked right 5 before we went off the record. 6 You would agree with me that computer 7 architecture, video research, and multimedia are 8 fields addressing technical issues significantly 9 different from those in pixel circuits, active 10 matrix display designs, and electroluminescence, 11 correct? 12 MR. JENSEN: Object to form. 13 A Yes, that's what I stated in paragraph 14 16, that those are not necessarily going to 15 require expertise in pixel circuits. Active 16 matrix displays and electroluminescence, to finish 17 my answer, as quoting paragraph 16. 18 Q And actually, looking at paragraph 16, 19 I don't believe you said those are not necessarily 20 going to require those items. If we look at 21 paragraph 16, you say "Finally, I note that 22 computer architecture, video research, and	31 1 the high block level diagram, not at the circuit 2 level. That's my understanding of computer 3 architecture. 4 Q Is it possible that somebody working in 5 computer architecture would have two to 6 three years of circuit design and analysis 7 experience? 8 A It's possible but, again, it would 9 depend on the individual, not on the general -- 10 the general listing of the terms. Someone could, 11 someone couldn't, but that depends on the 12 individual. 13 Q So you'd agree that if a person with 14 two to three years of circuit design analysis 15 experience has only worked on computer 16 architecture, they would not necessarily 17 understand technical issues related to pixel 18 circuits, correct? 19 MR. JENSEN: Object to form. 20 A Well, again, the computer architecture 21 doesn't deal, in general, with circuit-level 22 functionalities. So computer architecture, alone,
30 1 multimedia are fields addressing technical issues 2 significantly different from those in pixel 3 circuits, active matrix, display designs, and 4 electroluminescence," correct? 5 A Yes, that is correct. And what I'm 6 just saying there is those fields are not -- the 7 fields, themselves, are significantly different 8 than the items I listed there. 9 Q What is your understanding of computer 10 architecture? 11 A Computer architecture is extremely high 12 level. When you do computer architecture, you're 13 doing -- we're doing things involving, we have a 14 microprocessor here, we have memory over there, 15 and you can do that at a very high block level, 16 for example, and not even deal with circuits at 17 all. 18 Q Could circuits be part of work on 19 computer architecture? 20 A That's an interesting question. My 21 understanding of the architecture level is it's 22 very high level. As I said, you generally work at	32 1 does not imply someone has that knowledge and 2 experience. An individual could have a computer, 3 could work in computer architecture but separately 4 have circuit experience. But computer 5 architecture, itself, does not deal with the 6 circuit level at the transistor level. 7 Q And two to three years of circuit 8 design analysis experience wouldn't necessarily 9 mean that that person has an understanding of 10 technical issues related to pixel circuits, right? 11 MR. JENSEN: Object to form. 12 A Well, again, I didn't say circuit 13 analysis and circuit design and analysis here. 14 That's a separate topic. I was referring, here, 15 to, as I said, computer architecture, video 16 research, and multimedia. And those fields, in 17 and of themselves, do not involve circuit design 18 and analysis. The fields themselves or the topics 19 themselves. 20 Q I'm actually asking a separate 21 question, though. 22 Would somebody with two to three years

Conducted on June 24, 2024

33 1 of circuit design analysis experience necessarily 2 have an understanding of pixel circuits? 3 MR. JENSEN: Object to form. 4 A Someone with typical two to three years 5 of experience following education in circuit 6 design and analysis, in my opinion, would be able 7 to understand pixel circuits because they're basic 8 circuits. 9 Q So I think that's a slightly different 10 question you're answering. 11 I'm asking you if somebody with two to 12 three years of circuit design analysis 13 experience -- let me re-ask my question, actually. 14 A Thank you. 15 Q Would somebody with two to three years 16 of circuit design analysis experience have 17 experience with pixel circuits, necessarily? 18 MR. JENSEN: Object to form. 19 A Someone would, with that kind of 20 experience, would be able to look at a pixel 21 circuit and understand it because a pixel circuit 22 is not a unique circuit. It's, basically, a	35 1 relevant field may substitute for some work 2 experiences, vice versa, correct? 3 MR. JENSEN: Object to form. 4 A That's correct. That's my asserted 5 definition of a POSITA. 6 Q Now, if we look at paragraph 11 of your 7 supplemental declaration, on page 4. 8 Let me know when you're there. 9 A I have that open to my left here in the 10 paper copy. 11 Q If you look about halfway down through 12 that paragraph, you say that a person of ordinary 13 skill in the art should be familiar with the 14 functionalities of FETs, the design and analysis 15 of analog and digital circuits comprised of FETs, 16 and the operational behavior of light-emitting 17 devices, such as LEDs, and the context of pixel 18 circuits of a display, correct? 19 A That's what I say, yes. 20 Q Do you continue to agree with that 21 statement? 22 A Yes, I do. I've been consistent
34 1 typical analog circuit. So someone with circuit 2 design experience would be able to understand a 3 pixel circuit, whether or not they had worked on 4 one previously. 5 Q So are you saying that everyone with 6 two to three years of circuit design experience 7 would have experience with pixel circuits? 8 MR. JENSEN: Object to form. 9 A Well, again, someone may not have 10 worked explicitly on pixel circuits, but the 11 transistors, the capacitors, the 12 electroluminescence, and how things are hooked up 13 and used is a generalized type of circuit 14 knowledge and experience. So someone could look 15 at a pixel circuit and understand its 16 functionality. 17 Q In your proposed definition for a 18 person of ordinary skill in the art, in the '599 19 patent, is a bachelor's degree in electrical 20 engineering, or a similar discipline, along with 21 two to three years of circuit design analysis 22 experience, and you say a master's or Ph.D. in a	36 1 throughout on that definition. 2 Q And how is it that you came to the 3 conclusion that a person of ordinary skill in the 4 art, with respect to the '599 patent, should have 5 those particular understandings? 6 A I came to that conclusion when I 7 examined the circuit because this is, essentially, 8 an analog type of circuit. Things like threshold 9 voltage compensation have long been known in the 10 art, and I provided a reference in that regard, in 11 this matter, going back to 1988, showing that. So 12 someone who understood how capacitors work, how 13 transistors work, and how an electroluminescence 14 element worked would understand how a pixel 15 circuit works because the basis of the pixel 16 circuit were all -- are all well-known in the art 17 and have been for some time. 18 Q So, your definition of person of 19 ordinary skill in the art does not say anything 20 about familiarity with the functionalities of 21 FETs, correct? 22 A Sorry, what was that question again? I

Conducted on June 24, 2024

37 1 think it might be backwards from what I have in 2 here. 3 Q Well, I was asking, your definition of 4 a person of ordinary skill in the art does not say 5 anything about having familiarity with the 6 functionality of FETs, correct? 7 A Actually, I say that a person of 8 ordinary skill in the art should be familiar with 9 the functionalities of FETs. 10 Q So I'm actually asking about your 11 definition that we went over earlier, right? 12 So you proposed a definition that was, 13 for a person of ordinary skill in the art, you 14 said that they should have a bachelor's degree in 15 electrical engineering or a similar discipline, 16 along with two to three years of circuit design 17 analysis experience, and then you said that a 18 master's or Ph.D. degree in a relevant field may 19 substitute for some work experience and vice 20 versa, right? 21 A Yes, that's what I stated in the quote 22 at the end of that paragraph, and that was based	39 1 A Yes. Because, again, that's something 2 that's been known in the analog art for 35 or so 3 years, at least. 4 Q And so, that's assumed within your two 5 to three years of circuit design analysis 6 experience? 7 A Yes, it is, the expectation that 8 someone would understand the things listed above 9 is what someone with that kind of experience would 10 have. 11 Q You agree that a person of ordinary 12 skill in the art would understand the impact of 13 shared signal lines on aperture ratio? 14 A A person of ordinary skill in the art 15 would understand that the number of signal lines 16 can impact circuit size. That's, again, 17 well-known in the art for some time. 18 Q That's something that you're, again, 19 assuming within the two to three years of circuit 20 design analysis experience? 21 A Yes. Again, these are things that were 22 not unique to the '599 patent. They were known in
38 1 on what I said above and what I regard as being 2 circuit design analysis experience with respect to 3 understanding a pixel circuit. 4 Q So, when your definition says two to 5 three years of circuit design analysis experience, 6 you're reading into that, that a person of 7 ordinary skill in the art with that experience 8 should also be familiar with the functionalities 9 of FETs, the design and analysis of analog and 10 digital circuits comprised of FETs, and the 11 operational behavior of light-emitting devices, 12 such as LEDs, in the context of pixel circuits in 13 the display. 14 A I regard that as being compatible, what 15 I condensed down to two to three years of circuit 16 design analysis experience, someone with that 17 education and experience would understand the 18 things above. That's why I condensed it down to 19 circuit design analysis experience. 20 Q And you agree that a person of ordinary 21 skill in the art for the '599 patent would also 22 understand the operation of a driving transistor?	40 1 the art beforehand. 2 Q And you believe that a person of 3 ordinary skill in the art would understand the 4 initialization of the pixel circuit? 5 A Yes. Because, again, initialization 6 of -- which is part of threshold voltage 7 compensation, has been known in the art for at 8 least as far back as 1988, as that reference I 9 included to show that point indicates. 10 Q And so that's something that you're 11 also including within the two to three years of 12 circuit design analysis experience? 13 A Yes. It would be part of the tool kit 14 of someone with that kind of education and 15 experience. 16 Q And you agree that a person of ordinary 17 skill in the art, as described in the '599 patent, 18 would understand threshold voltage compensation 19 and its relation to image quality? 20 A Yes, someone would understand that 21 because, as I said earlier, this was known in the 22 art as far back as 1988 and it involves, in the

Conducted on June 24, 2024

41 1 art, involves, essentially, current copying or 2 setting particular current values and providing a 3 particular current exactly to an LED will produce 4 a particular brightness. That, again, is known in 5 the art, both of those. 6 Q And that's included, again, just in 7 your definition here that says two to three years 8 of circuit design analysis experience? 9 A Yes, it is. 10 Q And in fact, if we go down to paragraph 11 14 of your declaration, which spans pages 5 to 6. 12 A I have the paper copy in front of me 13 now. 14 Q Okay. If we look at the second line in 15 paragraph 14, you say "For example, Dr. Wolfe 16 stated that a person of ordinary skill in the art 17 wouldn't understand the operation of a driving 18 transistor, threshold voltage compensation, its 19 relationship to image quality, the impact of 20 shared signal lines on aperture ratio, and the 21 initialization, for example, discharge of a 22 capacitor of a pixel circuit." And then the next	43 1 So, the '599 patent and other 2 references are using threshold voltage 3 compensation in order to tune the current to be – 4 to be able to have the ability to have the same 5 current flowing through different branches in 6 order to have the brightness of an LED not be 7 impacted by threshold variations between different 8 transistors. 9 And, again, this is something that's 10 long been known in the analog art, at least as far 11 back as 1988. 12 Q Do you think that a single LED can 13 create an image? 14 A I guess someone could define a single 15 light as being an image. But in a display, you 16 have, obviously, a matrix of emitters that are 17 producing the image. Any pixel-based system, 18 going back to when television was first developed, 19 creates a larger image, such as the ones we're 20 looking at today, just by providing that image to 21 a large number of pixels in order to have the 22 human eye see it as an image.
42 1 line you say "I agree that a person of ordinary 2 skill in art would know all of these things," 3 right? 4 A Yes. As I said, Dr. Wolfe listed those 5 things, and those are things that I regard as 6 being known. Someone who has circuit design 7 analysis and experience would know these things, 8 and the literature backs that up. 9 Q And you talk about image quality here. 10 What do you mean by image quality? 11 A Well, again, the issue that '599 and 12 some of the other references are addressing, I 13 mentioned four other references in my opening 14 declaration, involve that, as I said earlier, that 15 it's been long-known in the art that a light 16 emitter, be it a LED or laser diode, which is a 17 type of LED, the light emission, the LI curve, it 18 looks like a forward-biased diode because that's 19 what it is. 20 So the brightness of the LED is a very 21 strong function of the current being put through 22 the device.	44 1 Q So image quality actually depends on 2 how you turn on all of the particular 3 light-emitting elements in a matrix, correct? 4 A That's one of the image quality issues. 5 Of course there are – there's – there are issues 6 involving the type of display. Obviously, what 7 we're looking at today is better than 1950s 8 television. The particular issue that's come up 9 in '599 and the related art is, how do you 10 fine-tune the current so that you can fine-tune 11 the brightness coming out of an LED so it does the 12 LED variability – sorry, the LED brightness 13 variability is not varying when it's supposed to 14 be the same because of threshold voltage 15 variations between different transistors. 16 Threshold voltage variation between transistors 17 has long been known in the analog art, it's a big 18 problem for current mirrors and, again, at least 19 as far back as 1988, using a technique to address 20 the same issues has been known. 21 Q And your opinion is that all these 22 types of image quality issues that you're

Conducted on June 24, 2024

45 1 discussing would be known by anyone with two to 2 three years of circuit design analysis experience? 3 A Someone with that experience, reading 4 the '599 patent or similar art, would be able to 5 understand what's going on and why. And again, 6 it's because the ideas have been known in the 7 analog art for some time. 8 Q I just want to clarify your opinion. 9 Is your opinion that somebody with two to 10 three years of circuit design analysis experience 11 would have this type of experience or would be 12 able to analyze a document and understand that 13 document? 14 MR. JENSEN: Object to form. 15 A Well, as I said earlier, someone with 16 that kind of background, reviewing this patent, 17 would understand what the patent is teaching, '599 18 is teaching or attempting to teach. That's the 19 background you need, which is the one I described. 20 Q Do you think somebody with two to 21 three years of experience would, themselves, have 22 those experiences already, for instance,	47 1 would be more than sufficient to understand the 2 same problem occurring with image quality in pixel 3 circuits and displays, as occurs already in the 4 analog art with mismatch between, for example, 5 threshold voltage of transistors in a current 6 mirror. 7 Q I don't think you're answering my 8 question, though. My question is, would a person 9 of ordinary skill in the art with two to 10 three years -- well, let me re-ask it. 11 Would a person, according to your 12 definition, person of ordinary skill in the art 13 with two to three years of circuit design analysis 14 experience necessarily have experience working on 15 image quality in a display? 16 MR. JENSEN: Object to form. 17 A Well, as I've been saying, I don't 18 regard whether or not they have that experience as 19 material to defining a POSITA since the problem 20 being addressed is the same as one that's 21 well-known to the art already. 22 Q So I'm not asking you, though, whether
46 1 experience with image quality? 2 A Well, as I said earlier, we're trying 3 to define a POSITA and someone who would not 4 explicitly work on images would recognize the same 5 problem with current, what I've called current 6 copying, it's been long-known in the analog art 7 and it's been a major pain in the neck in the 8 analog art for a long time. So it's the same 9 issue that has been dealt with. So someone, even 10 if they had not explicitly worked on image quality 11 in a display, would understand that the 12 current-copying techniques that have been used in 13 analog design are the ones that are being used 14 here for that purpose. 15 Q But you'd agree with me that somebody 16 with two to three years of circuit design 17 experience would not necessarily have experience 18 working on image quality in the display, correct? 19 MR. JENSEN: Object to form. 20 A Well, as per my definition, I don't 21 think it's explicitly necessary because, as I said 22 earlier, the circuit design analysis experience	48 1 or not you think it's material. I'm just asking 2 you whether a person with two to three years of 3 circuit design analysis experience necessarily has 4 experience working on image quality in a display? 5 MR. JENSEN: Object to form. 6 A Well, again, they may not have that 7 experience, but they would clearly understand the 8 patent, is what I'm saying. 9 Q But you agree with me that they may not 10 have that experience, correct? 11 MR. JENSEN: Object to form. 12 A They may not have that experience, but 13 I don't regard that particular experience as 14 material to defining a POSITA. 15 Q Okay. Let's go to paragraph 20 of your 16 supplemental declaration. Starts on page 8. 17 A Sorry. I'm there now. I have it open 18 in front of me. 19 Q And in this -- well, actually, can you 20 explain to me what it is you're discussing in 21 paragraph 20? 22 A Well, give me a moment to read it,

Conducted on June 24, 2024

49 1 first, of course. I've read through it. 2 Can you please, for clarity, just 3 repeat the question so I answer the question you 4 asked? 5 Q I was just asking you if you could 6 explain to me what it is you're discussing in 7 paragraph 20? 8 A I believe all I'm pointing out here is 9 that the '599 patent does not discuss any of the 10 issues I listed there. There's nothing -- no 11 discussion of carrier mobility being an issue, no 12 discussion of leakage, and no discussion of 13 transistor sizing. 14 Q What is transistor leakage, as you're 15 describing it here? 16 A Well, this is an interesting question 17 because there's transistor leakage for an 18 operating technology. And in my prior deposition, 19 we went through a figure I had in my June, last 20 year, declaration describing the understanding of 21 leakage that a POSITA would have reading something 22 like this.	51 1 at the figure I had in my February declaration, I 2 had a nice figure of log(ID) versus VG at room 3 temperature. When you turn the transistor off as 4 the current decreases logarithmically, I guess it 5 decreases exponentially, but on a log scale it's a 6 straight line, and at some point, that line will 7 intersect zero gate voltage or whatever the off 8 voltage is that you're using. And that's the 9 typical off-state leakage, and this is inherent in 10 all transistors and it's a nuisance, but you have 11 to cope with it. But it's not a catastrophic 12 failure. 13 Q When you talk about transistor leakage, 14 you're not talking about leakage from the gate, 15 right, it's from the source drain? 16 A Yes, the generic assumption in the 17 transistor art, particularly back in 2003, is that 18 the dielectric material between the gate and the 19 substrate is sufficient to prevent any leakage 20 that is large enough to be a concern. The 21 off-state leakage I described in my original 22 declaration and testified about back in February
50 1 A POSITA would understand leakage, as I 2 testified about it in February, what I called here 3 nuisance leakage, which is inherent in any 4 transistor because there's going to be some amount 5 of current when the transistor is off. 6 Unless you operate the transistor to 7 absolute zero, then there's going to be some 8 off-state leakage. The off-state leakage tends to 9 be very small and I showed that. There's -- some 10 issues have come up with leakage in the POR and 11 Dr. Wolfe's declaration involving leakage that 12 would be regarded by a POSITA as, essentially, a 13 failure mechanism; that's a different type of 14 leakage. So there's many, many, types of leakage, 15 and all I'm pointing out here is '599 never 16 discussed leakage issues at all. So a POSITA 17 would understand, which is not a problem. 18 Q You just noted off-state leakage. 19 Is that the leakage that would go from 20 the source to drain or drain to source in a 21 transistor when it's off? 22 A Yes, and this is something, if you look	52 1 is the usual leakage you expect in any 2 field-effect transistor because it's inherent in 3 operating technology at temperatures above 4 absolute zero. 5 Q And in paragraph 20, you talk about the 6 admitted prior art, it's right there in the first 7 sentence. 8 Do you see that? 9 A Yes, I see that. 10 Q And what are you referring to when you 11 say the admitted prior art? 12 A This appears to be referring to '599, 13 and something pointed to his prior art in '599 14 patent. I'd have to pull up the '599 patent to 15 see what that's referring to. 16 (Exhibit 1001 marked for identification 17 and attached to the transcript.) 18 Q Okay. And why don't we put the '599 19 patent in the chat box. I think that's 20 Exhibit 1001. You can obviously feel free to look 21 at your hard copy. 22 A I have my paper copy here. My desk is

Conducted on June 24, 2024

53 1 getting cluttered but I'll do my best. 2 Q I note in paragraph 20 of your 3 supplemental declaration when you talk about the 4 admitted prior art, I notice that you cite 5 Figure 1 of the '599 patent. 6 Is that what you're referring to as the 7 admitted prior art? 8 A Yes. The '599 patent shows Figure 1 9 and it says, literally, says facially prior art. 10 Q And looking at Figure 1 of the '599 11 patent, am I correct that, in that particular 12 circuit, T14 is the drive transistor? 13 A With the caveat that I haven't studied 14 this embodiment in great detail, that would seem 15 to qualify as being a driving transistor, but I 16 would, of course, want to review the -- that prior 17 art reference a little more closely before I made 18 that identification. 19 Q So despite the fact that you talk about 20 this particular figure in your supplemental 21 declaration, you haven't reviewed it in great 22 detail?	55 1 A I suppose I'm referring to T14 because 2 T14 and T15 would be required to provide a leakage 3 path if the capacitor is discharging, just by 4 basic circuit topology, assuming transistor T13 5 has a reasonably good gate dielectric, that 6 capacitor can only discharge out the top and the 7 discharge would go either to VDD or to VSS. If it 8 goes to VSS, it has to go through T14 and T15, and 9 T14 is pMOS. 10 So if the -- if T15 didn't have a 11 leakage issue because it's nMOS and T14 had a 12 leakage issue because it's pMOS, then you'd have a 13 problem there. 14 Q So you're not talking about a leakage 15 issue at the bottom terminal of the capacitor 16 going through the gates of T13 or T14, you're 17 talking about a leakage issue on the other side of 18 the capacitor going through, for example, T14 and 19 T15? 20 MR. JENSEN: Object to form. 21 A Well, again, assuming -- I think it's a 22 reasonable assumption in the technology that the
54 1 A What I have reviewed is the paragraph I 2 cite in the '599 patent, where I note that none -- 3 leakage is not mentioned in that section. 4 Q Okay. We'll get to that in a moment. 5 But looking at paragraph 20 of your supplemental 6 declaration, the first line, you say, "Relatedly, 7 the admitted prior art comprises pMOS transistors, 8 including transistors coupled to the capacitor 9 (and thus performing a similar function as the 10 third transistor e.g., T33 of the '599 patent or 11 TFT 3309 of Kimura)," right? 12 Do you see where you said that? 13 A Yes, I'm referring to the use of pMOS 14 transistors in a pixel circuit and noting that 15 this prior art reference, as quoted by the '599 16 patent, makes no mention of leakage as an issue. 17 That's all I'm noting. 18 Q When you say here, "including 19 transistors coupled to the capacitor and thus 20 performing a similar function as the third 21 transistor," for example, T33, which transistors 22 coupled to the capacitor are you referring to?	56 1 gates of T13 and T14 don't have any -- have no 2 leakage or are absolutely trivial because it's a 3 dielectric layer. If it's a good gate dielectric, 4 then the only way the capacitor could discharge 5 would be out its top anode back into that line on 6 the right side of this figure. 7 Q And one of the things you brought up 8 earlier is, as you say here in paragraph 20, you 9 say that the '599 patent does not identify any 10 concern with respect to transistor leakage, right? 11 A Yes. '599 doesn't mention any concerns 12 about leakage at all. 13 Q Why, in your mind, is that important? 14 A In my mind, it matters because, as I 15 said earlier, a person of skill in the art would 16 understand the kind of nuisance leakage that I 17 described in my original declaration, in my 18 testimony back in February. So someone would 19 understand, they'd expect that nuisance leakage. 20 So if this topic is not discussed, it means that a 21 POSITA would understand that it's not an issue or, 22 as I described in my testimony, it's something

Conducted on June 24, 2024

57 1 that can be coped with any properly functioning 2 transistor technology by adjusting the threshold 3 voltage. That's the level that someone would 4 understand this to be describing. 5 Q So in your opinion, a person of 6 ordinary skill in the art would not look to 7 address leakage current in that circuit, absent 8 some identified concern regarding leakage current? 9 MR. JENSEN: Object to form. 10 A A person of skill in the art would -- 11 reading this, would understand what I described in 12 my original declaration and in February, in my 13 testimony, that there would be leakage present 14 because that's inherent in any field-effect 15 transistor operating at a temperature higher than 16 absolute zero and, therefore, they would 17 understand that that leakage would be there, but 18 it would not be something that would be material 19 for causing the circuit not to work. They'd 20 expect that you'd have a working FET technology, 21 as I described and, therefore, the circuit would 22 function in a way that the capacitor, for the time	59 1 Q I'd like to take a look at those. 2 MR. FRISCH: Actually, if we could put 3 Exhibit 1001 up on the screen and go to column 3, 4 lines 19 through 40. 5 We're looking to go to column 3. 6 A I have the paper copy in front of me, 7 by the way. 8 Q If it's easier for you to look at the 9 paper copy. Sometimes, for my weary eyes, it 10 helps to be up. 11 A Mine are older than yours, Counselor. 12 Q You may be right. 13 Can you explain to me what that section 14 of the patent is discussing? 15 A Yes. This actually is very close to 16 what I described earlier, that it's actually 17 explicitly stated here that the Figure 1 prior art 18 uses a current mirror, and this is a problem 19 long-known in the analog art, that if you have two 20 transistors, even if they're the same type, in 21 close proximity to each other, there will be some 22 difference in the threshold voltage between them.
58 1 of relevance to its use, would retain the charge 2 to keep the voltage on the gate of the transistor 3 constant over that period of time. 4 Q So in your opinion, if transistor 5 leakage were material concern, a reference would 6 discuss it? 7 A It would receive discussion simply 8 because a POSITA reading would assume that you 9 have a functioning process, which produces good 10 transistors, not transistors that have a failure 11 mechanism type of leakage. 12 Q At the end of paragraph 20, the last 13 line, you say "Instead, the '599 patent only 14 identifies the problem remedied using threshold 15 voltage compensation," right? 16 A That's what the '599 patent teaches, is 17 using a threshold voltage compensation technique 18 in a pixel circuit. 19 Q And you point to column 3, lines 19 20 through 40, for that? 21 A Yes, I refer to those lines in the '599 22 patent.	60 1 A mismatch is a long-running problem in analog 2 circuitry, digital circuitry. If you have two or 3 three millivolts of variation between threshold 4 voltage and transistors, it doesn't matter in 5 digital design's course. However, analog, that's 6 enough to cause the current in the two branches of 7 the current mirror, which are supposed to be 8 identical, to vary enough to cause problems in the 9 way the circuit behaves. 10 And so, this -- these paragraphs 11 discuss that problem in the same way, that there's 12 some variability between the threshold voltages 13 across different transistors in a circuit and 14 the -- like the current mirror, the pixel circuits 15 are subject to the same problem, minor threshold 16 variations lead to changes in the current between 17 two branches that are supposed to be identical 18 and, therefore, the brightness of the two LEDs 19 next to each other, which is supposed to be the 20 same, won't be, unless you do something to address 21 that problem, which is what's being discussed 22 here.

Conducted on June 24, 2024

61 1 Q So, in the current mirroring that it's 2 discussing, that you see in Figure 1, you've got 3 the two transistors, T13 and T14, and T14 is the 4 drive transistor we looked at earlier, and what 5 you're saying is that the threshold voltages 6 between those two transistors won't necessarily be 7 the same, which causes an issue. 8 Is that right? 9 A Well, it's actually twofold. One is 10 T13 and T14 won't have an exact threshold match, 11 that's known in the art. And then, of course, the 12 macro picture is that if you have more than one 13 instance of a circuit of this type, if you have 14 multiple circuits with multiple T14s, the 15 different instances of T14 will differ, the 16 threshold voltage will differ somewhat from 17 each – one to the other. And again, they're 18 intended to be identical when you draw the 19 circuits on paper. But in practice, you have that 20 small amount of threshold voltage variability 21 between them which, in certain applications, is a 22 big problem. Current mirrors certainly, but,	63 1 on the gate of the drive transistor. The idea 2 there being you could have multiple instances of 3 pixel circuits, with multiple instances of a drive 4 transistor, and you're able to, what I said in my 5 earlier declaration, it's not the gate voltage 6 that matters to make the current the same, it's 7 what's called the overdrive voltage, which is V_G 8 minus V_{th} minus, the gate bias minus the threshold 9 voltage. So you're using this technique to make 10 sure the overdrive voltage across the different 11 instances of the drive transistor are the same by 12 making the overdrive voltages all the same. And, 13 therefore, when you turn on, say, two pixel 14 circuits where the threshold voltage in the drive 15 transistor varies, one from across two different 16 instances, you've washed that out, the overdrive 17 voltages are the same, and the current, 18 essentially, will be a copy in each of the two 19 instances in order to make the brightness of the 20 two adjacent LEDs be the same, rather than have 21 them vary on the variability of the threshold 22 voltages.
62 1 obviously, pixel circuits, you're trying to have 2 current copying and the current copying is not 3 copying 100 percent effectively because of this. 4 Q So the '599 patent moves away from the 5 current mirroring scheme, right? 6 A They teach a different circuit. 7 They're treating that as invented material, as 8 using a different approach to the problem, a 9 different circuit. But the idea is, basically, 10 the same one, that you're trying to deal with this 11 current disturbance, the current's not matching in 12 supposedly identical transistors because the 13 threshold voltages just don't match up. 14 Q The different circuits of the '599 15 patent teaches, in its operation, as current flow 16 through the drive transistor during both the 17 programming phase and the emission phase to 18 eliminate the threshold voltage deviation, right? 19 MR. JENSEN: Object to form. 20 A Well, actually, the threshold voltage 21 compensation is addressed solely during the 22 programming phase. You're programming the voltage	64 1 Q Then, if we look at column 8 of the 2 patent it has an equation at the top, and that 3 equation shows the current that's going to be 4 provided from that drive transistor to the OLED, 5 right? 6 MR. JENSEN: Object to form. 7 A This equation, as I described in my 8 original declaration, is well-known in the art. 9 It's been known since the Moss transistor first 10 appeared on the scene in the 1960s. It's known as 11 the Schichman Hodges model. 12 This basically tells us the current you 13 would get through the drive transistor. When you 14 turn on pixel circuit to emit light, there's three 15 transistors in the path to the light-emitting 16 device. The other two are run in extremely low 17 resistance states, so the resistance in the 18 circuit is completely provided by the drive 19 transistor, hence, what this shows, as I described 20 in my initial declaration, is using threshold 21 voltage compensation to ensure that the overdrive 22 voltage will be the same across the two

Conducted on June 24, 2024

65 1 transistors in my example. So that the current 2 will be the same, and as the current -- the light 3 coming out of an LED is a strong function of the 4 current, by making that the same -- sorry, then 5 you'll get the same light emitted by the LEDs. 6 MR. FRISCH: We've been going for a 7 little over an hour. Why don't we take our first 8 break here. 9 THE WITNESS: Sounds good. 10 THE VIDEOGRAPHER: We are going off the 11 record. The time is 10:14 a.m. 12 (Recess taken.) 13 THE VIDEOGRAPHER: We are going back on 14 the record. The time is 10:26 a.m. 15 BY MR. FRISCH: 16 Q Dr. Foty, before we took a break, we 17 were talking about leakage current, and I want to 18 continue that discussion. 19 Can you look at paragraph 45 in your 20 supplemental declaration. That's on page 22. 21 A Just give me a moment to clean up my 22 desk a little bit here.	67 1 Q And you say "This alone indicates the 2 leakage is simply not a fundamental issue or a 3 problem in polysilicon-based TFTs" and in 4 parentheses you say "(at least by the time of 5 Kimura and the '599 patent)," right? 6 A Yes. And elsewhere I provide some 7 references for that, to support that assertion. 8 Q Is there something in the '599 patent 9 that says that it is using polysilicon 10 transistors? 11 A I would have to do -- excuse me, let me 12 come back to the mic. I would have to do a 13 search. It may not list that. However, one of 14 the reasons polysilicon TFTs were a good thing 15 when the manufacturing technology was figured out 16 is because they're sufficiently fast to allow you 17 to do some things like active matrix displays, 18 whereas, the older amorphous silicon technology 19 was 300 times slower, or something to that effect. 20 Q Well, if we look at claim 1, for 21 instance, in the '599 patent, that starts on 22 column 8.
66 1 Sorry, what paragraph was that, 2 Counselor? 3 Q Paragraph 45 on page 22. 4 A It's on the screen, and I have the 5 paper copy in front of me as well. 6 Q And this is a different paragraph than 7 we were looking at earlier, but the first sentence 8 says "First, it should be noted that the '599 9 patent makes no mention of leakage and neither 10 does Kimura." 11 Do you see that? 12 A I see that, yes. 13 Q And is the leakage, that you're 14 discussing here, the same type of leakage current 15 we were previously discussing? 16 MR. JENSEN: Object to form. 17 A Well, earlier, we were discussing what 18 I called the nuisance leakage you expect in an 19 FET, and I also mentioned leakages that are 20 regarded as failure mechanisms. And so, neither 21 type of leakage is detailed in '599 or Kimura. 22 Leakage, simply, is not discussed.	68 1 A I see -- I have claim 1 here. It's a 2 little awkward because this binder is large, so 3 I'll do my best here. 4 Q If you look through the claim 1, is 5 there anything in the claim 1 in the '599 patent 6 that limits it to polysilicon-based TFTs? 7 A Claim 1 merely refers to transistor 8 without any further specification in the claim. 9 Q And now, you also talked about Kimura 10 in this paragraph. Is it your opinion that Kimura 11 is limited to using polysilicon-based TFTs? 12 A Sorry, can I have the question again? 13 Were you asking about the claims of Kimura, just 14 so I give you a clear answer? 15 Q No. I'm just talking about the Kimura 16 reference. It has a number of circuits that you 17 discussed in your various declarations. 18 In your opinion, are those circuits 19 limited to polysilicon-based TFTs? 20 A That's an interesting question. Kimura 21 doesn't restrict them, and there's no reason that 22 you couldn't use one of these circuits with some

Conducted on June 24, 2024

69 1 other system, like amorphous silicon. Kimura 2 actually brings up that issue at paragraph 357 and 3 358 of why polysilicon TFTs are preferred because 4 they're faster. But he also notes that they tend 5 to have more variability problems because of the 6 grain boundary conduction. 7 So he does address the issue of 8 polysilicon versus amorphous. 9 Q So I'm trying to understand, then, if 10 neither the '599 patent nor Kimura is limited to 11 polysilicon-based TFTs, why is it that you believe 12 that the fact that they don't reference leakage 13 indicate that leakage is simply not a fundamental 14 issue or problem in polysilicon-based TFTs? 15 MR. JENSEN: Object to form. 16 A Well, again, if the teachings of both 17 Kimura and '599, particularly Kimura because he 18 addresses the issue, clearly apply to 19 polysilicon-based TFTs, and as he discusses why he 20 wants to use polysilicon-based TFTs, for a variety 21 of reasons, but he doesn't discuss leakage, that 22 would imply that leakage is not a problem with	71 1 by the time of Kimura and the '599 patent, you 2 don't believe that leakage current would be a 3 fundamental issue or a problem in amorphous 4 silicon-based TFTs? 5 A As they've been around longer, I'm left 6 to assume that any leakage current was certainly 7 not enough to prevent products from being 8 manufactured. Leakage is always a nuisance 9 problem, but not to the degree of a failure 10 mechanism, otherwise you would not be able to 11 produce working products. 12 Q When you say "at least by the time of 13 Kimura and the '599 patent," what time period are 14 you talking about? 15 A I believe the -- well, I'd have to look 16 at what the priority dates are. The priority date 17 on -- Kimura lists a filing date of October 30th, 18 2002, and '599 claims priority to a Korean 19 application, which is dated July 7th, 2003, so 20 that's, roughly, the time frame we're talking 21 about for both Kimura and '599 patent. 22 Q Okay. So by around the time period in
70 1 polysilicon FETs when they're properly 2 constructed. 3 Q Well, maybe I'm misunderstanding. Are 4 you saying that leakage current is a problem in 5 amorphous silicon-based TFTs, but not in 6 polysilicon-based TFTs? 7 A No, I don't address amorphous silicon 8 because the reason for the developments in -- 9 certainly in Kimura, he's explicit about it, is 10 why it's better to use polysilicon TFTs, and he's 11 addressing the problem with uniformity because of 12 grain boundary conduction causing uniformity 13 issues, which is what he addresses. 14 I didn't consider amorphous silicon, 15 but as amorphous silicon was used in displays, 16 there had to have been manufacturing processes 17 where leakage was manageable and not a failure 18 mechanism but, again, was down to nuisance 19 leakage, otherwise, you couldn't have made 20 functioning products. 21 Q So around the same time period that 22 you're talking about here, where you say at least	72 1 2002 to 2003, it's your opinion that leakage 2 current was not a fundamental issue or a problem 3 in either polysilicon-based TFTs or amorphous 4 silicon-based TFTs? 5 A Well, it depends, again, what you mean 6 by "problem." Leakage current always exists to 7 some degree. The question is, is there sufficient 8 leakage to cause the circuitry to not function 9 properly. And in particular, with the 10 polysilicon-based TFTs, products were made and the 11 literature shows that the leakage current was -- 12 the nuisance leakage current, as I described it, 13 was sufficiently low to not be a problem. 14 So there is leakage, but it's not 15 determinate to being able to damage product 16 performance. 17 Q Can we look at paragraph 24 of your 18 declaration, that starts on page 11. 19 A I have that in front of me now. It's 20 not on the screen yet, but I do have the paper 21 copy, if you'd like to proceed. Now it's on the 22 screen, so we're good.

Conducted on June 24, 2024

73 1 Q And in paragraph 24, I guess after 2 paragraph 24, you have an annotated version of 3 Figure 15D of Kimura, right? 4 A Yes, that's an annotated version in 5 paragraph 24 of Figure 15D of Kimura. 6 Q And you say that Figure 15D shows a 7 device after CMOS manufacturing process steps? 8 A Yes. This is a cross-section showing 9 that both nMOS and pMOS transistors have been made 10 on the same substrate, which is a CMOS 11 manufacturing process, not an arch -- a circuit 12 architecture explicitly, but is the result of 13 what's known as a CMOS manufacturing process. 14 Q You go on to say, however, Figure 15D 15 shows a single-species pixel circuit alongside a 16 CMOS driving circuit, right? 17 A Yes, this is comparing 14D above, which 18 shows both N and P, and 15D, which shows, at least 19 in this cross-section, shows only a single species 20 of pixel circuit transistors. 21 Q And you're drawing the conclusion that 22 it's single-species based on the crosshatching	75 1 23 of your declaration, right, that, in your 2 opinion, Kimura's using unidirectional 3 cross-hatching for nMOS, you put that in a red 4 box, and it's using bidirectional crosshatching 5 for pMOS, and you put that in a green box, right? 6 A It's a little hard on the eyes, but I 7 believe that's the convention that Kimura used to 8 denote N and P, since the Patent Office hasn't 9 updated to using color yet. At least 2002, '3. 10 Q But you've added the color boxes to 11 help distinguish between the two, right? 12 A Yes, the annotations that were added 13 are the colored boxes, to make that a little 14 clearer. 15 Q And using your annotation method here, 16 where all the switching TFTs and the driving TFTs, 17 shown in Figure 15D, are surrounded with a red 18 box, that means all of those are nMOS transistors, 19 right? 20 A In this particular depiction, he's 21 showing nMOS transistors for the switching TFT -- 22 sorry, nMOS -- yeah, there's two nMOS transistors
74 1 that's used in the semiconductor layers in the 2 figure, right? 3 A That's what Kimura shows. He 4 represents, he says, a switching TFT of which 5 there are many in a pixel circuit, more than one, 6 and a single driving TFT. He shows these as both 7 being the same species, even though they come out 8 of a CMOS manufacturing process because, 9 obviously, in the driving circuit portion on the 10 left, there's both N and P in both 14D and 15D. 11 Q But what I'm trying to figure out is, 12 if you look at that Figure 15D image for the 13 switching TFT and driving TFT, it doesn't say, in 14 words, whether those are nMOS or pMOS, you're 15 determining that based on the crosshatching, 16 correct? 17 A Yes. Kimura uses gray scale, or, I 18 guess, black-and-white annotations, one is -- 19 they're just crosshatched differently. But the 20 different cross-hatching, I've highlighted in 21 color to make that a little clearer. 22 Q This is what you explain in paragraph	76 1 under switching TFT, those are nMOS red, and then 2 the one on the right, the driving TFT, in 15D, is 3 also nMOS transistor, single species. 4 Q And the single species here is all 5 nMOS, correct? 6 A In this particular instance, he's 7 showing all nMOS, yes. 8 Q And in paragraph 25, you note that 9 Figures 14 and 15 only show cross-sections of a 10 portion of the circuit, right? 11 A Yes. That's what I say there. 12 Q It's not the entirety of the circuit, 13 it's just a portion of it? 14 A It's a cross-section that shows a 15 single driving TFT, which is what Kimura's 16 embodiments have, and it shows two switching TFTs 17 rather than a larger number. 18 Q And many of Kimura's embodiments have a 19 larger number switching TFTs, correct? 20 A Yes, they do. They have, typically, 21 five, six, transistors, something like that. 22 Q And since this only shows three

Conducted on June 24, 2024

1 transistors, you can't actually tell if the 2 entirety of the circuit is single species, right? 3 A I'd have to -- let me -- I'd have to 4 see what he says because these are exemplary of 5 his manufacturing process. So what I'm trying to 6 point out here is that he shows both dual species, 7 at the top, and single species at the bottom. So 8 he may not be showing an individual circuit here. 9 I would have to see -- one of these figures are to 10 explain a manufacturing process. 11 Sorry, cat wrestling there. 12 I would say these are exemplary of his 13 general manufacturing process, showing that you 14 can make the different types of transistors in the 15 pixel portion and they can be nMOS or pMOS. I 16 think that's the bottom line there. 17 Q Let's look at paragraph 36 of your 18 declaration. 19 A I have it up in paper, if you want to 20 proceed, Counsel. 21 Q All right. And in paragraph 36, you 22 cite to a reference that I will try to pronounce,	1 indicates this is a paper directed to LCDs, 2 correct? 3 A Yes. Because, at that time, LED 4 displays had not been invented yet. 5 Q And is this a paper that you found or 6 was it provided to you? 7 A I found this paper. 8 Q Now, I want to take a look at the 9 second paragraph under the introduction, starts 10 with "we previously." 11 Do you see that? 12 A I see that, yes. 13 Q And it says "We previously reported 14 that it is possible to integrate peripheral 15 circuits by using only n-channel TFTs fabricated 16 at low temperature below 600 degrees Celsius on a 17 glass substrate," right? 18 A Yes, that's what it says. And it's 19 describing something that's fairly well-known in 20 the art, as we'll get to eventually, I'm sure. 21 Q And that would be a single-species 22 design because they said only n-channel TFTs?
1 but apologies if I butcher the name. Takabatake 2 reference, it's T-A-K-A-B-A-T-A-K-E. 3 A Not bad, Counselor. That was pretty 4 good. Yes, I cite that Takabatake reference, yes. 5 (Exhibit 1026 marked for identification 6 and attached to the transcript.) 7 Q Okay. And let's take a look at that 8 reference, which is Exhibit 1026. 9 MR. FRISCH: If we could have that 10 dropped into the chat, I would appreciate it. 11 A Yes. And I was off screen because I 12 was digging out my paper copy of that. I had to 13 find the binder. But I have this in front of me 14 now. 15 Q Great. 16 And what is the Takabatake reference 17 discussing? 18 A Well, the title is CMOS Circuits for 19 Peripheral Circuit Integrated Poly-Si TFT LCD 20 Fabricated at Low Temperature Below 600 degrees 21 Celsius, or centigrade, whichever you prefer. 22 Q It says -- the title of the reference	1 A Yes, because these are the peripheral 2 circuits. And so, what he's describing, what is 3 long-known in the art, is nMOS logic, which had -- 4 didn't have the CMOS architecture of an N and a P, 5 it had two Ns, and that architecture was 6 long-known in the art to have an issue that 7 current will flow when the transistor is off 8 because of the nature of the architecture. And 9 so, what he's describing here is, basically, that 10 the problem that conventional nMOS logic had that 11 CMOS architecture was able to solve, it got rid of 12 this current by being able to integrate both N and 13 P on the same substrate. 14 Q And the problems that you're talking 15 about are what he identifies in the next sentence, 16 where he talks about the shortcomings of large 17 power consumption and low maximum operation 18 frequency? 19 A Yes. These were well-known in the art 20 of, this was back in the 1980s, I believe, where 21 nMOS logic was commonly used but, it had this 22 problem of a current flowing in the off-state, and

Conducted on June 24, 2024

81 1 CMOS was -- is a better architecture because it 2 only consumes power during a switching event. So 3 what he's describing here, in terms of circuit 4 architecture, was something that was already 5 well-known in the art. 6 Q So he solved those problems by 7 switching to CMOS circuitry? 8 A What he's describing here is 9 something -- again, this is -- this is why CMOS 10 architecture displaced nMOS architecture in 11 digital logic, because of the problems he 12 describes here. That's why even by -- this paper 13 is 1991, mainstream silicon CMOS had taken over as 14 an architecture and displaced the nMOS 15 architecture. So he's describing trying to do the 16 same here. Why would you integrate N and P on the 17 same substrate for peripheral circuits in 18 polysilicon-based TFTs, and that's what he 19 describes there. 20 (Exhibit 1002 marked for identification 21 and attached to the transcript.) 22 Q I want to go back to Kimura for a	83 1 in particular. 2 A I have that in front of me. 3 Q And this is a circuit you've analyzed 4 for your opinions in this proceeding? 5 A Yes, I have. I'm trying to find, in my 6 declaration, where I have that discussion. 7 Okay. So I have Kimura in front of me, 8 open to this Figure 21 page. 9 Q Okay. If we're looking at Figure 21A, 10 that circuit there, am I correct that TFT 2105 is 11 the driving transistor? 12 A I don't know if Kimura calls it that. 13 But for purposes here, current is passed from a 14 high rail through 2105, through the light emitter 15 2106, on its way to the low rail -- or the rail 16 2110, whatever that connection is, and lights up 17 the LED. So I can agree, for now at least, that 18 that can be called the drive transistor. 19 Q And what is line 2109? 20 A Kimura's language is a little strange, 21 but it's a high-voltage rail. It's a high-signal 22 rail, for example. It's a high-voltage, not
82 1 little. That's Exhibit 1002. 2 MR. FRISCH: Do we have that to drop in 3 the chat? If that wasn't uploaded, I can drop a 4 copy. 5 A I have to rummage around to find things 6 now, but I do have the paper copy of Kimura in 7 front of me, if you wish to proceed. Or if you 8 want to wait until it's dropped in the chat, 9 that's fine. 10 PLANET DEPOS TECHNICIAN: I don't have 11 that exhibit. 12 MR. FRISCH: Sure. Let me drop it in 13 the chat, just so that everyone on -- I just want 14 to make sure everyone has a copy. 15 A And if I look off screen and laugh, 16 it's not because any human is here, it's cat 17 antics. 18 Q Understood. 19 I dropped Exhibit 1002 Kimura in the 20 chat for those who are following along. 21 Well, in particular, I want to turn to 22 Figure 21 of Kimura. I want to look at Figure 21A	84 1 low-voltage. 2 Q And where is it, in Kimura, that it 3 discusses 2109? 4 And I should say, I don't mean for this 5 to be a trick question. I don't know of any 6 place, other than the figure, where it talks about 7 2109. 8 So I'm asking, essentially, where is 9 it, in Kimura, that it provides the information 10 that you're using to understand that 2109 is a 11 high-voltage line? 12 A Yes, I remember this, this issue. 13 Basically, 21 is a derivative of 20, 14 and he identifies 2007, in Figure 20, as being a 15 high rail, or a high voltage. 16 Q And where does it say that Figure 21 is 17 a derivative of Figure 20? 18 A Kimura's description of Figure 20, as 19 it is at his paragraph 352, where he describes, he 20 refers to an electric current supply line, which 21 is his lingo for a high-voltage line, and he 22 briefly describes 21A at paragraph 404. And he

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 24, 2024

85 1 indicates, in 352, that 20 is the general 2 structure and that at 404, he seems to continue 3 from there. And given that and the similarity 4 between 20 and 21, it's reasonable to assume that 5 2109 is like 2007, with his definition. An 6 electric current supply line, which is his lingo 7 for a high rail. 8 Q So where, in 404, does it say that 9 Figure 21 is coming off of Figure 20? 10 MR. JENSEN: Object to form. 11 A He doesn't say that, explicitly, in 12 404, but 20, he presents as being a general 13 circuit, and then 21 appears after it and is 14 clearly a modified version of 20. 15 So, it's reasonable to assume that 2109 16 is the same rail as in 2007, in Figure 20. 17 Q But he never actually says that the 18 line 2109 is the same as the one -- sorry, he 19 never -- Kimura never says that line 2109, in 20 Figure 21A, is the same as the line 2007, in 21 Figure 20, correct? 22 A He never says that explicitly, but it's	87 1 recessed the voltage on the node connected to the 2 gate of 2105 to the erased value before it's 3 programmed. 4 And then, the voltage on the capacitor 5 is programmed in by a transistor 2104, which 6 charges up the capacitor or, I should say, sends 7 some voltage to the capacitor at some level, and 8 then emission occurs through 2105, the current -- 9 the program -- the gate voltage is programmed to 10 current level 2105, and 2105 sends current through 11 the light emitter 2108, the light, and then that 12 process is repeated. 13 Q You said transistor 2104 charges up the 14 capacitor; is that right? 15 MR. JENSEN: Object to form. 16 A It appears, looking at this, this 17 format, excuse me, visitor. This -- again, this 18 is comparing 20, Figure 20 and Figure 21. In 19 Figure 20, there is no dedicated reset transistor 20 and he's added that here. So it appears, from 21 looking at this, that 2106 resets the capacitor 22 voltage, 2104 programs it, and then, 2105 is the,
86 1 certainly not an unreasonable assumption, given 2 the structure of 21A and its proximity to 20, it's 3 being identified as a general circuit. 4 Q You would agree, though, that 21A shows 5 a circuit that's different than Figure 20, right? 6 A It's a different circuit, but it's very 7 similar to 20. It's 20 with some additions made 8 to it. 9 Q But those additions may require other 10 changes to the circuit, right? 11 A Figure 21 is the same as 20, except 12 he's added transistor 2106. He's renumbered all 13 the other ones for this figure. But the only 14 change is that he's taken 20 and added 2106 to the 15 same circuit. 16 Q Can you walk me through how Figure 21A, 17 how that circuit works? 18 MR. JENSEN: Object to form. 19 A Well, basically, it works as the other 20 circuits in Kimura work. 2106 functions as a 21 reset transistor or razor transistor, 22 initialization transistor. And what it does is it	88 1 essentially, the driving transistor where current 2 is allowed -- a selected current, based on the 3 programming, flows through to illuminate the LED, 4 the light-emitting device, which is 2108. 5 Q Transistor 2106 resets the capacitor to 6 turn off transistor 2105? 7 MR. JENSEN: Object to form. 8 A Actually, it doesn't turn it off, it 9 turns it on, is the way this thing is architected. 10 Because a 2109 is a high rail and, therefore, it 11 pulls when you re- -- when the capacitor, when 12 2106 opens, it connects the node to the gate of 13 2105 to the rail 2109 and that actually turns the 14 transistor on, 2105 on. So that's how that 15 circuit operates. 16 Q And that's with the assumption that 17 2109 is the high rail at all times. 18 A Again, based on 20 being -- 21 being a 19 modification of 20, where 2106, that transition 20 has been added, it's reasonable to assume that 21 2109 is also a high rail. 22 Q Is there anything in Kimura that says

Conducted on June 24, 2024

89 1 that 2109 is a high rail? 2 MR. JENSEN: Object to form. Asked and 3 answered. 4 A As I said earlier, he doesn't 5 explicitly describe what 2109 is. He simply 6 doesn't say anything about it. And so, again, the 7 reasonable thing to do is the previous Figure 20 8 is the precursor because it's the same circuit 9 with one transistor added. It's reasonable to 10 assume it functions in the same way and is 11 operating in the same way. 12 Q Are you aware that in January 2024, 13 that Petitioner submitted a motion to supplement 14 information in this proceeding? 15 MR. JENSEN: Object to form. 16 Go ahead. 17 A I'm aware of that, yes. 18 Q Are you aware that that motion was 19 denied? 20 MR. JENSEN: Object to form. Beyond 21 the scope. 22 A I'm aware of that, yes.	91 1 that's in this section, right? 2 A I have that in front of me, yes. 3 Q Can you explain to me what opinion 4 you're providing in paragraph 106? 5 A Well, all I'm pointing out here is, 6 again, something I mentioned earlier, that 7 threshold voltage compensation was known in the 8 art. The four references I cited, in my original 9 declaration last year, all are prior to '599 and 10 shows the use of threshold voltage compensation 11 and pixel circuits. I just added here, for 12 completeness, another reference from 1988 in the 13 general analog art. 14 What I'm just pointing out here is that 15 threshold voltage compensation, alone, is used in 16 circuits and it's used in pixel circuits, it 17 doesn't seem to provide the basis for the 18 conclusion Samsung is trying to reach here. 19 (Exhibit 1031 marked for identification 20 and attached to the transcript.) 21 Q The reference that you said you added, 22 is that the Daubert reference, Exhibit 1031?
90 1 Q Go to Section 6 of your report, which 2 is at -- it starts at the bottom of page 55. 3 Apologies, I said your report, but I mean your 4 supplemental declaration. 5 A I figured that out. In other 6 depositions, people have been referring to 7 declarations, I don't think anyone's gotten lost 8 yet. 9 Q I'm glad you understand when I 10 mistakenly say your report, I mean your 11 declaration. 12 A Assuming you mean my declaration that 13 was filed on May 31st, I have it open at the 14 bottom of page 55 in front of me -- I have page 55 15 in front of me. 16 Q I do mean that. We will stick to that 17 declaration. 18 So, if we go to page 55 at the bottom, 19 you start at Section 6 that says "Samsung's 20 secondary considerations fail," right? 21 A Yes, I see that. 22 Q If we go to paragraph 106 on page 57,	92 1 A Yes, unfortunate name for your 2 profession, but I don't believe this is the 3 Daubert who is famous for other reasons. This is 4 a different gentleman, Sonder (phonetic) Daubert, 5 who I believe is from Quebec somewhere, he did his 6 graduate work with Yannis Tsvividis at Columbia and 7 he's the chief author on this paper. 8 Q I think you may be right, that these 9 are separate Dauberts. 10 Specifically, when you talk about 11 Exhibit 1031, you were pointing out that it uses 12 diode-connected transistors? 13 A Yes, I said that paper shows the use of 14 diode-connected transistors to perform threshold 15 voltage compensation in an analog circuit. 16 Q Is it your opinion that persons of 17 ordinary skill in the art would have known that, 18 in a pixel circuit, if you connect the driving 19 transistor in the form of a diode, that that 20 circuit would compensate for threshold voltage 21 deviations of the drive transistor? 22 A Again, it's more than just the

Conducted on June 24, 2024

93 1 diode-connected configuration, it's a particular 2 circuit architecture for executing threshold 3 compensation that was clearly known in the art for 4 a very long time before '599. 5 Q When you say "it's a particular circuit 6 architecture," what are you referring to? 7 A I'm referring to the use of a 8 diode-connected -- what's called a diode-connected 9 configuration of a transistor, where the gate and 10 the drain are connected, and that's used in order 11 to charge up a capacitor, and then the circuit -- 12 the drive transistor turns itself off and turns 13 off the programming, at some point, if enough time 14 is allowed for the capacitor to charge up. 15 Q Could you go to the next paragraph, 16 paragraph 107, right at the bottom of page 57. 17 A Oh, paragraph 107 begins, briefly, on 18 57 and continues, actually, all the way to 19 page 59. So it's a long paragraph. 20 Q But you're at paragraph 107? 21 A Yes, I am. I'm on page 57. Sorry 22 about that. Yes, I have it in front of me.	95 1 real estate with better layout techniques. So 2 that doesn't surprise me, that that exists. 3 Q What is the case number for the other 4 IPR proceeding that you're referencing there? 5 A It looks like I don't provide a 6 reference number for that. 7 Q Were you shown any documents from that 8 other IPR proceeding? 9 A I don't believe that I was, no. 10 Q You don't list any documents from 11 another IPR proceeding in your list of materials 12 considered, correct? 13 A No, I do not, that's correct. 14 Q So you're relying solely based on what 15 was told to you by counsel, for this particular 16 opinion? 17 A I guess, yes, I am. However, I do 18 analyze Dr. Wolfe's description of this below, in 19 that paragraph. 20 Q Well, you analyzed Dr. Wolfe's 21 description of a Yoo paper, but not his discussion 22 from another proceeding, correct?
94 1 Q Okay. If we look at the first sentence 2 here it says, "Regarding aperture ratio, I 3 understand that Patent Owner in another IPR 4 proceeding attributed aperture ratio achieved by 5 Galaxy smartphones to unrelated patents on pixel 6 layout." 7 Do you see that? 8 A Yes, I see that. 9 Q Where does that understanding come 10 from? 11 A That understanding comes from my 12 discussion with counsel of the matter. 13 Q And what did counsel tell you about 14 that, that you're relying on here in paragraph 15 107? 16 A Just what it says, that to my -- I'm 17 not surprised that this would be the case because 18 layout is the actual physical design of something 19 where you place things. And so, if you're able to 20 have a better way of laying out any circuit, a 21 pixel, a circuit, pretty much anything, you can 22 put more things in the same amount of substrate	96 1 A Yes. That is correct. It leads into 2 what I said below about conventional reduction of 3 sizes of transistors lets you make things smaller. 4 That's, essentially, Moore's law, effectively 5 being used in the TFT world. 6 Q You reviewed Patent Owner's response, 7 right? We talked about that earlier. 8 A Yes, I did. 9 Q And you understand that Patent Owner's 10 response, Patent Owner has said that they believe 11 that there's been widespread copying of their 12 pixel circuits that embody the inventions in the 13 '599 patent, right? 14 A I'm aware of that assertion, yes. 15 Q And why don't we take a look at paper 16 number 34, which is the Patent Owner's response -- 17 I apologize, I think it's paper number 33. 18 MR. FRISCH: If we could have that 19 dropped in chat for folks as well. 20 A Does that have an exhibit number, 21 Counselor? Sorry to ask you that. 22 Q I don't believe it has an exhibit

Conducted on June 24, 2024

97 1 number. I think it only gets a paper number. 2 It's paper number 33, Patent Owner's response. 3 There's the front of it on the screen 4 right now, if that helps you find it in your paper 5 copies. 6 A I think I found it. It's referred to 7 as sealed POR. 8 Q That's right. And this is a good time 9 to note that we're going to be talking about some 10 confidential information, so we should mark the 11 transcript as containing protective order material 12 and then CP information. 13 A I had a binder failure, but I believe I 14 have this in front of me now. Hopefully the pages 15 are still in order after 16 of them fell on the 16 floor. They're all here. 17 Q Okay. If we go to page 61 of this 18 document, there are a number of teardown images 19 that were provided for different companies, 20 including, for example, MobileSentrix, Mengtor and 21 Phone LCD Parts. 22 Do you see that?	99 1 MR. JENSEN: Object to form. 2 A Well, obviously, there are at least 3 two, Samsung and BOE. 4 Q Are you aware of any others? 5 A I'm aware that LG manufactures 6 displays, whether or not they make them for mobile 7 devices, I'm not exactly sure, but I do know they 8 make displays. 9 Q Are you aware of anyone else? 10 A Not off the top of my head. 11 Q Now, you've put in a declaration on 12 behalf of four petitioners, we talked about them 13 earlier, BOE, China Star Optoelectronics, Tianma, 14 and Visonox. 15 Do you know if each of those four 16 petitioners manufactures displays? 17 A I know that BOE does, I'm not entirely 18 sure about the others, if they manufacture their 19 own displays or not, or if they're secondary as 20 users of BOE's displays. 21 Q Did you ask BOE if they manufactured 22 any of the displays shown here for MobileSentrix,
98 1 A On page 61, which I have in front of 2 me, yes, I see those. 3 Q If we go on to page 62, you see more of 4 those images, one from Mobile Defenders, one for 5 Injured Gadgets, one for Parts4LCD and one for 6 SourcelyPlus, right? 7 A Yes, those are on page 62, and I have 8 that in front of me as well. 9 Q You reviewed these images as part of 10 your analysis in this proceeding? 11 A Yes, I did. 12 Q Do you know who manufactures the 13 devices that are shown here from MobileSentrix, 14 Mengtor, Phone LCD Parts, Mobile Defenders, 15 Injured Gadgets, Parts4LCD and SourcelyPlus? 16 A My understanding is at least some of 17 them do not manufacture displays themselves. 18 Q Do you know who manufactures any of 19 those displays? 20 A No, I do not. 21 Q How many manufacturers, in your 22 understanding, make displays for mobile devices?	100 1 Mengtor, Phone LCD Parts, Mobile Defenders, 2 Injured Gadgets, Parts4LCD, or SourcelyPlus? 3 A No, I did not. 4 Q Why not? 5 A Well, I examined these photos and they 6 differ from each other, and there are differences 7 from the Samsung layout. So, all I needed to look 8 at was these and see that there are differences. 9 So if these were slavishly copied, not just copied 10 but slavishly copied, I would expect them to be 11 closer in their discrepancies. And all I needed 12 to see that there were discrepancies because I was 13 addressing the assertion that these provide 14 evidence of copying. And seeing differences, I 15 don't think that succeeds in showing that they 16 were slavishly copied. 17 Q Do you think the evidence shows that 18 they were copied? 19 A I don't think that conclusion can be 20 drawn from these images; that's all I'm saying. 21 There's enough differences that I don't think it 22 has been shown that they were slavishly copied or

Conducted on June 24, 2024

101 1 copied at all, I can't tell. 2 Q Well, I was asking you a slightly 3 different question. 4 You keep saying "slavishly copied," but 5 I was asking, have you analyzed whether or not you 6 think these images show that these products have 7 been copied? 8 A I don't think what's shown here is 9 sufficient to say that they were copied, they're 10 different enough that I don't think you can 11 conclude, just from these images, that they were 12 copied. 13 Q But you didn't ask BOE whether they 14 manufactured any of these displays, right? 15 A No, I did not. Again, I was seeing 16 enough differences. I was merely addressing the 17 assertion provided by Patent Owner and Dr. Wolfe, 18 that these were evidence for copying, and I 19 disagree that that conclusion could be drawn from 20 these images. 21 Q If BOE was the manufacture of one of 22 these displays, would it have been relevant to	103 1 that -- that indicates that these can't be -- 2 these don't provide, you know, prima facie 3 evidence of copying. If they're copied, why are 4 they different? That's all. 5 Q Can you go up to page 54 of the Patent 6 Owner response. 7 A I have to move my guest out of the way. 8 Page 54, you said? 9 (Exhibit 2018 marked for identification 10 and attached to the transcript.) 11 Q Yes. And I'm looking at the second 12 paragraph on page 54. 13 And in particular, do you see that it 14 references an Exhibit 2018? 15 A On page 54, I see Exhibit 2106, 2107, 16 and 2008 -- oh, there it is. Sorry. 17 Exhibit 2018. Yeah, I see there's a claim chart 18 from -- there. 19 Q Exhibit 2018 is the Galaxy S21 claim 20 chart, right? 21 A That's what it says, right. 22 Q And you reviewed Exhibit 2018 in
102 1 your analysis how they came up with their display 2 concept? 3 MR. JENSEN: Object to form. 4 A I don't believe it would because 5 there's enough differences across the table here, 6 in these displays, that, again, I was responding 7 to the assertion that you can just look at those 8 and it's obvious there's copying, and I disagree 9 with that because there's enough differences 10 between and among these images that I don't think 11 it shows -- it could be regarded as evidence of 12 copying. 13 Q You don't think it would be pertinent 14 to your understanding of whether there was copying 15 to look at how a manufacturer came up with any of 16 these designs? 17 MR. JENSEN: Object to form. 18 A Again, I'm merely responding to the 19 assertion that, oh, look at these, it's obvious 20 they're copied. And it's not obvious because 21 there are differences between them. So if there 22 are differences between and among these images	104 1 forming your opinions? 2 A At some point I did, yes. 3 Q Let's take a look at Exhibit 2018. 4 MR. FRISCH: If we could have that put 5 into the chat. 6 A This appears to not be in my binder for 7 some reason. 8 Q Okay. 9 MR. FRISCH: Well, can we have 10 Exhibit 2018 put into the chat? 11 Q That should be in the chat now, if you 12 want to download a copy of that to look at. And 13 we can put it up on the screen as well. 14 A If I click on the blue arrow, is 15 that -- I guess that's a technical question, I 16 guess, probably for the court -- for the 17 videographer. 18 Q I believe it's there. If it's easier, 19 too, I'm going to focus, largely, on one page. So 20 we can put it up on the screen, if that's easier 21 for you to look at. 22 A Given how many things go wrong in these

Conducted on June 24, 2024

105

1 things, let me put this somewhere where I can move
 2 it later.
 3 I'll open it in a moment. My PC
 4 insists on scanning it for viruses.
 5 Q Hopefully it comes back clean.
 6 A Okay.
 7 Q Okay. I want to look at page 2.
 8 A Okay.
 9 Q Are you there?
 10 A Yes. I am having to multitask here
 11 between having this on my own screen here, but
 12 that's -- let me take a look at this.
 13 Okay. I have -- I have page 2 up in
 14 front of me. Let me try to come back to the --
 15 our meeting here.
 16 Okay. I have this page here locally
 17 and I have it here in front of me in the shared
 18 screen, so I think we can proceed.
 19 Q Page 2 has two images on it, right,
 20 there's one on the left, with the teardown image
 21 of the pixel circuit for the Galaxy S21, and on
 22 the right is a circuit diagram for the pixel

106

1 circuit of the Galaxy S21?
 2 A Yes, it has a teardown image and it has
 3 a circuit image. The circuit image doesn't say if
 4 the circuit image was taken directly from the
 5 teardown on the left or if it was provided
 6 independently, for whatever that's worth.
 7 (Exhibit 2019 marked for identification
 8 and attached to the transcript.)
 9 Q Actually, if we look under the pixel
 10 circuit it says it was taken from Exhibit 2019
 11 which is a [REDACTED], right?
 12 A Yes, it appears, from this, that that
 13 was taken from a circuit diagram, was not derived
 14 directly from the image on the left.
 15 Q And you would have looked at
 16 Exhibit 2019 in forming your opinions, right?
 17 A At some point, yes.
 18 Q If we focus on that circuit diagram,
 19 that circuit has seven transistors and one
 20 capacitor, right?
 21 A It appears it has seven transistors and
 22 one capacitor and one light emitting -- actually,

107

1 just two capacitors.
 2 Q Is the second capacitor, that you're
 3 referencing, the one that's indicating a capacitor
 4 to the OLED on the bottom right?
 5 A Yes, that's probably to indicate -- may
 6 indicate a parasitic capacitance and it may
 7 indicate an actual capacitance, but there is a
 8 second capacitor there, for what it's worth.
 9 Q Just to clarify for when we read the
 10 transcript later, the two capacitors that you're
 11 referencing, one has a label that's [REDACTED] that's in
 12 the top left, and then, in the bottom right is the
 13 capacitor you were just discussing and it does not
 14 have a label on it, right?
 15 A Yes, that's correct. When you said it
 16 had one capacitor, I just had to note it did have
 17 two.
 18 Q And this pixel circuit uses the same
 19 structure as the circuit shown in the '599 patent,
 20 with the addition of that seventh transistor, and
 21 then the capacitor that you're identifying,
 22 whether that's a parasitic capacitance or an

108

1 additional capacitor?
 2 MR. JENSEN: Object to form.
 3 A It's not drawn in exactly the same way,
 4 but it appears, other than that additional
 5 capacitor and the seventh transistor, to be
 6 topologically the same as the '599 patent circuit.
 7 Q What is labeled as T1, that's the drive
 8 transistor?
 9 A I believe that's the case, yes.
 10 Q And the gate and drain of that driving
 11 transistor are connected by transistor T3, right?
 12 A Yes, they're connected by T3.
 13 Q T3 is the threshold compensation
 14 transistor?
 15 A It's the diode-connected transistor
 16 that's used to perform threshold voltage
 17 compensation, as discussed in '599.
 18 Q The gate of transistor T3 is connected
 19 to that signal, [REDACTED]
 20 A Yes, it is.
 21 Q And so, [REDACTED] is a signal that turns on
 22 transistor T3, which would allow it to create a

Conducted on June 24, 2024

1 diode connection for that drive transistor T1? 2 A Okay. I'm pulling up '599 on Figure 3 3 so I can more clearly see, if you're going to link 4 it to that, what's going on. 5 Q To be clear, my question didn't link it 6 to the '599, but if it helps you to look at the 7 '599, I'm happy to have you do so. 8 A The reason I'm doing that is you -- you 9 discuss functionalities rather than just the 10 structures. So I just want to -- 11 MR. JENSEN: I'm going to object to 12 scope, or beyond the scope of his reply 13 declaration, this whole line of questions. 14 MR. FRISCH: I disagree. I think this 15 is one of the items that was considered as part of 16 his discussion on secondary considerations. 17 MR. JENSEN: Is there a paragraph or 18 something you could point me to, Mr. Frisch, where 19 he discusses Exhibit 2019? It's possible I 20 overlooked something. 21 MR. FRISCH: So, I'm not sure if he 22 discusses 2019, per se, but he definitely	1 discussing. 2 If it helps, one of the items we were 3 discussing earlier was paper 33, which is the 4 Patent Owner response. Then we were looking at 5 the bottom of page 54. I'm not entirely sure 6 that's what you're looking for, but that was one 7 of the things we looked at earlier. 8 A Okay. I don't explicitly mention 2018 9 in my declaration. That wasn't mine. 10 Q I'm sorry, you're trailing off a little 11 bit because I think you're not -- 12 A I'm sorry. My desk is getting crowded, 13 so I'm having to bob and weave to read all this 14 stuff that's piled up in front of me here. 15 So, I don't explicitly mention 2018 in 16 my declaration. It appears in the Patent Owner 17 response. 18 Q Okay. But Exhibit 2018 was an item 19 that you considered in putting together your 20 opinions for your supplemental declaration, 21 correct? 22 A At some point, I did, yes. So, sorry,
1 discusses Samsung Galaxy S21 and the features of 2 it, as to how it relates to secondary 3 consideration analysis, for example, in paragraph 4 104. 5 I believe he testified already that he 6 looked at this exhibit as part of his 7 understanding of the Galaxy S21, in terms of the 8 secondary consideration analysis. 9 MR. JENSEN: I'm going to maintain the 10 objection. I don't see any reference to 11 Exhibit 2018 or any opinions that he rendered on 12 Exhibit 2018 or 2019. You can continue to ask the 13 question, I just wanted to make sure the objection 14 is noted, in the record, that this is beyond the 15 scope. 16 MR. FRISCH: Okay. Understood. 17 A Where in my declaration -- you pointed 18 me to a paragraph where I referenced 2018, and I'm 19 flipping back and forth, trying to find that. 20 Q I think we were looking at the Patent 21 Owner response earlier, that you were responding 22 to, if that's the reference that you're	1 I was wandering around chasing references. I know 2 there was a question pending. If you can re-ask 3 it, I might be able to get us on track here. 4 Q Let me go back and try to find it 5 myself. 6 A Oh, dear. 7 Q I think I was asking you, there's a 8 signal shown in the circuit that we're looking at 9 on page 2 of Exhibit 2018, there's a signal shown 10 that's [REDACTED] it's connected to the gate of P3. 11 MR. JENSEN: Object to form and scope. 12 Q Do you see that signal? 13 A Yes, I do. I see the signal [REDACTED] 14 in brackets around it. 15 Q I was just asking you whether that was 16 the signal that turns on T3 to diode connect the 17 drive transistor T1? 18 MR. JENSEN: Same objections. 19 A That appears to be the line, again, 20 looking at Figure 3 in the '599 patent, of what 21 turns on T2 and T3 to initiate the program with 22 the capacitor to program the drive -- the gate

Conducted on June 24, 2024

113 1 voltage or the drive transistor T1. 2 Q That's what allows a circuit to 3 compensate for threshold voltage deviations of the 4 drive transistor? 5 THE WITNESS: Go ahead, Travis. 6 MR. JENSEN: Same objections. 7 A That's the signal that turns on T3 and 8 T2, which serves to activate the path that lets 9 current charge the capacitor up, the capacitor 10 charges up until transistor T1 turns off. 11 Q What's the significance of transistor 12 T1 turning off? 13 A When transistor T1 is turned off, 14 threshold voltage compensation has been completed 15 for the particular cycle. Again, that assumes 16 there's enough time available for the capacitor to 17 charge up to the point that transistor T1 turns 18 off. 19 Q Do you know whether that's the case? 20 MR. JENSEN: Object to form and scope. 21 A All I can see is the circuit 22 architecture of -- it doesn't have any operating	115 1 record. I'll be back in 30 seconds. 2 Okay. Sorry about that rain delay in 3 the deposition. 4 MR. FRISCH: We can put Exhibit 2008 in 5 the chat as well. 6 A Okay. I have my paper copy of 7 Dr. Wolfe's declaration in front of me. So let me 8 get back, I'm looking at a local copy of 9 Exhibit 2018, so I'm back with you guys now, so... 10 Q And just to be clear, you're looking at 11 a paper copy of Exhibit 2008? 12 A 2008. I was calling it Dr. Wolfe's 13 declaration to remove any numerical misspeaking. 14 Q And if we go to -- and Dr. Wolfe's 15 declaration is something you considered in putting 16 together your opinions, right? 17 A Yes, it was. 18 Q And if we can go down to paragraph 136 19 of his declaration, which I believe starts on 20 page 72. 21 A I'm at page 72 and I see a paragraph 22 136, which appears to be entirely contained on
114 1 details associated with this figure. It's just, 2 I'm noting the similarity to the '559 Figure 3 and 3 addressing it as if it is the same circuit as '599 4 Figure 3. 5 Q Would you need to simulate it to 6 understand how it works? 7 MR. JENSEN: Object to form and scope. 8 A I don't think you'd need to simulate to 9 see the basic idea of how the circuit works. What 10 would be the only missing piece would be is the 11 amount of time available required to charge up the 12 capacitor, since the threshold voltage 13 compensation will not complete unless there's time 14 for the capacitor to charge up to the point that 15 transistor T1 turns off. 16 (Exhibit 2008 marked for identification 17 and attached to the transcript.) 18 Q Take a look at Dr. Wolfe's declaration, 19 Exhibit 2008. 20 A Before we do that, Counsel, I just need 21 30 seconds. It just started raining. I just need 22 to lower windows. We don't need to go off the	116 1 page 72. 2 [REDACTED] 3 [REDACTED] 4 [REDACTED] 5 [REDACTED] 6 [REDACTED] 7 [REDACTED] 8 [REDACTED] 9 [REDACTED] 10 [REDACTED] 11 [REDACTED] 12 [REDACTED] 13 [REDACTED] 14 [REDACTED] 15 [REDACTED] 16 [REDACTED] 17 [REDACTED] 18 [REDACTED] 19 [REDACTED] 20 [REDACTED] 21 a [REDACTED] 22 [REDACTED]

Conducted on June 24, 2024

121 [REDACTED]	123 1 [REDACTED] 2 [REDACTED] 3 [REDACTED] 4 [REDACTED] 5 [REDACTED] 6 [REDACTED] 7 [REDACTED] 8 [REDACTED] 9 [REDACTED] 10 [REDACTED] 11 [REDACTED] 12 [REDACTED] 13 [REDACTED] 14 [REDACTED] 15 [REDACTED] 16 [REDACTED] 17 [REDACTED] 18 [REDACTED] 19 [REDACTED] 20 [REDACTED] 21 [REDACTED] 22 [REDACTED]
[REDACTED]	124 1 [REDACTED] 2 [REDACTED] 3 [REDACTED] 4 [REDACTED] 5 [REDACTED] 6 [REDACTED] 7 [REDACTED] 8 [REDACTED] 9 [REDACTED] 10 [REDACTED] 11 [REDACTED] 12 [REDACTED] 13 [REDACTED] 14 [REDACTED] 15 [REDACTED] 16 [REDACTED] 17 [REDACTED] 18 [REDACTED] 19 [REDACTED] 20 [REDACTED] 21 [REDACTED] 22 [REDACTED]

Conducted on June 24, 2024

125 1 [REDACTED] 2 [REDACTED] 3 [REDACTED] 4 [REDACTED] 5 [REDACTED] 6 [REDACTED] 7 [REDACTED] 8 MR. FRISCH: Okay. Why don't we take a 9 break here. 10 THE WITNESS: Come back in ten? 11 MR. FRISCH: Let's go off the record 12 first. 13 THE WITNESS: Oh, sure. 14 THE VIDEOGRAPHER: We're going off the 15 record. The time is 11:56 a.m. 16 (Recess taken.) 17 THE VIDEOGRAPHER: We are going back on 18 the record. The time is 12:15 p.m. 19 BY MR. FRISCH: 20 Q Dr. Foty, I just have a few more 21 questions for you. If we could look back at your 22 supplemental declaration, if you could go to	127 1 actually, the threshold voltage, I think students 2 often are not taught this, the threshold voltage 3 isn't just something that happens, it's actually 4 something you tune and adjust in the process. You 5 pick threshold voltages for the devices that meet 6 the needs of what the process is going to be used 7 for and you can adjust the threshold voltage in 8 manufacturing to be part of the product. This, I 9 said, assumed it's the typical leakage associated 10 with properly functioning transistors. 11 Q And was that known, in the time period 12 around 2002 to 2003, by persons of ordinary skill 13 in the art? 14 A Yes, it's been known, I don't know for 15 how long, but I certainly knew about it as a cub 16 engineer back around 1990. 17 MR. FRISCH: I have no further 18 questions at this time. I'll pass the witness. 19 MR. JENSEN: I have no questions. 20 MR. FRISCH: Okay. Before we go off 21 the record, just as a matter of housekeeping, so I 22 marked the transcript, earlier, as confidential
126 1 paragraph 47 on page 23. 2 A Yes, I have that in front of me now, on 3 paper. 4 Q Can you explain what you're discussing 5 here in paragraph 47? 6 A What I'm discussing here is something I 7 explained in my February testimony, that the kind 8 of nuisance leakage I described earlier, where you 9 have the exponential current decay issue turn the 10 transistor off, if something is amiss there, that 11 it's a little too high, you can increase the 12 threshold voltage to reduce it. That's all I was 13 explaining there is for leakage and properly 14 functioning transistor product. 15 Q In there you say the adjustment can be 16 made, for example, a manufacturing process tweak. 17 Can you explain what you mean by 18 "manufacturing process tweak"? 19 A Yes, it's very simple. You increase 20 the doping in the substrate by a little bit, in 21 any type of field-effect transistor, and you can 22 increase the threshold by a little bit. So you	128 1 because we discussed a number of documents that 2 were marked as confidential. 3 My understanding, Counsel, is that the 4 only confidential information comes from Patent 5 Owner. If that's your understanding as well, then 6 we can take a look at and see if any redactions 7 are necessary and provide a redacted copy. 8 MR. JENSEN: That would be appreciated. 9 MR. FRISCH: Okay. And that's your 10 understanding, right, there's no confidential 11 information from Petitioners? 12 MR. JENSEN: I don't think there is. I 13 can double-check, but nothing jumped out to me. 14 But I reserve the right to double-check is all. 15 MR. FRISCH: Sure. I'm just trying to 16 make sure we're on the same page. 17 Okay. We'll take a look, then, and 18 provide a redacted copy, if necessary. 19 MR. JENSEN: Thank you. 20 THE VIDEOGRAPHER: All right. This 21 marks the end of the deposition of Dr. Daniel 22 Foty. On the monitor, the time is 12:19 p.m.

Conducted on June 24, 2024

129 1 THE STENOGRAPHER: Do you both have 2 standing orders? 3 MR. JENSEN: Just our regular 4 turnaround is fine. 5 PLANET DEPOS TECHNICIAN: And the 6 exhibits that were presented, do you want those 7 attached to the transcript? 8 MR. FRISCH: I'm sorry, I didn't hear 9 the end of that. 10 PLANET DEPOS TECHNICIAN: The exhibits 11 that were presented, do you want those attached to 12 the transcript? 13 MR. FRISCH: I don't think they need to 14 be attached, Travis, if that's okay with you, 15 they're already of record. 16 MR. JENSEN: Yeah, whatever you want. 17 It's your depo. I'm fine either way. 18 MR. FRISCH: I don't think they need 19 to -- actually, sure, you know what, why don't you 20 attach a copy, that's fine. 21 THE VIDEOGRAPHER: Did anybody want a 22 copy of the video?	131 1 CERTIFICATE OF REPORTER - NOTARY PUBLIC 2 I, JUDITH E. BELLINGER, RPR, CRR, CSR, 3 the officer before whom the foregoing deposition 4 was taken, do hereby certify that the foregoing 5 transcript is a true and correct record of the 6 testimony given; that said testimony was taken by 7 me and thereafter reduced to typewriting under my 8 direction; that reading and signing was not 9 requested; and that I am neither counsel for, 10 related to, nor employed by any of the parties to 11 this case and have no interest, financial or 12 otherwise, in its outcome. 13 IN WITNESS WHEREOF, I have hereunto set 14 my hand and affixed my notarial seal this 9th day 15 of July, 2024. 16 My Commission Expires: November 3, 2024 17 18 19 <i>Judith E. Bellinger</i> 20 21 E-NOTARY PUBLIC IN AND FOR 22 THE STATE OF MARYLAND
130 1 MR. JENSEN: Not for Petitioner. 2 MR. FRISCH: Whatever our standing 3 order requests. I have to admit I don't know off 4 the top of my head. 5 THE VIDEOGRAPHER: Understood. 6 (Off the record.) 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22	

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

SDC EX. 2053 - 34/71

A			
a-si	57:16	127:3, 129:19	advise
16:16	absolutely	add	8:1
ability	56:2	12:15	affects
27:22, 43:4	accompanies	added	124:1
able	18:2	75:10, 75:12,	affixed
33:6, 33:20,	accompanying	86:12, 86:14,	131:14
34:2, 43:4,	21:5, 21:11	87:20, 88:20,	after
45:4, 45:12,	according	89:9, 91:11,	14:21, 18:7,
63:4, 71:10,	47:11	91:21	73:1, 73:7,
72:15, 80:11,	accuracy	addition	85:13, 97:15
80:12, 94:19,	122:11, 123:14	107:20	again
112:3	accurate	additional	23:5, 27:12,
about	11:22	108:1, 108:4,	28:8, 31:8,
10:15, 12:11,	achieved	117:12	31:20, 32:12,
12:12, 12:14,	94:4, 121:4	additions	34:9, 36:22,
27:4, 27:16,	across	86:7, 86:9	39:1, 39:16,
35:11, 36:20,	60:13, 63:10,	address	39:18, 39:21,
37:5, 37:10,	63:15, 64:22,	25:9, 26:10,	40:5, 41:4,
42:9, 50:2,	102:5	26:11, 26:21,	41:6, 42:11,
51:13, 51:14,	activate	27:1, 27:20,	43:9, 44:18,
51:22, 52:5,	113:8	27:22, 28:15,	45:5, 48:6,
53:3, 53:19,	active	44:19, 57:7,	55:21, 61:17,
55:14, 55:17,	23:2, 23:4,	60:20, 69:7,	68:12, 69:16,
56:12, 65:17,	23:10, 23:12,	70:7	70:18, 72:5,
68:9, 68:13,	23:15, 23:16,	addressed	81:9, 87:17,
68:15, 70:9,	23:18, 23:21,	23:17, 23:21,	88:18, 89:6,
70:22, 71:14,	24:4, 24:6,	47:20, 62:21	91:6, 92:22,
71:21, 80:15,	26:4, 26:7,	addresses	101:15, 102:6,
80:16, 84:6,	27:9, 27:16,	69:18, 70:13	102:18, 112:19,
89:6, 92:10,	27:19, 27:21,	addressing	113:15, 117:18,
93:22, 94:13,	28:6, 29:9,	28:4, 29:8,	117:21, 119:3,
96:2, 96:7,	29:15, 30:3,	30:1, 42:12,	120:16, 121:2,
97:9, 99:12,	67:17	70:11, 100:13,	122:9, 124:5,
99:18, 115:2,	actual	101:16, 114:3	124:14, 124:22,
116:19, 118:2,	94:18, 107:7	adjacent	125:1
119:19, 120:14,	actually	63:20	ago
121:16, 123:13,	16:10, 29:18,	adjust	21:15
124:8, 127:15	32:20, 33:13,	7:15, 7:20,	agree
above	37:7, 37:10,	127:4, 127:7	28:2, 29:6,
38:1, 38:18,	44:1, 48:19,	adjusting	31:13, 35:20,
39:8, 52:3,	59:2, 59:15,	57:2	38:20, 39:11,
73:17	59:16, 61:9,	adjustment	40:16, 42:1,
absent	62:20, 69:2,	126:15	46:15, 48:9,
57:7	77:1, 85:17,	admit	83:17, 86:4
absolute	88:8, 88:13,	130:3	ahead
50:7, 52:4,	93:18, 106:9,	admitted	9:4, 89:16,
	106:22, 116:14,	52:6, 52:11,	113:5, 122:3
	116:15, 127:1,	53:4, 53:7, 54:7	air
			11:6

al 6:6 all 6:14, 10:9, 10:14, 11:13, 22:13, 24:15, 30:17, 36:16, 42:2, 44:2, 44:21, 49:8, 50:15, 50:16, 51:10, 54:17, 56:12, 63:12, 75:16, 75:18, 76:4, 76:7, 77:21, 86:12, 88:17, 91:5, 91:9, 93:18, 97:16, 100:7, 100:11, 100:20, 101:1, 103:4, 111:13, 113:21, 119:4, 120:19, 121:6, 122:9, 122:17, 123:9, 123:19, 124:5, 125:6, 126:12, 128:14, 128:20 alleged 119:10 allow 67:16, 108:22 allowed 88:2, 93:14 allows 23:20, 23:22, 113:2 alone 31:22, 67:1, 91:15 along 22:9, 34:20, 37:16, 82:20 alongside 73:15 alpha 16:18 already 18:13, 45:22,	47:3, 47:21, 81:4, 110:5, 121:20, 129:15 also 4:3, 7:1, 7:4, 18:3, 21:16, 21:21, 24:13, 38:8, 38:21, 40:11, 66:19, 68:9, 69:4, 76:3, 88:21, 117:16 although 20:5 always 71:8, 72:6 amiss 126:10 amoled 5:19, 120:6 among 102:10, 102:22 amorphous 16:12, 16:13, 16:17, 16:19, 16:20, 16:21, 17:6, 67:18, 69:1, 69:8, 70:5, 70:7, 70:14, 70:15, 71:3, 72:3 amount 50:4, 61:20, 94:22, 114:11 analog 34:1, 35:15, 36:8, 38:9, 39:2, 43:10, 44:17, 45:7, 46:6, 46:8, 46:13, 47:4, 59:19, 60:1, 60:5, 91:13, 92:15 analysis 31:6, 31:14, 32:8, 32:13, 32:18, 33:1,	33:6, 33:12, 33:16, 34:21, 35:14, 37:17, 38:2, 38:5, 38:9, 38:16, 38:19, 39:5, 39:20, 40:12, 41:8, 42:7, 45:2, 45:10, 46:22, 47:13, 48:3, 98:10, 102:1, 110:3, 110:8 analyze 45:12, 95:18 analyzed 83:3, 95:20, 101:5 annotated 73:2, 73:4 annotation 75:15 annotations 74:18, 75:12 anode 56:5 another 15:18, 91:12, 94:3, 95:11, 95:22 answer 8:22, 29:17, 49:3, 68:14, 122:20 answered 89:3, 123:6 answering 33:10, 47:7, 122:8 antics 82:17 any 9:18, 10:5, 11:8, 11:21, 15:12, 18:17, 19:11, 19:16, 27:18, 43:17, 49:9, 50:3,	51:19, 52:1, 56:1, 56:9, 56:11, 57:1, 57:14, 68:8, 71:6, 82:16, 84:5, 94:20, 95:7, 95:10, 98:18, 99:4, 99:22, 101:14, 102:15, 110:10, 110:11, 113:22, 115:13, 122:6, 126:21, 128:6, 131:10 anybody 129:21 anyone 9:16, 11:16, 12:18, 15:13, 19:12, 19:16, 45:1, 99:9 anyone's 90:7 anything 10:2, 10:8, 17:12, 36:19, 37:5, 68:5, 88:22, 89:6, 94:21, 119:3, 122:3, 123:17, 124:8, 125:4 anytime 25:1 aperture 39:13, 41:20, 94:2, 94:4 apologies 78:1, 90:3 apologize 96:17 appeal 1:3, 6:9 appeared 64:10 appears 52:12, 85:13, 87:16, 87:20, 104:6, 106:12,
---	---	---	--

106:21, 108:4, 111:16, 112:19, 115:22 application 71:19 applications 61:21 apply 69:18 appreciate 10:4, 13:13, 78:10 appreciated 128:8 approach 62:8 arch 73:11 architected 88:9 architecture 28:3, 29:7, 29:22, 30:10, 30:11, 30:12, 30:19, 30:21, 31:3, 31:5, 31:16, 31:20, 31:22, 32:3, 32:5, 32:15, 73:12, 80:4, 80:5, 80:8, 80:11, 81:1, 81:4, 81:10, 81:14, 81:15, 93:2, 93:6, 113:22 argument 123:16 around 24:22, 25:6, 26:5, 70:21, 71:5, 71:22, 82:5, 112:1, 112:14, 127:12, 127:16 arrow 104:14 art 34:18, 35:13,	36:4, 36:10, 36:16, 36:19, 37:4, 37:8, 37:13, 38:7, 38:21, 39:2, 39:12, 39:14, 39:17, 40:1, 40:3, 40:7, 40:17, 40:22, 41:1, 41:5, 41:16, 42:2, 42:15, 43:10, 44:9, 44:17, 45:4, 45:7, 46:6, 46:8, 47:4, 47:9, 47:12, 47:21, 51:17, 52:6, 52:11, 52:13, 53:4, 53:7, 53:9, 53:17, 54:7, 54:15, 56:15, 57:6, 57:10, 59:17, 59:19, 61:11, 64:8, 79:20, 80:3, 80:6, 80:19, 81:5, 91:8, 91:13, 92:17, 93:3, 127:13 aside 9:19 asked 29:4, 49:4, 89:2, 123:6 asking 8:17, 32:20, 33:11, 37:3, 37:10, 47:22, 48:1, 49:5, 68:13, 84:8, 101:2, 101:5, 112:7, 112:15 aspect 116:11 asserted 35:4	assertion 67:7, 96:14, 100:13, 101:17, 102:7, 102:19 associated 24:11, 25:5, 114:1, 127:9 assume 9:1, 58:8, 71:6, 85:4, 85:15, 88:20, 89:10, 116:6, 118:9 assumed 39:4, 127:9 assumes 113:15 assuming 39:19, 55:4, 55:21, 90:12 assumption 51:16, 55:22, 86:1, 88:16 attach 129:20 attached 5:5, 13:5, 52:17, 78:6, 81:21, 91:20, 103:10, 106:8, 114:17, 129:7, 129:11, 129:14 attempting 45:18 attended 12:8 attending 6:15, 7:1 attributed 94:4 audio 13:10, 29:3 author 92:7 available 113:16, 114:11 aware 12:1, 13:8,	17:8, 89:12, 89:17, 89:18, 89:22, 96:14, 99:4, 99:5, 99:9 away 62:4 awkward 68:2 B b2 5:9 bachelor's 34:19, 37:14 back 12:5, 13:10, 19:1, 26:14, 26:15, 28:20, 29:2, 36:11, 40:8, 40:22, 43:11, 43:18, 44:19, 51:17, 51:22, 56:5, 56:18, 65:13, 67:12, 80:20, 81:22, 105:5, 105:14, 110:19, 112:4, 115:1, 115:8, 115:9, 125:10, 125:17, 125:21, 127:16 back-and-forth 15:16 background 45:16, 45:19 backs 42:8 backwards 37:1 bad 78:3 ball's 122:7 based 37:22, 73:22, 74:15, 88:2, 88:18, 95:14 basic 33:7, 55:4,
---	---	--	---

114:9 basically 23:22, 26:13, 33:22, 62:9, 64:12, 80:9, 84:13, 86:19 basics 8:15 basis 36:15, 91:17 because 14:18, 16:1, 18:11, 23:16, 24:1, 25:21, 26:4, 33:7, 33:21, 36:7, 36:15, 39:1, 40:5, 40:21, 42:18, 44:14, 45:6, 46:21, 49:17, 50:4, 52:2, 55:1, 55:11, 55:12, 56:2, 56:14, 57:14, 58:8, 62:3, 62:12, 67:16, 68:2, 69:3, 69:5, 69:17, 70:8, 70:11, 74:8, 77:4, 78:11, 79:3, 79:22, 80:1, 80:8, 81:1, 81:11, 82:16, 88:10, 89:8, 94:17, 100:12, 102:4, 102:9, 102:20, 111:11, 121:5, 121:16, 125:6, 128:1 been 8:11, 8:15, 11:5, 12:4, 24:19, 26:5, 26:13, 35:22, 36:9, 36:17, 39:2, 40:7,	42:15, 43:10, 44:17, 44:20, 45:6, 46:6, 46:7, 46:9, 46:12, 47:17, 64:9, 65:6, 70:16, 71:5, 73:9, 79:4, 88:20, 90:6, 96:11, 100:22, 101:7, 101:22, 113:14, 119:16, 127:14 before 1:3, 2:18, 6:8, 8:11, 8:16, 15:22, 17:17, 18:9, 29:5, 53:17, 65:16, 87:2, 93:4, 114:20, 127:20, 131:3 beforehand 40:1 began 18:10, 18:14 begins 6:3, 93:17 behalf 3:2, 3:15, 99:12 behave 121:7 behaved 119:6 behaves 60:9 behavior 35:16, 38:11 being 7:8, 14:3, 18:21, 38:1, 38:14, 42:6, 42:21, 43:15, 46:13, 47:20, 49:11, 53:15, 60:21, 63:2, 71:7, 72:15,	74:7, 80:12, 84:14, 85:12, 86:3, 88:18, 96:5, 120:11 believe 10:18, 14:19, 24:14, 26:15, 29:19, 40:2, 49:8, 69:11, 71:2, 71:15, 75:7, 80:20, 92:2, 92:5, 95:9, 96:10, 96:22, 97:13, 102:4, 104:18, 108:9, 110:5, 115:19, 116:14, 121:19 bellinger 2:3, 2:5, 2:19, 7:4, 131:2 below 5:14, 78:20, 79:16, 95:18, 96:2 best 53:1, 68:3 better 7:22, 8:1, 44:7, 70:10, 81:1, 94:20, 95:1 between 26:7, 43:7, 44:15, 44:16, 47:4, 51:18, 59:22, 60:3, 60:12, 60:16, 61:6, 61:21, 75:11, 85:4, 102:10, 102:21, 102:22, 105:11 beyond 89:20, 109:12, 110:14, 119:9 bias 63:8 bidirectional 75:4	big 44:17, 61:22 binder 68:2, 78:13, 97:13, 104:6 bit 7:14, 28:9, 65:22, 111:11, 126:20, 126:22 black-and-white 74:18 blank 10:11 block 30:15, 31:1 blue 104:14, 124:12, 124:19 board 1:3, 6:9 bob 111:13 boe 1:5, 6:5, 19:7, 19:16, 99:3, 99:13, 99:17, 99:21, 101:13, 101:21 boe's 99:20 bookmarks 10:12 botched 17:6 both 10:20, 18:20, 41:5, 62:16, 69:16, 71:21, 73:9, 73:18, 74:6, 74:10, 77:6, 80:12, 129:1 bottom 55:15, 77:7, 77:16, 90:2, 90:14, 90:18, 93:16, 107:4, 107:12, 111:5
--	---	---	--

boundary 69:6, 70:12 box 10:20, 52:19, 75:4, 75:5, 75:18 boxes 75:10, 75:13 brackets 16:12, 112:14 branches 43:5, 60:6, 60:17 break 65:8, 65:16, 125:9 brief 5:23, 17:16, 17:18, 18:2, 18:21, 116:17 briefly 25:10, 84:22, 93:17 brightness 41:4, 42:20, 43:6, 44:11, 44:12, 60:18, 63:19 brings 69:2 brought 24:7, 56:7 burden 123:10 burling 3:18, 6:19 butcher 78:1	50:2, 63:7, 66:18, 83:18, 93:8 calling 24:19, 24:20, 24:21, 115:12 calls 83:12 came 23:13, 36:2, 36:6, 102:1, 102:15 can't 11:6, 77:1, 101:1, 103:1 cannot 11:21 capacitance 107:6, 107:7, 107:22 capacitor 25:13, 41:22, 54:8, 54:19, 54:22, 55:3, 55:6, 55:15, 55:18, 56:4, 57:22, 87:4, 87:6, 87:7, 87:14, 87:21, 88:5, 88:11, 93:11, 93:14, 106:20, 106:22, 107:2, 107:3, 107:8, 107:13, 107:16, 107:21, 108:1, 108:5, 112:22, 113:9, 113:16, 114:12, 114:14 capacitors 27:3, 27:7, 27:15, 34:11, 36:12, 107:1, 107:10 carrier 49:11 case 1:15, 6:9,	10:11, 24:1, 94:17, 95:3, 108:9, 113:19, 131:11 castro 4:4, 6:14 cat 77:11, 82:16 catastrophic 51:11 cats 9:17 cause 13:9, 60:6, 60:8, 72:8 caused 122:15 causes 61:7 causing 57:19, 70:12 caveat 53:13 ccr-nm 2:4 ccr-wa 2:4 cell 24:17, 24:20 celsius 78:21, 79:16 center 3:20 centigrade 78:21 certain 27:2, 61:21, 117:1 certainly 61:22, 70:9, 71:6, 86:1, 127:15 certificate 131:1 certified 2:20 certify 131:4	change 86:14 changes 60:16, 86:10 charge 58:1, 93:11, 93:14, 113:9, 113:17, 114:11, 114:14 charges 87:6, 87:13, 113:10 chart 5:19, 103:17, 103:20 chasing 112:1 chat 10:20, 13:12, 15:21, 16:7, 52:19, 78:10, 82:3, 82:8, 82:13, 82:20, 96:19, 104:5, 104:10, 104:11, 115:5 check 15:4 chief 92:7 china 1:6, 19:8, 99:13 cir 5:22 circuit-level 31:21 circuitry 23:22, 27:6, 27:14, 27:18, 27:19, 27:21, 60:2, 72:8, 81:7, 117:20, 122:15 circuits 5:11, 24:5, 24:7, 24:15, 25:5, 25:18,
C			
c 5:14 ca 3:6, 3:13 call 119:11 called 23:16, 46:5,			

28:6, 29:9, 29:15, 30:3, 30:16, 30:18, 31:18, 32:10, 33:2, 33:7, 33:8, 33:17, 34:7, 34:10, 35:15, 35:18, 38:10, 38:12, 47:3, 60:14, 61:14, 61:19, 62:1, 62:14, 63:3, 63:14, 68:16, 68:18, 68:22, 78:18, 79:15, 80:2, 81:17, 86:20, 91:11, 91:16, 96:12, 116:22, 119:5, 119:20, 120:7 cite 53:4, 54:2, 77:22, 78:4 cited 22:3, 91:8 citing 17:4 city 3:20 claim 5:19, 67:20, 68:1, 68:4, 68:5, 68:7, 68:8, 103:17, 103:19, 117:4 claimed 117:20 claims 68:13, 71:18, 123:8 clarify 8:20, 24:8, 45:8, 107:9 clarity 25:1, 49:2 clean 11:9, 11:10,	65:21, 105:5 clear 19:15, 25:10, 25:11, 68:14, 109:5, 115:10, 121:11, 122:20, 124:17 clearer 74:21, 75:14 clearly 48:7, 69:18, 85:14, 93:3, 109:3 click 104:14 close 59:15, 59:21 closely 53:17 closer 100:11 cluttered 53:1 cmos 5:11, 73:7, 73:10, 73:13, 73:16, 74:8, 78:18, 80:4, 80:11, 81:1, 81:7, 81:9, 81:13 co-working 18:20 code 5:21 colleague 6:20 color 74:21, 75:9, 75:10 colored 75:13 columbia 92:6 column 58:19, 59:3, 59:5, 64:1, 67:22	come 44:8, 50:10, 67:12, 74:7, 94:9, 105:14, 125:10 comes 94:11, 105:5, 128:4 coming 44:11, 65:3, 85:9, 118:7 comment 117:9 comments 116:19 commission 131:16 commonly 80:21 communication 9:18 communications 11:15 companies 19:12, 97:19 comparing 73:17, 87:18 compatible 38:14 compensate 92:20, 113:3 compensation 36:9, 40:7, 40:18, 41:18, 43:3, 58:15, 58:17, 62:21, 64:21, 91:7, 91:10, 91:15, 92:15, 93:3, 108:13, 108:17, 113:14, 114:13 complaints 123:13 complete 22:7, 114:13 completed 113:14 completely 17:4, 64:18	completeness 91:12 comprised 35:15, 38:10 comprises 54:7 computer 9:19, 10:6, 28:2, 29:6, 29:22, 30:9, 30:11, 30:12, 30:19, 31:2, 31:5, 31:15, 31:20, 31:22, 32:2, 32:3, 32:4, 32:15 concept 102:2 concern 51:20, 56:10, 57:8, 58:5 concerning 120:5 concerns 56:11 conclude 101:11 conclusion 36:3, 36:6, 73:21, 91:18, 100:19, 101:19 condensed 38:15, 38:18 conducted 1:19, 2:9 conduction 69:6, 70:12 confidential 1:22, 97:10, 127:22, 128:2, 128:4, 128:10 configuration 93:1, 93:9 confirming 124:7 confirms 119:22 confused 17:21
--	--	---	--

connect 92:18, 112:16 connected 87:1, 93:10, 108:11, 108:12, 108:18, 112:10 connection 19:12, 19:17, 83:16, 109:1 connects 88:12 consider 70:14, 120:20 consideration 110:3, 110:8 considerations 90:20, 109:16, 122:19, 123:17 considered 20:20, 21:4, 21:10, 22:8, 95:12, 109:15, 111:19, 115:15, 116:8, 118:11, 118:14, 121:2, 121:11, 123:3, 123:7 consistent 35:22 constant 58:3 constructed 70:2 consumes 81:2 consumption 80:17 contain 117:5 contained 115:22, 117:4 containing 97:11 context 35:17, 38:12, 118:15 continue 8:5, 35:20,	65:18, 85:2, 110:12, 117:11 continues 20:18, 93:18 contradiction 16:22 control 27:8 controller 24:12 controlling 26:20 convention 75:7 conventional 80:10, 96:2 conversation 116:3, 116:4, 116:18, 116:21, 117:13 cope 51:11 coped 57:1 copied 100:9, 100:10, 100:16, 100:18, 100:22, 101:1, 101:4, 101:7, 101:9, 101:12, 102:20, 103:3 copies 10:9, 10:13, 11:1, 11:3, 11:7, 11:9, 11:10, 97:5 copy 13:6, 14:3, 17:15, 17:20, 18:4, 20:3, 20:5, 35:10, 41:12, 52:21, 52:22, 59:6, 59:9, 63:18, 66:5, 72:21, 78:12, 82:4, 82:6, 82:14, 104:12, 115:6,	115:8, 115:11, 128:7, 128:18, 129:20, 129:22 copying 41:1, 46:6, 62:2, 62:3, 96:11, 100:14, 101:18, 102:8, 102:12, 102:14, 103:3 correct 8:12, 8:13, 9:10, 14:11, 16:1, 16:5, 16:8, 17:4, 17:13, 19:5, 19:6, 19:9, 19:10, 21:19, 25:14, 26:18, 27:17, 28:7, 29:11, 30:4, 30:5, 31:18, 35:2, 35:4, 35:18, 36:21, 37:6, 44:3, 46:18, 48:10, 53:11, 74:16, 76:5, 76:19, 79:2, 83:10, 85:21, 95:12, 95:13, 95:22, 96:1, 107:15, 111:21, 116:12, 118:5, 124:20, 131:5 could 13:11, 13:16, 19:22, 30:18, 31:10, 32:2, 32:3, 34:14, 43:14, 49:5, 56:4, 59:2, 63:2, 78:9, 93:15, 96:18, 101:19, 102:11, 104:4, 109:18, 125:21, 125:22 couldn't 31:11, 68:22,	70:19 counsel 6:16, 7:10, 12:6, 12:18, 15:14, 15:22, 18:20, 28:8, 28:11, 77:20, 94:12, 94:13, 95:15, 114:20, 121:19, 128:3, 131:9 counselor 7:13, 23:6, 59:11, 66:2, 78:3, 96:21 coupled 54:8, 54:19, 54:22 course 11:4, 15:15, 20:17, 21:13, 22:10, 27:18, 44:5, 49:1, 53:16, 60:5, 61:11 court 7:3, 104:16, 122:8 courtesy 9:22 covington 3:18, 6:18 cp 97:12 create 43:13, 108:22 creates 43:19 cross-hatching 74:20, 75:3 cross-section 73:8, 73:19, 76:14 cross-sections 76:9 crosshatched 74:19 crosshatching 73:22, 74:15,
--	---	---	---

75:4 crowded 111:12 crr 2:4, 2:5, 131:2 cryptic 16:15 csr 131:2 csr-tx 2:4 cst 107:11 cub 127:15 current 41:1, 41:2, 41:3, 42:21, 43:3, 43:5, 44:10, 44:18, 46:5, 47:5, 50:5, 51:4, 57:7, 57:8, 59:18, 60:6, 60:7, 60:14, 60:16, 61:1, 61:22, 62:2, 62:5, 62:11, 62:15, 63:6, 63:17, 64:3, 64:12, 65:1, 65:2, 65:4, 65:17, 66:14, 70:4, 71:2, 71:6, 72:2, 72:6, 72:11, 72:12, 80:7, 80:12, 80:22, 83:13, 84:20, 85:6, 87:8, 87:10, 88:1, 88:2, 113:9, 124:2, 126:9 current's 62:11 current-copying 46:12 curve 42:17	cycle 113:15 D damage 72:15 daniel 1:18, 2:8, 5:2, 5:15, 6:5, 7:7, 8:10, 128:21 date 6:11, 14:14, 14:15, 15:1, 71:16, 71:17 dated 71:19 dates 71:16 daubert 5:17, 91:22, 92:3, 92:4 dauberts 92:9 david 3:17, 6:20 day 131:14 dc 3:21 deal 30:16, 31:21, 32:5, 62:10 dealt 46:9 dear 112:6 decay 126:9 declaration 5:15, 5:18, 10:17, 12:21, 13:2, 14:9, 14:13, 14:17, 15:3, 15:9, 15:12, 15:17, 18:2, 18:10, 18:12, 18:14, 18:21, 19:2,	19:3, 19:13, 19:17, 19:20, 20:16, 20:20, 21:15, 21:22, 22:1, 22:3, 22:7, 23:1, 23:9, 35:7, 41:11, 42:14, 48:16, 49:20, 50:11, 51:1, 51:22, 53:3, 53:21, 54:6, 56:17, 57:12, 63:5, 64:8, 64:20, 65:20, 72:18, 75:1, 77:18, 83:6, 90:4, 90:11, 90:12, 90:17, 91:9, 99:11, 109:13, 110:17, 111:9, 111:16, 111:20, 114:18, 115:7, 115:13, 115:15, 115:19, 116:11, 116:12, 117:10, 117:12, 121:16, 123:1, 123:19, 125:22 declarations 68:17, 90:7 decreases 51:4, 51:5 dedicated 87:19 defenders 98:4, 98:14, 100:1 define 43:14, 46:3 defined 23:19 defines 27:21 defining 47:19, 48:14 definitely 109:22	definition 25:9, 34:17, 35:5, 36:1, 36:18, 37:3, 37:11, 37:12, 38:4, 41:7, 46:20, 47:12, 85:5 degree 34:19, 37:14, 37:18, 71:9, 72:7 degrees 14:22, 78:20, 79:16 delay 115:2 denied 89:19 denote 75:8 depend 31:9 depends 31:11, 44:1, 72:5 depiction 75:20 depo 129:17 depos 4:5, 6:14, 7:5, 13:14, 13:18, 20:7, 20:11, 28:11, 82:10, 129:5, 129:10 deposed 8:11 deposition 1:18, 2:8, 6:4, 6:15, 8:17, 9:7, 9:9, 9:12, 9:20, 10:6, 11:14, 12:3, 12:17, 23:14, 24:16, 25:22, 49:18, 115:3, 128:21, 131:3
---	---	---	---

depositions 90:6 derivative 84:13, 84:17 derived 106:13 describe 89:5 described 22:6, 24:12, 25:16, 27:13, 40:17, 45:19, 51:21, 56:17, 56:22, 57:11, 57:21, 59:16, 64:7, 64:19, 72:12, 116:22, 126:8 describes 21:1, 81:12, 81:19, 84:19, 84:22, 116:20 describing 26:17, 49:15, 49:20, 57:4, 79:19, 80:2, 80:9, 81:3, 81:8, 81:15, 117:18, 117:19 description 84:18, 95:18, 95:21 design 31:6, 31:14, 32:8, 32:13, 32:17, 33:1, 33:6, 33:12, 33:16, 34:2, 34:6, 34:21, 35:14, 37:16, 38:2, 38:5, 38:9, 38:16, 38:19, 39:5, 39:20, 40:12, 41:8, 42:6, 45:2, 45:10, 46:13, 46:16, 46:22, 47:13,	48:3, 79:22, 94:18, 119:14, 119:18, 120:3 design's 60:5 designs 28:6, 29:10, 30:3, 102:16 desk 52:22, 65:22, 111:12 despite 53:19 detail 53:14, 53:22 detailed 66:21 details 114:1, 123:13, 123:15, 123:20 determinate 72:15 determined 117:7 determining 74:15 deterministic 124:8 developed 26:15, 43:18 developments 70:8 deviation 62:18, 123:22 deviations 92:21, 113:3 device 42:22, 64:16, 73:7, 88:4 devices 35:17, 38:11, 98:13, 98:22, 99:7, 127:5 diagram 31:1, 105:22, 106:13, 106:18 dielectric 51:18, 55:5,	56:3 differ 61:15, 61:16, 100:6 difference 26:7, 59:22 differences 100:6, 100:8, 100:14, 100:21, 101:16, 102:5, 102:9, 102:21, 102:22 different 25:8, 28:5, 29:9, 30:2, 30:7, 33:9, 43:5, 43:7, 44:15, 50:13, 60:13, 61:15, 62:6, 62:8, 62:9, 62:14, 63:10, 63:15, 66:6, 74:20, 77:14, 86:5, 86:6, 92:4, 97:19, 101:3, 101:10, 103:4, 124:11, 124:19 differently 74:19 digging 78:12 digital 35:15, 38:10, 60:2, 60:5, 81:11 diode 42:16, 42:18, 92:19, 109:1, 112:16 diode-connected 92:12, 92:14, 93:1, 93:8, 108:15 directed 79:1 direction 131:8	directly 106:4, 106:14 disabled 9:22 disagree 101:19, 102:8, 109:14 discharge 41:21, 55:6, 55:7, 56:4 discharging 55:3 discipline 34:20, 37:15 disclosed 119:16 discrepancies 100:11, 100:12 discuss 49:9, 58:6, 60:11, 69:21, 109:9, 116:14 discussed 50:16, 56:20, 60:21, 66:22, 68:17, 108:17, 128:1 discusses 25:18, 69:19, 84:3, 109:19, 109:22, 110:1, 116:2, 116:4, 120:21, 123:1, 123:21 discussing 45:1, 48:20, 49:6, 59:14, 61:2, 66:14, 66:15, 66:17, 78:17, 107:13, 111:1, 111:3, 118:13, 126:4, 126:6 discussion 19:16, 49:11, 49:12, 58:7, 65:18, 83:6, 94:12, 95:21,
--	---	--	---

109:16, 116:10, 116:16, 116:17, 117:13, 117:14, 117:17, 118:2, 118:15, 121:21, 123:18 discussions 19:11 displaced 81:10, 81:14 display 1:7, 1:12, 5:19, 5:20, 6:7, 23:2, 23:4, 23:10, 23:12, 23:15, 23:21, 24:4, 24:6, 27:19, 28:6, 29:10, 30:3, 35:18, 38:13, 43:15, 44:6, 46:11, 46:18, 47:15, 48:4, 102:1, 118:17, 120:6 display's 119:13 displays 26:2, 26:4, 26:8, 26:10, 26:13, 26:16, 27:10, 27:17, 29:16, 47:3, 67:17, 70:15, 79:4, 98:17, 98:19, 98:22, 99:6, 99:8, 99:16, 99:19, 99:20, 99:22, 101:14, 101:22, 102:6, 120:6 disputing 119:3, 119:4, 120:11 distinguish 75:11 disturbance 62:11	document 15:18, 17:17, 18:6, 18:9, 18:14, 18:18, 45:12, 45:13, 97:18, 118:18, 119:2, 119:3, 119:18, 120:4 documents 10:6, 10:9, 10:14, 12:4, 22:11, 22:13, 95:7, 95:10, 119:14, 120:10, 121:6, 122:11, 128:1 doing 30:13, 109:8 done 26:13, 120:11 doping 126:20 double-check 128:13, 128:14 down 17:2, 26:12, 35:11, 38:15, 38:18, 41:10, 70:18, 115:18 download 10:21, 104:12 dr 5:18, 6:5, 7:12, 13:20, 20:14, 21:21, 24:16, 25:8, 25:21, 29:2, 41:15, 42:4, 50:11, 65:16, 95:18, 95:20, 101:17, 114:18, 115:7, 115:12, 115:14, 116:2, 116:11, 116:20, 117:11, 118:15, 120:13, 120:21, 121:12, 121:19, 122:22, 123:8,	123:18, 125:20, 128:21 draft 18:17, 18:19 drain 50:20, 51:15, 93:10, 108:10 draw 61:18 drawing 73:21 drawn 100:20, 101:19, 108:3 drive 53:12, 61:4, 62:16, 63:1, 63:3, 63:11, 63:14, 64:4, 64:13, 64:18, 83:18, 92:21, 93:12, 108:7, 109:1, 112:17, 112:22, 113:1, 113:4, 123:22 driving 38:22, 41:17, 53:15, 73:16, 74:6, 74:9, 74:13, 75:16, 76:2, 76:15, 83:11, 88:1, 92:18, 108:10 drop 82:2, 82:3, 82:12 dropped 13:12, 78:10, 82:8, 82:19, 96:19 dual 77:6 due 14:14 duly 7:8 during 8:17, 11:14,	18:8, 62:16, 62:21, 81:2 E e-notary 131:21 each 24:5, 59:21, 60:19, 61:17, 63:18, 99:15, 100:6, 124:11 earlier 26:9, 37:11, 40:21, 42:14, 45:15, 46:2, 46:22, 56:8, 56:15, 59:16, 61:4, 63:5, 66:7, 66:17, 89:4, 91:6, 96:7, 99:13, 110:21, 111:3, 111:7, 118:7, 126:8, 127:22 early 18:15 easier 10:10, 11:4, 59:8, 104:18, 104:20 edit 18:17, 18:19 edt 1:21 education 33:5, 38:17, 40:14 effect 24:17, 24:18, 67:19 effectively 62:3, 96:4 eight 12:14 either 55:7, 72:3, 129:17 electric 84:20, 85:6
---	--	---	--

electrical 34:19, 37:15 electroluminescence 28:7, 29:10, 29:16, 30:4, 34:12, 36:13 electronics 10:3, 26:21, 27:1 element 25:13, 36:14, 124:2 elements 44:3 eliminate 62:18 else 7:19, 8:3, 9:16, 11:16, 15:13, 17:12, 99:9 elsewhere 67:6 embarrassing 16:2, 16:4 embodiment 24:14, 25:4, 25:17, 25:19, 53:14 embodiments 24:15, 25:4, 76:16, 76:18 embody 96:12 emission 42:17, 62:17, 87:8 emit 64:14 emitted 65:5 emitter 24:11, 42:16, 83:14, 87:11 emitters 43:16 emitting 106:22	employed 131:10 enabled 117:3, 117:22, 120:18 encompassed 24:21 end 23:5, 37:22, 58:12, 128:21, 129:9 engage 11:15 engineer 16:2, 16:11, 16:16, 17:5, 127:16 engineering 34:20, 37:15 english 16:11, 17:5 enough 51:20, 60:6, 60:8, 71:7, 93:13, 100:21, 101:10, 101:16, 102:5, 102:9, 113:16 ensure 64:21 entire 23:17 entirely 99:17, 111:5, 115:22 entirety 76:12, 77:2 equation 64:2, 64:3, 64:7 erased 87:2 esquire 3:3, 3:9, 3:16, 3:17 essentially 25:3, 36:7, 41:1, 50:12,	63:18, 84:8, 88:1, 96:4 estate 95:1 et 6:6 even 30:16, 46:9, 59:20, 74:7, 81:12 event 81:2 eventually 79:20 everyone 7:19, 8:3, 34:5, 82:13, 82:14 evidence 100:14, 100:17, 101:18, 102:11, 103:3 exact 15:7, 61:10 exactly 14:18, 41:3, 99:7, 108:3 examination 5:2, 7:10 examined 7:8, 36:7, 100:5 example 30:16, 41:15, 41:21, 47:4, 54:21, 55:18, 65:1, 83:22, 97:20, 110:3, 126:16 except 86:11 excuse 67:11, 87:17, 119:17 executing 93:2 exemplary 77:4, 77:12	exhibit 5:8, 5:10, 5:11, 5:15, 5:17, 5:18, 5:19, 5:22, 13:3, 13:4, 13:12, 13:16, 19:2, 52:16, 52:20, 59:3, 78:5, 78:8, 81:20, 82:1, 82:11, 82:19, 91:19, 91:22, 92:11, 96:20, 96:22, 103:9, 103:14, 103:15, 103:17, 103:19, 103:22, 104:3, 104:10, 106:7, 106:10, 106:16, 109:19, 110:6, 110:11, 110:12, 111:18, 112:9, 114:16, 114:19, 115:4, 115:9, 115:11, 118:3, 118:4, 118:6, 118:11, 118:16, 118:20, 119:1, 119:19, 120:4, 123:2 exhibits 5:7, 10:16, 10:17, 10:19, 21:5, 21:11, 21:17, 22:2, 22:20, 22:21, 129:6, 129:10 exists 72:6, 95:2 expect 52:1, 56:19, 57:20, 66:18, 100:10 expectation 39:7 experience 31:7, 31:15,
---	--	--	--

32:2, 32:4, 32:8, 33:1, 33:5, 33:13, 33:16, 33:17, 33:20, 34:2, 34:6, 34:7, 34:14, 34:22, 37:17, 37:19, 38:2, 38:5, 38:7, 38:16, 38:17, 38:19, 39:6, 39:9, 39:20, 40:12, 40:15, 41:8, 42:7, 45:2, 45:3, 45:10, 45:11, 45:21, 46:1, 46:17, 46:22, 47:14, 47:18, 48:3, 48:4, 48:7, 48:10, 48:12, 48:13 experiences 35:2, 45:22 expert 21:21 expertise 29:15 expires 131:16 explain 48:20, 49:6, 59:13, 74:22, 77:10, 91:3, 126:4, 126:17 explained 122:1, 126:7 explaining 126:13 explicit 70:9 explicitly 34:10, 46:4, 46:10, 46:21, 59:17, 73:12, 85:11, 85:22, 89:5, 111:8,	111:15 exponential 126:9 exponentially 51:5 extent 119:16, 123:21 extremely 30:11, 64:16 eye 43:22 eyes 59:9, 75:6 F fabricated 5:13, 78:20, 79:15 facially 53:9 facie 103:2 facilitated 119:12 fact 41:10, 53:19, 69:12 faded 21:6, 23:5, 27:11, 28:8 fail 90:20 failed 123:8 fails 124:9 failure 50:13, 51:12, 58:10, 66:20, 70:17, 71:9, 97:13 faint 7:15 fainter 7:18, 8:2 fair 9:2, 9:6, 27:6, 27:14	fairly 79:19 fall 11:13 familiar 26:1, 26:3, 35:13, 37:8, 38:8, 119:13 familiarity 36:20, 37:5 famous 92:3 far 13:9, 26:14, 40:8, 40:22, 43:10, 44:19, 120:1 fast 67:16 faster 69:4 features 110:1 february 23:14, 50:2, 51:1, 51:22, 56:18, 57:12, 126:7 feel 10:22, 52:20 fell 97:15 fet 57:20, 66:19 fets 35:14, 35:15, 36:21, 37:6, 37:9, 38:9, 38:10, 70:1 few 8:14, 17:9, 125:20 fhd+ 5:22 field 35:1, 37:18 field-effect 52:2, 57:14,	126:21 fields 28:4, 29:8, 30:1, 30:6, 30:7, 32:16, 32:18 figure 25:3, 25:17, 49:19, 51:1, 51:2, 53:5, 53:8, 53:10, 53:20, 56:6, 59:17, 61:2, 73:3, 73:5, 73:6, 73:14, 74:2, 74:11, 74:12, 75:17, 82:22, 83:8, 83:9, 84:6, 84:14, 84:16, 84:17, 84:18, 85:9, 85:16, 85:20, 85:21, 86:5, 86:11, 86:13, 86:16, 87:18, 87:19, 89:7, 109:2, 112:20, 114:1, 114:2, 114:4 figured 67:15, 90:5 figures 27:4, 76:9, 77:9 filed 10:18, 14:15, 14:21, 18:7, 90:13 files 10:7 filing 15:1, 71:17 finally 29:21 financial 131:11 find 11:12, 78:13,
--	--	---	---

82:5, 83:5, 97:4, 110:19, 112:4 fine 82:9, 129:4, 129:17, 129:20 fine-tune 44:10 finish 29:16 finished 14:12 firm 6:22 first 7:8, 14:19, 26:15, 43:18, 49:1, 52:6, 54:6, 64:9, 65:7, 66:7, 66:8, 94:1, 125:12 fits 116:18, 117:1, 117:8 five 76:21 fixed 29:3 fletcher 9:14 flipping 110:19 floor 11:13, 97:16 flow 62:15, 80:7 flowing 43:5, 80:22 flows 88:3 focus 104:19, 106:18 folders 10:5 folks 13:8, 14:21, 96:19	following 33:5, 82:20 follows 7:9 foregoing 131:3, 131:4 form 9:5, 29:12, 31:19, 32:11, 33:3, 33:18, 34:8, 35:3, 45:14, 46:19, 47:16, 48:5, 48:11, 55:20, 57:9, 62:19, 64:6, 66:16, 69:15, 85:10, 86:18, 87:15, 88:7, 89:2, 89:15, 89:20, 92:19, 99:1, 102:3, 102:17, 108:2, 112:11, 113:20, 114:7, 116:13, 119:15, 119:21, 120:8, 120:15, 121:1, 121:14, 123:5 format 87:17 forming 104:1, 106:16, 121:13 forth 24:3, 110:19 forward-biased 42:18 foty 1:18, 2:8, 5:2, 5:16, 6:5, 7:7, 7:12, 8:10, 13:20, 20:14, 29:2, 65:16, 121:19, 125:20, 128:22 found 16:4, 79:5, 79:7, 97:6,	123:7 four 12:12, 17:2, 19:3, 42:13, 91:8, 99:12, 99:15 fourth 16:9, 16:11 frame 71:20 free 10:22, 52:20 frequency 80:18 friday 12:13 frisch 3:16, 5:3, 6:18, 7:11, 13:11, 15:20, 16:6, 20:9, 20:13, 28:14, 29:1, 59:2, 65:6, 65:15, 78:9, 82:2, 82:12, 96:18, 104:4, 104:9, 109:14, 109:18, 109:21, 110:16, 115:4, 121:18, 125:8, 125:11, 125:19, 127:17, 127:20, 128:9, 128:15, 129:8, 129:13, 129:18, 130:2 front 19:3, 41:12, 48:18, 59:6, 66:5, 72:19, 78:13, 82:7, 83:2, 83:7, 90:14, 90:15, 91:2, 93:22, 97:3, 97:14, 98:1, 98:8, 105:14, 105:17, 111:14, 115:7,	117:15, 126:2 full 8:8, 11:22 function 42:21, 54:9, 54:20, 57:22, 65:3, 72:8 functionalities 31:22, 35:14, 36:20, 37:9, 38:8, 109:9 functionality 34:16, 37:6 functioning 57:1, 58:9, 70:20, 126:14, 127:10 functions 86:20, 89:10 fundamental 67:2, 69:13, 71:3, 72:2 further 68:8, 127:17 G gadgets 98:5, 98:15, 100:2 galaxy 5:20, 94:5, 103:19, 105:21, 106:1, 106:11, 110:1, 110:7, 118:17 garr 3:17, 6:20 gate 51:7, 51:14, 51:18, 55:5, 56:3, 58:2, 63:1, 63:5, 63:8, 87:2, 87:9, 88:12, 93:9, 108:10, 108:18, 112:10, 112:22 gates 55:16, 56:1
--	--	--	--

general 11:18, 26:3, 31:9, 31:10, 31:21, 77:13, 85:1, 85:12, 86:3, 91:13, 117:2, 117:8, 117:17 generalized 34:13 generally 30:22, 121:20 generic 51:16 gentleman 92:4, 116:5 getting 53:1, 111:12 give 11:21, 48:22, 65:21, 68:14 given 24:9, 85:3, 86:1, 104:22, 131:6 glad 90:9 glass 79:17 go 8:14, 9:4, 13:17, 14:4, 15:4, 15:6, 19:1, 28:12, 28:14, 41:10, 48:15, 50:19, 55:7, 55:8, 59:3, 59:5, 73:14, 81:22, 89:16, 90:1, 90:18, 90:22, 93:15, 97:17, 98:3, 103:5, 104:22, 112:4, 113:5, 114:22, 115:14, 115:18, 120:2, 122:3, 125:11, 125:22,	127:20 goes 12:5, 21:17, 26:12, 55:8 going 8:14, 8:22, 28:17, 28:20, 29:14, 29:20, 36:11, 43:18, 45:5, 50:4, 50:7, 55:16, 55:18, 64:3, 65:6, 65:10, 65:13, 97:9, 104:19, 109:3, 109:4, 109:11, 110:9, 125:14, 125:17, 127:6 good 7:12, 7:13, 55:5, 56:3, 58:9, 65:9, 67:14, 72:22, 78:4, 97:8 gotten 90:7 graduate 92:6 grain 69:6, 70:12 gray 74:17 grayscale 124:11, 124:19 great 8:7, 14:6, 18:5, 20:13, 53:14, 53:21, 78:15 greater 121:4 greek 16:18 green 75:5, 124:11, 124:19 guess 12:15, 15:6,	43:14, 51:4, 73:1, 74:18, 95:17, 104:15, 104:16 guest 103:7 guys 115:9 gw 112:13 gwn 108:19, 108:21, 112:10 H half 12:11, 12:12 halfway 35:11 hand 131:14 hans 7:2 happens 127:3 happy 109:7 hard 11:1, 11:3, 11:7, 52:21, 75:6 head 99:10, 130:4 hear 7:17, 7:18, 7:22, 8:2, 129:8 hearing 8:4 help 15:14, 75:11 helps 59:10, 97:4, 109:6, 111:2 hence 64:19 here 6:3, 7:21, 13:21, 15:19,	17:1, 18:4, 29:3, 30:14, 32:13, 32:14, 35:9, 37:2, 41:7, 42:9, 46:14, 49:8, 49:15, 50:2, 50:15, 52:22, 54:18, 56:8, 59:17, 60:22, 65:8, 65:22, 66:14, 68:1, 68:3, 70:22, 75:15, 76:4, 77:6, 77:8, 80:9, 81:3, 81:8, 81:12, 81:16, 82:16, 83:13, 87:20, 91:5, 91:11, 91:14, 91:18, 94:2, 94:14, 97:16, 98:13, 99:22, 101:8, 102:5, 105:10, 105:11, 105:15, 105:16, 105:17, 111:14, 112:3, 121:6, 121:10, 123:14, 125:3, 125:4, 125:9, 126:5, 126:6 hereby 131:4 hereunto 131:13 herrington 3:4, 3:10, 6:22 high 30:11, 30:15, 30:22, 31:1, 83:14, 84:15, 85:7, 88:10, 88:17, 88:21, 89:1, 126:11 high-signal 83:21 high-voltage 83:21, 83:22,
---	---	---	--

84:11, 84:21 higher 57:15, 122:13 highlighted 74:20 hodges 64:11 hooked 34:12 hopefully 29:3, 97:14, 105:5 hour 65:7 hours 12:11, 12:13, 12:14, 15:5 housekeeping 127:21 however 9:8, 60:5, 67:13, 73:14, 95:17, 116:18, 119:11 hsu 3:9, 7:2, 12:9 human 43:22, 82:16	84:14, 118:18 identify 56:9 identifying 107:21 illuminate 88:3 image 40:19, 41:19, 42:9, 42:10, 43:13, 43:15, 43:17, 43:19, 43:20, 43:22, 44:1, 44:4, 44:22, 46:1, 46:10, 46:18, 47:2, 47:15, 48:4, 74:12, 105:20, 106:2, 106:3, 106:4, 106:14 images 46:4, 97:18, 98:4, 98:9, 100:20, 101:6, 101:11, 101:20, 102:10, 102:22, 105:19 impact 39:12, 39:16, 41:19 impacted 43:7 imply 32:1, 69:22 important 56:13 inc 1:9 included 40:9, 41:6, 119:5 includes 118:4, 119:19, 120:4 including 8:11, 40:11, 54:8, 54:18,	97:20, 120:6 incorporating 21:14 increase 28:13, 126:11, 126:19, 126:22 independently 106:6 indicate 69:13, 107:5, 107:6, 107:7 indicates 40:9, 67:1, 79:1, 85:1, 103:1 indicating 107:3 individual 23:16, 23:20, 26:11, 26:21, 27:2, 27:8, 27:20, 27:22, 31:9, 31:12, 32:2, 77:8 information 84:9, 89:14, 97:10, 97:12, 119:19, 120:4, 128:4, 128:11 inherent 50:3, 51:9, 52:2, 57:14 initial 64:20 initialization 40:4, 40:5, 41:21, 86:22 initiate 112:21 injured 98:5, 98:15, 100:2 insists 105:4 instance 27:5, 45:22, 61:13, 67:21, 76:6	instances 61:15, 63:2, 63:3, 63:11, 63:16, 63:19 instead 16:18, 58:13 integrate 79:14, 80:12, 81:16 integrated 5:12, 78:19 intended 61:18 intensity 14:22 interest 9:21, 131:11 interesting 30:20, 49:16, 68:20 internal 119:2, 121:6 internally 120:16 interrupted 10:2 intersect 51:7 introduce 15:18 introduced 25:21 introduction 79:9 invented 62:7, 79:4 invention 119:10, 119:11 inventions 96:12 invoice 15:4, 15:7 involve 32:17, 42:14 involved 12:5 involves 40:22, 41:1
---	--	--	--

involving 27:7, 27:14, 30:13, 44:6, 50:11 ip 9:22 ipr 1:15, 94:3, 95:4, 95:8, 95:11 ipr--- 6:10 irvine 3:13 issue 42:11, 44:8, 46:9, 49:11, 54:16, 55:11, 55:12, 55:15, 55:17, 56:21, 61:7, 67:2, 69:2, 69:7, 69:14, 69:18, 71:3, 72:2, 80:6, 84:12, 121:9, 126:9 issues 15:15, 28:4, 29:3, 29:8, 30:1, 31:17, 32:10, 44:4, 44:5, 44:20, 44:22, 49:10, 50:10, 50:16, 70:13 item 111:18 items 29:20, 30:8, 109:15, 111:2 itself 32:5, 93:12	jensen 3:3, 6:21, 9:3, 9:5, 12:9, 29:12, 31:19, 32:11, 33:3, 33:18, 34:8, 35:3, 45:14, 46:19, 47:16, 48:5, 48:11, 55:20, 57:9, 62:19, 64:6, 66:16, 69:15, 85:10, 86:18, 87:15, 88:7, 89:2, 89:15, 89:20, 99:1, 102:3, 102:17, 108:2, 109:11, 109:17, 110:9, 112:11, 112:18, 113:6, 113:20, 114:7, 116:13, 119:15, 119:21, 120:8, 120:15, 121:1, 121:14, 122:1, 123:5, 124:4, 124:13, 124:21, 127:19, 128:8, 128:12, 128:19, 129:3, 129:16, 130:1 jesse 4:4, 6:13 job 2:1 johannes 3:9 judith 2:3, 2:5, 2:18, 131:2 judy 7:4 july 71:19, 131:15 jumped 128:13 june 1:20, 6:11,	49:19 K k-a 116:3 ka 116:3, 116:5, 116:6, 116:18, 116:21, 117:13 kaminski 4:5 keep 25:10, 58:2, 101:4 kimura 5:10, 24:13, 25:5, 25:17, 25:18, 54:11, 66:10, 66:21, 67:5, 68:9, 68:10, 68:13, 68:15, 68:20, 69:1, 69:10, 69:17, 70:9, 71:1, 71:13, 71:17, 71:21, 73:3, 73:5, 74:3, 74:17, 75:7, 81:22, 82:6, 82:19, 82:22, 83:7, 83:12, 84:2, 84:9, 85:19, 86:20, 88:22 kimura's 24:14, 75:2, 76:15, 76:18, 83:20, 84:18 kind 16:1, 33:19, 39:9, 40:14, 45:16, 56:16, 126:7 kit 40:13 knew 127:15 know 7:15, 8:5,	8:15, 8:19, 19:22, 20:6, 25:8, 25:18, 35:8, 42:2, 42:7, 83:12, 84:5, 98:12, 98:18, 99:7, 99:15, 99:17, 103:2, 112:1, 113:19, 127:14, 129:19, 130:3 knowledge 22:10, 32:1, 34:14 known 36:9, 39:2, 39:22, 40:7, 40:21, 41:4, 42:6, 43:10, 44:17, 44:20, 45:1, 45:6, 61:11, 64:9, 64:10, 73:13, 91:7, 92:17, 93:3, 127:11, 127:14 korean 71:18 L label 107:11, 107:14 labeled 108:7 language 83:20 large 43:21, 51:20, 68:2, 80:16 largely 104:19 larger 43:19, 76:17, 76:19, 119:4 laser 42:16 last 10:16, 12:6,
---	---	--	---

12:12, 13:17, 13:21, 21:7, 23:6, 49:19, 58:12, 91:9, 122:21 later 105:2, 107:10 latin 16:18 laugh 82:15 law 96:4 layer 56:3 layers 74:1 laying 94:20 layout 94:6, 94:18, 95:1, 100:7 lcd 5:12, 78:19, 97:21, 98:14, 100:1 lcds 79:1 lead 60:16 leads 96:1 leakage 49:12, 49:14, 49:17, 49:21, 50:1, 50:3, 50:8, 50:10, 50:11, 50:14, 50:16, 50:18, 50:19, 51:9, 51:13, 51:14, 51:19, 51:21, 52:1, 54:3, 54:16, 55:2, 55:11, 55:12, 55:14, 55:17, 56:2, 56:10, 56:12, 56:16,	56:19, 57:7, 57:8, 57:13, 57:17, 58:5, 58:11, 65:17, 66:9, 66:13, 66:14, 66:18, 66:21, 66:22, 67:2, 69:12, 69:13, 69:21, 69:22, 70:4, 70:17, 70:19, 71:2, 71:6, 71:8, 72:1, 72:6, 72:8, 72:11, 72:12, 72:14, 126:8, 126:13, 127:9 leakages 66:19 least 26:14, 39:3, 40:8, 43:10, 44:18, 67:4, 70:22, 71:12, 73:18, 75:9, 83:17, 98:16, 99:2, 117:19, 118:14 leave 15:22 led 41:3, 42:16, 42:17, 42:20, 43:6, 43:12, 44:11, 44:12, 65:3, 79:3, 83:17, 88:3 leds 35:17, 38:12, 60:18, 63:20, 65:5 left 13:6, 13:9, 20:3, 35:9, 71:5, 74:10, 105:20, 106:5, 106:14, 107:12 legal 15:14	let's 48:15, 77:17, 78:7, 104:3, 125:11 letter 16:18 level 28:13, 30:12, 30:15, 30:21, 30:22, 31:1, 31:2, 32:6, 57:3, 87:7, 87:10, 122:14 lg 99:5 li 42:17 light 42:15, 42:17, 43:15, 64:14, 65:2, 65:5, 83:14, 87:11, 106:22 light-emitting 35:16, 38:11, 44:3, 64:15, 88:4, 124:2 lights 83:16 limited 68:11, 68:19, 69:10 limits 68:6 line 16:9, 16:12, 41:14, 42:1, 51:6, 54:6, 56:5, 58:13, 77:16, 83:19, 84:11, 84:20, 84:21, 85:6, 85:18, 85:19, 85:20, 109:13, 112:19 lines 17:2, 39:13, 39:15, 41:20,	58:19, 58:21, 59:4 lingo 16:17, 84:21, 85:6 link 109:3, 109:5, 121:8 list 22:7, 67:13, 95:10, 95:11 listed 14:3, 21:16, 22:12, 30:8, 39:8, 42:4, 49:10 listing 31:10 lists 19:3, 71:17 literally 53:9 literature 42:8, 72:11 little 7:14, 8:1, 8:2, 10:10, 28:9, 53:17, 65:7, 65:22, 68:2, 74:21, 75:6, 75:13, 82:1, 83:20, 111:10, 126:11, 126:20, 126:22 llp 3:4, 3:10, 3:18 local 115:8 locally 105:16 locate 22:13 located 22:16, 22:17, 22:19, 22:20, 22:21 log 51:5
---	---	---	---

log(id 51:2 logarithmically 51:4 logic 80:3, 80:10, 80:21, 81:11 long 12:10, 14:21, 26:5, 36:9, 43:10, 44:17, 46:8, 93:4, 93:19, 127:15 long-known 42:15, 46:6, 59:19, 80:3, 80:6 long-running 60:1 longer 71:5 look 19:2, 22:11, 29:20, 33:20, 34:14, 35:6, 35:11, 41:14, 50:22, 52:20, 57:6, 59:1, 59:8, 64:1, 65:19, 67:20, 68:4, 71:15, 72:17, 74:12, 77:17, 78:7, 79:8, 82:15, 82:22, 94:1, 96:15, 100:7, 102:7, 102:15, 102:19, 104:3, 104:12, 104:21, 105:7, 105:12, 106:9, 109:6, 114:18, 125:21, 128:6, 128:17 looked 61:4, 106:15, 110:6, 111:7, 118:20 looking 13:1, 20:10,	29:18, 43:20, 44:7, 53:10, 54:5, 59:5, 66:7, 83:9, 87:16, 87:21, 103:11, 110:20, 111:4, 111:6, 112:8, 112:20, 115:8, 115:10, 122:10, 122:13 looks 42:18, 95:5 lost 90:7 lot 123:12 low 5:13, 64:16, 72:13, 78:20, 79:16, 80:17, 83:15 low-voltage 84:1 lower 114:22 M macro 61:12 made 53:17, 70:19, 72:10, 73:9, 86:7, 117:4, 117:9, 119:8, 126:16 main 3:11 mainstream 81:13 maintain 110:9, 122:4 major 46:7 make 10:10, 11:4, 63:6, 63:9, 63:19, 74:21, 75:13, 77:14,	82:14, 96:3, 98:22, 99:6, 99:8, 110:13, 122:20, 128:16 makes 27:19, 54:16, 66:9 making 63:12, 65:4 manageable 70:17 manufacture 98:17, 99:18, 101:21 manufactured 71:8, 99:21, 101:14 manufacturer 102:15 manufacturers 98:21 manufactures 98:12, 98:18, 99:5, 99:16 manufacturing 67:15, 70:16, 73:7, 73:11, 73:13, 74:8, 77:5, 77:10, 77:13, 126:16, 126:18, 127:8 many 50:14, 74:5, 76:18, 98:21, 104:22, 124:22 march 14:19, 18:15 mark 97:10 marked 5:6, 13:4, 52:16, 78:5, 81:20, 91:19, 103:9, 106:7, 114:16, 127:22, 128:2 marks 128:21	marsh 3:5 maryland 2:21, 131:22 master's 34:22, 37:18 match 61:10, 62:13 matching 62:11 material 17:10, 47:19, 48:1, 48:14, 51:18, 57:18, 58:5, 62:7, 97:11 materials 20:19, 21:14, 22:7, 25:2, 95:11 matrix 23:2, 23:4, 23:10, 23:12, 23:15, 23:19, 23:21, 24:4, 24:6, 26:2, 26:4, 26:7, 26:8, 26:10, 26:16, 27:9, 27:16, 27:22, 29:10, 29:16, 30:3, 43:16, 44:3, 67:17 matrixes 28:6 matter 6:5, 10:8, 12:5, 24:9, 36:11, 60:4, 94:12, 119:17, 127:21 matters 56:14, 63:6 maximum 80:17 maybe 15:5, 70:3 mean 17:18, 32:9,
--	---	--	--

42:10, 72:5, 84:4, 90:3, 90:10, 90:12, 90:16, 126:17 means 56:20, 75:18 mechanism 50:13, 58:11, 70:18, 71:10 mechanisms 66:20 media 6:3 meet 127:5 meeting 12:6, 12:8, 12:10, 105:15 meets 123:18 memory 30:14 mengtor 97:20, 98:14, 100:1 menlo 3:6 mention 17:7, 25:1, 54:16, 56:11, 66:9, 111:8, 111:15 mentioned 42:13, 54:3, 66:19, 91:6 merely 26:11, 68:7, 101:16, 102:18 met 12:11 method 75:15 mianyang 1:5, 6:5, 19:7 mic 67:12 microelectronics 1:8	microphone 7:16, 7:20, 28:13 microprocessor 30:14 might 37:1, 112:3 millivolts 60:3 mind 56:13, 56:14 mine 59:11, 111:9 minor 60:15 minus 63:8 mirror 47:6, 59:18, 60:7, 60:14 mirroring 61:1, 62:5 mirrors 44:18, 61:22 mismatch 47:4, 60:1 missing 114:10 misspeaking 115:13 mistakenly 90:10 misunderstanding 70:3 mobile 10:1, 98:4, 98:14, 98:22, 99:6, 100:1 mobilesentry 97:20, 98:13, 99:22 mobility 49:11 model 64:11 modification 88:19 modified 85:14	moment 17:22, 20:12, 28:15, 48:22, 54:4, 65:21, 105:3 monday 1:20 monitor 6:12, 128:22 moore's 96:4 more 25:20, 47:1, 53:17, 61:12, 69:5, 74:5, 92:22, 94:22, 98:3, 109:3, 125:5, 125:20 morning 7:12, 7:13 moss 64:9 motion 89:13, 89:18 move 19:19, 103:7, 105:1 moves 62:4 much 11:3, 15:2, 94:21 multimedia 28:3, 29:7, 30:1, 32:16 multiple 61:14, 63:2, 63:3 multitask 105:10 myself 22:17, 22:21, 22:22, 112:5	8:10, 78:1, 92:1 nature 80:8 necessarily 29:14, 29:19, 31:16, 32:8, 33:1, 33:17, 46:17, 47:14, 48:3, 61:6 necessary 46:21, 128:7, 128:18 neck 46:7 need 7:15, 10:11, 20:5, 45:19, 114:5, 114:8, 114:20, 114:21, 114:22, 129:13, 129:18 needed 11:12, 100:7, 100:11 needs 127:6 neither 66:9, 66:20, 69:10, 131:9 never 50:15, 85:17, 85:19, 85:22 next 20:18, 41:22, 60:19, 80:15, 93:15 nexus 116:19, 117:17, 118:12, 121:20, 122:17, 123:9, 123:10, 123:11, 123:16, 125:3 nice 51:2 nmos 55:11, 73:9, 74:14, 75:3, 75:18, 75:21,
---	--	--	--

75:22, 76:1, 76:3, 76:5, 76:7, 77:15, 80:3, 80:10, 80:21, 81:10, 81:14 node 87:1, 88:12 none 12:1, 54:2 notarial 131:14 notary 2:20, 131:1 note 29:21, 53:2, 54:2, 76:8, 97:9, 107:16 noted 50:18, 66:8, 110:14 notes 10:11, 11:8, 11:11, 69:4 nothing 49:10, 119:7, 122:4, 128:13 notice 2:18, 53:4 noting 54:14, 54:17, 114:2, 119:6 november 131:16 ns 80:5 nuisance 50:3, 51:10, 56:16, 56:19, 66:18, 70:18, 71:8, 72:12, 126:8 number 5:8, 6:4, 6:9, 14:5, 15:8, 15:19, 16:7, 21:16, 39:15, 43:21, 68:16,	76:17, 76:19, 95:3, 95:6, 96:16, 96:17, 96:20, 97:1, 97:2, 97:18, 121:20, 128:1 numbering 118:9 numerical 115:13 nw 3:19 O object 9:5, 29:12, 31:19, 32:11, 33:3, 33:18, 34:8, 35:3, 45:14, 46:19, 47:16, 48:5, 48:11, 55:20, 57:9, 62:19, 64:6, 66:16, 69:15, 85:10, 86:18, 87:15, 88:7, 89:2, 89:15, 89:20, 99:1, 102:3, 102:17, 108:2, 109:11, 112:11, 113:20, 114:7, 116:13, 119:21, 120:8, 120:15, 121:1, 121:14, 123:5 objecting 125:3 objection 9:3, 110:10, 110:13, 119:15, 122:4 objections 112:18, 113:6, 121:19, 124:4, 124:13, 124:21 observation 117:2	obvious 102:8, 102:19, 102:20 obviously 11:6, 18:7, 18:20, 26:5, 43:16, 44:6, 52:20, 62:1, 74:9, 99:2 occurring 47:2 occurs 47:3, 87:8 october 71:17 off-state 50:8, 50:18, 51:9, 51:21, 80:22 office 1:1, 6:8, 75:8 officer 131:3 often 127:2 oh 17:21, 20:11, 93:17, 102:19, 103:16, 112:6, 125:13 okay 7:17, 8:4, 8:7, 10:19, 11:2, 11:17, 13:14, 14:4, 17:1, 18:5, 19:1, 20:4, 20:11, 21:16, 41:14, 48:15, 52:18, 54:4, 71:22, 78:7, 83:7, 83:9, 94:1, 97:17, 104:8, 105:6, 105:7, 105:8, 105:13, 105:16, 109:2, 110:16, 111:8, 111:18, 115:2,	115:6, 125:8, 127:20, 128:9, 128:17, 129:14 older 59:11, 67:18 oled 25:13, 64:4, 107:4 one 3:20, 8:18, 13:18, 16:1, 16:4, 20:12, 24:7, 24:13, 24:18, 34:4, 44:4, 45:19, 47:20, 56:7, 61:9, 61:12, 61:17, 62:10, 63:15, 67:13, 68:22, 74:5, 74:18, 76:2, 77:9, 85:18, 89:9, 98:4, 98:5, 101:21, 104:19, 105:20, 106:19, 106:22, 107:3, 107:11, 107:16, 109:15, 111:2, 111:6, 120:12, 123:20, 124:18 ones 43:19, 46:13, 86:13 only 24:13, 31:15, 55:6, 56:4, 58:13, 73:19, 76:9, 76:22, 79:15, 79:22, 81:2, 86:13, 97:1, 114:10, 128:4 open 9:19, 10:8, 35:9, 48:17, 83:8, 90:13, 105:3
---	---	--	--

opening 13:19, 42:13 opens 88:12 operate 50:6 operates 88:15 operating 11:19, 49:18, 52:3, 57:15, 89:11, 113:22 operation 38:22, 41:17, 62:15, 80:17, 117:19, 117:20 operational 35:16, 38:11 opinion 33:6, 44:21, 45:8, 45:9, 57:5, 58:4, 68:10, 68:18, 72:1, 75:2, 91:3, 92:16, 95:16 opinions 83:4, 104:1, 106:16, 110:11, 111:20, 115:16, 116:8, 120:22, 121:13, 122:5, 123:4 optoelectronics 1:5, 1:6, 6:6, 19:8, 99:13 order 1:22, 43:3, 43:6, 43:21, 63:19, 93:10, 97:11, 97:15, 130:3 orders 129:2 ordinary 34:18, 35:12, 36:3, 36:19, 37:4, 37:8,	37:13, 38:7, 38:20, 39:11, 39:14, 40:3, 40:16, 41:16, 42:1, 47:9, 47:12, 57:6, 92:17, 127:12 original 16:14, 21:15, 51:21, 56:17, 57:12, 64:8, 91:8 orrick 3:4, 3:10, 6:22 other 9:17, 10:2, 11:16, 12:18, 17:7, 24:21, 42:12, 42:13, 43:1, 55:17, 59:21, 60:19, 61:17, 64:16, 69:1, 84:6, 86:9, 86:13, 86:19, 90:5, 92:3, 95:3, 95:8, 100:6, 108:4, 119:9 others 99:4, 99:18 otherwise 8:5, 25:7, 70:19, 71:10, 131:12 out 11:6, 13:1, 19:3, 21:6, 21:17, 23:5, 25:7, 25:21, 27:11, 28:8, 44:11, 49:8, 50:15, 55:6, 56:5, 63:16, 65:3, 67:15, 74:7, 74:11, 77:6, 78:12, 90:5, 91:5, 91:14, 92:11,	94:20, 103:7, 123:9, 128:13 outcome 131:12 over 9:22, 11:13, 12:5, 21:15, 30:14, 37:11, 58:3, 65:7, 81:13 overdrive 63:7, 63:10, 63:12, 63:16, 64:21 overlooked 109:20 own 12:16, 22:9, 99:19, 105:11 owner 1:13, 3:15, 6:19, 7:10, 94:3, 96:10, 101:17, 103:6, 110:21, 111:4, 111:16, 128:5 owner's 21:4, 21:10, 21:20, 96:6, 96:9, 96:16, 97:2	90:14, 90:18, 90:22, 93:16, 93:19, 93:21, 97:17, 98:1, 98:3, 98:7, 103:5, 103:8, 103:12, 103:15, 104:19, 105:7, 105:13, 105:16, 105:19, 111:5, 112:9, 115:20, 115:21, 116:1, 126:1, 128:16 pages 2:2, 11:12, 41:11, 97:14 pain 46:7 panel 5:21 paper 5:23, 10:9, 10:13, 13:6, 15:19, 16:6, 17:15, 18:4, 20:3, 20:4, 35:10, 41:12, 52:22, 59:6, 59:9, 61:19, 66:5, 72:20, 77:19, 78:12, 79:1, 79:5, 79:7, 81:12, 82:6, 92:7, 92:13, 95:21, 96:15, 96:17, 97:1, 97:2, 97:4, 111:3, 115:6, 115:11, 126:3 paragraph 16:9, 17:2, 21:2, 21:3, 21:9, 29:13, 29:17, 29:18, 29:21, 35:6, 35:12, 37:22, 41:10, 41:15,
--	---	---	--

48:15, 48:21, 49:7, 52:5, 53:2, 54:1, 54:5, 56:8, 58:12, 65:19, 66:1, 66:3, 66:6, 68:10, 69:2, 72:17, 73:1, 73:2, 73:5, 74:22, 76:8, 77:17, 77:21, 79:9, 84:19, 84:22, 90:22, 91:4, 93:15, 93:16, 93:17, 93:19, 93:20, 94:14, 95:19, 103:12, 109:17, 110:3, 110:18, 115:18, 115:21, 116:2, 116:7, 116:20, 117:12, 120:14, 121:13, 122:22, 126:1, 126:5 paragraphs 60:10, 121:22 parasitic 107:6, 107:22 parentheses 67:4 park 3:6 part 21:20, 23:6, 30:18, 40:6, 40:13, 98:9, 109:15, 110:6, 127:8 particular 24:1, 25:13, 26:22, 36:5, 41:2, 41:3, 41:4, 44:2, 44:8, 48:13, 53:11, 53:20, 72:9, 75:20, 76:6, 82:21,	83:1, 93:1, 93:5, 95:15, 103:13, 113:15, 121:8 particularly 22:12, 51:17, 69:17 parties 6:14, 131:10 parts 15:12, 97:21, 98:14, 100:1 parts4lcd 98:5, 98:15, 100:2 pass 127:18 passed 83:13 passive 26:1, 26:7, 26:10, 26:16 patent 1:1, 1:3, 1:13, 1:16, 3:15, 5:8, 6:7, 6:8, 6:19, 7:10, 21:4, 21:10, 21:20, 24:12, 27:5, 34:19, 36:4, 38:21, 39:22, 40:17, 43:1, 45:4, 45:16, 45:17, 48:8, 49:9, 52:14, 52:19, 53:5, 53:8, 53:11, 54:2, 54:10, 54:16, 56:9, 58:13, 58:16, 58:22, 59:14, 62:4, 62:15, 64:2, 66:9, 67:5, 67:8, 67:21, 68:5, 69:10, 71:1, 71:13, 71:21, 75:8, 94:3,	96:6, 96:9, 96:10, 96:13, 96:16, 97:2, 101:17, 103:5, 107:19, 108:6, 110:20, 111:4, 111:16, 112:20, 116:22, 117:3, 124:6, 128:4 patent's 117:5 patents 94:5 path 55:3, 64:15, 113:8 patrick 1:18, 2:8, 5:2, 7:7, 8:10 paul 4:5 pc :3 5:22, 106:11 pending 112:2 pens 11:12 people 90:6 percent 62:3 perform 92:14, 108:16 performance 72:16 performing 54:9, 54:20 performs 120:6 perhaps 116:16 period 58:3, 70:21, 71:13, 71:22, 127:11 peripheral 5:11, 25:18,	78:19, 79:14, 80:1, 81:17 peripherals 24:22, 25:6 person 9:10, 31:13, 32:9, 34:18, 35:12, 36:3, 36:18, 37:4, 37:7, 37:13, 38:6, 38:20, 39:11, 39:14, 40:2, 40:16, 41:16, 42:1, 47:8, 47:11, 47:12, 48:2, 56:15, 57:5, 57:10 persons 92:16, 127:12 pertinent 102:13 petition 10:16 petitioner 1:10, 3:2, 6:22, 89:13, 130:1 petitioner's 5:23, 17:15, 18:1 petitioners 19:4, 19:7, 99:12, 99:16, 128:11 ph 1:18, 2:8, 5:2, 5:16, 7:7, 34:22, 37:18 phase 62:17, 62:22 phone 10:1, 97:21, 98:14, 100:1 phonetic 92:4 photos 100:5
---	--	---	---

phrase 16:14	118:7, 119:20, 120:7, 124:1	91:5, 91:14, 92:11, 123:9	preparation 12:17, 12:21, 18:8
physical 94:18	pixel-based 43:17	poly-si 5:12, 78:19	prepare 12:2
physics 16:22	pixels 23:16, 23:18, 23:20, 25:6, 26:11, 26:20, 26:21, 27:2, 27:8, 27:20, 28:1, 43:21	polysilicon 16:20, 16:21, 67:9, 67:14, 69:3, 69:8, 70:1, 70:10	prepared 18:14, 18:22
pick 127:5		polysilicon-based 67:3, 68:6, 68:11, 68:19, 69:11, 69:14, 69:19, 69:20, 70:6, 72:3, 72:10, 81:18	present 4:3, 57:13, 118:1
picking 7:16		polysilicone 16:13	presented 129:6, 129:11
picture 61:12	place 84:6, 94:19	por 14:19, 14:21, 17:18, 18:13, 50:10, 97:7	presents 85:12
piece 114:10	places 23:1, 23:9	portion 74:9, 76:10, 76:13, 77:15	presumably 120:9
pile 11:11	plain 16:11	portions 18:17	prevent 51:19, 71:7
piled 111:14	plan 8:6	posita 35:5, 46:3, 47:19, 48:14, 49:21, 50:1, 50:12, 50:16, 56:21, 58:8	previous 89:7
pixel 24:5, 24:6, 24:10, 24:11, 24:15, 24:16, 24:19, 24:20, 24:21, 25:2, 25:11, 25:20, 26:17, 28:5, 29:9, 29:15, 30:2, 31:17, 32:10, 33:2, 33:7, 33:17, 33:20, 33:21, 34:3, 34:7, 34:10, 34:15, 35:17, 36:14, 36:15, 38:3, 38:12, 40:4, 41:22, 47:2, 54:14, 58:18, 60:14, 62:1, 63:3, 63:13, 64:14, 73:15, 73:20, 74:5, 77:15, 91:11, 91:16, 92:18, 94:5, 94:21, 96:12, 105:21, 105:22, 106:9, 107:18, 116:22,	planet 4:5, 6:14, 7:5, 13:14, 13:18, 20:7, 20:11, 28:11, 82:10, 129:5, 129:10	possible 18:11, 31:4, 31:8, 79:14, 109:19, 119:9	previously 5:6, 13:4, 34:4, 66:15, 79:10, 79:13
	platform 9:19	power 80:17, 81:2	prima 103:2
	please 6:16, 8:8, 8:19, 49:2	practice 61:19	prior 23:14, 49:18, 52:6, 52:11, 52:13, 53:4, 53:7, 53:9, 53:16, 54:7, 54:15, 59:17, 91:9
	pmos 54:7, 54:13, 55:9, 55:12, 73:9, 74:14, 75:5, 77:15	preceded 26:4	priority 71:16, 71:18
	point 24:18, 25:7, 25:21, 40:9, 51:6, 58:19, 77:6, 93:13, 104:2, 106:17, 109:18, 111:22, 113:17, 114:14, 116:15, 118:21	precursor 89:8	probably 104:16, 107:5
	pointed 21:17, 52:13, 110:17	prefer 78:21	problem 10:3, 28:10, 44:18, 46:5, 47:2, 47:19, 50:17, 55:13, 58:14, 59:18, 60:1, 60:11, 60:15, 60:21,
	pointing 49:8, 50:15,	preferred 69:3	

61:22, 62:8, 67:3, 69:14, 69:22, 70:4, 70:11, 71:3, 71:9, 72:2, 72:6, 72:13, 80:10, 80:22 problems 13:9, 60:8, 69:5, 80:14, 81:6, 81:11 proceed 72:21, 77:20, 82:7, 105:18 proceeding 8:12, 19:5, 83:4, 89:14, 94:4, 95:4, 95:8, 95:11, 95:22, 98:10 process 58:9, 73:7, 73:11, 73:13, 74:8, 77:5, 77:10, 77:13, 87:12, 126:16, 126:18, 127:4, 127:6 processes 70:16 produce 41:3, 71:11 produces 58:9 producing 43:17 product 72:15, 119:20, 120:1, 124:9, 124:15, 126:14, 127:8 products 70:20, 71:7, 71:11, 72:10, 101:6, 117:1, 117:6, 117:8, 117:21, 117:22, 119:6, 119:8,	120:19, 121:4, 121:9, 122:16, 125:5 profession 92:2 professional 2:19 program 87:9, 112:21, 112:22 programmed 87:3, 87:5, 87:9 programming 62:17, 62:22, 88:3, 93:13 programs 87:22 pronounce 77:22 properly 57:1, 70:1, 72:9, 126:13, 127:10 proposed 34:17, 37:12 protective 1:22, 97:11 provide 55:2, 67:6, 91:17, 95:5, 100:13, 103:2, 128:7, 128:18 provided 22:15, 22:16, 36:10, 64:4, 64:18, 79:6, 97:19, 101:17, 106:5 provides 84:9 providing 41:2, 43:20, 91:4 proximity 59:21, 86:2 ptab 6:7	public 2:20, 131:1, 131:21 pull 11:6, 15:7, 52:14 pulling 14:20, 109:2 pulls 88:11 purpose 46:14 purposes 83:13 pursuant 1:22, 2:18 put 10:20, 10:21, 11:11, 11:12, 13:16, 15:20, 16:6, 42:21, 52:18, 59:2, 75:3, 75:5, 94:22, 99:11, 104:4, 104:10, 104:13, 104:20, 105:1, 115:4 putting 111:19, 115:15, 116:8, 118:12, 120:21	32:21, 33:10, 33:13, 36:22, 47:8, 49:3, 49:16, 68:12, 68:20, 72:7, 84:5, 101:3, 104:15, 109:5, 110:13, 112:2, 121:3, 122:21, 123:15 questions 8:18, 8:19, 109:13, 125:21, 127:18, 127:19 quote 37:21 quoted 54:15 quoting 29:17
			R rail 83:14, 83:15, 83:21, 83:22, 84:15, 85:7, 85:16, 88:10, 88:13, 88:17, 88:21, 89:1 rain 115:2 raining 114:21 rather 11:12, 23:17, 63:20, 76:17, 109:9 ratio 39:13, 41:20, 94:2, 94:4 razor 86:21 re-ask 29:4, 33:13, 47:10, 112:2 reach 91:18 read 16:13, 16:19,
		Q qualify 53:15 quality 40:19, 41:19, 42:9, 42:10, 44:1, 44:4, 44:22, 46:1, 46:10, 46:18, 47:2, 47:15, 48:4 quebec 92:5 question 8:22, 23:7, 29:4, 30:20,	

48:22, 49:1, 107:9, 111:13, 125:1, 125:2 reading 7:14, 38:6, 45:3, 49:21, 57:11, 58:8, 131:8 real 95:1 really 16:20 realtime 2:20 reason 8:3, 11:21, 68:21, 70:8, 104:7, 109:8 reasonable 55:22, 85:4, 85:15, 88:20, 89:7, 89:9 reasonably 55:5 reasons 67:14, 69:21, 92:3 recall 14:18, 22:18, 121:17 receive 58:7 received 15:14 recent 123:19 recently 24:8 recess 28:19, 65:12, 125:16 recessed 87:1 recognize 46:4 record 8:9, 28:15, 28:18, 28:21,	29:5, 65:11, 65:14, 110:14, 115:1, 125:11, 125:15, 125:18, 127:21, 129:15, 130:6, 131:5 records 15:5, 15:7 red 75:3, 75:17, 76:1, 124:11, 124:19 redacted 128:7, 128:18 redactions 128:6 reduce 126:12 reduced 131:7 reduction 96:2 refer 58:21 reference 5:17, 16:14, 17:4, 21:13, 23:2, 23:10, 36:10, 40:8, 53:17, 54:15, 58:5, 68:16, 69:12, 77:22, 78:2, 78:4, 78:8, 78:16, 78:22, 91:12, 91:21, 91:22, 95:6, 110:10, 110:22 referenced 110:18 references 42:12, 42:13, 43:2, 67:7, 91:8, 103:14, 112:1 referencing 95:4, 107:3, 107:11	referred 97:6 referring 24:16, 25:3, 25:12, 25:15, 32:14, 52:10, 52:12, 52:15, 53:6, 54:13, 54:22, 55:1, 90:6, 93:6, 93:7 refers 68:7, 84:20 regard 36:10, 38:1, 38:14, 42:5, 47:18, 48:13 regarded 50:12, 66:20, 102:11 regarding 57:8, 94:2 regardless 27:20 registered 2:19 regular 129:3 related 31:17, 32:10, 44:9, 131:10 relatedly 54:6 relates 110:2 relation 40:19 relationship 41:19 relevance 58:1 relevant 35:1, 37:18, 101:22 relying 94:14, 95:14 remedied 58:14 remember 84:12	remote 6:13 remotely 6:15, 7:1, 9:7 remove 115:13 rendered 110:11, 122:5 rendering 123:3 renumbered 86:12 repeat 23:8, 49:3 repeated 87:12 reply 5:23, 17:16, 17:18, 18:1, 18:21, 109:12, 116:17, 121:15 report 20:15, 90:1, 90:3, 90:10 reported 2:3, 2:5, 79:13 reporter 2:19, 2:20, 7:3, 131:1 represent 6:17 representing 6:14, 6:19, 7:4 represents 74:4 requested 131:9 requests 130:3 require 29:15, 29:20, 86:9 required 55:2, 114:11 research 28:3, 29:7, 29:22, 32:16 reserve 128:14
--	--	--	---

reset 86:21, 87:19 resets 87:21, 88:5 residence 9:14 resistance 64:17 respect 36:4, 38:2, 56:10 responding 102:6, 102:18, 110:21, 117:17 response 21:4, 21:10, 21:21, 21:22, 96:6, 96:10, 96:16, 97:2, 103:6, 110:21, 111:4, 111:17, 118:12, 121:21 restrict 68:21 result 73:12 results 121:12 retain 58:1 review 12:20, 21:21, 22:2, 53:16, 119:14, 119:18, 120:3 reviewed 12:4, 18:3, 21:3, 21:10, 21:13, 21:14, 22:1, 53:21, 54:1, 96:6, 98:9, 103:22, 122:9 reviewing 21:20, 45:16 reviews 12:16 rid 80:11	right 10:8, 20:21, 21:5, 27:10, 29:4, 32:10, 37:11, 37:20, 42:3, 51:15, 52:6, 54:11, 56:6, 56:10, 58:15, 59:12, 61:8, 62:5, 62:18, 64:5, 67:5, 73:3, 73:16, 74:2, 75:1, 75:5, 75:11, 75:19, 76:2, 76:10, 77:2, 77:21, 79:17, 86:5, 86:10, 87:14, 90:20, 91:1, 92:8, 93:16, 96:7, 96:13, 97:4, 97:8, 98:6, 101:14, 103:20, 103:21, 105:19, 105:22, 106:11, 106:16, 106:20, 107:4, 107:12, 107:14, 108:11, 115:16, 116:3, 117:13, 118:3, 118:8, 118:20, 120:7, 120:13, 124:3, 128:10, 128:14, 128:20 road 3:5 room 51:2 rough 15:5 roughly 71:20 row 23:17, 26:12 rows 26:11	rpr 2:4, 2:5, 131:2 rules 11:19 rummage 82:5 run 64:16, 124:10 running 28:10 S said 8:2, 11:10, 17:3, 23:8, 24:18, 25:15, 25:17, 26:9, 27:13, 29:19, 30:22, 32:15, 37:14, 37:17, 38:1, 40:21, 42:4, 42:14, 45:15, 46:2, 46:21, 54:12, 56:15, 63:4, 79:22, 87:13, 89:4, 90:3, 91:21, 92:13, 96:2, 96:10, 103:8, 107:15, 124:22, 127:9, 131:6 same 9:9, 43:4, 44:14, 44:20, 46:4, 46:8, 47:2, 47:20, 59:20, 60:11, 60:15, 60:20, 61:7, 62:10, 63:6, 63:11, 63:12, 63:17, 63:20, 64:22, 65:2, 65:4, 65:5, 66:14, 70:21, 73:10, 74:7, 80:13, 81:16, 81:17,	85:16, 85:18, 85:20, 86:11, 86:15, 89:8, 89:10, 89:11, 94:22, 107:18, 108:3, 108:6, 112:18, 113:6, 114:3, 118:6, 118:9, 124:4, 124:13, 124:21, 128:16 samsung 1:12, 5:20, 6:6, 91:18, 99:3, 100:7, 106:11, 110:1, 118:17, 118:18, 119:2, 119:5, 119:13, 120:5 samsung's 90:19, 121:4, 122:16, 125:5 saved 10:5 saw 18:7, 18:8, 118:7 say 10:13, 15:2, 21:3, 23:17, 25:7, 25:11, 29:21, 32:12, 34:22, 35:12, 35:19, 36:19, 37:4, 37:7, 41:15, 42:1, 52:11, 54:6, 54:18, 56:8, 56:9, 58:13, 63:13, 67:1, 67:4, 70:22, 71:12, 73:6, 73:14, 74:13, 76:11, 77:12, 84:4, 84:16, 85:8, 85:11, 87:6, 89:6, 90:10, 93:5,
--	--	--	---

101:9, 106:3, 122:9, 124:17, 125:1, 126:15 saying 30:6, 34:5, 47:17, 48:8, 61:5, 70:4, 100:20, 101:4, 122:17, 125:2, 125:7 says 16:12, 21:9, 21:12, 38:4, 41:7, 53:9, 66:8, 67:9, 74:4, 77:4, 78:22, 79:13, 79:18, 85:17, 85:19, 85:22, 88:22, 90:19, 94:2, 94:16, 103:21, 106:10, 116:5, 116:21, 124:14, 124:17, 124:18 scale 25:16, 51:5, 74:17, 119:4 scan 26:12 scanning 105:4 scene 64:10 scheme 62:5 schichman 64:11 scope 89:21, 109:12, 110:15, 112:11, 113:20, 114:7, 120:8, 120:15, 121:1, 121:14, 121:18, 123:5 screen 10:22, 13:17, 14:2, 20:2,	20:5, 20:8, 20:9, 26:13, 59:3, 66:4, 72:20, 72:22, 78:11, 82:15, 97:3, 104:13, 104:20, 105:11, 105:18 sdc 5:20, 123:10 se 109:22 seal 131:14 sealed 97:7 search 67:13 second 13:18, 41:14, 79:9, 103:11, 107:2, 107:8 secondary 90:20, 99:19, 109:16, 110:2, 110:8, 122:18, 123:16 seconds 114:21, 115:1 section 19:19, 20:15, 20:19, 20:22, 22:6, 54:3, 59:13, 90:1, 90:19, 91:1, 117:9 see 13:20, 14:4, 15:7, 17:1, 17:10, 18:9, 27:4, 28:12, 28:15, 43:22, 52:8, 52:9, 52:15, 54:12, 61:2, 66:11, 66:12, 68:1, 77:4, 77:9, 79:11, 79:12,	90:21, 94:7, 94:8, 97:22, 98:2, 98:3, 100:8, 100:12, 103:13, 103:15, 103:17, 109:3, 110:10, 112:12, 112:13, 113:21, 114:9, 115:21, 117:15, 120:17, 123:17, 124:15, 128:6 seeing 100:14, 101:15 seem 53:14, 91:17 seemed 24:9 seems 85:2, 120:2 seen 17:17, 18:3, 18:6 selected 88:2 semiconductor 1:6, 74:1 sends 87:6, 87:10 sentence 52:7, 66:7, 80:15, 94:1 separate 32:14, 32:20, 92:9 separately 32:3 serves 113:8 session 11:17 set 131:13 setting 41:2 settings 28:12 seven 12:14, 106:19,	106:21 seventh 107:20, 108:5 several 16:3 shared 39:13, 41:20, 105:17 shortcomings 80:16 should 10:22, 16:13, 16:19, 17:6, 35:13, 36:4, 37:8, 37:14, 38:8, 66:8, 84:4, 87:6, 97:10, 104:11 shouldn't 10:1 show 40:9, 76:9, 101:6, 117:2, 117:6, 117:21, 121:3, 122:14, 122:16, 122:17, 123:8, 123:10, 123:12, 125:4 showed 50:9 showing 14:2, 20:9, 36:11, 73:8, 75:21, 76:7, 77:8, 77:13, 100:15, 116:19, 119:7, 121:7, 124:6, 124:7 shown 75:17, 95:7, 98:13, 99:22, 100:22, 101:8, 107:19, 112:8, 112:9, 119:10, 121:5, 121:6, 122:18 shows 24:13, 53:8,
--	--	--	---

64:3, 64:19, 72:11, 73:6, 73:15, 73:18, 73:19, 74:3, 74:6, 76:14, 76:16, 76:22, 77:6, 86:4, 91:10, 92:13, 100:17, 102:11, 117:3, 119:5, 120:18, 121:4, 121:12, 123:12, 124:5 side 55:17, 56:6 signal 39:13, 39:15, 41:20, 108:19, 108:21, 112:8, 112:9, 112:12, 112:13, 112:16, 113:7 signature 13:20, 14:7 signature-mig2k 131:19 signed 14:9 significance 113:11 significantly 28:5, 29:8, 30:2, 30:7 signing 131:8 silicon 16:14, 16:17, 16:19, 17:6, 67:18, 69:1, 70:7, 70:14, 70:15, 81:13 silicon-based 70:5, 71:4, 72:4 similar 34:20, 37:15, 45:4, 54:9, 54:20, 86:7	similarity 85:3, 114:2 similarly 26:1 simple 126:19 simply 58:7, 66:22, 67:2, 69:13, 89:5, 120:17 simulate 114:5, 114:8 simulation 120:13, 120:20, 121:12, 123:1, 123:21 simulations 120:5, 122:5, 122:6, 124:10, 125:2 since 8:15, 18:15, 24:7, 26:14, 28:9, 47:19, 64:9, 75:8, 76:22, 114:12 single 25:16, 43:12, 43:14, 73:19, 74:6, 76:3, 76:4, 76:15, 77:2, 77:7 single-species 73:15, 73:22, 79:21 six 76:21 size 39:16 sizes 96:3 sizing 49:13 skill 34:18, 35:13, 36:3, 36:19, 37:4, 37:8, 37:13, 38:7,	38:21, 39:12, 39:14, 40:3, 40:17, 41:16, 42:2, 47:9, 47:12, 56:15, 57:6, 57:10, 92:17, 127:12 slavishly 100:9, 100:10, 100:16, 100:22, 101:4 slightly 33:9, 101:2 slower 67:19 small 24:10, 50:9, 61:20 smaller 96:3 smartphones 94:5 solely 62:21, 95:14 solve 80:11 solved 81:6 some 8:3, 15:15, 18:15, 22:14, 22:16, 22:17, 26:19, 35:1, 36:17, 37:19, 39:17, 42:12, 45:7, 50:4, 50:7, 50:9, 51:6, 57:8, 59:21, 60:12, 67:6, 67:17, 68:22, 72:7, 86:7, 87:7, 93:13, 97:9, 98:16, 104:2, 104:7, 106:17, 111:22, 116:15, 118:21 somebody 31:4, 32:22,	33:11, 33:15, 45:9, 45:20, 46:15 somehow 125:5 someone 31:10, 31:11, 32:1, 33:4, 33:19, 34:1, 34:9, 34:14, 36:12, 38:16, 39:8, 39:9, 40:14, 40:20, 42:6, 43:14, 45:3, 45:15, 46:3, 46:9, 56:18, 57:3 something 11:5, 15:6, 24:17, 24:18, 39:1, 39:18, 40:10, 43:9, 49:21, 50:22, 52:13, 56:22, 57:18, 60:20, 67:8, 67:19, 76:21, 79:19, 81:4, 81:9, 91:6, 94:18, 109:18, 109:20, 115:15, 116:7, 117:16, 119:7, 121:15, 126:6, 126:10, 127:3, 127:4 sometime 14:19 sometimes 16:17, 59:9 somewhat 61:16 somewhere 92:5, 105:1 sonder 92:4 soon 18:12 sorry 9:4, 17:21,
--	---	---	---

21:6, 27:11, 36:22, 44:12, 48:17, 65:4, 66:1, 68:12, 75:22, 77:11, 85:18, 93:21, 96:21, 103:16, 111:10, 111:12, 111:22, 115:2, 129:8 sound 28:12 sounds 8:6, 9:6, 65:9 source 50:20, 51:15 sourcelyplus 98:6, 98:15, 100:2 spans 41:11 speak 12:18, 13:10, 16:11 speaker 28:9 species 73:19, 74:7, 76:3, 76:4, 77:2, 77:6, 77:7 specific 116:16 specifically 92:10 specification 68:8 spellings 17:11 spent 15:2 spot 120:13, 120:20, 121:11, 122:3, 122:5, 123:1, 123:21, 124:10, 125:1 st 10:18, 14:10,	18:8, 90:13 standing 129:2, 130:2 star 1:6, 19:8, 99:13 start 13:1, 14:16, 90:19 started 14:20, 18:12, 24:16, 114:21 starts 19:20, 21:2, 48:16, 67:21, 72:18, 79:9, 90:2, 115:19 state 2:21, 6:17, 8:8, 131:22 stated 29:13, 37:21, 41:16, 59:17, 125:4 statement 35:21 states 1:1, 5:8, 6:7, 19:10, 64:17 stating 120:17, 123:19 stenographer 129:1 stenographically 2:3 steps 73:7 stick 90:16 sticky 10:11, 11:11 still 97:15 straight 51:6 strange 83:20 street 3:11, 3:19	strong 42:21, 65:3 structure 85:2, 86:2, 107:19 structures 109:10 students 127:1 studied 53:13 studying 14:20 stuff 27:8, 111:14, 119:4, 125:6 subject 60:15 submitted 89:13 substitute 35:1, 37:19 substrate 51:19, 73:10, 79:17, 80:13, 81:17, 94:22, 126:20 succeeded 125:6 succeeds 100:15 success 117:3, 117:7, 117:22, 119:12, 120:18, 121:5, 121:9, 122:15, 124:9, 124:16 successful 119:8, 119:9, 125:5 sufficient 47:1, 51:19, 72:7, 101:9 sufficiently 67:16, 72:13 suite 3:12 supplement 89:13	supplemental 5:15, 12:20, 13:2, 14:17, 19:13, 19:20, 20:16, 20:20, 22:6, 23:9, 35:7, 48:16, 53:3, 53:20, 54:5, 65:20, 90:4, 111:20, 116:12, 125:22 supply 84:20, 85:6, 124:2 support 21:22, 67:7, 123:16 suppose 55:1 supposed 44:13, 60:7, 60:17, 60:19 supposedly 62:12 sure 11:7, 21:8, 63:10, 79:20, 82:12, 82:14, 99:7, 99:18, 109:21, 110:13, 111:5, 122:20, 125:13, 128:15, 128:16, 129:19 surprise 95:2 surprised 94:17 surrounded 75:17 sutcliffe 3:4, 3:10, 7:1 switching 74:4, 74:13, 75:16, 75:21, 76:1, 76:16, 76:19, 81:2, 81:7 sworn 7:6, 7:8
---	--	---	--

system 43:17, 69:1	99:12 talking 10:15, 18:15, 27:3, 27:16, 51:14, 55:14, 55:17, 65:17, 68:15, 70:22, 71:14, 71:20, 80:14, 97:9, 118:2 talks 80:16, 84:6, 120:14, 121:16 taught 127:2 tbr 118:16 teach 45:18, 62:6 teaches 58:16, 62:15 teaching 45:17, 45:18, 117:5 teachings 69:16, 124:6 teardown 97:18, 105:20, 106:2, 106:5 technical 28:4, 29:8, 30:1, 31:17, 32:10, 104:15, 122:11, 123:12, 123:14, 123:20 technician 4:5, 13:14, 13:18, 20:7, 20:11, 28:11, 82:10, 129:5, 129:10 technique 44:19, 58:17, 63:9 techniques 46:12, 95:1 technology 1:5, 1:7, 1:9,	6:6, 49:18, 52:3, 55:22, 57:2, 57:20, 67:15, 67:18 television 26:14, 43:18, 44:8 tell 16:7, 77:1, 94:13, 101:1 tells 64:12 temperature 5:13, 51:3, 57:15, 78:20, 79:16 temperatures 52:3 ten 125:10 tend 69:4 tends 50:8 tenth 3:19 term 16:10 terminal 55:15 terminology 25:22 terms 31:10, 81:3, 110:7, 121:18 test 120:10, 120:12, 121:7 testified 7:9, 50:2, 51:22, 110:5, 121:19 testimony 11:22, 56:18, 56:22, 57:13, 122:2, 126:7, 131:6 testing 120:11	tests 120:5 tft 5:12, 54:11, 74:4, 74:6, 74:13, 75:21, 76:1, 76:2, 76:15, 78:19, 83:10, 96:5 tfts 67:3, 67:14, 68:6, 68:11, 68:19, 69:3, 69:11, 69:14, 69:19, 69:20, 70:5, 70:6, 70:10, 71:4, 72:3, 72:4, 72:10, 75:16, 76:16, 76:19, 79:15, 79:22, 81:18 th 6:11, 71:17 thank 8:21, 10:4, 11:20, 13:14, 20:13, 33:14, 128:19 themselves 6:17, 30:7, 32:17, 32:18, 32:19, 45:21, 98:17 thereafter 131:7 therefore 57:16, 57:21, 60:18, 63:13, 88:10 they'd 56:19, 57:19 thin 11:6 thing 16:21, 24:8, 67:14, 88:9, 89:7, 123:14
------------------------------	--	---	--

things 14:20, 17:11, 24:21, 30:13, 34:12, 36:8, 38:18, 39:8, 39:21, 42:2, 42:5, 42:7, 56:7, 67:17, 82:5, 94:19, 94:22, 96:3, 104:22, 105:1, 111:7, 119:9, 121:7, 122:10, 122:12, 124:18 think 18:11, 23:13, 25:19, 29:2, 33:9, 37:1, 43:12, 45:20, 46:21, 47:7, 48:1, 52:19, 55:21, 77:16, 90:7, 92:8, 96:17, 97:1, 97:6, 100:15, 100:17, 100:19, 100:21, 101:6, 101:8, 101:10, 102:10, 102:13, 105:18, 109:14, 110:20, 111:11, 112:7, 114:8, 122:7, 127:1, 128:12, 129:13, 129:18 third 54:10, 54:20 three 12:11, 31:6, 31:14, 32:7, 32:22, 33:4, 33:12, 33:15, 34:6, 34:21, 37:16, 38:5, 38:15, 39:5, 39:19, 40:11, 41:7, 45:2, 45:10, 45:21,	46:16, 47:10, 47:13, 48:2, 60:3, 64:14, 76:22 threshold 36:8, 40:6, 40:18, 41:18, 43:2, 43:7, 44:14, 44:16, 47:5, 57:2, 58:14, 58:17, 59:22, 60:3, 60:12, 60:15, 61:5, 61:10, 61:16, 61:20, 62:13, 62:18, 62:20, 63:8, 63:14, 63:21, 64:20, 91:7, 91:10, 91:15, 92:14, 92:20, 93:2, 108:13, 108:16, 113:3, 113:14, 114:12, 123:22, 126:12, 126:22, 127:1, 127:2, 127:5, 127:7 through 8:14, 8:15, 11:16, 22:21, 35:11, 42:21, 43:5, 49:1, 49:19, 55:8, 55:16, 55:18, 58:20, 59:4, 62:16, 64:13, 68:4, 83:14, 86:16, 87:8, 87:10, 88:3 throughout 36:1 thursday 12:12 tianma 1:7, 19:8, 99:13 time 6:12, 15:2,	17:14, 18:15, 26:5, 28:18, 28:21, 36:17, 39:17, 45:7, 46:8, 57:22, 58:3, 65:11, 65:14, 67:4, 70:21, 71:1, 71:12, 71:13, 71:20, 71:22, 79:3, 93:4, 93:13, 97:8, 113:16, 114:11, 114:13, 125:15, 125:18, 127:11, 127:18, 128:22 times 67:19, 88:17, 121:20, 124:22 title 78:18, 78:22, 118:19 today 6:13, 7:4, 8:15, 9:8, 9:13, 10:10, 10:19, 11:14, 11:19, 11:22, 12:21, 25:2, 43:20, 44:7 today's 6:11, 10:6, 12:2, 12:17 together 14:20, 111:19, 115:16, 116:8, 118:12, 120:21 told 95:15 took 65:16 tool 40:13 top 12:16, 55:6, 56:5, 64:2, 77:7, 99:10, 107:12, 130:4	topic 32:14, 56:20 topics 32:18 topologically 108:6 topology 55:4 total 12:14 track 112:3 trademark 1:1, 6:8 trailing 111:10 transcript 5:5, 13:5, 52:17, 78:6, 81:21, 91:20, 97:11, 103:10, 106:8, 107:10, 114:17, 127:22, 129:7, 129:12, 131:5 transistor 32:6, 38:22, 41:18, 49:13, 49:14, 49:17, 50:4, 50:5, 50:6, 50:21, 51:3, 51:13, 51:17, 52:2, 53:12, 53:15, 54:10, 54:21, 55:4, 56:10, 57:2, 57:15, 58:2, 58:4, 61:4, 62:16, 63:1, 63:4, 63:11, 63:15, 64:4, 64:9, 64:13, 64:19, 68:7, 76:3, 80:7, 83:11, 83:18, 86:12, 86:21, 86:22, 87:5, 87:13,
--	---	--	---

87:19, 88:1, 88:5, 88:6, 88:14, 89:9, 92:19, 92:21, 93:9, 93:12, 107:20, 108:5, 108:8, 108:11, 108:14, 108:15, 108:18, 108:22, 109:1, 112:17, 113:1, 113:4, 113:10, 113:11, 113:13, 113:17, 114:15, 124:1, 126:10, 126:14, 126:21 transistors 24:2, 25:12, 27:3, 27:7, 27:15, 34:11, 36:13, 43:8, 44:15, 44:16, 47:5, 51:10, 54:7, 54:8, 54:14, 54:19, 54:21, 58:10, 59:20, 60:4, 60:13, 61:3, 61:6, 62:12, 64:15, 65:1, 67:10, 73:9, 73:20, 75:18, 75:21, 75:22, 76:21, 77:1, 77:14, 92:12, 92:14, 96:3, 106:19, 106:21, 127:10 transition 88:19 translate 16:16 translated 16:10 translation 17:5 travis 3:3, 6:21, 9:4,	113:5, 129:14 treated 9:9 treating 62:7 trial 1:3, 6:8 trick 84:5 tried 16:15 trivial 56:2 trouble 8:4, 13:19 true 131:5 try 7:20, 8:20, 10:21, 13:8, 13:10, 77:22, 105:14, 112:4 trying 46:2, 62:1, 62:10, 69:9, 74:11, 77:5, 81:15, 83:5, 91:18, 110:19, 128:15 ts 61:14 tsividis 92:6 tune 43:3, 127:4 turn 13:9, 13:10, 25:13, 28:9, 44:2, 51:3, 63:13, 64:14, 82:21, 88:6, 88:8, 126:9 turnaround 129:4 turned 113:13 turning 113:12	turns 88:9, 88:13, 93:12, 108:21, 112:16, 112:21, 113:7, 113:10, 113:17, 114:15 tweak 126:16, 126:18 two 31:5, 31:14, 32:7, 32:22, 33:4, 33:11, 33:15, 34:6, 34:21, 37:16, 38:4, 38:15, 39:4, 39:19, 40:11, 41:7, 45:1, 45:9, 45:20, 46:16, 47:9, 47:13, 48:2, 59:19, 60:2, 60:6, 60:17, 60:18, 61:3, 61:6, 63:13, 63:15, 63:18, 63:20, 64:16, 64:22, 75:11, 75:22, 76:16, 80:5, 99:3, 105:19, 107:1, 107:10, 107:17 twofold 61:9 type 34:13, 36:8, 42:17, 44:6, 45:11, 50:13, 58:11, 59:20, 61:13, 66:14, 66:21, 120:12, 122:6, 126:21 types 26:10, 44:22, 50:14, 77:14 typewriting 131:7 typical 26:12, 27:7,	33:4, 34:1, 51:9, 127:9 typically 76:20 typo 16:1, 16:3, 16:8 typos 16:3, 17:7, 17:10 U under 76:1, 79:9, 106:9, 116:19, 117:1, 117:8, 131:7 understand 8:18, 9:8, 9:11, 31:17, 33:7, 33:21, 34:2, 34:15, 36:14, 38:17, 38:22, 39:8, 39:12, 39:15, 40:3, 40:18, 40:20, 41:17, 45:5, 45:12, 45:17, 46:11, 47:1, 48:7, 50:1, 50:17, 56:16, 56:19, 56:21, 57:4, 57:11, 57:17, 69:9, 84:10, 90:9, 94:3, 96:9, 114:6, 122:18 understanding 11:18, 22:5, 23:3, 23:11, 23:13, 23:15, 23:18, 26:6, 26:9, 30:9, 30:21, 31:2, 32:9, 33:2, 38:3, 49:20, 94:9, 94:11,
---	---	---	---

98:16, 98:22, 102:14, 110:7, 118:22, 123:11, 128:3, 128:5, 128:10 understandings 36:5 understood 9:1, 36:12, 82:18, 110:16, 130:5 unfortunate 92:1 unidirectional 75:2 uniformity 70:11, 70:12 unique 33:22, 39:22 united 1:1, 5:8, 6:7 unknown 8:3 unless 25:6, 50:6, 60:20, 114:13 unreasonable 86:1 unrelated 94:5 until 15:1, 24:8, 82:8, 113:10 updated 75:9 uploaded 82:3 use 10:11, 10:19, 11:1, 11:3, 20:4, 24:6, 54:13, 58:1, 68:22, 69:20, 70:10, 91:10, 92:13, 93:7 users 99:20 uses 24:4, 59:18,	74:17, 92:11, 107:18 using 9:20, 43:2, 44:19, 51:8, 58:14, 58:17, 62:8, 63:9, 64:20, 67:9, 68:11, 75:2, 75:4, 75:9, 75:15, 79:15, 84:10 usual 8:21, 17:10, 52:1 utilities 9:18 V value 87:2 values 41:2 variability 44:12, 44:13, 60:12, 61:20, 63:21, 69:5 variation 44:16, 60:3 variations 43:7, 44:15, 60:16 varies 63:15 variety 69:20 various 14:22, 23:1, 23:9, 68:17 vary 60:8, 63:21 varying 44:13 vdd 55:7 vermont 9:15 versa 35:2, 37:20	version 73:2, 73:4, 85:14 versus 51:2, 69:8 vg 51:2, 63:7 vice 35:2, 37:19 video 6:12, 6:15, 28:3, 29:7, 29:22, 32:15, 129:22 videographer 4:4, 6:3, 6:13, 7:3, 28:17, 28:20, 65:10, 65:13, 104:17, 125:14, 125:17, 128:20, 129:21, 130:5 videography 13:8 videotaped 6:4 virtually 1:19, 2:9 viruses 105:4 visionox 1:8, 19:8 visitor 87:17 visonox 99:14 voice 7:16, 9:22 voice-identify 6:16 voltage 36:9, 40:6, 40:18, 41:18, 43:2, 44:14, 44:16, 47:5, 51:7, 51:8, 57:3, 58:2, 58:15, 58:17,	59:22, 60:4, 61:16, 61:20, 62:18, 62:20, 62:22, 63:5, 63:7, 63:9, 63:10, 63:14, 64:21, 64:22, 84:15, 87:1, 87:4, 87:7, 87:9, 87:22, 91:7, 91:10, 91:15, 92:15, 92:20, 108:16, 113:1, 113:3, 113:14, 114:12, 123:22, 126:12, 127:1, 127:2, 127:7 voltages 60:12, 61:5, 62:13, 63:12, 63:17, 63:22, 127:5 vss 55:7, 55:8 vth 63:8 W wait 82:8 walk 86:16 wandering 112:1 want 22:11, 24:8, 45:8, 53:16, 65:17, 77:19, 79:8, 81:22, 82:8, 82:13, 82:21, 82:22, 104:12, 105:7, 109:10, 129:6, 129:11, 129:16, 129:21 wanted 16:1, 16:4,
---	---	--	---

25:7, 25:20, 110:13 wants 69:20 washed 63:16 washington 3:21 way 11:19, 26:19, 56:4, 57:22, 59:7, 60:9, 60:11, 83:15, 88:9, 89:10, 89:11, 93:18, 94:20, 103:7, 108:3, 129:17 ways 26:22, 27:2, 121:8 we'll 8:5, 10:21, 11:19, 54:4, 79:20, 128:17 we're 9:7, 9:20, 18:15, 20:6, 20:10, 30:13, 43:19, 44:7, 46:2, 59:5, 71:20, 72:22, 83:9, 97:9, 112:8, 125:14, 128:16 we've 24:19, 65:6 weary 59:9 weather 10:2 weave 111:13 week 12:7 welcome 29:2 well-known 36:16, 39:17,	47:21, 64:8, 79:19, 80:19, 81:5 went 29:5, 37:11, 49:19 whatever 7:16, 51:7, 83:16, 106:6, 129:16, 130:2 whereas 67:18 whereof 131:13 whether 34:3, 47:18, 47:22, 48:2, 74:14, 99:6, 101:5, 101:13, 102:14, 107:22, 112:15, 113:19 whichever 78:21 whole 10:7, 109:13 widespread 96:11 windows 114:22 wiring 24:2 wish 82:7, 119:11 within 39:4, 39:19, 40:11 without 68:8 witness 7:6, 13:7, 65:9, 113:5, 122:7, 125:10, 125:13, 127:18, 131:13 wolfe 5:18, 24:16, 25:8, 25:21, 41:15, 42:4,	101:17, 116:2, 116:20, 120:13, 120:21, 121:12, 123:8 wolfe's 21:21, 50:11, 95:18, 95:20, 114:18, 115:7, 115:12, 115:14, 116:11, 117:11, 118:15, 122:22, 123:18 words 74:14 work 30:18, 30:22, 32:3, 35:1, 36:12, 36:13, 37:19, 46:4, 57:19, 86:20, 92:6 worked 14:22, 31:15, 34:3, 34:10, 36:14, 46:10 working 14:12, 14:16, 15:3, 18:10, 18:12, 18:13, 19:4, 31:4, 46:18, 47:14, 48:4, 57:20, 71:11 works 36:15, 86:17, 86:19, 114:6, 114:9 world 96:5 worth 106:6, 107:8 wouldn't 32:8, 41:17 wrestling 77:11 write 15:9 written 15:13	wrong 104:22 wrote 15:16 wuhan 1:6 X xt4 5:21 xt4-r 5:22 Y yannis 92:6 yeah 23:8, 75:22, 103:17, 122:1, 129:16 year 10:17, 12:6, 21:15, 49:20, 91:9 years 31:6, 31:14, 32:7, 32:22, 33:4, 33:12, 33:15, 34:6, 34:21, 37:16, 38:5, 38:15, 39:3, 39:5, 39:19, 40:11, 41:7, 45:2, 45:10, 45:21, 46:16, 47:10, 47:13, 48:2 yoo 95:21 yourself 15:10, 22:14, 22:19 Z zero 50:7, 51:7, 52:4, 57:16 zoom 9:19, 11:16,
--	---	---	---

28:12	1029	73:17, 74:10,	84:18, 85:1,
,	5:15, 13:3,	76:9	85:4, 85:9,
,24	13:4, 13:12,	141	85:12, 85:14,
1:20	13:16, 19:2	117:12	85:16, 85:21,
.	103	142	86:2, 86:5,
.6000	5:19	120:14, 121:13,	86:7, 86:11,
3:22	1031	122:22	86:14, 87:18,
.7400	5:17, 22:21,	15	87:19, 88:18,
3:7	91:19, 91:22,	5:23, 55:2,	88:19, 89:7
.7776	92:11	55:8, 55:10,	20001
3:14	1033	55:19, 73:3,	3:21
	22:21	73:5, 73:6,	2002
0	104	73:14, 73:18,	71:18, 72:1,
00	110:4	74:10, 74:12,	75:9, 127:12
5:22	106	75:17, 76:2,	2003
00941	5:22, 90:22,	76:9, 125:18	51:17, 71:19,
1:15, 6:10	91:4	16	72:1, 127:12
04	107	29:14, 29:17,	2007
1:21, 6:12	93:16, 93:17,	29:18, 29:21,	84:14, 85:5,
0987	93:20, 94:15	97:15	85:16, 85:20
6:10	1075	19	2008
0988	6:10	58:19, 59:4,	5:18, 103:16,
6:10	11	128:22	114:16, 114:19,
1	35:6, 72:18,	1930	115:4, 115:11,
10	125:15	26:15	115:12
21:2, 21:3,	1100	1950	2018
21:9, 65:11,	3:12	44:7	5:19, 103:9,
65:14	114	1960	103:14, 103:17,
100	5:18	64:10	103:19, 103:22,
62:3	12	1980	104:3, 104:10,
1000	125:18, 128:22	80:20	110:11, 110:12,
3:5	13	1988	110:18, 111:8,
1001	5:15, 55:4,	36:11, 40:8,	111:15, 111:18,
5:8, 52:16,	55:16, 56:1,	40:22, 43:11,	112:9, 115:9
52:20, 59:3	61:3, 61:10	44:19, 91:12	2019
1002	131	1990	5:22, 106:7,
5:10, 81:20,	2:2	127:16	106:10, 106:16,
82:1, 82:19	136	1991	109:19, 109:22,
1015	115:18, 115:22	81:13	110:12, 118:3,
3:6	14	2	118:4, 118:6,
1026	41:11, 41:15,	20	118:11, 118:16,
5:11, 22:20,	53:12, 55:1,	6:11, 48:15,	118:20, 119:1,
78:5, 78:8	55:2, 55:8,	48:21, 49:7,	119:19, 120:4,
1027	55:9, 55:11,	52:5, 53:2,	123:2
22:20	55:16, 55:18,	54:5, 56:8,	202.662
	56:1, 61:3,	58:12, 84:13,	3:22
	61:10, 61:14,	84:14, 84:17,	2023
	61:15, 65:11,		1:15, 6:10

2024 1:20, 6:11, 14:10, 89:12, 131:15, 131:16 2050 3:11 21 5:20, 82:22, 83:8, 83:9, 84:13, 84:16, 84:22, 85:4, 85:9, 85:13, 85:20, 86:2, 86:4, 86:11, 86:16, 87:18, 88:18, 103:19, 105:21, 106:1, 106:11, 110:1, 110:7, 118:17 2104 87:5, 87:13, 87:22 2105 83:10, 83:14, 87:2, 87:8, 87:10, 87:22, 88:6, 88:13, 88:14 2106 83:15, 86:12, 86:14, 86:20, 87:21, 88:5, 88:12, 88:19, 103:15 2107 103:15 2108 87:11, 88:4 2109 83:19, 84:3, 84:7, 84:10, 85:5, 85:15, 85:18, 85:19, 88:10, 88:13, 88:17, 88:21, 89:1, 89:5 2110 83:16	22 65:20, 66:3 23 75:1, 126:1 24 6:11, 72:17, 73:1, 73:2, 73:5 25 76:8 26 65:14 27 28:18 3 3 75:9 30 28:21, 71:17, 114:21, 115:1 300 67:19 31 10:18, 14:10, 18:8, 90:13 33 54:10, 54:21, 96:17, 97:2, 111:3 3309 54:11 34 96:16 35 39:2 352 84:19, 85:1 357 69:2 358 69:3 36 77:17, 77:21 39 16:9, 17:2 4 40 58:20, 59:4	404 84:22, 85:2, 85:8, 85:12 41 5:23, 15:19, 16:7, 17:15 45 65:19, 66:3 47 126:1, 126:5 5 52 5:8 54 103:5, 103:8, 103:12, 103:15, 111:5 542793 2:1 55 90:2, 90:14, 90:18 559 114:2 56 125:15 57 90:22, 93:16, 93:18, 93:21 59 93:19 599 24:12, 24:13, 25:4, 25:16, 27:5, 34:18, 36:4, 38:21, 39:22, 40:17, 42:11, 43:1, 44:9, 45:4, 45:17, 49:9, 50:15, 52:12, 52:13, 52:14, 52:18, 53:5, 53:8, 53:10, 54:2, 54:10, 54:15, 56:9, 56:11, 58:13,	58:16, 58:21, 62:4, 62:14, 66:8, 66:21, 67:5, 67:8, 67:21, 68:5, 69:10, 69:17, 71:1, 71:13, 71:18, 71:21, 91:9, 93:4, 96:13, 107:19, 108:6, 108:17, 109:2, 109:6, 109:7, 112:20, 114:3, 116:22, 117:3, 117:5, 117:20, 117:21, 119:10, 125:6 6 6.23 5:22 60 15:5 600 5:14, 78:20, 79:16 61 97:17, 98:1 62 14:1, 14:3, 98:3, 98:7 650.614 3:7 7 7,414,599 1:16, 5:9 72 115:20, 115:21, 116:1 78 5:11 7th 71:19 8 81 5:10
--	--	--	---

8255

3:13

850

3:19

9

9

1:21, 6:12,
28:18, 28:21

91

5:17

92614

3:13

94025

3:6

949.852

3:14

9th

131:14