

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

MERCEDES-BENZ USA, LLC,

Petitioner,

vs.

Case Nos.: IPR 2023-01026

IPR 2023-01027

IPR 2023-01034

YECHEZKAL EVAN SPERO,

Patent Owner.

The Video Recorded Deposition of MATTHEW TURK, Ph.D.,
Taken at 150 West Second Street, Suite 400N,
Royal Oak, Michigan,
Commencing at 9:44 a.m.,
Thursday, June 13, 2024,
Before Leisa M. Pastor, CSR-3500, RPR, CRR.
Veritext Job #6740991

1 APPEARANCES:

2
3 SCOTT HUGHES

4 JOSEPH J. RAFETTO

5 Hogan Lovells US LLP

6 555 13th Street N.W.

7 Washington, D.C. 20004

8 202.637.5600

9 scott.hughes@hoganlovells.com

10 joseph.raffetto@hoganlovells.com

11 Counsel for Petitioner.

12
13 SANGEETA G. SHAH

14 Brooks Kushman P.C.

15 150 West Second Street

16 Suite 400N

17 Royal Oak, Michigan 48067

18 248.358.4400

19 sshah@brookskushman.com

20 Appearing on behalf of the Patent Owner.

1 ALSO PRESENT:

2 Nicholas Houslander - Video Technician

3 Hope Techlin - Summer Associate

4 Madeline Kopp - Summer Associate

5 Brett Hostert - Summer Associate

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TABLE OF CONTENTS

WITNESS PAGE

MATTHEW TURK, Ph.D.

EXAMINATION BY MR. HUGHES 6

EXAMINATION BY MS. SHAH 133

EXHIBITS

EXHIBIT PAGE

(Exhibits attached to transcript.)

EXHIBIT 1 21

EXHIBIT 2 53

EXHIBIT 3 73

EXHIBIT 4 97

EXHIBIT 5 102

EXHIBIT 6 110

EXHIBIT 7 119

EXHIBIT 8 125

EXHIBIT 9 130

EXHIBIT 10 131

1 Royal Oak, Michigan

2 Thursday, June 13, 2024

3 9:44 a.m.

5 MATTHEW TURK, Ph.D.,

6 was thereupon called as a witness herein, and after
7 having first been duly sworn to testify to the truth,
8 the whole truth and nothing but the truth, was
9 examined and testified as follows:

10 VIDEO TECHNICIAN: Good morning. We are
11 going on the record. The time is now 9:44 a.m. on
12 Thursday, June 13, 2024.

13 This is Media Unit No. 1 in the
14 video-recorded deposition of Matthew Turk in the
15 matter of Mercedes-Benz USA, LLC, versus Spero, filed
16 in the United States Patent and Trademark Office
17 before the patent trial and appeal board, Case Nos.
18 IPR 2023-01026, IPR 2023-01027, and IPR 2023-01034.

19 This deposition is being held at 150 West
20 Second Street in Royal Oak, Michigan 48067.

21 My name is Nicholas Houslander from the
22 firm Veritext Legal Solutions and I am the
23 videographer. I am not authorized to administer an
24 oath, I am not related to any party in this action,
25 nor am I financially interested in the outcome.

1 Counsel and all present in the room and
2 everyone attending remotely will now state their
3 appearances and affiliations for the record. If there
4 are any objections to the proceeding, please state
5 them at the time of your appearance, beginning with
6 the noticing attorney.

7 MR. HUGHES: Scott Hughes on behalf of
8 Mercedes-Benz USA, LLC, and I'm with Hogan Lovells US
9 LLP.

10 MS. SHAH: Sangeeta Shah on behalf of
11 patent owner Spero with Brooks Kushman.

12 MR. HUGHES: And with me is Joe Raffetto
13 also from Hogan Lovells on behalf of Mercedes-Benz
14 USA, LLC.

15 VIDEO TECHNICIAN: Court reporter, please
16 swear the witness.

17 COURT REPORTER: Please raise your right
18 hand.

19 MATTHEW A. TURK, Ph.D.,
20 was thereupon called as a witness herein, and after
21 having first been duly sworn to testify to the truth,
22 the whole truth and nothing but the truth, was
23 examined and testified as follows:

24 EXAMINATION

25 BY MR. HUGHES:

1 Q. Okay. Good morning, Dr. Turk.

2 A. Good morning.

3 Q. Can you please state your full name for the record.

4 A. My name is Matthew Alan Turk.

5 Q. And do you understand that you are being deposed today
6 in connections with declarations you submitted in
7 three IPR proceedings, correct?

8 A. Yes, correct.

9 Q. And for the record, those three IPR proceedings are
10 IPR 2023-01026, IPR 2023-01027, and IPR 2023-01034,
11 correct?

12 A. That sounds right.

13 Q. And IPR 2023-01026 and 01027 are related to US Patent
14 No. 10,894,503, correct?

15 A. Yes.

16 Q. And IPR 2023-01034 is related to US Patent No.
17 11,208,029, correct?

18 A. I believe so, yes.

19 Q. If I refer to the patent ending in 503 as the '503
20 Patent, will you understand that?

21 A. Yes.

22 Q. And similarly, if I refer to the patent ending in 029
23 as the '029 Patent, will you understand that?

24 A. Yes, that's fine.

25 Q. Okay. Do you recall that you were recently deposed in

1 some additional IPR proceedings that Mercedes filed?

2 A. Yes.

3 Q. And those were IPR 2023-01039 and 01040, correct?

4 A. Probably. I don't remember exactly, but that sounds
5 right.

6 Q. Do you remember they were in relation to a patent
7 ending in 551?

8 A. Yes, I do.

9 Q. And that '551 Patent is related to the '503 and '802
10 Patents, correct?

11 A. Yes, that's right.

12 Q. Okay. And some of the same prior art references that
13 were at issue in that proceeding are at issue here as
14 well, correct?

15 A. That's right.

16 Q. For example, the Alden reference among others?

17 A. Yes, that's correct.

18 Q. Okay. And you understand that you are under oath
19 today, correct?

20 A. Yes, I do.

21 Q. Is there anything that would prevent you from
22 testifying truthfully and accurately today?

23 A. No, there's not.

24 Q. Okay. Before we get started, I know from before
25 you've been deposed many times, but I'd just like to

1 go over a few ground rules for the deposition here.

2 If you don't understand a question that I
3 ask, please ask me for clarification on it. Your
4 counsel may object, but you still need to answer the
5 question unless you're specifically instructed not to.

6 We should avoid talking over each other.
7 I'll try and let you finish your answers before I ask
8 a question, and if you would, you know, let me finish
9 the question before you answer, we can hopefully make
10 it easier for the court reporter here.

11 In answering questions, please don't nod or
12 use hand gestures. We do need an audible answer so
13 the court reporter can transcribe.

14 Does all of that sound fair to you?

15 A. Yes, it does.

16 Q. All right. Now, you are currently president of Toyota
17 Technological Institute at Chicago, correct?

18 A. That's right.

19 Q. Remind me, are you employed by any other entities
20 right now other than Toyota Technological Institute?

21 A. I'm an emeritus faculty, emeritus professor at
22 University of California, Santa Barbara, so I'm not
23 sure if that counts as employment. It's really former
24 employment, so I think otherwise, no.

25 Q. So other than the Toyota Technological Institute and

1 being an emeritus professor, do you take on other
2 consulting work, such as this kind of expert witness
3 work?

4 A. Sometimes I do.

5 Q. And is that expert witness work outside of your
6 employment by the Toyota Technological Institute or
7 your role as an emeritus professor?

8 A. Yes, it's outside of those things.

9 Q. What percentage of your time do you spend on expert
10 witness consulting as compared to, for example,
11 employment with the Toyota Technological Institute?

12 A. I don't know how to quantify that, or at least I
13 haven't quantified that to my recollection, but it's
14 generally a small amount.

15 Q. Small amount meaning a few hours here or there or more
16 limited number of engagements?

17 A. I think both would be fairly accurate.

18 Q. Okay. Now, here you recall you prepared a
19 declaration, or multiple declarations, for these
20 proceedings; is that right?

21 A. That's right.

22 Q. How much time do you typically spend on declarations
23 when you are retained as an expert witness?

24 MS. SHAH: Objection, vague.

25 A. I don't know if there's a typical time. It probably

1 varies a lot, but I would say usually it is some
2 number of weeks.

3 BY MR. HUGHES:

4 Q. When you say some number of weeks, do you mean you're
5 working on this for some number of weeks or you're
6 working over a period of a few weeks?

7 A. I mean over a period of some number of weeks.

8 Q. About how many hours do you think you spend on a --
9 preparing declarations when you're retained as an
10 expert witness?

11 A. I don't think I have a good estimate of that. I'm not
12 sure.

13 Q. Is there a range you can give?

14 A. Not that I feel confident about off the top of my
15 head, no.

16 Q. Okay. But you typically spend, you know, at least a
17 few hours, maybe over 10 hours?

18 A. Again, I don't know how many, but I -- I spend time
19 over typically a period of some weeks.

20 Q. Okay. What's the longest amount of time, you know, in
21 hours you've ever spent to prepare an expert
22 declaration that you can recall?

23 A. I don't recall.

24 Q. And do you recall the shortest amount of time you may
25 have ever spent preparing an expert declaration?

1 A. No, not specifically.

2 Q. Do you recall any kind of estimate for any declaration
3 you've given about how many hours you've spent on it?

4 A. No, I don't recall any estimates.

5 Q. Okay. So for your deposition today, what did you do
6 to prepare?

7 A. I reviewed my declarations. I reviewed the materials
8 that I considered in preparing declarations. I met
9 with Ms. Shah, and that's about it.

10 Q. When you say you reviewed the materials, are those the
11 materials that were cited in your declarations?

12 A. Yes.

13 Q. Did you review any other materials?

14 A. I reviewed my own notes. I don't recall any materials
15 outside of those things cited that I reviewed.

16 Q. And how did you select the materials that you reviewed
17 to prepare for your deposition?

18 A. I selected them -- I selected things that I thought
19 were relevant, and the things cited in my declarations
20 are clearly the most relevant, so I guess that's how I
21 selected them.

22 Q. And again, did you review any materials that are not
23 discussed in your declarations?

24 A. I think I mentioned my own notes, but besides those, I
25 can't recall any right now that I did.

1 Q. Okay. And you mentioned that you met with Ms. Shah in
2 preparing, did you meet with anyone else?

3 A. I don't think so.

4 Q. And when did you meet with Ms. Shah?

5 A. I believe we met yesterday and Sunday and maybe one
6 time last week.

7 Q. Okay. And for how long did you meet?

8 A. Probably about an hour yesterday, probably about a
9 couple hours Sunday, and probably about a couple hours
10 last week, but I don't remember the exact meetings and
11 times specifically.

12 Q. Okay. And you mentioned that you also review your
13 notes. Were those your notes from preparing the
14 declarations?

15 A. They're the notes that I have kept throughout my
16 involvement in this case.

17 Q. So does that include notes on the references that you
18 used in preparing the declarations?

19 A. I have notes on all kinds of things related to those
20 cases, probably including the references.

21 Q. And do those notes include anything from your
22 preparation sessions?

23 A. I probably did take notes during preparation sessions.

24 Q. Do you have any of those notes with you today?

25 A. Not in the room.

1 Q. Do you have any other materials with you in the room
2 today?

3 A. No, I don't.

4 Q. Okay. So as we discussed earlier, you're being
5 deposed with respect to these declarations that you
6 submitted in the IPR proceedings, right?

7 A. That's right.

8 Q. About how many hours did you spend preparing your
9 declarations for the '503 Patent IPRs?

10 A. I don't remember.

11 Q. Any estimate?

12 A. Not any estimate that I'd be comfortable with.

13 Q. One hour? two hours? more than that?

14 A. Again, no particular estimate that I'd be comfortable
15 with.

16 Q. And what about for the '029 Patent, do you recall how
17 many hours you spent preparing that declaration?

18 A. I don't.

19 Q. So it could have been one hour?

20 MS. SHAH: Objection, mischaracterizes the
21 witness' testimony.

22 A. I don't recall how long it was.

23 BY MR. HUGHES:

24 Q. And you can't recall if you spent more or less than
25 one hour on it?

1 A. I can recall that I spent more than one hour on it,
2 yes.

3 Q. What about more than five hours maybe?

4 A. Since I don't recall the amount, I don't want to guess
5 on various numbers, so I'll just say I don't recall.

6 Q. Okay. And for your declarations, who drafted them?

7 A. Depending on what you mean by drafted, the initial
8 words were based on discussions, extensive discussions
9 I had with attorneys on this case. There may have
10 been phrases and segments that I originally penned and
11 phrases and segments that attorneys I was speaking
12 with proposed.

13 The first relatively full draft, or the not
14 full, but the first at least skeleton of the draft was
15 probably drafted by the attorneys, but we went back
16 and forth extensively through the words and through
17 the concepts of the declaration so that the final
18 version is -- are my words and my opinions.

19 Q. What do you mean by a skeleton of the draft?

20 A. We did not start with a full declaration; so by
21 skeleton, I mean, you know, less than full and perhaps
22 substantially less than the full, final declaration.

23 Q. About how much of the declaration do you think you
24 personally drafted?

25 MS. SHAH: Objection, vague.

1 A. So what do you mean specifically by "drafted"?

2 BY MR. HUGHES:

3 Q. How much of it did you physically write or type
4 yourself?

5 A. I don't know the amount, and I'm not sure I could
6 guess the amount, but probably the typical process is
7 that we would discuss things, I would comment on
8 things, we'd come up with proposed wording and the
9 attorneys would write them down in the draft and then
10 I review that.

11 Q. Okay. And what did you rely on in preparing the
12 declarations?

13 A. The materials that are listed in the declaration.

14 Q. And how did you receive those materials? Did you find
15 them?

16 (Off the record at 10:03 a.m.)

17 (On the record at 10:03 a.m.)

18 MR. HUGHES: So are we back on?

19 COURT REPORTER: Yes.

20 BY MR. HUGHES:

21 Q. Okay. So the question was, how did you receive those
22 materials that are listed in your declaration?

23 A. May I take a look at my declaration so I can see that
24 list?

25 Q. Just generally, do you recall asking for certain

1 materials or were they provided to you?

2 A. Well, without seeing the list at the moment, I think,
3 in general, most of the things were brought to my
4 attention. I would have to look through it to be sure
5 of that.

6 Q. Well, is there anything that you recall specifically
7 asking for?

8 MS. SHAH: Objection, vague.

9 A. I don't recall if I asked for anything.

10 BY MR. HUGHES:

11 Q. Okay. Do you recall if there was any materials that
12 you wanted to see that you did not get a chance to
13 review?

14 A. There was not.

15 Q. Do you have any staff that works for you when you're
16 an expert, for an expert witness?

17 A. I think once or twice I have, but generally, no.

18 Q. For these proceedings, did you have any staff work
19 with you?

20 A. No, I didn't.

21 Q. So it was just yourself?

22 A. That's right.

23 Q. Now, when you prepared your declarations, you
24 mentioned some legal principles in those; do you
25 recall that?

1 A. I do, yes.

2 Q. For example, do you discuss anticipation?

3 A. Yes, I do.

4 Q. And obviousness?

5 A. Yes, I do.

6 Q. Okay. And you also recall that you gave a definition
7 of a person of ordinary skill in the art, or I may
8 refer to that as a PHOSITA?

9 A. Yes, I remember that.

10 Q. And in your declarations, you say that you interpreted
11 the claims in the prior art as a PHOSITA would have at
12 the time of the patents; is that right?

13 A. Yes, that's what I attempted to do.

14 Q. Okay. And is it fair to say that the same words used
15 in different claims of a patent should be interpreted
16 the same way?

17 MS. SHAH: Objection, calls for legal
18 analysis.

19 A. I don't think that this is something that I opined on
20 in my declaration, but you're asking me as a general
21 principle?

22 BY MR. HUGHES:

23 Q. Yes. If you see the same word in different claims of
24 the same patent, is it fair to say that that would be
25 interpreted the same way?

1 MS. SHAH: Same objection.

2 A. I'm not a patent attorney or an expert in that, but
3 off the top of my head, I would say it may depend on
4 context.

5 BY MR. HUGHES:

6 Q. What do you mean by context?

7 A. Context generally means the other aspects, other
8 things around the thing of interest, so other -- other
9 words, what that claim is saying.

10 Q. When you say thing of interest, are -- do you mean the
11 claim term?

12 A. I was describing the context, and you're asking me
13 this about words in a claim, so the terms there are
14 certainly part of the context, yes.

15 Q. Okay. In the '503 and '029 Patents, the systems
16 described can detect and identify things like other
17 vehicles, right?

18 A. Generally, yes.

19 Q. Okay. So those systems can detect other vehicles
20 driving on a road near a vehicle equipped with a
21 system from the patents, right?

22 MS. SHAH: Objection, vague.

23 A. Could you repeat that, please?

24 BY MR. HUGHES:

25 Q. Yeah. So the systems in the patents could detect

1 other vehicles driving on a road near a vehicle
2 equipped with those systems, right?

3 MS. SHAH: Same objection.

4 A. I'm not sure how to answer that in general. If you
5 want to point me to a specific part of the patent, or
6 a claim or teaching, I'd be happy to comment on that.

7 BY MR. HUGHES:

8 Q. For example, in oncoming vehicles, can the systems
9 detect and identify oncoming vehicles?

10 A. I think in general in those patents they are intended
11 to be able to do that.

12 Q. And they use things like camera sensors to do that,
13 correct?

14 A. They do, yes.

15 Q. So what if there were a billboard of a car on the side
16 of the highway, could the system recognize that as a
17 vehicle?

18 MS. SHAH: Objection, vague.

19 A. You're asking me if the system in the '501 or '029
20 Patent could recognize a billboard as a vehicle?

21 BY MR. HUGHES:

22 Q. If a billboard had a -- let's say there's a billboard
23 advertising another car dealership and it had a big
24 picture of a car on it, could the system recognize
25 that as a vehicle?

1 MS. SHAH: Objection, calls for
2 speculation, vague.

3 A. I don't know offhand. It's not something I've
4 analyzed for that, those patents.

5 BY MR. HUGHES:

6 Q. Okay. So you're not -- you're not sure if the system
7 could recognize a picture of a vehicle as a vehicle?

8 A. As I said, I've not analyzed this or thought about
9 this for those patents.

10 Q. Well, what's your thoughts now from what you've seen
11 in the patents and how they're describing the system
12 to work, is that something you think that it could do?

13 MS. SHAH: Asked and answered.

14 A. I'd have to look at those patents with that in mind
15 and analyze them, which I have not done, so I'm not
16 sure.

17 MARKED FOR IDENTIFICATION:

18 EXHIBIT 1

19 10:11 a.m.

20 BY MR. HUGHES:

21 Q. Okay. I'm going to mark as Exhibit 1, this is the
22 '503 Patent. Do you recognize this?

23 A. Yes, I do.

24 Q. And is this the '503 Patent that's at issue in one of
25 these -- in two of these proceedings?

1 A. Yes, it is.

2 Q. Okay. And you were saying you would need to look at
3 this to see if you thought it may determine something,
4 you know, a picture of a car on a billboard, I'm
5 sorry, strike that.

6 You were saying you need to look at the
7 '503 Patent to determine if it may recognize a picture
8 of a car on a billboard as a vehicle, right?

9 MS. SHAH: Objection, mischaracterizes the
10 witness' testimony.

11 A. I believe I said I'd have to look and analyze the
12 patent, yes.

13 BY MR. HUGHES:

14 Q. Okay. Would you like to take a little bit of time to
15 do that now?

16 A. Happy to try. I'm not sure how long it will take.

17 MS. SHAH: I'd also like to maintain my
18 prior objections to this question.

19 BY MR. HUGHES:

20 Q. So Dr. Turk, while you review, you're familiar with
21 the '503 Patent, right?

22 A. Yes, I am, actually.

23 Q. And have you read the '503 Patent in its entirety?

24 A. Yes, I have.

25 Q. And when's the last time you read the '503 Patent in

1 its entirety?

2 A. I don't recall. It's been a while since I read every
3 word of it in one sitting.

4 Q. And how long is a while?

5 A. I don't recall.

6 Q. Have you read anything so far to inform your answer to
7 the question that was asked?

8 A. Yes, what I've been reading helps me to form an
9 answer. I don't know what it is yet.

10 Q. Is there anything in particular you're looking for?

11 MS. SHAH: Objection, vague.

12 A. I'm looking for things that would inform the answer to
13 your question.

14 BY MR. HUGHES:

15 Q. So with your familiarity of the '503 Patent, and
16 having read it in its entirety before, you can't say
17 whether the system may recognize something like a
18 billboard picture of a car as a vehicle?

19 MS. SHAH: Objection, asked and answered.
20 Same objections as before.

21 A. As I think I said before, I -- that's not a question
22 that I've thought about specifically, or analyzed
23 specifically, so I'd have to do that analysis to -- to
24 answer that question accurately.

25 BY MR. HUGHES:

1 Q. For the system to recognize things as a vehicle, would
2 you expect it to only be able to recognize things that
3 are actual vehicles?

4 MS. SHAH: Objection, vague.

5 A. I believe that's what the '503 attempts to do, yes.

6 BY MR. HUGHES:

7 Q. When you say it attempts to do that, could it ever be
8 wrong?

9 MS. SHAH: Objection, calls for
10 speculation.

11 A. Most systems can be wrong at times but I've not
12 analyzed this patent specifically with that question
13 in mind.

14 BY MR. HUGHES:

15 Q. When you say most systems, what do you mean?

16 A. I mean most systems.

17 Q. What types of systems, like those described in the
18 '503 Patent?

19 A. I just mean most systems.

20 Q. What, is there a particular kind of system?

21 A. No.

22 Q. So any -- any system at all, or is there a certain
23 type or class of system that we're talking about here?

24 A. That was a general statement, not a specific technical
25 statement about any particular system.

1 Q. Okay. Okay. And you still have with you the copy of
2 the '503 Patent, correct?

3 A. Yes. Do you want me to continue this or --

4 Q. That's okay. Unless you have anything else you'd like
5 to add to your answer right now?

6 A. No, I don't.

7 Q. Okay. Now, you've read the claims of the '503 Patent,
8 correct?

9 A. Yes, I have.

10 Q. And those claims are directed to vehicle headlight
11 systems, right?

12 A. Yes, they are.

13 Q. And the claims don't specify any certain type of
14 vehicle, do they?

15 A. I don't recall them specifying any type of vehicle.

16 Q. Okay. So the vehicle that was recited in the claims
17 could be a car, right?

18 MS. SHAH: Objection, calls for a legal
19 conclusion.

20 A. As I recall, I don't recall it specifying specifically
21 what kind of vehicle, so I suppose it could be.

22 BY MR. HUGHES:

23 Q. So if there was headlights on the car, could that be
24 the vehicle in the claims?

25 MS. SHAH: Objection, vague, calls for a

1 legal conclusion.

2 A. I don't know that I've analyzed what kinds of vehicles
3 or if there are any limitations on any kind of
4 vehicles these could apply to, but off the top of my
5 head, it seems like they could apply to a car.

6 BY MR. HUGHES:

7 Q. What about a boat?

8 MS. SHAH: Objection, calls for
9 speculation, legal analysis.

10 A. I have never considered whether a boat could be
11 described as a vehicle or not, so I'm not sure.

12 BY MR. HUGHES:

13 Q. An airplane? helicopter?

14 MS. SHAH: Same objections.

15 A. I've not considered before if an airplane or
16 helicopter could be considered a vehicle, so I'm not
17 sure.

18 BY MR. HUGHES:

19 Q. Can you turn to column 53, lines 17 to 18 in the '503
20 Patent. Do you see where this says, in figure 15, a
21 headlamp 270 of a land, sea, or air vehicle is shown
22 in front view?

23 A. Yes, I see that.

24 Q. Having read that, can you now answer whether a boat
25 can be the vehicle recited in the claims?

1 MS. SHAH: Objection, calls for a legal
2 analysis. Also objection, vague.

3 A. So this seems to be describing the vehicle in figure
4 15 as possibly being a land, sea, or air vehicle. I'm
5 not sure in this context how that relates to the
6 claims, so I'd have to look at that as a separate
7 question; so I'm not sure what those lines imply
8 necessarily about the claim.

9 BY MR. HUGHES:

10 Q. You're not sure whether figure 15 relates to the
11 claims of this patent?

12 MS. SHAH: Asked and answered.

13 A. Yeah, I -- that's not a correct summary of what I just
14 said.

15 BY MR. HUGHES:

16 Q. Well, if you can look at that description in figure 15
17 and see if that informs your answer on whether a boat,
18 for example, could be the vehicle in the claims.

19 MS. SHAH: Objection, calls for a legal
20 conclusion.

21 A. I've just not considered before now exactly what the
22 implications are of that sentence to all of the
23 claims, so I'm just not sure offhand.

24 BY MR. HUGHES:

25 Q. So you've never considered what the vehicle might be

1 that's recited in the claims?

2 MS. SHAH: Objection, calls for a legal
3 conclusion.

4 A. I don't recall what I've considered regarding the
5 discussion of vehicles in the claims.

6 BY MR. HUGHES:

7 Q. And having reviewed the '503 Patent, can you say
8 whether an airplane can be a vehicle --

9 MS. SHAH: Objection.

10 BY MR. HUGHES:

11 Q. -- cited in the claims?

12 MS. SHAH: Objection, asked and answered,
13 calls for a legal conclusion.

14 A. This is something that I don't think that I opined on
15 in my declarations, and not something that I
16 particularly thought about, to say that it is, so I'm
17 just not sure.

18 BY MR. HUGHES:

19 Q. And looking at the claims, you see, for example, claim
20 1 recites a vehicle headlight system.

21 A. Yes, I see that.

22 Q. So you're not sure what the scope of vehicle is as
23 used in that claim?

24 MS. SHAH: Objection, asked and answered,
25 calls for a legal conclusion.

1 A. I take it as meaning a vehicle, and again, I don't
2 think I opined on that particular question in my
3 declaration, and haven't thought otherwise about this,
4 at least recently, so I'm just not sure.

5 BY MR. HUGHES:

6 Q. And you recall the other independent claims similarly
7 recite vehicle headlight systems, like, if we look at
8 claim 20, for example?

9 A. I see that.

10 Q. And if you look at claim 26, do you see the limitation
11 utilizing one or more sensors of a vehicle?

12 A. I see that.

13 Q. So again, claim 26 is also referring to a headlight
14 system of a vehicle in general, correct?

15 A. A headlight system of a vehicle, yes.

16 Q. And same with claim 37?

17 A. Yes, vehicle is mentioned in many of these claims.

18 Q. Just for completeness, independent claim 59 as well?

19 A. It also mentions a vehicle, yes.

20 Q. And claim 65 similarly mentions one or more sensors of
21 a first vehicle. This is also talking about vehicles,
22 correct?

23 A. That's correct, a vehicle is in lots of these claims.

24 Q. Okay. Now, these claims talk about vehicle
25 headlights, right?

1 MS. SHAH: Objection, asked and answered.

2 A. Yes, these vehicle headlights are mentioned.

3 BY MR. HUGHES:

4 Q. What is your understanding of lumen output?

5 A. In general, or with respect to a particular claim?

6 Q. In general.

7 A. In general, it's related to the amount of light energy
8 source it puts out.

9 Q. And how is lumen output usually calculated?

10 A. Usually it's not calculated, it's measured.

11 Q. How is it measured?

12 A. There are measuring devices engineers and others use
13 to measure lumen output.

14 Q. And what types of devices are they?

15 A. I don't recall specifically what the devices are
16 called or what people tend to use.

17 Q. What units is lumen output measured in?

18 A. I always have to go back to my photometry notes to
19 remember the details of all the various
20 photometric-related quantities and measurements and
21 units and such, and I don't -- I don't have those on
22 the top of my head at the moment.

23 Q. You can't think of any units that relate to lumen
24 output?

25 A. I can, but as I said, I don't have the details with me

1 that I often use to distinguish among the many
2 different photometry or radiometry-related terms and
3 measurements and units and such, and so I won't
4 speculate without that as a reminder.

5 Q. So you said you can't remember some, can you give me
6 an example of what you can remember?

7 A. Specifically some what?

8 Q. You said that you can think of some units that relate
9 to lumen output, can you give some examples of the
10 ones you're thinking of now?

11 A. Well, some examples of these kinds of units are
12 candelas, joules, and other energy measurements, and
13 these are often units of time, units of 2-D space,
14 units of 3-D space. There are a number of different
15 things like -- like seconds or meters or square meters
16 or cubed meters, steradians that come into play and
17 sorts of measurements.

18 Q. You mentioned candelas, what are candelas?

19 A. A unit of measurement related to light energy, but
20 like a lot of these things, I always go back to my
21 table of notes for these sorts of measurements because
22 there's a lot of them, and to make sure I get them
23 right, I always refer to my photometric and
24 radiometric quantity tables, and I don't have those
25 with me right now.

1 Q. Did you look at any of those tables or notes in
2 preparing your declarations?

3 A. I don't recall if I did or not.

4 Q. Now, looking at the claims of the '503 Patent, those
5 don't specify any specific lumen output for the
6 claimed vehicle headlight systems, correct?

7 MS. SHAH: Objection, calls for a legal
8 conclusion.

9 A. Looking through them quickly now, I don't notice any
10 particular lumen output specifications there.

11 BY MR. HUGHES:

12 Q. And in preparing your declarations, do you ever -- do
13 you remember reviewing any claims that had a specific
14 lumen output in them?

15 A. I don't.

16 Q. And the claims of the '503 Patent also don't specify
17 that the vehicle headlight systems must comply with
18 any government standard or regulations, do they?

19 MS. SHAH: Objection, calls for a legal
20 conclusion.

21 A. I don't believe they mentioned that. I don't think
22 I've ever seen a patent that does mention such
23 government standards, but inventors would be aware of
24 it if such standards exist.

25 BY MR. HUGHES:

1 Q. So in the '503 Patent claims, there's no recitation of
2 any government standard or regulation, correct?

3 MS. SHAH: Objection, asked and answered.

4 A. I believe I just answered that.

5 BY MR. HUGHES:

6 Q. So there's not any recitation of any government
7 standard or regulation, correct?

8 MS. SHAH: Same objection.

9 A. I believe that's what I just answered.

10 BY MR. HUGHES:

11 Q. So if we can go back to claim 1 of the '503 Patent,
12 that's in column 95, claim 1 recites a plurality of
13 light clusters; do you see that?

14 A. Yes, I do.

15 Q. Okay. Claim 1 doesn't specify the types of light
16 sources that the clusters are made of, right?

17 MS. SHAH: Objection, calls for a legal
18 conclusion.

19 A. The question if it specifies the type of light
20 sources?

21 BY MR. HUGHES:

22 Q. That's right. Claim 1 doesn't recite any particular
23 claim of light source, does it?

24 A. I don't believe it does.

25 Q. And claim 1 also does not limit the number of light

1 clusters, does it?

2 MS. SHAH: Same objection.

3 A. I don't believe claim 1 limits the number of sources,
4 no.

5 BY MR. HUGHES:

6 Q. So there could be two light clusters, right?

7 MS. SHAH: Objection, calls for a legal
8 conclusion.

9 A. It seems that a plurality of light clusters could
10 include item 2.

11 BY MR. HUGHES:

12 Q. Okay. So there could be two light clusters each
13 comprised of an LED; is that fair?

14 MS. SHAH: Objection, calls for a legal
15 conclusion.

16 A. I'm not sure that I've analyzed all of the possible
17 ways of interpreting that phrase in claim 1 and
18 probably have to look in the specification of the
19 patent to -- to see if anything in there informs that
20 question; so I'm not really sure.

21 BY MR. HUGHES:

22 Q. Okay. Can you look at claim 2? Do you see where that
23 says wherein at least one of the light clusters
24 comprises?

25 A. Yes, I see that.

1 Q. So claim 2 is reciting limitations about one of those
2 light clusters, right?

3 MS. SHAH: Objection, calls for a legal
4 conclusion.

5 A. That seems to be a reasonable explanation for what
6 it's doing.

7 BY MR. HUGHES:

8 Q. And if we go into column 96 where we see, for claim 2,
9 we have at least one of the light clusters comprises,
10 do you see where it says one or more light-emitting
11 sources?

12 A. No. Which line is this?

13 Q. Column 96, line 5.

14 A. And you're just asking do I see where it says one or
15 more light-emitting sources?

16 Q. Yes.

17 A. Yes, I do see that.

18 Q. So claim 2 recites that at least one of the light
19 clusters comprises one or more light-emitting sources,
20 correct?

21 A. I think you're reading that correctly.

22 Q. So claim 2 recites that a light cluster can be one
23 light source, correct?

24 MS. SHAH: Objection, calls for a legal
25 conclusion.

1 A. I haven't considered before just now if that is an
2 accurate conclusion or summary of that, so I'm not
3 sure.

4 BY MR. HUGHES:

5 Q. So where claim 2 says that a light cluster can
6 comprise one light-emitting source, you're not sure if
7 one light source could be a cluster?

8 MS. SHAH: Objection, asked and answered.
9 Again, calls for a legal conclusion.

10 A. What I said I was not sure of is the summary you
11 stated in that last question about claim 2, which was
12 not just the words of claim 2 but, in my
13 understanding, a conclusion from claim 2.

14 BY MR. HUGHES:

15 Q. Reading claim 2, could one light-emitting source be a
16 light cluster?

17 MS. SHAH: Objection, calls for a legal
18 conclusion.

19 A. That's not a question I've thought about or analyzed
20 with respect to this claim, so I have to think about.

21 BY MR. HUGHES:

22 Q. So you're not sure about the scope of claim 2?

23 MS. SHAH: Objection, asked and answered,
24 mischaracterizes -- well, asked and answered.

25 A. What I'm saying is that I've not considered that

1 specific question regarding claim 2.

2 BY MR. HUGHES:

3 Q. And reading just those few lines of the claim right
4 now, you can't answer whether one light source would
5 be a light cluster?

6 MS. SHAH: Objection, asked and answered.
7 The question, again, calls for a legal conclusion.

8 A. I'd say, again, this is something that I have not
9 specifically analyzed that I recall. I don't think
10 that I have an opinion on it in my declaration, and
11 just off the top of my head, I don't particularly want
12 to conclude something that I'm not sure about.

13 MS. SHAH: Maybe we can take a break here.
14 Does that work? We've gone about an hour and a half.
15 And just for forecasting purposes, what do you want to
16 break at, like, 12:30 or 1 for lunch?

17 MR. HUGHES: I'm good with whatever works
18 best for you.

19 MS. SHAH: I mean, I just want to get
20 everything kind of coordinated.

21 COURT REPORTER: Do you guys want to go off
22 the record?

23 MS. SHAH: I'm sorry, yes.

24 VIDEO TECHNICIAN: We are going off the
25 record. The time is 10:15 a.m.

1 (Recess taken at 10:59 a.m.)

2 (On the record at 11:14 a.m.)

3 VIDEO TECHNICIAN: We are back on the
4 record. The time is 11:13 a.m.

5 BY MR. HUGHES:

6 Q. Okay. Dr. Turk, do you still have the '503 Patent in
7 front of you?

8 A. Yes, I do.

9 Q. Okay. Can you go back to claim 1 again.

10 A. Okay.

11 Q. Now, claim 1 doesn't recite that the plurality of
12 light clusters are LEDs, right?

13 A. I don't see LEDs in there.

14 Q. What other types of light sources could the light
15 clusters be?

16 MS. SHAH: Objection, calls for a legal
17 conclusion.

18 A. I'm not sure that I've thought about that or analyzed
19 that particular question, so I'm not sure.

20 BY MR. HUGHES:

21 Q. Could they be incandescent lights?

22 MS. SHAH: Objection, calls for a legal
23 conclusion.

24 A. Again, I'd say since I've not analyzed this, I
25 wouldn't want to speculate on what it could or

1 couldn't be.

2 BY MR. HUGHES:

3 Q. When you analyzed claim 1 and you see that unique
4 source -- sorry, when you analyzed claim 1 with the
5 light cluster, you didn't consider what the light
6 cluster may be?

7 MS. SHAH: Objection, vague, calls for a
8 legal conclusion.

9 A. I may well have at the time but I don't have a -- I
10 didn't express it -- an opinion on that in my
11 declaration and I'm not sure that I have an opinion
12 off the top of my head.

13 BY MR. HUGHES:

14 Q. Can you go to column 17 on the '503 Patent, and do you
15 see light -- if you read through lines 10 through 20
16 there, this is discussing the term "light source,"
17 correct?

18 A. Yes, it is.

19 Q. And do you see where it says the term light source
20 should be understood to include light-emitting diodes
21 of all types, light-emitting polymers, semiconductor
22 dies that produce or emanate light in response to
23 current, organic LEDs, electrode luminescent strips,
24 and other such systems?

25 A. Yes, I see the sentence.

1 Q. And do you see the sentence that follows, it says
2 incandescent and discharge light sources are also
3 included, correct?

4 A. Yes, I see that sentence.

5 Q. So having read that, could an incandescent light be
6 the light sources of a light cluster?

7 MS. SHAH: Objection, calls for a legal
8 conclusion.

9 A. So are you asking me in general or in the context of
10 claims 1 and 2?

11 BY MR. HUGHES:

12 Q. In the context of the claims.

13 MS. SHAH: Objection, vague, and again,
14 calls for a legal conclusion.

15 A. In general, I'm not an expert in claim interpretation,
16 and this is not a question I've particularly analyzed
17 and thought about lately.

18 BY MR. HUGHES:

19 Q. And you said you did review these claims from the
20 point of view of a PHOSITA, correct?

21 A. I did, yes.

22 Q. So from the point of view of a PHOSITA, would an
23 incandescent light be a light source?

24 MS. SHAH: Objection, asked and answered,
25 calls for a legal conclusion.

1 A. A PHOSITA is not necessarily an expert in claim
2 interpretation either, so there could be technical
3 questions about light sources, which in my view is
4 different than interpreting exactly what a claim
5 means; so again, I'm reluctant to specify what I think
6 the correct interpretation of the claim is.

7 BY MR. HUGHES:

8 Q. So a PHOSITA wouldn't be sure what these claims mean?

9 MS. SHAH: Objection, mischaracterizes the
10 witness' many testimony.

11 A. That's not what I said.

12 BY MR. HUGHES:

13 Q. So what does this claim mean to a PHOSITA? This claim
14 term. Sorry.

15 MS. SHAH: Objection, calls for a legal
16 conclusion.

17 A. In terms of what?

18 BY MR. HUGHES:

19 Q. Light source. Let's just say light source. Well, the
20 exact word -- yeah, light-emitting sources.

21 A. So the PHOSITA thinking about that term would bring in
22 the knowledge that person has from education,
23 experience, and such, and the full patent to determine
24 what is intended by a particular term.

25 Q. And we just looked at column 17 of the patent, right?

1 A. We did, yes.

2 Q. And that mentioned incandescent light when it was
3 talking about what can be a light source?

4 A. It did there, yes.

5 Q. And it mentioned discharge light in talking about what
6 can be a light source?

7 A. Yes, it does there.

8 Q. What is a discharge light?

9 A. I don't recall the details specifically. I tend to
10 think of discharge light as a technology where energy
11 is built up, and in discrete moments of time, the
12 energy is disseminated, but again, I don't recall the
13 details of it.

14 Q. So having read column 17 there, in your view, would a
15 PHOSITA understand that the light sources in the
16 claims can be an incandescent light?

17 MS. SHAH: Objection, asked and answered,
18 calls for a legal conclusion.

19 A. I would say how the -- how that phrase "light sources"
20 is used in the claims is not by itself what a PHOSITA
21 is an expert at, or knowledgeable about; so I'm still
22 reluctant to opine on the terms in the claims just in
23 general.

24 BY MR. HUGHES:

25 Q. Would a PHOSITA be knowledgeable about the claims of

1 the patent?

2 MS. SHAH: Objection, calls for a legal
3 conclusion.

4 A. Are you asking me in general?

5 BY MR. HUGHES:

6 Q. Yes, in general.

7 A. Any PHOSITA?

8 Q. A PHOSITA as you've defined it in your declaration.

9 A. I guess not if that PHOSITA has not read the patent.

10 Q. What do you mean by that?

11 A. You asked me -- I think you asked me if a PHOSITA
12 would be knowledgeable about the claims and I don't
13 think a PHOSITA would be if a PHOSITA had not read
14 this patent.

15 Q. What about the limitations cited in the claims, for
16 example, would a PHOSITA be knowledgeable about
17 light-emitting sources?

18 A. That sounded like two different questions to me. Do
19 you mean the technical topic of light-emitting sources
20 or do you mean the claim limitations?

21 Q. Let's say the technical topic of light-emitting
22 sources.

23 A. In general, yes, I think a PHOSITA in this case would
24 be knowledgeable about light-emitting sources.

25 Q. And if a PHOSITA read column 17 which discussed

1 incandescent light and discharge light, would that
2 PHOSITA be able to form opinions on whether those are
3 a light-emitting source?

4 A. I think so, yes.

5 Q. And what would -- in your understanding, would a
6 PHOSITA consider them to be light-emitting sources?

7 A. Incandescent and discharge light sources.

8 Q. Yes.

9 A. Yes, it can. Yes, I believe so.

10 Q. Can we turn to claim 20 in column 97. Just let me
11 know when you're there.

12 A. I'm there.

13 Q. Okay. And do you see the beginning of claim 20 says a
14 vehicle headlight system comprising one or more
15 headlights affixed to a vehicle, each headlamp,
16 including at least three directional light sources
17 aimed at different angles relative to the vehicle.

18 Do you see that?

19 A. Yes, I do.

20 Q. Okay. Claim 20 does not specify what those type of
21 light sources are, correct?

22 A. I don't see any such specification there, correct.

23 Q. And claim 20 doesn't recite that the light sources are
24 LEDs, correct?

25 MS. SHAH: Objection, calls for a legal

1 conclusion.

2 MR. HUGHES: Counsel, I've been kind of
3 letting you go on the speaking objections but I'm not
4 quite sure what asking him whether the claims recite
5 particular words is a legal objection.

6 MS. SHAH: It's not a speaking objection to
7 say it calls for a legal conclusion. You can disagree
8 with me as to the objection, but it's not a speaking
9 objection.

10 MR. HUGHES: But again, I think sometimes
11 I'm asking him to tell me if the claim recites
12 particular words, and I don't know what legal
13 conclusion there may be from whether or not specific
14 words are written in the claim; so I'm just asking him
15 if the words are there.

16 MS. SHAH: That's fine, if you're asking
17 him simply to the words, that's no issue, but a lot of
18 times that is not the...

19 MR. HUGHES: Okay.

20 A. I don't see the word LED in claim 20.

21 BY MR. HUGHES:

22 Q. Okay. And if we can move on to claim 26 at the top of
23 the page, do you see where -- if we go down towards
24 the bottom of that claim, do you see the limitation
25 beginning with determining a control strategy?

1 A. I do, yes.

2 Q. And that's -- excuse me, that says determining a
3 control strategy for controlling one or more
4 directional light clusters, correct?

5 A. Yes, it says that.

6 Q. And the claim doesn't recite what those -- what the
7 lights are in those light clusters, right?

8 MS. SHAH: Objection, calls for a legal
9 conclusion.

10 A. I don't see that it says anything about that.

11 BY MR. HUGHES:

12 Q. Okay. And claim 26 doesn't recite any maximum number
13 of directional light sources, correct?

14 A. I don't see a maximum listed in this claim.

15 Q. Okay. And do you see the word LEDs in those -- in
16 that claim anywhere, or LED?

17 A. No, I don't see the word LED or LEDs there.

18 Q. Okay. Can you go to claim 37 in column 100. Let me
19 know when you're --

20 A. I'm there.

21 Q. Okay. Do you see the second limitation, a plurality
22 of light clusters arranged into one or more of the
23 headlights and capable of illuminating subsections of
24 a field of view with different illumination
25 characteristics?

1 A. Yes, I see that.

2 Q. And this claim, like the others, just recites light
3 clusters in general, right?

4 MS. SHAH: Objection, vague.

5 A. I'm not sure what you mean by just light clusters.

6 BY MR. HUGHES:

7 Q. Does it specify what the lights or the light clusters
8 have to be?

9 MS. SHAH: Objection, calls for a legal
10 conclusion.

11 A. I don't see any words in the claim that do that, no.
12 I don't -- I'm not sure if the specification -- I
13 don't recall if the specification describes that
14 specifically or not, but I don't see those words in
15 the claim.

16 BY MR. HUGHES:

17 Q. Okay. And we don't see the words LEDs or LED in the
18 claim either, right?

19 A. That's correct, I don't see those in there.

20 Q. Okay. And if you can just take a look at claims 59 in
21 column 102, and 65, and let's start with claim 59.
22 Claim 59 recites at least three directional light
23 sources, correct?

24 A. Yes, I see that phrase.

25 Q. Okay. And nothing in claim 59 indicates what type of

1 light those light sources have to be, right?

2 MS. SHAH: Objection, calls for a legal
3 conclusion.

4 A. I don't see any specific words in 59 that do that.
5 Though, again, I'd want to look through the
6 specification to understand if that provides context
7 that would be important here.

8 BY MR. HUGHES:

9 Q. And last independent claim, if you can go to claim 65,
10 that's also -- begins in the beginning of column 102;
11 do you see that?

12 A. Yes, I do.

13 Q. And this claim recites, I think if we go on to column
14 103, do you see where it says determining control
15 instructions?

16 A. Yes.

17 Q. For controlling one or more directional light sources,
18 including in the adaptive headlight system?

19 A. Yes, I see that.

20 Q. Okay. So where it says one or more directional light
21 sources, this could be just one light source?

22 A. I'd say the phrase one or more directional light
23 sources implies to me that it could be one but, as
24 with some other questions, I would say that's my
25 technical opinion, not necessarily my legal

1 understanding of the claim.

2 Q. Okay. So from your technical understanding, where you
3 see one or more directional light sources, that could
4 be one light source?

5 A. I would say the phrase itself out of context, or
6 absent any particular context, can be interpreted like
7 that, yes, but a particular claim, I'm not sure. I'd
8 have to -- I'm not sure for a particular claim.

9 Q. Okay. And claim 65 does not use the word LED or LEDs,
10 does it?

11 A. No, it does not.

12 Q. Okay.

13 MS. SHAH: Just for the record, I have to
14 object to the line of questioning associated with
15 claim interpretation by this technical expert.

16 BY MR. HUGHES:

17 Q. Okay. Can you go back to claim 1 in column 95 of the
18 '503 Patent, please.

19 Okay. In claim 1, do you see the
20 limitation that begins with a logical controller,
21 including a processor?

22 A. Yes.

23 Q. And it says that, if we go down a little bit, wherein
24 the processor is configured to process the one or more
25 algorithms and the sensor data to determine an

1 illumination?

2 A. Yes, I see that.

3 Q. And do you see where it says that this reduces
4 illumination to reduce glare in a subsection?

5 A. Yes, I see approximately those words.

6 Q. And earlier, you see that there are one or more
7 algorithms, right?

8 A. I see that it recites a memory storing in one or more
9 algorithms, yes.

10 Q. And then do you see where it says the processor is
11 configured to process the one or more algorithms and
12 the sensor data?

13 A. Yes, I see that.

14 Q. Does claim 1 include any particular steps of the
15 algorithm?

16 MS. SHAH: Objection, calls for a legal
17 conclusion.

18 A. Which algorithm are you referring to?

19 BY MR. HUGHES:

20 Q. The one or more algorithms recited in the claim. Does
21 the claim recite any particular steps for those
22 algorithms?

23 A. I don't see any steps there specifically, no.

24 Q. And does the claim recite any particular way that the
25 sensor data must be processed?

1 MS. SHAH: Same -- same objection, it calls
2 for a legal conclusion.

3 A. I don't recall if there's relevant context in the
4 specification to this, but I don't see anything in the
5 claim language here, no.

6 BY MR. HUGHES:

7 Q. So in -- there's no claim language on the particular
8 steps for processing the sensor data, right?

9 MS. SHAH: Objection, mischaracterizes the
10 witness' testimony, calls for a legal conclusion.

11 A. I don't see any words in this claim that list
12 particular steps, no.

13 BY MR. HUGHES:

14 Q. Okay. So in interpreting this as a PHOSITA, could any
15 algorithm that can reduce illumination in a
16 subsection, would it be your opinion that that would
17 fall in the scope of this claim?

18 MS. SHAH: Objection, calls for a legal
19 conclusion.

20 I'm sorry, let me just -- are we -- are you
21 going to have a whole series about claim construction?
22 Because then we're going to get the judge on the
23 phone. This is not admissible. He's a technical
24 expert. He is not here as a patent attorney to
25 provide opinions on claim construction.

1 So I've given you latitude, but clearly
2 this last 45 minutes is inadmissible. So tell me how
3 much more you're going to do, if this is what the
4 day's going to be, we might as well get the judge on
5 the phone.

6 MR. HUGHES: I'm not asking for his claim
7 construction. I'm asking his --

8 MS. SHAH: You say that but that is
9 essentially what you're asking him. POSITAS do not
10 trigger claims.

11 MR. HUGHES: I'm asking him whether under
12 his interpretation these things would fall under the
13 claim --

14 MS. SHAH: Scott, you are asking him claim
15 construction questions disguised as a PHOSITA. He's a
16 technical expert. Like I said, we can keep going down
17 this path and we'll get the judge on the phone. Just
18 tell me, is this literally what we're going to do for
19 the next couple of hours?

20 MR. HUGHES: We're not going to do this for
21 the next couple hours. What we're asking him here is
22 his interpretation of how he interpreted these claims.

23 MS. SHAH: He's a technical expert.

24 MR. HUGHES: That's right.

25 MS. SHAH: This is a technical expert

1 deposition. You're asking him very pointed questions
2 about the meaning of claims. That is outside the
3 scope of his declaration, it is outside the scope of
4 what is permissible here for this deposition, and I'm
5 asking you, I have repeatedly made continuous
6 objections because you're asking him to provide legal
7 conclusions as to what claims mean.

8 MR. HUGHES: We can go to his declaration
9 and take a look.

10 MS. SHAH: That would be much better.

11 MR. HUGHES: Okay.

12 This will be Exhibit 2, right?

13 COURT REPORTER: Yep.

14 MR. HUGHES: I'm going to mark as Exhibit 2
15 the second declaration of Matthew Turk from IPR
16 2023-01026.

17 MARKED FOR IDENTIFICATION:

18 EXHIBIT 2

19 11:44 a.m.

20 MS. SHAH: Do you have another copy?

21 MR. HUGHES: I do. There you go.

22 MS. SHAH: Thanks.

23 MR. HUGHES: I did bring four of
24 everything.

25 MS. SHAH: Perfect.

1 BY MR. HUGHES:

2 Q. Okay. And can you go to paragraph 64 on page 52 of
3 this declaration.

4 A. Okay.

5 Q. And in this you were giving your opinion, under
6 heading, on proposed claim construction, correct?

7 A. Yes.

8 Q. And in paragraph 64, you opined that to properly
9 evaluate these claims, I understand that the claims --
10 that the terms of the claims must first be construed,
11 right?

12 A. That is my understanding based on what -- based on my
13 discussions with the attorneys I'm working with.

14 Q. Okay. And then you further opine, saying, in my
15 opinion, the claims of the '503 Patent do not include
16 any terms that require specific construction, right?

17 A. Yes.

18 Q. And you say, instead, each of the claims includes
19 terms that an ordinary artisan would understand
20 according to their claim and ordinary meaning, right?

21 A. That's right.

22 Q. Is that the standard you used in interpreting these
23 claims?

24 A. It's a standard I used in my -- in all of my analysis.

25 Q. And in the questions asked today, are you applying

1 what your understanding of the claim ordinary meaning
2 is to the terms of the claims?

3 MS. SHAH: Objection, compound.

4 A. You're asking me in the questions that you have asked
5 me today am I understanding it that way? Just want to
6 be clear what you're asking me.

7 BY MR. HUGHES:

8 Q. Well, you said, in your opinion, the claims of the
9 '503 do not include any terms that require specific
10 construction. Instead, each of the claims includes
11 terms that an ordinary artisan would understand
12 according to their claim and ordinary meaning,
13 correct?

14 A. Yes.

15 Q. And is that the understanding that you're using in
16 answering the questions today?

17 A. I think in general, yes. I'm not sure exactly what
18 that means for every question you've asked me, but it
19 seems that that's the case, yes.

20 Q. Okay. In the '503 Patent, what is a directional light
21 source?

22 MS. SHAH: Objection, vague.

23 A. I don't recall if the patent defines that
24 specifically. If it does, can you point me to where
25 it might do that?

1 BY MR. HUGHES:

2 Q. So if you look in your declaration at paragraph 51 on
3 page 44, do you see where this says the added
4 controllability offered by breaking the total light
5 output --

6 COURT REPORTER: I'm sorry, you're kind of
7 turned away --

8 MR. HUGHES: Right, sorry.

9 COURT REPORTER: -- and I'm kind of having
10 a hard time hearing you.

11 BY MR. HUGHES:

12 Q. Yeah. The added controllability offered by breaking
13 the total light output up into discrete digital
14 specifically aimable and dimmable elements which can
15 be addressed by control electronics to affect
16 intensity, spectrum, and spacial distribution of
17 intensity and spectrum yields a lighting fixture
18 versus lamp of unparalleled performance?

19 A. Yes, I see that.

20 Q. What is a specifically aimable element?

21 A. I don't recall if the patent defines that specifically
22 and I don't know if I have a succinct definition off
23 the top of my head, but I tend to think of it as a
24 source that would have quite a different effect,
25 depending on its orientation.

1 Q. What do you mean by orientation?

2 A. The direction at which it's pointed.

3 Q. So if different lights were pointed in different
4 directions, would they have different aimings?

5 A. I think it would depend on a few factors, including
6 how different the directions were.

7 Q. How different would directions need to be for you to
8 consider them to have different aimings?

9 A. I don't know that I have a particular quantification
10 in mind for that.

11 Q. Okay. But are you saying if they're very close to
12 each other they may have -- have the same aiming? For
13 example, if you used a compass and they're both
14 generally pointed north, you might say they have the
15 same aiming?

16 MS. SHAH: Objection, vague.

17 A. It would have to be more than just north in that
18 example, but if we were measuring the direction and we
19 found the -- the measure to be -- two different
20 measures to be quite similar, I would perhaps say they
21 have the same aiming.

22 BY MR. HUGHES:

23 Q. Okay. Do you recall you discussed things like the
24 direction, intensity, you know, and beam spread of the
25 lights.

1 A. Possibly. Can you point me to where you're referring?

2 Q. So, for example, in claim 20, if we go back to claim
3 20 of the '503 Patent, oh, I'm sorry, I read that
4 incorrectly.

5 If we go to claim 2, I'm sorry, at the end.

6 A. Sorry, is there a question?

7 Q. The question is, in the context of these patents, what
8 is a light source characteristic?

9 MS. SHAH: Objection, vague.

10 A. In the context of claim 2 of the '503, I would say a
11 light source characteristic includes at least one of
12 direction, intensity, beam spread, spatial light
13 distribution, or spectral light distribution.

14 BY MR. HUGHES:

15 Q. Okay. And different things can change the light
16 characteristics of a light, right?

17 A. In general, yes.

18 Q. Including maybe even how the light source is made?

19 MS. SHAH: Objection, vague.

20 A. That doesn't -- that -- I'm trying to understand the
21 question. It doesn't make sense to me how something
22 is made is -- is a thing of the past and not directly
23 relevant to modifying the characteristic in the
24 present; so I think these are two different things.

25 BY MR. HUGHES:

1 Q. I wasn't asking about a modifying characteristics,
2 just characteristics in general.

3 For example, can you make a light source so
4 it has a certain intensity?

5 A. Light sources are made with different intensities.

6 Q. Yes.

7 A. If that's what you're asking.

8 Q. And then there are things that can -- there are things
9 that can modify the characteristics of a light source,
10 right?

11 MS. SHAH: Objection, vague.

12 A. Generally there are, yes.

13 BY MR. HUGHES:

14 Q. And can a phosphor modify light source
15 characteristics?

16 A. Are you asking a dynamic modifications, something that
17 happens at a particular point in time that can change?
18 Is that what you mean?

19 Q. Just in -- well, let's start with what is a phosphor?

20 A. In general, a phosphor is a material that is typically
21 used in some sort of display technology that can
22 affect the color or spectral characteristics of a
23 source.

24 Q. And how does it do that?

25 A. Through its chemical and physical properties.

1 Q. What are some of the chemical properties?

2 A. I don't really know how to describe chemical
3 properties of a phosphor offhand.

4 Q. Can you use a phosphor with significant LED?

5 A. Did you say with an LED?

6 Q. Mm-hmm.

7 A. To do what? I'm not sure what the question is
8 referring to.

9 Q. If we go to column 20 of the patent -- Okay? -- do you
10 see where it says, in about the first line there, the
11 first full sentence, the light from an LED or group of
12 LEDs of red color operating with an LED of blue and
13 green color impinging on an object would appear to the
14 viewer as white light?

15 A. Yes, I see that.

16 Q. And then if we go a little bit down around line 9 to
17 10, a white LED comprises an emitter in the blue
18 spectrum covered with a phosphor which fluoresces in
19 yellow, such that the combined output appears white.

20 A. Yes, I see that.

21 Q. So can phosphors be used to cover LEDs?

22 A. Yes, they can.

23 Q. And how do they do that?

24 A. I don't know details of how they're done. They're
25 somehow deposited on the surface of the LED, I

1 believe.

2 Q. Okay. Does the phosphor change how it works depending
3 on -- or sorry, before that, is there any power that's
4 sent to a phosphor?

5 MS. SHAH: Objection, vague.

6 A. In general?

7 BY MR. HUGHES:

8 Q. Yes.

9 A. I think there may be phosphors that are powered in
10 some ways but I don't recall for sure.

11 Q. Okay. Does a phosphor change how it works depending
12 on power sent to it?

13 A. I would give the same answer. There may be, but I
14 don't recall.

15 Q. Okay. What light sources are phosphors used with?

16 A. Do you mean in general or the context of this patent?

17 Q. Just any examples you can give.

18 A. Well, in some areas, like in televisions or in
19 computer screens, light is sent to phosphors that can
20 come from a number of different light-producing
21 technologies.

22 Q. And like we saw in the patent, they can be used with
23 LEDs?

24 A. They can, yes.

25 Q. What about incandescent lights?

1 A. What about them specifically?

2 Q. Can you use phosphors with incandescent lights?

3 A. I believe that has been done in some display
4 technologies.

5 Q. Okay. And then we were talking earlier about
6 modifying light source characteristics; do you recall
7 that?

8 A. Yes.

9 Q. And there's other kind of elements you can use to
10 modify light source characteristics, right?

11 A. Other besides phosphors?

12 Q. Yes.

13 A. Yes.

14 Q. Would one of those be a light guide?

15 A. Depending what someone means specifically by the light
16 source characteristics, possibly.

17 Q. What is a light guide to you?

18 A. I don't recall offhand much detail about light guides,
19 but they affect the direction or range of directions
20 of light transmission.

21 Q. And how do they do that?

22 A. I don't recall specifically offhand.

23 Q. Can you give any examples of a light guide, for
24 example, what materials they might be made from?

25 A. I don't have any examples off the top of my head right

1 now.

2 Q. Okay. And you said in your view light guides affect
3 the direction or range of direction of light
4 transmissions; is that right?

5 A. I think that's what I said. Again, I don't recall the
6 details of light guides, but that's just a general
7 sense.

8 Q. What do you mean by range of direction?

9 A. I mean not a single direction but potentially multiple
10 directions.

11 Q. Okay. You wouldn't need any control circuit to send
12 power to a light guide, would you?

13 A. I'm not sure. I'm not sure if there are light guides
14 that are powered or not.

15 Q. Are you aware of light guides that do not need power?

16 A. I expect there are light guides that do not need
17 power, but again, I don't recall the details of light
18 guides very well off the top of my head.

19 Q. Okay. What is a variable light-modifying element?

20 MS. SHAH: Objection, vague.

21 A. As a general term?

22 BY MR. HUGHES:

23 Q. Yes.

24 A. It's a light-modifying element that can change its
25 modifications.

1 Q. What do you mean by change its modifications?

2 A. Change the thing that it's modifying at different
3 points in time.

4 Q. When you say change the thing that it's modifying,
5 what is the thing?

6 A. It depends, it depends on the details and I think just
7 a general hypothetical question, so I don't know.

8 Q. So if we say a light-modifying element is modifying
9 light, would you understand that?

10 A. That sounds redundant to me but I guess I'd understand
11 it.

12 Q. What else could a light-modifying element modify?

13 A. In general? In the abstract? I suppose a
14 light-modifying element could modify specific aspects
15 of light.

16 Q. Like what aspects of light?

17 A. In general, out of any particular context, it could
18 modify, for example, the intensity of light.

19 Q. Okay. And what about color of light?

20 A. Again, in general, without any particular context,
21 that would seem to fit that phrase, yes.

22 Q. And direction of light?

23 A. Same answer for that.

24 Q. Okay. Would a variable light-modifying element, let's
25 say we have one that's modifying direction, okay?

1 A. Okay.

2 Q. If it's a variable light-modifying element, could it
3 change the amount of direction that it shifts the
4 light?

5 MS. SHAH: Objection, vague.

6 A. As a general question, and without any particular
7 context, I just don't know. It all depends on the
8 details and what -- what one would mean in a
9 particular situation.

10 BY MR. HUGHES:

11 Q. Okay. What about a static light-modifying element,
12 what would that be?

13 A. Can you give me an example of that used in my
14 declaration or the patents?

15 Q. Well, in your experience, is there anything that you
16 would consider to be a static light-modifying element?

17 A. Well, the phrase, again, absent any particular
18 context, could be used, I suppose, to describe
19 something that modifies light and is not moving, as
20 opposed to, for example, a dynamic or a moving
21 light-modifying element.

22 Q. So static in that situation being the light-modifying
23 element itself is stationary?

24 A. That's what I meant by it, yes.

25 Q. Okay. What about a light-modifying element whose

1 properties don't change, could that be a static
2 light-modifying element?

3 A. In general, I'm not sure that I've thought about that,
4 so I don't know.

5 Q. Okay. And what about a lens, can a lens be a static
6 light-modifying element?

7 MS. SHAH: Objection, vague, incomplete
8 hypothetical.

9 A. I suppose people could refer to a lens as that.

10 BY MR. HUGHES:

11 Q. If I have a fixed lens, could I modify its properties?

12 MS. SHAH: Objection, vague.

13 A. It seems like a very general question, but I'd say it
14 seems like almost certainly you could, for example,
15 you could paint it black.

16 BY MR. HUGHES:

17 Q. Okay. And if you did paint it black, that would alter
18 its light-modifying properties, right?

19 MS. SHAH: Objection, vague.

20 A. It seems like if someone did that it would, yes.

21 BY MR. HUGHES:

22 Q. And would you consider that changing the
23 light-modifying element?

24 MS. SHAH: Objection, vague, incomplete
25 hypothetical.

1 A. This seems like sort of an odd situation and I'm not
2 sure how I would really consider it.

3 BY MR. HUGHES:

4 Q. Okay. In general, if a system can change the physical
5 properties of a light-modifying element, would you
6 consider that light-modifying element to be variable
7 or static?

8 A. I'm not sure that I've thought about this question
9 before and it may depend on details, so I'm just not
10 sure.

11 Q. So if a system can change the physical properties of a
12 light-modifying element, would you consider that to be
13 variable?

14 MS. SHAH: Objection, incomplete
15 hypothetical.

16 A. Is that the opposite of what you had just asked
17 before?

18 BY MR. HUGHES:

19 Q. Yes. I'll repeat.

20 Do you agree that if something's variable
21 it can change?

22 MS. SHAH: Objection, vague.

23 A. In general, that seems to be a sensible
24 characterization, but how to apply that to any
25 particular situation I think might depend on the

1 details.

2 BY MR. HUGHES:

3 Q. Okay. And for a light-modifying element, if you can
4 change that, would that be varying the light-modifying
5 element?

6 MS. SHAH: Objection, vague, incomplete
7 hypothetical.

8 A. I could imagine someone calling it that, but whether
9 that would be an appropriate term in a particular
10 technical situation, I think it depends on the
11 details.

12 BY MR. HUGHES:

13 Q. Okay. If we can go to claim 2 in columns 95 to 96 of
14 the '503 Patent --

15 MR. HUGHES: Sorry for not -- didn't have
16 it open, I'm sorry.

17 MS. SHAH: No, that's okay.

18 BY MR. HUGHES:

19 Q. Okay. Do you see where claim 2 recites one or more
20 light-emitting sources, each having a variable light
21 source characteristic dependent on power?

22 A. Yes.

23 Q. Okay. And then do you see the claim says alter said
24 light source characteristic via power control?

25 A. Yes, I see that.

1 Q. And this is referring to the variable light source
2 characteristic dependent on power, right?

3 A. By this, do you mean the said light source
4 characteristic?

5 Q. Yes.

6 A. Again, I'm not an expert in interpreting claims, but
7 that would be my understanding of that language, yes.

8 Q. And then going a little further in the claim, do you
9 see where it says there are one or more
10 light-modifying elements provided in the path of the
11 light emitted from at least one of the sources?

12 A. I see those words, yes.

13 Q. So you would understand you could have a light
14 modifier in front of a light source -- right? -- in
15 general.

16 A. In general, outside of any particular context, that's
17 right, yes.

18 Q. Okay. And if I have a light-modifying element, and
19 when I say the path of the light source, I mean the
20 path of light being emitted by that light source; do
21 you understand that?

22 A. I think so.

23 Q. If there were -- if it were only, so if I have a
24 light-modifying element in the path of just one light
25 source, it would only modify a characteristic of that

1 one light source, right?

2 A. I think, as a general question, outside of any
3 particular context, that sounds right to me.

4 Q. Okay. So if there were multiple light sources and the
5 light-modifying element was only in the path of one of
6 them, it wouldn't modify the light source
7 characteristics of the others, right?

8 MS. SHAH: Objection, incomplete
9 hypothetical.

10 A. So in general, I don't recall right now how much
11 you've already specified about this hypothetical
12 light-modifying element, but in general, the
13 light-modifying element may not have to be in the
14 direct path of the light source.

15 So I'm not sure I'd agree with what you
16 just said. Though again, all of this is, in my view,
17 kind of hypothetical, so much would depend on a
18 particular context.

19 BY MR. HUGHES:

20 Q. Would some of the light from a light source have to be
21 incident on a light-modifying element for it to modify
22 that light?

23 MS. SHAH: Objection, vague, incomplete
24 hypothetical.

25 A. Well, if we're including elements that can modify

1 light, for example, by changing their power coming to
2 the light source, or something like that, then
3 modification can be done without the light impinging
4 on the modifier.

5 BY MR. HUGHES:

6 Q. So something that changes the power to a light source,
7 would you consider that to be in the path of the light
8 source?

9 MS. SHAH: Objection, vague.

10 A. I suppose something like that could be or couldn't be,
11 depending on the particular situation.

12 BY MR. HUGHES:

13 Q. How could it be?

14 A. You put it there, I guess.

15 Q. If you put something in the path of the light,
16 wouldn't you expect it to block the light?

17 A. I would think so, yes.

18 Q. So is there a situation where you would put the power
19 source for a light into the path of the light?

20 A. Well, this is your hypothetical, not mine, so I don't
21 know if I would do that.

22 Q. Now, if we're talking about a light-modifying element
23 that modifies light after it's been emitted from a
24 light source, okay?

25 A. Okay.

1 Q. And again, we said we have multiple light sources but
2 this light-modifying element is only in the path of
3 one of them.

4 A. Are you saying that's what this claim says or that's
5 your hypothetical?

6 Q. In my hypothetical. Yeah. If the only light that's
7 incident is one of the light-modifying elements from
8 one of those light sources --

9 A. Understood.

10 Q. -- it wouldn't modify any characteristics of the other
11 light-modifying elements, would it?

12 MS. SHAH: Objection, calls for
13 speculation, incomplete hypothetical.

14 A. The way that you're describing that hypothetical
15 situation to me, I feel that's what you mean, yes.

16 BY MR. HUGHES:

17 Q. If we go back to claim 2 of the '503 Patent, and do
18 you see the limitation around line -- this is line 10
19 in column 96?

20 A. Yes.

21 Q. Do you see at the end there's -- I'll just read the
22 whole thing. This says one or more light-modifying
23 elements provided in the path of light emitted from at
24 least one of the lights -- of the sources, further
25 controlling the light source characteristics, right?

1 A. I see that.

2 Q. And this uses the term light source characteristics
3 plural, correct?

4 A. I see that, yes.

5 MR. HUGHES: Okay. So we are going into
6 Exhibit 3 now, correct?

7 COURT REPORTER: Yes.

8 MARKED FOR IDENTIFICATION:

9 EXHIBIT 3

10 12:29 p.m.

11 BY MR. HUGHES:

12 Q. Okay. So I've handed you what's going to be marked as
13 Exhibit 3. This is a copy of US Patent Application
14 Publication No. 2003-0137849.

15 Do you see that?

16 A. Yes, I do.

17 Q. And the inventor on this is Alden, correct?

18 A. That's right.

19 Q. If I refer to this as the Alden reference, will you
20 understand that?

21 A. Yes.

22 Q. Or just simply Alden?

23 A. Yes, that's fine.

24 Q. Okay. Do you recognize Alden?

25 A. Yes, I do.

1 Q. And this was one of the prior art references that you
2 considered in your declarations, correct?

3 A. That's right.

4 Q. Okay. Can we turn to figure 5 of Alden. Let me know
5 when you're there.

6 A. Okay.

7 Q. And do you see element 121 in Alden?

8 A. Yes, I do.

9 Q. And 121 is a lens, correct?

10 A. Yes, it is.

11 Q. And that -- and then also looking at figure 5, you see
12 element 119?

13 A. I do, yes.

14 Q. That is a lighting element, correct? And if the --
15 you can look at a paragraph 47 of Alden as well, which
16 describes figure 5.

17 A. Yes, it's described as a light element.

18 Q. Okay. And there's multiple light elements shown in
19 figure 5, correct?

20 A. Yes, I believe there are nine.

21 Q. That we can see in the figure here?

22 A. Yes, I believe there are nine in the figure.

23 Q. Okay. So this lens, 121, modifies the light from
24 these lighting elements, correct?

25 A. Yes, I think so.

1 Q. And in fact, Alden describes that this lens focuses
2 the light, and I'm reading from paragraph 47 towards
3 the bottom, such that light from each lighting element
4 goes into a specified portion or portions of the
5 headlight distribution area, correct?

6 A. I'm sorry, where is this again? Oh, I found it. Yes.

7 Q. Okay. And do you see in figure 5, one up towards the
8 top, there's a reference numeral 125?

9 A. Yes.

10 Q. And 125 is an example of one of the sectors of the
11 light distribution area, correct?

12 A. It's a little unclear to me whether 125 refers to the
13 full sector or the topmost ray, but in general, it's
14 referring to that light, yes.

15 Q. If we can go back to paragraph 47 towards the bottom,
16 a few lines up, do you see the sentence starting
17 element emitted light, 125?

18 A. Yes.

19 Q. And that indicates that element emitted light, 125, is
20 an example of the element's light being directed into
21 one sector of the light distribution area.

22 In the illustration, the 125 from 119 falls
23 across a 15-degree section of the headlight
24 distribution area.

25 Do you see that?

1 A. Yes, I see that.

2 Q. Okay. So looking back at figure 5, if only element
3 119 were illuminated, the only light we would see is
4 in that 15-degree segment of 125, correct?

5 A. Well, sort of. One of the problems with this figure
6 is that it's labeled here and mentions in the
7 specification that it's a 15-degree segment, but
8 that's not how it would actually work given -- given
9 the source and lens situation, but I do see that, yes.

10 Q. So what -- if only element 119 is on, so do you agree
11 that there would be light in segment, in the segment
12 that's labeled 125, correct?

13 A. Yes.

14 Q. Would there be any light in, for example, the very
15 bottom segment as represented in figure 5?

16 A. A little bit, yes.

17 Q. Would you expect the light there to be of less
18 intensity than the light measured in segment 125?

19 A. It depends where you measure it.

20 Q. If I'm measuring at the very bottom and I have -- or
21 even in the middle, and I have the lens here, would
22 you expect the light in segment 125 to have a higher
23 intensity than in other areas?

24 MS. SHAH: Objection, compound.

25 A. Where in the segment are you measuring?

1 BY MR. HUGHES:

2 Q. At the ends of the segments as shown on the page.

3 A. Okay. So you measure there, then yes, it would be
4 quite different.

5 Q. Okay. So if there would be a different intensity
6 measured at the end of segment 125 than, for example,
7 some of the segments in -- at the ends of the segments
8 in the middle or towards the bottom?

9 MS. SHAH: Objection, compound.

10 A. In a situation that only element 119 is lit, is that
11 what you mean?

12 BY MR. HUGHES:

13 Q. Yes.

14 A. Then yes, that's how I understand it.

15 Q. Okay. And if there were no lens 121 present, then the
16 light from 119 would not be focused into sector 125,
17 would it?

18 MS. SHAH: Objection, incomplete
19 hypothetical.

20 A. In that situation, there would be light from 119 in
21 sector 125 but not only in sector 125.

22 BY MR. HUGHES:

23 Q. It wouldn't be focused into sector 125 like we see in
24 the figure, right?

25 MS. SHAH: Objection, vague.

1 A. It would be different than the figure.

2 BY MR. HUGHES:

3 Q. If there was -- if we took the lens out of this figure
4 here, the light from 119 would spread across more of
5 the area, wouldn't it?

6 A. Yes, it would.

7 Q. And so if there were no lens, then the light to 125,
8 if we measured the intensity, again, that would be
9 lower than if the lens were there, right?

10 MS. SHAH: Objection, vague.

11 A. You're talking about measuring at the end of segment
12 125?

13 BY MR. HUGHES:

14 Q. Yes.

15 A. Most likely that would be the case if nothing else
16 changed.

17 Q. And similarly, if we remove the lens, we would get a
18 different intensity measurement on light in, for
19 example, the ends of some of the middle segments than
20 we do with the lens present, correct?

21 A. Yes, the lens affects the path of light that goes
22 through it and it's going to affect, therefore, the
23 measurements you make further down the line.

24 Q. Including measurements for intensity of the light?

25 A. Most likely, yes.

1 MR. HUGHES: Just want to check with you on
2 timing. I can start a new one. You said 1:00 for
3 lunch?

4 MS. SHAH: I mean, if this is more of a
5 natural break for you, I think food is here.

6 MR. HUGHES: I wouldn't mind a little break
7 right now.

8 MS. SHAH: Okay.

9 VIDEO TECHNICIAN: We're going off the
10 record. The time is 12:40 p.m.

11 (Recess taken at 12:40 p.m.)

12 (On the record at 1:36 p.m.)

13 VIDEO TECHNICIAN: We are back on record.
14 The time is 1:36 p.m.

15 BY MR. HUGHES:

16 Q. Dr. Turk, do you still have a copy of I believe what
17 was marked Exhibit 3, Alden, in front of you?

18 A. Yes, I do.

19 Q. Okay. Can we turn to paragraph 5 of Alden. Let me
20 know when you're there.

21 A. Okay.

22 Q. Okay. In paragraph 5, Alden says that its headlight
23 system can stay on high beam in all sectors which do
24 not contain a motor vehicle and can currently go on
25 low beam only in those sectors which do contain a

1 motor vehicle, correct?

2 A. You'll have to point me to where you're looking. This
3 is paragraph 5? So were you reading specific words or
4 just summarizing? I'm looking for those words.

5 Q. So it says that it can -- let me give you the exact
6 line here. Give me one second. Sorry, paragraph 6.
7 I read the wrong number. And do you see the last
8 sentence there? It says the result is that the
9 present headlight can stay on high beam in all sectors
10 which do not contain a motor vehicle and can currently
11 go on low beam only in those sectors which do contain
12 a motor vehicle.

13 Do you see that?

14 A. I see that sentence, yes.

15 Q. Okay. And if you can go to paragraph 25 of Alden.

16 A. Okay.

17 Q. Do you see towards the bottom of 25 it says, so the 31
18 comprising a means to detect?

19 A. Yes.

20 Q. And if we go up a little bit earlier in the paragraph,
21 31 is a first vehicle, correct?

22 A. Yes.

23 Q. And that first vehicle is the vehicle with Alden's
24 illumination system, correct?

25 A. Yes.

1 Q. Okay. And do you see where paragraph 25 says that the
2 headlight system can provide a burst intensity of
3 illumination in a sector where, and then it says 33 is
4 detected while concurrently providing a second
5 intensity of illumination where no vehicle is
6 detected?

7 A. Yes, I see that.

8 Q. And if we look at figure 1, 33 is another vehicle in
9 front of the vehicle with the headlight system,
10 correct?

11 A. Yes.

12 Q. So in these paragraphs of Alden, it's saying that its
13 headlights provide one intensity where there is an
14 oncoming vehicle and a different intensity where
15 there -- where no vehicle is detected, right?

16 A. It's describing the two different kinds of sectors
17 where those things are happening, yes.

18 Q. And one of those sectors is a sector where another
19 vehicle has been detected, correct?

20 A. Yes, that's correct.

21 Q. And the other sector, as it says in paragraph 25, is
22 where no vehicle is detected, correct?

23 A. I don't see that it refers to that as a sector, but
24 that is the -- the other area, yes.

25 Q. All right. Do you see in the beginning of paragraph

1 25, the second sentence, says a first vehicle emits a
2 low-beam illumination in a first headlight
3 distribution sector while concurrently emitting a
4 high-beam illumination in a second headlight
5 distribution sector?

6 A. Yes.

7 Q. So again, the second headlight distribution area is
8 where no vehicle is detected, correct?

9 A. Yes, I think that's right.

10 Q. Okay. And can you turn to paragraph 28 in Alden. Let
11 me know when you're there.

12 A. I'm there.

13 Q. And paragraph 28 is describing figure 4 of that
14 reference, corrects?

15 A. Yes, it is.

16 Q. And if you can take a quick look at figure 4, this is
17 a flowchart describing the logic of the process in
18 Alden, correct?

19 A. That's how it's described, yes.

20 Q. Okay. And if we look at the first sentence of
21 paragraph 28, Alden clarified that figure 4
22 illustrates a flowchart describing logic of the
23 process described in figure 3, right?

24 A. That's what it says, yes.

25 Q. Okay. Now, if we look at this, and we go through,

1 first it says a user-controlled switch, 87, gives the
2 user the ability to select whether the system is in an
3 auto mode on, or manual mode off. If the system is
4 off, the user controls the headlights via a manual
5 dimmer switch.

6 Do you see that?

7 A. Yes, I see that.

8 Q. And that's just saying that if the user doesn't want
9 the system to automatically do this flow process, it
10 can choose no and manually dim the lights; is that
11 correct?

12 A. I think that's a reasonable interpretation, yes.

13 Q. If auto mode is on, though, then the system is going
14 to file -- follow the flow logic of figure 4, correct?

15 A. I think that's the intent. I think figure 4 doesn't
16 actually accomplish what the intent seems to be in the
17 specification, but I think that's the intent.

18 Q. So do you agree that when that's on, the system is
19 going to use the auto mode?

20 A. I think that's the understanding, yes.

21 Q. Okay. And do you see in that sentence, when the
22 system is on, and the headlights are on, the CPU
23 checks the status of each perspective sector, 89, of
24 the light sensors.

25 Do you see that?

1 A. I see that. Though I don't think the flowchart
2 matches that.

3 Q. Well, if we -- if we go to the flowchart at 89, that
4 indicates, if we're looking at box 89, that indicates
5 that it's checking is threshold light present at A1L
6 sensor position, right?

7 A. That's right.

8 Q. And then at 91, it's checking is threshold light
9 present at A2L to XYL sensor positions, right?

10 A. If it ever gets to 91, that's what it should do there,
11 yes, but the problem is that it most of the time won't
12 get there.

13 Q. When you say won't get there, what do you mean?

14 A. In a flowchart, you have decision points and you go in
15 one direction or another when presented like this; so
16 the first question is, is the threshold light present
17 at A1L? I would presume. I think any person of
18 ordinary skill in the art looking at this would
19 presume that would be the top left-hand corner, the
20 first sensor position, or at least one of the corners,
21 and the intent after that would be to go along the
22 rows or along the columns.

23 Most likely, in most situations, the answer
24 to that first question's going to be no, in which case
25 it will follow the -- the flow arrow to the right of

1 that box and end up in what's labeled as memory.

2 The only place it can go from there is the
3 box that says compare left map to right map, and then
4 from there, doing things like calculating distance and
5 headlight dim zones and such.

6 So if the very first sensor position is not
7 above threshold, it will never check with the others
8 and will never compile the left and right maps, and so
9 this just would not work at all, and I think that's
10 almost always the case, that that very first sensor
11 position would be under a threshold.

12 Q. So your -- your position is that if the first sensor
13 does not have light above a threshold, the whole
14 process stops?

15 A. It doesn't stop, it continues to follow the flowchart.

16 Q. Okay. Now, you see where in paragraph 28 it said when
17 the system is on, and the headlights are on, the CPU
18 checks the status of each respective sector of the
19 light sensors?

20 A. Yes, and that's what I was just describing, the
21 flowchart does not match that.

22 Q. Do you see the arrow that comes down to 91?

23 A. From 89?

24 Q. Yes.

25 A. Yes, I do.

1 Q. And then there's also an arrow from 91 to the same
2 memory?

3 A. Yes, I see that.

4 Q. So isn't that showing that the check of threshold
5 light at the 91 sensor positions is also going to
6 memory?

7 A. If you get to 91, it will also do like it did in 89,
8 it will check to see if the amount is above threshold,
9 and it will go one of two directions after that check,
10 either down to 93 or to memory.

11 Q. In your -- so again, if we look back at 28 of Alden
12 and following the flowchart we just talked about, it
13 can go to 91 and check those positions, right? Do you
14 see in paragraph 28 where it says, likewise, the
15 amount of light received by each sector of the left
16 sensor sectors A2L through XYL is stored in memory in
17 a left iterative process, 91?

18 A. I see where it says that, yes.

19 Q. Isn't that indicating that the system performs that
20 process, 91, in an iterative manner?

21 A. It is described that way and, again, that's not how
22 the flowchart actually works.

23 Q. Okay. But you agree that the flowchart does show
24 process 91, correct?

25 MS. SHAH: Objection, vague.

1 A. Yes, it does show 91 and, like I described the -- like
2 I described, 91 asks the question and then it will go
3 either down to 93 or over to memory.

4 BY MR. HUGHES:

5 Q. Okay. Looking at paragraph 28, again, it does the
6 same thing for the right sensor, correct?

7 A. Did you say right sensor?

8 Q. Right sensor, yes.

9 A. It's described in similar way to the right sensor,
10 yes.

11 Q. Okay.

12 A. Sensor array, not sensor.

13 Q. And Alden further discloses in paragraph 28, and it
14 shows in figure 4, that a left sensor map and a right
15 sensor map will be compiled.

16 Do you see that?

17 A. I see where paragraph 28 mentions that, yes, and as I
18 described, I think most of the time it won't happen
19 according to the flowchart in figure 4, but yes, I see
20 that in the paragraph.

21 Q. And then that same paragraph further describes that
22 the CPU is going to compare the right map to the left
23 map to determine the distance and intensity of each
24 object sensed in a calculating process, correct?

25 A. Yes, I do see that and I think most of the time there

1 will be no data in these maps to compare according to
2 the flowchart, but yes, I see that.

3 Q. And the paragraph states, if we go on to the next
4 sentence, a triangulation process is used to determine
5 distance of each object.

6 Do you see that?

7 A. I do see that, yes.

8 Q. And those objects are where there was light above a
9 threshold, correct?

10 A. I think the intent of Alden is for it to be more than
11 just that, but it does include that, yes.

12 Q. So if we, for example, if we look at figure 4 and we,
13 you know, look at what are labeled as boxes 89, 91,
14 93, and 95, do you see those?

15 A. Yes, I do.

16 Q. Those boxes ask is threshold light present, correct?

17 A. Yes, that's what they ask.

18 Q. And then do you see in box 105 it says calculate
19 distance to each threshold object?

20 A. I see that, and the missing part here is there's
21 nothing here that describes what a threshold object is
22 compared to a thresholding sensor position; so there's
23 some ambiguity there.

24 Q. Okay. If we look at figure 4, and we're talking about
25 paragraph 28, the process then, after the

1 triangulation process, do you see where we are? We're
2 kind of down towards the middle, the bottom of
3 paragraph 28 now. This is about where step 107 is.

4 A. Yes, I see 107 there.

5 Q. Okay. And it -- it indicates that the location of
6 objects is used to calculate which zones of each
7 headlight need to be dimmed in a calculating headlight
8 dim zone's process, 107.

9 Do you see that?

10 A. I do see that, yes.

11 Q. And this process is done by the CPU, correct?

12 A. I think that's the presumption here.

13 Q. Okay. And towards the end of paragraph 28, Alden says
14 that the light sent to the sensed vehicle location is
15 dimmer than the light sent to all other areas of the
16 headlight distribution area, correct?

17 A. Yes, I see that.

18 Q. And the all other areas would be where there's no
19 sensed vehicle; is that correct?

20 A. I'd say where there's no sensed light above thresholds
21 that is somehow determined to be from a vehicle, but
22 there's no description of that process in Alden.

23 Q. And these areas would be high beam, correct?

24 MS. SHAH: Objection, vague.

25 A. Which area specifically?

1 BY MR. HUGHES:

2 Q. The light. So we have light sent to a sensed vehicle
3 location is dimmer than the light sent to all other
4 areas. Do you see that?

5 A. Yes.

6 Q. And these all -- so is it fair to say that these all
7 other areas would be switched to high beam?

8 A. I'm trying to remember when Alden uses the phrase high
9 beam, but in general, that's the intent, or at least
10 the maximum amount.

11 Q. If we look at -- if we go to figure 4 itself, do you
12 see box 109?

13 A. Yes.

14 Q. Do you see where that says switch other segments to
15 high beam?

16 A. Yes, I see that.

17 Q. And those other segments would be segments where there
18 was not light above the threshold, correct?

19 MS. SHAH: Objection, vague.

20 A. Well, I think the description of Alden is not just
21 about light of a threshold but somehow determining an
22 object and the dim zone around that object; so it's
23 more complicated, I think, than what you said.

24 BY MR. HUGHES:

25 Q. Okay. Now, the -- so the dim zone around the object,

1 that's going to be the light that is, like, dimmed or
2 we see in figure 4 switched to low beam, correct?

3 A. I think that is the intent, yes.

4 Q. And then in the areas, the other segments, those are
5 going to be the ones where there was no detected light
6 and that are not included in that, I think as you
7 described, at the area around the headlights.

8 A. I think that's true.

9 Q. And Alden describes that that area around the
10 headlights, which is also dimmed, is the area where,
11 for example, the driver of the vehicle would be.

12 MS. SHAH: Objection, calls for
13 speculation.

14 A. Are you talking the dimmed area?

15 BY MR. HUGHES:

16 Q. Yes.

17 A. I believe that's the intent.

18 Q. If you -- if we go to paragraph 27, just as an
19 example, do you see the first full sentence it says
20 the 75 area is significantly larger than the headlight
21 dots?

22 A. Paragraph 27?

23 Q. Yes. Oh, I'm sorry, the first full sentence on page
24 3. I apologize.

25 A. I'm there.

1 Q. Okay. Does this inform your answer where this says
2 the 75 area is significantly larger than the headlight
3 dots that were initially received, and it goes on to
4 say, because the CPU has made assumptions about the
5 space that may potentially be occupied by the driver
6 of the sensed vehicle?

7 A. Does it inform my answer to what?

8 Q. Is that what you -- when you said area around the
9 vehicle, is that what you were referring to?

10 A. Yes, there's no detail in Alden about how that area
11 might be determined, but I think that is the intent.

12 Q. Okay. Okay. So if we can stay in figure 4, you see
13 we were looking at 109, and you agree that this
14 indicates that the system is going to switch requisite
15 headlight segments to dim and switch other segments to
16 high beam, correct?

17 MS. SHAH: Objection, vague.

18 A. I agree that those are the words there, but I -- I
19 don't think it's a fully accurate characterization,
20 because most of the other segments will be on high
21 beam anyway, so they don't need switching to high
22 beam.

23 BY MR. HUGHES:

24 Q. So if a sector was on low -- well, let me go to the
25 end.

1 Do you see the very last sentence in
2 paragraph 28, the process then begins again, so as to
3 be responsive to changing environmental conditions in
4 realtime?

5 A. I see that, yes.

6 Q. And do you also see the -- I'm ahead of box 109 in
7 figure 4 on the left, there's an arrow that goes back
8 up to the first step, 89, checking if the threshold
9 light is present?

10 A. Yes, I see that.

11 Q. So if during one iteration of this process there was a
12 vehicle or, you know, there was an object, a threshold
13 object detected in one of the sectors, you agree that
14 would be set to dim, correct?

15 MS. SHAH: Objection, vague.

16 A. I believe that is the intent, yes.

17 BY MR. HUGHES:

18 Q. Okay. And so when the system then repeats the steps
19 in realtime, the system's going to check again to
20 detect for threshold objects, correct?

21 A. It's going to start the whole process again, which
22 includes that, yes.

23 Q. Okay. And so it's going to recalculate headlight dim
24 zones for detecting threshold objects, correct?

25 A. There's no information in Alden, I don't believe,

1 about when the process begins again, if it is keeping
2 around any information from the past; so I'm not sure
3 whether it necessarily calculates them from scratch or
4 not.

5 Q. Okay.

6 A. But the intent is, I believe, to calculate these dim
7 zones every time through.

8 Q. And the dim zones could change depending on changes in
9 the detected light in realtime, correct?

10 A. Yes, that's correct.

11 Q. So is it fair to say that some LEDs may be dim --
12 dimmed one time through and then switched to high beam
13 the next time through?

14 MS. SHAH: Objection, calls for
15 speculation, incomplete hypothetical.

16 A. I think the process described here allows for that
17 possibility, yes.

18 BY MR. HUGHES:

19 Q. So for example, if one zone was determined to have a
20 sensed vehicle in it, it would be switched to dim,
21 correct?

22 A. I would not say that the whole segment would be
23 switched to dim, but some individual elements that
24 were not already dimmed should be switched to dim.

25 Q. So lighting elements that are emitting light into that

1 area where there's a sensed vehicle would be dimmed,
2 correct?

3 MS. SHAH: Objection, vague.

4 A. I think that's the intent, whether it's actually doing
5 this for a vehicle or just to nonvehicle lights is
6 another question, but I think that's the intent.

7 BY MR. HUGHES:

8 Q. And if later in the process in that same sector
9 there's no longer any sensed vehicle, then they would
10 be switched to high beam, correct?

11 A. So did you say later in the process?

12 Q. In another iteration of the process.

13 A. In another iteration.

14 Q. Of the process.

15 A. I think the intent is that any of the lighting
16 elements in that situation you just described would be
17 switched.

18 Q. Okay.

19 A. But not the whole segment necessarily.

20 Q. What do you mean by the whole segment?

21 A. Well, we're talking about segments, or the flowchart
22 talks about switching segments in box 109, and what
23 I'm saying is that's not necessarily what's happening.
24 We've been talking about switching individual
25 elements.

1 Q. So it can be more than one element you're saying
2 that's going to a separate sector; is that what you're
3 saying?

4 A. That's not what I said, but I think that's -- I think
5 that's reasonable.

6 Q. Okay. And in the context of figure 5, we were talking
7 about these lighting elements can be LEDs, correct?

8 A. I believe figure 5's lighting elements are intended to
9 be LEDs.

10 Q. Okay. So in this realtime area process, it's fair to
11 say that some LEDs over time may switch from low beam
12 to high beam, correct?

13 A. Well, depends on whether we're talking about the
14 flowchart at figure 4 or the text. As I've described,
15 I think with the -- if the flowchart of figure 4 is
16 actually the process, then nothing would ever get
17 switched.

18 Q. Well, looking at the process as described in paragraph
19 28, you would agree that over time some of the
20 lighting elements may switch from low beam to high
21 beam; is that fair?

22 A. I think that's the intent described in that paragraph,
23 yes.

24 Q. And similarly, some things that were high beam may
25 switch to low beam, correct?

1 A. Some lighting elements, yes.

2 Q. Okay. So I think you still have in front of you I
3 believe what was marked Exhibit 2. That was your
4 declaration for IPR 2023-0126.

5 A. Yes, I do.

6 MARKED FOR IDENTIFICATION:

7 EXHIBIT 4

8 2:08 p.m.

9 BY MR. HUGHES:

10 Q. So I'm now handing what's been marked as Exhibit 4.
11 Do you recognize this as a copy of your second
12 declaration in IPR 2023-01027?

13 A. Yes, I do.

14 Q. Does it look to be a complete and accurate copy?

15 A. As far as I can tell you, yes.

16 Q. Okay. Can you turn to paragraph 65 of your
17 declaration for the 01027 IPR, and that is on page --
18 page 52.

19 A. Yes.

20 Q. And can you turn to paragraph 65 in your declaration
21 for the 01026 IPR as well. That is on also page 52.

22 A. Yes.

23 Q. Okay. And do you see in paragraph 65 of both you are
24 talking about the plain meaning of two words, "turn"
25 and "curve." Is that correct?

1 A. That's correct.

2 Q. And you have the same -- or paragraph 65 of the 01027
3 is the same as paragraph 65 of 01026, correct?

4 A. Yes, that's correct.

5 Q. Okay. So first you discuss what you consider the
6 plain meaning of the word turn, correct?

7 A. That's right.

8 Q. And there you state that the plain meaning of a turn
9 is a, quote, change of direction when moving, end
10 quote. Correct?

11 A. Yes.

12 Q. And your source for that was Google.com?

13 A. Yes.

14 Q. What do you mean by change of direction?

15 MS. SHAH: Objection, calls for
16 speculation.

17 A. I mean that the direction changes.

18 BY MR. HUGHES:

19 Q. What is a direction?

20 A. I don't know that I have a great, succinct definition
21 off the top of my head, but in general, I think of it
22 as an indication of orientation.

23 Q. Could you determine direction using a compass?

24 A. Do you mean specifically in the context of the '503
25 Patent or in general?

1 Q. I'm asking in the context of your plain meaning of a
2 turn being a change of direction when moving.

3 A. I would say that's not a -- using a compass probably
4 wouldn't be a practical way for many specific
5 situations, but I think probably one could use in some
6 situations.

7 Q. Even if you don't use a compass, per se, would compass
8 headings indicate direction?

9 A. Compass headings are intended to indicate some sort of
10 direction --

11 Q. Okay.

12 A. -- but there are different ways of considering
13 direction, whether -- how many dimensions, what the
14 use of it is; so it's not necessarily a -- it may
15 depend on the situation.

16 Q. Okay. If a -- if in a vehicle the compass heading for
17 which compass direction that vehicle's traveling in
18 changes, would that indicate a change in direction?

19 MS. SHAH: Objection, calls for
20 speculation, incomplete hypothetical.

21 A. I think, depending on the context, the answer might
22 differ. In some contexts, it may be that within a
23 certain range, one considers a single direction there
24 that characterize that full range. In other settings,
25 one might want to be very precise, in which case any

1 compass change might indicate a different direction;
2 so I think it depends.

3 BY MR. HUGHES:

4 Q. So for example, if you're driving and a road, you
5 know, bends around to the right and the compass
6 heading changes from north to northeast, would that be
7 a change in direction?

8 A. I would consider that overall a change in direction.

9 Q. Okay. So also in paragraph 65, you then discuss the
10 meaning of a curve; do you see that?

11 A. Yes, I do.

12 Q. And your opinion was that the plain meaning of a curve
13 is, quote, continuously bending line without angles,
14 end quote, correct?

15 A. That's correct.

16 Q. And you are referring to dictionary.com as your source
17 for that?

18 A. Yes, and I'd say both of these quotes and sources are
19 meant to indicate, not to limit, that is, not meant to
20 say that's the only way of describing a turn or a
21 curve, but yes, that is my source.

22 Q. Okay. What do you mean by continuously bending line?

23 A. Generally a line that continuously bends and doesn't
24 have specific angles.

25 Q. Can the degree of curvature change on a curve?

1 A. How are you defining degree of curvature?

2 Q. So can the amount of curvature, let's say relative to
3 a direction straight ahead, change on a curve?

4 MS. SHAH: Objection, vague.

5 A. Curvature has a -- at least one specific mathematical
6 definition, and it has, I would say, more general
7 definitions. So do you mean the specific mathematical
8 definition of curvature?

9 BY MR. HUGHES:

10 Q. At what -- I guess which definition of curvature are
11 you using here for the plain meaning of the term?

12 A. I don't think I use the word curvature, do I?

13 Q. I'm sorry, curve.

14 A. Okay. So that's different.

15 Q. Are you referring to a mathematical definition of
16 curve here?

17 A. No, I was referring to -- you asked about curvature
18 and I was asking about the mathematical definition of
19 curvature.

20 Q. In paragraph 65 where you discuss the meaning of
21 curve, is that a mathematical definition?

22 A. No, that's intended to be a plain meaning.

23 Q. So you continue in paragraph 65 and you say by their
24 plain meanings alone, a curve lacks the angle, quote,
25 or yeah, the curve lacks the angle that facilitates

1 the change of direction required for a turn.

2 Do you see that?

3 A. I do, yes.

4 Q. Where in your definition of turn is there a mention of
5 an angle?

6 A. The word angle does not show up in what I quoted
7 there.

8 Q. Can one make a turn by steering a car in a curve?

9 MS. SHAH: Objection, vague.

10 A. Well, if you're using the plain meaning of curve in
11 that question, as I'm quoting here, I suppose one
12 could make a turn doing that if it's a very large,
13 broad curve, but that's not the kind that we typically
14 ever see on the roads; so I'm not really sure what
15 that would mean in context of this declaration.

16 MR. HUGHES: I'm now going to hand you what
17 we're going to mark as Exhibit 5.

18 MARKED FOR IDENTIFICATION:

19 EXHIBIT 5

20 2:21 p.m.

21 BY MR. HUGHES:

22 Q. And do you see that this is a copy of a reference with
23 a publication number of WO-0101038?

24 A. Yes.

25 Q. And do you see the inventor first name is Harbers on

1 this?

2 A. I don't think that's the inventor's first name.

3 Q. I'm sorry, the first named inventor on this.

4 A. Yes, I do see that.

5 Q. Yes. Do you recognize this?

6 A. Yes, I do.

7 Q. And this is one of the prior art references that you
8 opined on in your declarations, correct?

9 A. Yes.

10 Q. Okay. If I refer to this as Harbors, will you
11 understand what I mean?

12 A. Yes, I will.

13 Q. Okay. So you agree that Harbors is directed to
14 vehicle headlamp systems, right?

15 A. Yes, it is.

16 Q. And do you agree that Harbers discloses using LEDs as
17 light sources for the vehicle headlights?

18 A. Yes, Harbers discusses LEDs.

19 Q. For example, if we go to page 8 of Harbers, if you
20 look at the paragraph around line 10, this talks about
21 light sources composed of LEDs, correct?

22 A. Light source in figure 2, yes.

23 Q. Yes. And do you see, if we look at the configuration
24 in Harbers towards -- towards the end, do you see
25 there's a figure 1-B?

1 A. Yes.

2 Q. And do you see this figure has kind of different
3 arrows and lines coming out of the headlights of the
4 vehicle?

5 A. Yes, I see that.

6 Q. And if we go to page 7 of Harbers, that indicates that
7 those are, you know, looking at, for example, lines 1
8 to 3, that those are indicating light beams
9 originating from the light source of the headlamp that
10 are subdivided into four beam segments. Do you see
11 that?

12 A. Yes, I do.

13 Q. Okay. And so if we look at that figure, you would
14 agree that each beam segment is going into kind of a
15 different portion of the overall headlight beam,
16 correct?

17 A. Some are going to the same portion, but in general,
18 they go to a range of portions.

19 Q. When you say some go to the same portion, are you
20 referring to the overlap between some of the segments
21 that start with a 6 and some of them that start with a
22 7?

23 A. I was actually thinking about some of the 6s that go
24 to the same and some of the 7s that go to the same.

25 Q. Which one of the 6s go to the same?

1 A. Well, for example, what's labeled just 6, that's kind
2 of a relatively wide beam, leaving the left headlight,
3 left from our perspective, and what's labeled as 6
4 double prime is a narrower beam and some of the rays
5 from both of those beams will go to the same places in
6 the world.

7 Q. And then the two of the other beam segments there
8 would be 6 prime, and 6 prime, I guess triple prime?

9 A. That's correct.

10 Q. And those are going to different sectors than 6 and 6
11 double prime, correct?

12 A. I don't recall offhand if Harbors uses that term,
13 sectors, but they seem to be going to different parts
14 of the space in front of the vehicle.

15 Q. If we look at, for example, page 7, about line 6, for
16 example, the second beam segment, 6 prime, is
17 comprised of a light beam which is directed towards
18 the edge of the road or shoulder of the road, for
19 example, correct?

20 A. Yes, I see that.

21 Q. Okay. And if we go down to line 15 on page 7 of
22 Harbers, this indicates that the light beams may also
23 be composed of more than four beam segments, for
24 example, five or more beam segments having different
25 spatial distributions and/or different spectral

1 characteristics, right?

2 A. I see where it says that, yes.

3 Q. So if we go back a little bit in Harbers, page 5, do
4 you see in the first full sentence on page 5 indicates
5 that you can have spectral characteristics of the
6 light beam generated by the light source dependent
7 upon the position in the light beam.

8 Do you see that?

9 A. It doesn't specifically say that you can do that. I
10 think the sentence is essentially if you do that, then
11 the following will happen.

12 Q. And if we look at the end of that, this paragraph is
13 indicating that the intensity should be maximal in the
14 center of the light beam, correct?

15 A. Sorry, where? Where is this?

16 Q. Around line 6.

17 A. Yes, I see that.

18 Q. And the center of the light beam would be kind of
19 what's going more directly forward of the vehicle,
20 right?

21 A. There's a range, according to the figure, but more or
22 less forward of the vehicle, yes.

23 Q. And on page 5, Harbers talks about on axis versus off
24 axis. Do you see that around lines 8 and 9?

25 A. Yes, I see that.

1 Q. And then it talks about that, again, starting around
2 line 21?

3 A. It does.

4 Q. Do you agree that on axis and off axis refers to
5 different parts of the overall light beam?

6 MS. SHAH: Objection, vague.

7 A. It's unclear to me specifically what parts means, but
8 I do think that's what Alden is trying to communicate
9 here.

10 BY MR. HUGHES:

11 Q. You mean Harbers?

12 A. Sorry, you're right.

13 Q. Okay. Harbers.

14 What is the -- now, the on axis, what is
15 referred to as the on axis part of the beam, that's
16 the part of the beam, if we look at figure 1-B, that
17 would be going more forward, correct?

18 A. Or the center of the beam is on axis?

19 MS. SHAH: Objection, vague.

20 A. I don't recall Harbers defining these concepts, on
21 axis and off axis, but offhand, I would expect on axis
22 to be close to the center of the beam as you just
23 described.

24 BY MR. HUGHES:

25 Q. And Harbers, for example, line 5, it says the

1 intensity of light emitted by a vehicle headlight/lamp
2 decreases as a function of the position in the light
3 beam, i.e., the intensity is maximal in the center of
4 the light beam and gradually decreases in the
5 direction of position situated at larger distance from
6 the center of the light beam.

7 Do you see that?

8 A. I do, which is part of why I think these are not well
9 defined terms in Harbers, in terms of on and off axis.
10 It's described here as being gradual.

11 Q. And the next sentence it says, the intensity of light
12 for on axis viewing is relatively much higher than for
13 off axis viewing, correct?

14 A. Well, specifically it says for the so-called off axis
15 viewing, which again, I think it's another indication
16 of not a clearly-defined term.

17 Q. Still with Harbers, distinguishing between the on axis
18 and the off axis, you agree Harbers discloses
19 providing different light intensity in different parts
20 or segments of the headlight beam, correct?

21 MS. SHAH: Objection, vague.

22 A. I don't recall if I have made that opinion, so I'd
23 have to think about that a little bit.

24 BY MR. HUGHES:

25 Q. If we go back to figure 1-B, so do you see segment,

1 for example, 6 double prime?

2 A. Yes, I do.

3 Q. Okay. Now, you agree that would -- that segment is
4 going kind of in the center of the overall light beam,
5 correct?

6 A. I'd say it's approximately that way, yes.

7 Q. And 6 prime, like we talked about, is defined as
8 approximating directed towards the edge of the road,
9 correct?

10 A. Yes, I think it was defined -- described that way.

11 Q. On page 7, about line 6?

12 A. Yes.

13 Q. And something directed towards the edge of the road
14 would not be the center of the beam, correct?

15 A. Of the overall headlight beam?

16 Q. Yes.

17 A. In this diagram, it would not.

18 Q. Okay. So is it fair to say that you can have a
19 different intensity of light in what's shown as 6
20 prime as compared to 6 double primed?

21 MS. SHAH: Objection, calls for
22 speculation, vague, and incomplete hypothetical.

23 A. I don't recall if Harbers sets any constraints on the
24 intensities of these different segments or parts of
25 them, so I'm not sure what the relationship is likely

1 to be, but I imagine it could be different.

2 BY MR. HUGHES:

3 Q. If we look at page 7, around line 15, Harbers
4 indicates that the light beams, these beam segments
5 have different spatial distributions and/or different
6 spectral characteristics, right?

7 A. I see that, yes.

8 MR. HUGHES: Okay. I'm going to -- Exhibit
9 6, please.

10 MARKED FOR IDENTIFICATION:

11 EXHIBIT 6

12 2:38 p.m.

13 BY MR. HUGHES:

14 Q. Okay. You've been handed what's been marked as
15 Exhibit 6 and this is US Patent No. 4970628.

16 Do you see that?

17 A. Yes.

18 Q. And do you see the name at the top of this is, I
19 believe I'm pronouncing this correctly, Bergkvist?

20 A. Yes.

21 Q. Okay. If I refer to this as Bergkvist, will you
22 understand that?

23 A. That's fine.

24 Q. And do you recognize this document?

25 A. Yes, I do.

1 Q. Is this one of the documents that you reviewed in
2 providing your declarations?

3 A. Yes, it is.

4 Q. And if we look at even just the abstract of Bergkvist,
5 this reference is directed to a vehicle headlamp,
6 correct?

7 A. Yes, it is.

8 Q. And in Bergkvist, if we look at the abstract, and
9 maybe the beginning of column 2, the summary of the
10 invention, this is directed to including an
11 ultraviolet light source in a vehicle headlamp,
12 correct?

13 A. Yes, that's correct.

14 Q. And if we look at column 1, around line 60, do you see
15 where it says if it were possible for a vehicle to
16 transmit ultraviolet light, it would render the
17 painting of road signs, roadside demarkation posts,
18 etcetera, with a fully or partially DayGlo paint more
19 viable than is now the case from a road safety aspect?

20 A. I see those words. I'm trying to recall what the "it"
21 is in terms of if this were possible, it would render,
22 but yes, I see these words.

23 Q. Okay. And so you understand ultraviolet, correct?
24 What is ultraviolet?

25 A. Ultraviolet light is light -- is generally defined to

1 be of higher frequency than visible light, and
2 particularly within a range of frequencies, from just
3 below visible light to higher -- sorry, just above
4 visible light to higher.

5 Q. Okay. And in this column 1 there it was talking about
6 road sides, roadside demarkation posts, and a DayGlo
7 paint, is that -- does UV light or ultra violate light
8 interact with those things in a certain way?

9 MS. SHAH: Objection.

10 A. You're asking me in this document here or in general?

11 BY MR. HUGHES:

12 Q. Is there a difference?

13 A. I don't think the patent here describes all possible
14 interactions; so yeah, there can be a difference.

15 Q. Okay.

16 A. Just trying to understand your question.

17 Q. What happens when UV light is incident on a road sign,
18 for example?

19 A. It would depend on the how the road sign is made.

20 Q. What if the road sign had, for example, DayGlo paints
21 like are mentioned in Bergkvist?

22 A. I think if the wavelength of the light and the DayGlo
23 paint used were matching properly, then the light
24 would be reflected off the sign.

25 Q. And would it be reflected as visible light?

1 A. It could be.

2 Q. So if we look at column 4 of Bergkvist, for example,
3 around line 4, this says the ultraviolet light
4 activates DayGlo paints and consequently objects which
5 are painted with such paints, or which incorporate
6 DayGlo inks or coloring substances, will be
7 illuminated when located within the light lobe of the
8 ultraviolet light.

9 Do you see that?

10 A. I see those words, yes.

11 Q. When it says the driver will -- and then the next
12 sentence says the driver of a vehicle will observe
13 such objects despite said objects being located in an
14 area not irradiated by visible light.

15 Do you see that?

16 A. Yes, I see that.

17 Q. So do you agree this is indicating that with UV light
18 you can -- it will activate DayGlo paints such that
19 they will then be illuminated and give off visible
20 light, correct?

21 A. That is a possibility.

22 Q. If they didn't -- they will do something so the driver
23 can observe them, correct?

24 A. What was the first part of that?

25 Q. The reference says that the driver of the vehicle will

1 observe such objects, correct?

2 A. Yeah, in the case that this is accomplished correctly,
3 then yes, they can.

4 Q. Now, you mentioned earlier the difference between UV
5 light and visible light. Can someone see UV light?

6 A. Actually, it's a little complicated. If the energy is
7 high enough, and it's in a wavelength range pretty
8 close to what's considered visible light, then it is
9 possible.

10 Q. In general, and as we see here in column 4, it says
11 the lights of the vehicle, 1, will not dazzle the
12 driver of the vehicle, 5, while objects 6 and 7, which
13 are located outside the light lobe of the dimmed beams
14 on the vehicle, will be illuminated for the driver of
15 the said vehicle.

16 I know that's a lot. I think we need to
17 turn to figure 1, yes, figure 1, and take a look at
18 that.

19 So do you see in figure 1 we've got a
20 vehicle, it looks like there's a vehicle labeled 2
21 that has some light, 1, coming out of it towards the
22 bottom?

23 A. I thought one was labelling the road.

24 Q. If we look in column 4, it says, Thus in the case of
25 the figure 1 example, the lights of the vehicle 1,

1 will not dazzle the driver of the vehicle 5.

2 A. It's a little confusing because earlier 1 is referred
3 to as the road surface, column 2, line 40.

4 Q. And do you see where that says a car, 2?

5 A. Yes.

6 Q. Yeah. So do you agree where maybe it says vehicle 1
7 in column 4 it would be really mean vehicle 2 there?

8 MS. SHAH: Objection, calls for
9 speculation.

10 A. Well, it says vehicle 1, so that's a little confusing.

11 BY MR. HUGHES:

12 Q. Okay. If we go to column 4, lines 15 to 20, do you
13 see here that it says the ultraviolet light in a
14 dipped beam load will assist the driver in noticing
15 objects within the dipped beam load more readily.
16 These objects may, for instance, be road signs or
17 pedestrians wearing clothes which carry DayGlo colors.

18 Do you see that?

19 A. I do, yes.

20 Q. And this reference indicates that it's the use of the
21 UV light which is going to help the driver to more
22 readily observe those objects, correct?

23 A. In combination with DayGlo-coated clothes, yes.

24 Q. So for any object, for example, that will have DayGlo
25 paint, it will help to more readily observe them?

1 A. It can, yes, with the constraints that I mentioned
2 earlier, that as long as things are matching properly,
3 in terms of wavelength and response and such.

4 MS. SHAH: Would this be a good breaking
5 point?

6 MR. HUGHES: That's fine. We can take a
7 break.

8 VIDEO TECHNICIAN: We are going off the
9 record at 2:49 p.m.

10 (Recess taken at 2:50 p.m.)

11 (On the record at 2:57 p.m.)

12 VIDEO TECHNICIAN: We are back on record.
13 The time is 2:57 p.m.

14 BY MR. HUGHES:

15 Q. Can you turn back to Exhibit 4, this was your second
16 declaration for 01027, and if you can turn to page
17 141.

18 A. Okay.

19 Q. Do you see paragraph 267?

20 A. Yes, I do.

21 Q. And there you referenced detecting a preceding
22 vehicle, i.e., taillights?

23 A. Yes.

24 Q. So is a preceding vehicle a vehicle that's in front of
25 you and traveling in the same direction or in the same

1 lane?

2 A. That's how I understand it, yes.

3 Q. Okay. And have you had experience driving in traffic
4 at night with a lot of cars in front of you?

5 A. Yes, unfortunately.

6 Q. Would you ever turn on your high beams in that
7 situation?

8 MS. SHAH: Objection, calls for
9 speculation.

10 A. I wouldn't prefer to but there may be times where I --
11 I would, or have, because I need to see something.

12 BY MR. HUGHES:

13 Q. If you didn't want to cause glare for all of the other
14 vehicles in front of you, you would want to keep your
15 headlights on low beam everywhere, correct?

16 MS. SHAH: Objection, calls for
17 speculation, incomplete hypothetical.

18 A. You're saying if that was my only objective, not
19 causing glare?

20 BY MR. HUGHES:

21 Q. Yes.

22 A. If that was my only objective, then I might even want
23 to turn my lights off, but low beams would be
24 reasonable, perhaps, also.

25 Q. Okay. What is the intensity of a taillight?

1 A. I don't know offhand.

2 Q. Any idea generally what it might be?

3 A. No, I do not have a good idea.

4 Q. Any range?

5 A. No, I don't have a good guess at that right now.

6 Q. And what about the intensity of a headlight, do you
7 know what that would be?

8 A. I don't have a typical intensity range or measurement
9 on the top of my head, no.

10 Q. But you opine that taillights usually appear dimmer
11 than headlights, right?

12 A. Can you remind me where I opined on that?

13 Q. Yes. We were just looking at page --

14 A. 141?

15 Q. If we look at page 141 into 142, you say taillights
16 across two -- so if we go to the top of page 142, do
17 you see where it says the taillights would appear much
18 dimmer than headlights?

19 A. Yes, I do see that.

20 Q. Okay. So one -- so you do agree that the intensity
21 for a taillight is going to be different for -- than
22 the intensity of a headlight, correct?

23 A. I think in most cases that's correct.

24 Q. Okay.

25 MR. HUGHES: Are we at 7 now?

1 COURT REPORTER: Yes, we are.

2 MARKED FOR IDENTIFICATION:

3 EXHIBIT 7

4 3:02 p.m.

5 BY MR. HUGHES:

6 Q. Yeah, it's a different one.

7 Okay. I'll hand you what's been marked as
8 Exhibit 7. This is a copy of reference W0 0204247.
9 Do you see that?

10 A. Yes, I do.

11 Q. And the first inventor name on this one -- or the
12 first named inventor is Braun; do you see that?

13 A. Yes.

14 Q. If I refer to this as Braun, will you understand?

15 A. Yes.

16 Q. Okay. And do you recognize this reference?

17 A. Yes, I do.

18 Q. And it's also a reference you reviewed in preparing
19 your declarations, correct?

20 A. In at least one of them, yes.

21 Q. Okay. So if we look at Braun including, you know, the
22 figure on the front page in the abstract, you agree
23 that Braun is directed to a vehicle headlamp system,
24 correct?

25 A. Yes.

1 Q. And if we turn to page 7 of Braun, and if we look at
2 lines 8 to 10, Braun's discussing the use of a 3-D
3 range camera, correct?

4 A. Yes.

5 Q. And Braun discloses using this camera in its vehicle
6 headlamp system, right?

7 A. I believe so, yes.

8 Q. Specifically Braun discloses that its 3-D range camera
9 is for... sorry.

10 If we look at that, the 3-D range camera
11 comprises a gated photo surface having pixels and
12 collecting optics that collects light from the scene
13 and images that collective light on the photo surface,
14 correct?

15 A. I do see that, yes.

16 Q. Okay. And if we can turn now to page 17, and if you
17 go to about line 11, this is also talking about the
18 3-D range camera, correct?

19 A. That is discussed here, yes.

20 Q. Okay. And do you see it says that 3-D range camera,
21 62, comprises a photo surface, 64, such as a CCD or
22 CMOS light-sensitive surface having pixel, 66?

23 A. Yes, that's generally referred to as CMOS.

24 Q. Okay.

25 A. Yes.

1 Q. And that light surface is shown, for example, that the
2 photo surface, 64, is shown, for example, in figure 2?
3 Or figure -- if you look at the top right of the
4 figure, there's a 60, and then by that is a 664
5 reference?

6 A. Yes, I see that.

7 Q. Okay. So is it fair to say that Braun's camera
8 comprises of photon detector array?

9 MS. SHAH: Objection, calls for
10 speculation.

11 A. Did you say photon detector array?

12 BY MR. HUGHES:

13 Q. Well, yeah, photon detector, or photo diode type
14 array.

15 MS. SHAH: Same objection.

16 A. It seems to indicate that it can, but not necessarily.

17 BY MR. HUGHES:

18 Q. Would you agree it could at least include CCD or CMOS,
19 correct?

20 A. It does include CCD or CMOS as possibilities in the
21 line you read, yes.

22 Q. Okay.

23 A. But I'd like to add, actually, to finish that answer,
24 that having CCD or CMOS, or whatever kind of array, is
25 very different from shineality (ph.) in a 3-D range

1 camera, as with a video camera, but again, to answer
2 your question, it does list that component here, yes.

3 Q. Okay. Okay. I'd like to go back to the Alden
4 reference which was introduced as Exhibit 3, and if we
5 can turn back to figure 5 of Alden?

6 A. Okay.

7 Q. And you recall we discussed earlier this shows that
8 light output segments from the different lighting
9 elements, 119, shown in the figure, correct?

10 A. Yes, it does -- yes, it does.

11 Q. And we can kind of see the total headlight output
12 would be all of the different lines shown coming out
13 from multiple different elements, correct?

14 MS. SHAH: Objection, calls for
15 speculation, vague.

16 A. The output would include all rays within that range
17 depicted by those lines.

18 BY MR. HUGHES:

19 Q. And those are made up of the light output from each
20 LED, correct?

21 A. That's correct.

22 Q. And each LED projects light into a certain sector of
23 that overall headlight output, right?

24 MS. SHAH: Objection, vague.

25 A. It's a little complicated because the way this is

1 shown, and described. It seems that only one of the
2 LEDs would actually be at the focal length to the
3 lens. That one would produce light as described by
4 the lines for its associated segment. All the others
5 would be a little bit out of focus, essentially, with
6 respect to the lens, because they're not at the same
7 focal distance, and so these are approximates.
8 They're not precise definitions of segments of the
9 light coming from the LEDs.

10 BY MR. HUGHES:

11 Q. With the LEDs and the lens, each LED is going to be
12 directed light into a certain area of the beam, right?
13 For example -- I'll stop there.

14 A. The light of a given LED can't go just anywhere, so it
15 will be directed within a certain range, but what I'm
16 saying is it won't be necessarily specifically what's
17 depicted as a segment here.

18 Q. When all of the LEDs are activated on high beam, then
19 the overall output would be fully illuminated in high
20 beam, right?

21 MS. SHAH: Objection, vague.

22 A. If I understand your question, I think it's correct
23 just by definition. If they're all intended to be
24 high beam, then the combination will be high beam.

25 BY MR. HUGHES:

1 Q. Okay. What if only three of the LEDs are activated on
2 high beam? For example, the one label 119 and the two
3 next to it, would the light -- the overall light
4 output look different?

5 A. And the others are what?

6 Q. Low beam.

7 A. Low beam. It would not look different from this
8 diagram because the diagram's not showing the
9 intensity of the beams.

10 Q. Okay. But with the understanding that some are
11 high-beam intensity, some are low-beam intensity, that
12 the beam would look different if only three of them
13 were activated on high beam as compared to all of
14 them, right?

15 A. Do you mean look different to an observer out in the
16 world?

17 Q. Yes.

18 A. Then I presume that would be true.

19 Q. And if we look at, for example, one -- element 119,
20 that one is directing light, like we talked about
21 earlier as shown on figure 5, into the 15-degree
22 segment identified as 125, right?

23 A. Sort of. The problem here is that this is not
24 actually a 15-degree segment. It's a constant width,
25 swath. I don't know if there's a better word than

1 that.

2 So the way this figure is drawn and
3 explained actually conflicts with some other things
4 that are said about it, for example, this description
5 of 15 degrees.

6 Q. If we, kind of looking at this figure, if -- if just
7 119 and the two next to it were on high beam, and the
8 others are dimmed, we would expect that, to see the
9 high-beam light in kind of what's shown towards the
10 top of figure 5, correct?

11 A. I think that's a correct interpretation.

12 Q. And if now if we go and the lighting element kind of
13 opposed from 119 went all the way towards the top of
14 figure 5, if that one and the one next to it were high
15 beam, and the others were all low, we'd expect to see
16 the high-beam light towards the, what, the bottom of
17 the figure as shown, correct?

18 A. In both of those cases there would be some mixing, but
19 in general, that's correct.

20 Q. Okay. I'm now going to show you what we're going to
21 mark as Exhibit 8.

22 MARKED FOR IDENTIFICATION:

23 EXHIBIT 8

24 3:17 p.m.

25 BY MR. HUGHES:

1 Q. And this is US Patent No. 6049749. Do you see that?

2 A. Yes.

3 Q. And the name on this patent is Kobayashi?

4 A. Yes, I see that.

5 Q. Do you recognize this document?

6 A. Yes, I do.

7 Q. And this is, again, a reference that you considered in
8 providing your declarations, right?

9 A. Yes, it is.

10 Q. Okay. And you agree that Kobayashi is also directed
11 to a vehicle headlamp system?

12 A. Yes, it is.

13 Q. And you agree that Kobayashi is directed towards kind
14 of, as discloses in the abstract, aiming the light
15 from the lighting device based on information about a
16 road profile?

17 A. In general, yes.

18 Q. For example, if we look at figure 10 of Kobayashi, do
19 you see the vehicle identified by K?

20 A. Yes, I do.

21 Q. And if we look at kind of there's a legend down in the
22 bottom right-hand corner, it says direction of
23 irradiation point; do you see that?

24 A. Yes.

25 Q. And there's a kind of double circle at the bottom that

1 says most appropriate irradiating position; do you see
2 that?

3 A. Yes, I do.

4 Q. And those are different than kind of the dotted line
5 arrow that says direction of vehicle body access,
6 right?

7 A. Yes, I see that.

8 Q. And the direction of irradiation point is the
9 direction of illumination from the headlights, right?

10 A. It's at least the desired direction.

11 Q. When you say desired direction, what do you mean?

12 A. Well, Kobayashi uses mechanical means to potentially
13 change direction, and I don't recall offhand if this
14 patent says anything about precision to which it is
15 able to achieve that desired position; so it might
16 be -- desired and actual might be different.

17 Q. Okay. If we look at -- if we go to -- if we go to
18 column 14, about line 53, do you see this at the
19 beginning of description figure 10?

20 A. Okay.

21 Q. And this is talking about an example with a curved
22 road; do you see that?

23 A. Yes.

24 Q. And towards the bottom it says, in accordance with the
25 vehicle speed, the most appropriate irradiating

1 position at the front of the road is determined and
2 the irradiating direction of the headlamp is
3 controlled; do you see that?

4 A. Sorry, just trying to find the words. What line was
5 this on?

6 Q. This is around line 63. In accordance with the
7 vehicle speed, the most appropriate irradiating
8 position at the front of the road is determined and
9 the irradiation direction of the headlamp is
10 controlled; do you see that?

11 A. I do see that.

12 Q. And then if we go on to column 15, we see in kind of
13 the third line there, it says, an arrow and a solid
14 line directed from the front portion of the vehicle to
15 the double circle is an irradiating direction of the
16 headlamp; do you see that?

17 A. I do see that.

18 Q. So there, irradiating direction means the direction
19 the headlamp is going to be shining light, correct?

20 A. I think it's the intended direction determined, as you
21 read on line 65. Whether it actually is that exactly
22 in practice I think is a different question.

23 Q. Can we look back at figure 10 again?

24 A. Yes.

25 Q. And do you see in vehicle K, the first position is

1 designated A; do you see that?

2 A. Yes.

3 Q. And then ahead of that, the road curves, I guess if
4 the vehicle's advancing forward, we would say the road
5 is curving to the left here; do you see that?

6 A. Yes.

7 Q. And then at position B, the road is or the vehicle is
8 kind of in the middle of that curve; do you agree?

9 A. Somewhere around there, yes.

10 Q. At position A, you agree that the vehicle has not yet
11 reached the road curve yet?

12 A. It looks like it hasn't.

13 Q. And when it's at position A, the direction of the
14 irradiation point and the most appropriate irradiation
15 point are not straight ahead of the vehicle, correct?

16 A. That's correct.

17 Q. They're off to the left in the direction of the curve?

18 A. That's correct.

19 MR. HUGHES: Let me just take about a 5,
20 10-minute break.

21 MS. SHAH: Okay.

22 MR. HUGHES: I think I may be winding down
23 here.

24 VIDEO TECHNICIAN: Going off the record.
25 The time is 3:25 p.m.

1 (Recess taken at 3:26 p.m.)

2 MARKED FOR IDENTIFICATION:

3 EXHIBIT 9

4 3:37 p.m.

5 (On the record at 3:37 p.m.)

6 VIDEO TECHNICIAN: We are back on the
7 record. The time is 3:37 p.m.

8 BY MR. HUGHES:

9 Q. So I think you have in front of you what's now been
10 marked as Exhibit 9.

11 A. Yes.

12 Q. And this is a copy of US Patent No. 11,208,029, right?

13 A. That's right.

14 Q. And this is patent to Spero?

15 A. That's correct.

16 Q. And this is the patent that's at issue in IPR
17 2023-01034, correct?

18 A. That's right.

19 Q. Do you recognize this patent?

20 A. Yes, I do.

21 Q. And you've reviewed this patent before?

22 A. I have, yes.

23 Q. Have you read it in its entirety?

24 A. Yes, I have.

25 Q. And you're familiar with the contents of the '029

1 Patent?

2 A. In general, yes.

3 Q. And you're familiar with how the '029 Patent describes
4 its headlight system works, right?

5 A. Yes.

6 Q. In the '029 Patent, what sensors are used to control
7 the headlights?

8 MS. SHAH: Objection, vague.

9 A. I'd like to go to my declaration if I can to remind
10 myself of that. I don't have that one in front of me.

11 BY MR. HUGHES:

12 Q. You don't recall any sensors in the '029 Patent that
13 are used to control the headlights?

14 A. Well, what I said is I'd like to go to the declaration
15 to remind myself of the full answer.

16 MARKED FOR IDENTIFICATION:

17 EXHIBIT 10

18 3:40 p.m.

19 BY MR. HUGHES:

20 Q. And you have what's been marked as Exhibit 10. Do you
21 see this as a copy of your second declaration in IPR
22 2023-01034?

23 A. Yes, I do.

24 Q. Okay. And is this a complete and accurate copy of
25 your declaration?

1 A. It looks like it is.

2 Q. Okay. So the question was, what sensors are used to
3 control the headlights?

4 MS. SHAH: Same objection.

5 A. To my recollection, I believe there are both camera
6 and noncamera sensors, but I'm not remembering the
7 details of them or finding them in my declaration
8 right now.

9 BY MR. HUGHES:

10 Q. What would the camera sensor be used for?

11 A. With -- with these three long patents and long
12 declarations, I'm just not recalling the details of
13 that right now. If you point me to a place in the
14 patent, or in my declaration, I'd be happy to comment
15 on it.

16 Q. So what about the noncamera sensors that you
17 mentioned, what -- what are they used for?

18 A. Well, they're used, at least in part, in controlling a
19 second plurality of the light sources.

20 Q. And what are some examples of the noncamera sensors?

21 A. I believe a steering sensor is one example.

22 MR. HUGHES: Okay. I think that's all the
23 questions I have.

24 MS. SHAH: Okay. If you can give me a
25 couple minutes.

1 MR. HUGHES: Yes.

2 MS. SHAH: Just to see if there's anything
3 more for today.

4 VIDEO TECHNICIAN: We are going off the
5 record. The time is 3:48 p.m.

6 (Recess taken at 3:48 p.m.)

7 (On the record at 3:56 p.m.)

8 VIDEO TECHNICIAN: We are back on record.
9 The time is 3:56 p.m.

10 (The requested portion of the record was
11 read by the reporter at 3:57 p.m.)

12 EXAMINATION

13 BY MS. SHAH:

14 Q. So based on the answer that you gave there, I wanted
15 to ask a question in relationship to what you said.

16 Why is it that only one LED would be at the
17 focal length?

18 A. The focal distance -- the focal distance of a lens
19 like this is a number that describes how far away from
20 the lens, in the direction perpendicular to the lens,
21 parallel rays of light would focus, or similarly, a
22 light source would send out parallel rays. That
23 distance lies along a plane that is at a particular
24 distance from the lens.

25 Because these light sources are not on a

1 plane, I think they're described as along -- on a
2 curve along the focal length, only one of them would
3 be actually on that plane and the others would be off
4 of that plane at various distances.

5 So according to the physics of how lenses
6 work, only that one that's on the plane at the focal
7 distance would project light as described by these
8 lines. The other would be somewhat different.

9 Q. And what would the effect of that be?

10 A. There would be more overlap of the segments than seems
11 to be indicated here. To an observer out in the world
12 in front of this headlight, the desired maximum
13 illumination may differ, depending on where that
14 observer is, sort of to the left and right and there
15 might be other effects as well, but at least those.

16 I should also add that the shape of the
17 light being emitted from any one of those sources will
18 be somewhat different if the sources are not all at
19 the focal distance from the lens.

20 Q. Would there be variation in the shape of the light if
21 that's the optics that would need to be -- that
22 accompany the design?

23 A. To arrive at the effect that I believe Alden is
24 describing for the driver of the vehicle with this
25 device, and for the other drivers, you would need a

1 different kind of lens to make that work with this --
2 this particular setup or architecture.

3 MS. SHAH: Okay. I have no other
4 questions.

5 MR. HUGHES: I have nothing further.

6 VIDEO TECHNICIAN: Okay. This concludes
7 the deposition. The time is 4:03 p.m. We are off the
8 record.

9 (The deposition was concluded at 4:03 p.m.
10 Signature of the witness was not requested
11 by counsel for the respective parties
12 hereto.)
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF NOTARY

STATE OF MICHIGAN)

) SS

COUNTY OF MONROE)

I, LEISA PASTOR, certify that this deposition was taken before me on the date hereinbefore set forth; that the foregoing questions and answers were recorded by me stenographically and reduced to computer transcription; that this is a true, full and correct transcript of my stenographic notes so taken; and that I am not related to, nor of counsel to, either party nor interested in the event of this cause.

A handwritten signature in blue ink, appearing to read "Leisa M. Pastor", is positioned above the printed name and title of the notary.

LEISA PASTOR, CSR-3500, CRR,

Notary Public,

Monroe County, Michigan

My Commission expires: 9/7/27

0	10,894,503 7:14	12:29 73:10	68:13,19 72:17
0101038 102:23	100 46:18	12:30 37:16	97:3 103:22
01026 97:21	102 4:17 47:21	12:40 79:10,11	111:9 114:20
98:3	48:10	13 1:17 5:2,12	115:3,4,7
01027 7:13	103 48:14	130 4:21	121:2
97:17 98:2	105 88:18	131 4:22	20 29:8 39:15
116:16	107 89:3,4,8	133 4:7	44:10,13,20,23
01040 8:3	109 90:12	13th 2:6	45:20 58:2,3
0204247 119:8	92:13 93:6	14 127:18	60:9 115:12
029 7:22,23	95:22	141 116:17	20004 2:7
14:16 19:15	10:03 16:16,17	118:14,15	2003-0137849
20:19 130:25	10:11 21:19	142 118:15,16	73:14
131:3,6,12	10:15 37:25	14711 136:21	202.637.5600
	10:59 38:1	15 26:20 27:4	2:8
1	11 120:17	27:10,16 75:23	2023-01026 1:7
1 4:13 5:13	11,208,029 7:17	76:4,7 105:21	5:18 7:10,13
21:18,21 28:20	130:12	110:3 115:12	53:16
33:11,12,15,22	110 4:18	124:21,24	2023-01027 1:7
33:25 34:3,17	119 4:19 74:12	125:5 128:12	5:18 7:10
37:16 38:9,11	75:22 76:3,10	150 1:14 2:15	97:12
39:3,4 40:10	77:10,16,20	5:19	2023-01034 1:8
49:17,19 50:14	78:4 122:9	17 26:19 39:14	5:18 7:10,16
81:8 103:25	124:2,19 125:7	41:25 42:14	130:17 131:22
104:7 107:16	125:13	43:25 120:16	2023-01039 8:3
108:25 111:14	11:13 38:4	18 26:19	2023-0126 97:4
112:5 114:11	11:14 38:2	1:00 79:2	2024 1:17 5:2
114:17,17,19	11:44 53:19	1:36 79:12,14	5:12
114:21,25,25	121 74:7,9,23	2	21 4:13 107:2
115:2,6,10	77:15	2 4:14 31:13	248.358.4400
10 4:22 11:17	125 4:20 75:8	34:10,22 35:1	2:18
39:15 60:17	75:10,12,17,19	35:8,18,22	25 80:15,17
72:18 103:20	75:22 76:4,12	36:5,11,12,13	81:1,21 82:1
120:2 126:18	76:18,22 77:6	36:15,22 37:1	26 29:10,13
127:19 128:23	77:16,21,21,23	40:10 53:12,14	45:22 46:12
129:20 131:17	78:7,12 124:22	53:18 58:5,10	
131:20			

267 116:19	3:56 133:7,9	5's 96:8	6049749 126:1
27 91:18,22	3:57 133:11	501 20:19	62 120:21
270 26:21	4	503 7:19,19 8:9	63 128:6
28 82:10,13,21	4 4:16 82:13,16	14:9 19:15	64 54:2,8
85:16 86:11,14	82:21 83:14,15	21:22,24 22:7	120:21 121:2
87:5,13,17	87:14,19 88:12	22:21,23,25	65 29:20 47:21
88:25 89:3,13	88:24 90:11	23:15 24:5,18	48:9 49:9
93:2 96:19	91:2 92:12	25:2,7 26:19	97:16,20,23
2:08 97:8	93:7 96:14,15	28:7 32:4,16	98:2,3 100:9
2:21 102:20	97:7,10 113:2	33:1,11 38:6	101:20,23
2:38 110:12	113:3 114:10	39:14 49:18	128:21
2:49 116:9	114:24 115:7	54:15 55:9,20	66 120:22
2:50 116:10	115:12 116:15	58:3,10 68:14	664 121:4
2:57 116:11,13	40 115:3	72:17 98:24	6740991 1:19
3	400n 1:14 2:16	51 56:2	6s 104:23,25
3 4:15 31:14	44 56:3	52 54:2 97:18	7
73:6,9,13	45 52:2	97:21	7 4:19 104:6,22
79:17 82:23	47 74:15 75:2	53 4:14 26:19	105:15,21
91:24 104:8	75:15	127:18	109:11 110:3
120:2,8,10,18	48067 2:17	551 8:7,9	114:12 118:25
120:20 121:25	5:20	555 2:6	119:3,8 120:1
122:4	4970628 110:15	59 29:18 47:20	73 4:15
31 80:17,21	4:03 135:7,9	47:21,22,25	75 91:20 92:2
33 81:3,8	5	48:4	7s 104:24
3500 1:18	5 4:17 35:13	6	8
136:22	74:4,11,16,19	6 4:6,18 80:6	8 4:20 103:19
37 29:16 46:18	75:7 76:2,15	104:21 105:1,3	106:24 120:2
3:02 119:4	79:19,22 80:3	105:8,8,10,10	125:21,23
3:17 125:24	96:6 102:17,19	105:15,16	802 8:9
3:25 129:25	106:3,4,23	106:16 109:1,7	87 83:1
3:26 130:1	107:25 114:12	109:11,19,20	89 83:23 84:3,4
3:37 130:4,5,7	115:1 122:5	110:9,11,15	85:23 86:7
3:40 131:18	124:21 125:10	114:12	88:13 93:8
3:48 133:5,6	125:14 129:19	60 111:14	
		121:4	

9	abstract 64:13 111:4,8 119:22 126:14 access 127:5 accompany 134:22 accomplish 83:16 accomplished 114:2 accordance 127:24 128:6 accurate 10:17 36:2 92:19 97:14 131:24 accurately 8:22 23:24 achieve 127:15 action 5:24 activate 113:18 activated 123:18 124:1 124:13 activates 113:4 actual 24:3 127:16 actually 22:22 76:8 83:16 86:22 95:4 96:16 104:23 114:6 121:23 123:2 124:24 125:3 128:21 134:3	adaptive 48:18 add 25:5 121:23 134:16 added 56:3,12 additional 8:1 addressed 56:15 administer 5:23 admissible 51:23 advancing 129:4 advertising 20:23 affect 56:15 59:22 62:19 63:2 78:22 affects 78:21 affiliations 6:3 affixed 44:15 agree 67:20 70:15 76:10 83:18 86:23 92:13,18 93:13 96:19 103:13 103:16 104:14 107:4 108:18 109:3 113:17 115:6 118:20 119:22 121:18 126:10,13 129:8,10 ahead 93:6 101:3 129:3,15	aimable 56:14 56:20 aimed 44:17 aiming 57:12 57:15,21 126:14 aimings 57:4,8 air 26:21 27:4 airplane 26:13 26:15 28:8 alan 7:4 alden 8:16 73:17,19,22,24 74:4,7,15 75:1 79:17,19,22 80:15 81:12 82:10,18,21 86:11 87:13 88:10 89:13,22 90:8,20 91:9 92:10 93:25 107:8 122:3,5 134:23 alden's 80:23 algorithm 50:15,18 51:15 algorithms 49:25 50:7,9 50:11,20,22 allows 94:16 alter 66:17 68:23 ambiguity 88:23
9 4:21 60:16 106:24 130:3 130:10 9/7/27 136:25 91 84:8,10 85:22 86:1,5,7 86:13,17,20,24 87:1,2 88:13 93 86:10 87:3 88:14 95 33:12 49:17 68:13 88:14 96 35:8,13 68:13 72:19 97 4:16 44:10 9:44 1:16 5:3 5:11			
a			
a.m. 1:16 5:3 5:11 16:16,17 21:19 37:25 38:1,2,4 53:19 a1l 84:5,17 a2l 84:9 86:16 ability 83:2 able 20:11 24:2 44:2 127:15 above 85:7,13 86:8 88:8 89:20 90:18 112:3 absent 49:6 65:17			

amount 10:14 10:15 11:20,24 15:4 16:5,6 30:7 65:3 86:8 86:15 90:10 101:2 analysis 18:18 23:23 26:9 27:2 54:24 analyze 21:15 22:11 analyzed 21:4 21:8 23:22 24:12 26:2 34:16 36:19 37:9 38:18,24 39:3,4 40:16 angle 101:24 101:25 102:5,6 angles 44:17 100:13,24 answer 9:4,9 9:12 20:4 23:6 23:9,12,24 25:5 26:24 27:17 37:4 61:13 64:23 84:23 92:1,7 99:21 121:23 122:1 131:15 133:14 answered 21:13 23:19 27:12 28:12,24 30:1 33:3,4,9	36:8,23,24 37:6 40:24 42:17 answering 9:11 55:16 answers 9:7 136:9 anticipation 18:2 anyway 92:21 apologize 91:24 appeal 1:2 5:17 appear 60:13 118:10,17 appearance 6:5 appearances 2:1 6:3 appearing 2:20 appears 60:19 application 73:13 apply 26:4,5 67:24 applying 54:25 appropriate 68:9 127:1,25 128:7 129:14 approximately 50:5 109:6 approximates 123:7 approximating 109:8 architecture 135:2	area 75:5,11,21 75:24 78:5 81:24 82:7 89:16,25 91:7 91:9,10,14,20 92:2,8,10 95:1 96:10 113:14 123:12 areas 61:18 76:23 89:15,18 89:23 90:4,7 91:4 arranged 46:22 array 87:12 121:8,11,14,24 arrive 134:23 arrow 84:25 85:22 86:1 93:7 127:5 128:13 arrows 104:3 art 8:12 18:7 18:11 74:1 84:18 103:7 artisan 54:19 55:11 asked 17:9 21:13 23:7,19 27:12 28:12,24 30:1 33:3 36:8 36:23,24 37:6 40:24 42:17 43:11,11 54:25 55:4,18 67:16 101:17	asking 16:25 17:7 18:20 19:12 20:19 35:14 40:9 43:4 45:4,11 45:14,16 52:6 52:7,9,11,14,21 53:1,5,6 55:4,6 59:1,7,16 99:1 101:18 112:10 asks 87:2 aspect 111:19 aspects 19:7 64:14,16 assist 115:14 associate 3:3,4 3:5 associated 49:14 123:4 assumptions 92:4 attached 4:11 attempted 18:13 attempts 24:5,7 attending 6:2 attention 17:4 attorney 6:6 19:2 51:24 attorneys 15:9 15:11,15 16:9 54:13 audible 9:12 authorized 5:23
--	---	---	--

auto 83:3,13,19 automatically 83:9 avoid 9:6 aware 32:23 63:15 axis 106:23,24 107:4,4,14,15 107:18,21,21 107:21 108:9 108:12,13,14 108:17,18	89:23 90:7,9 90:15 91:2 92:16,21,22 94:12 95:10 96:11,12,20,21 96:24,25 104:10,14,15 105:2,4,7,16,17 105:23,24 106:6,7,14,18 107:5,15,16,18 107:22 108:3,4 108:6,20 109:4 109:14,15 110:4 115:14 115:15 117:15 123:12,18,20 123:24,24 124:2,6,7,11,11 124:12,13 125:7,9,15,16 beams 104:8 105:5,22 110:4 114:13 117:6 117:23 124:9 beginning 6:5 44:13 45:25 48:10 81:25 111:9 127:19 begins 48:10 49:20 93:2 94:1 behalf 2:20 6:7 6:10,13	believe 7:18 13:5 22:11 24:5 32:21 33:4,9,24 34:3 44:9 61:1 62:3 74:20,22 79:16 91:17 93:16,25 94:6 96:8 97:3 110:19 120:7 132:5,21 134:23 bending 100:13 100:22 bends 100:5,23 benz 1:5 5:15 6:8,13 bergkvist 110:19,21 111:4,8 112:21 113:2 best 37:18 better 53:10 124:25 big 20:23 billboard 20:15 20:20,22,22 22:4,8 23:18 bit 22:14 49:23 60:16 76:16 80:20 106:3 108:23 123:5 black 66:15,17 block 71:16 blue 60:12,17	board 1:2 5:17 boat 26:7,10,24 27:17 body 127:5 bottom 45:24 75:3,15 76:15 76:20 77:8 80:17 89:2 114:22 125:16 126:22,25 127:24 box 84:4 85:1,3 88:18 90:12 93:6 95:22 boxes 88:13,16 braun 119:12 119:14,21,23 120:1,5,8 braun's 120:2 121:7 break 37:13,16 79:5,6 116:7 129:20 breaking 56:4 56:12 116:4 brett 3:5 bring 41:21 53:23 broad 102:13 brooks 2:14 6:11 brookskushm... 2:19 brought 17:3
b			
b 103:25 107:16 108:25 129:7 back 15:15 16:18 30:18 31:20 33:11 38:3,9 49:17 58:2 72:17 75:15 76:2 79:13 86:11 93:7 106:3 108:25 116:12 116:15 122:3,5 128:23 130:6 133:8 barbara 9:22 based 15:8 54:12,12 126:15 133:14 beam 57:24 58:12 79:23,25 80:9,11 82:2,4			

built 42:11	camera 20:12	112:8 122:22	characterizati...
burst 81:2	120:3,5,8,10,18	123:12,15	67:24 92:19
c	120:20 121:7	certainly 19:14	characterize
	122:1,1 132:5	66:14	99:24
calculate 88:18	132:10	certificate	check 79:1 85:7
89:6 94:6	candelas 31:12	136:1	86:4,8,9,13
calculated 30:9	31:18,18	certify 136:6	93:19
30:10	capable 46:23	chance 17:12	checking 84:5,8
calculates 94:3	car 20:15,23,24	change 58:15	93:8
calculating	22:4,8 23:18	59:17 61:2,11	checks 83:23
85:4 87:24	25:17,23 26:5	63:24 64:1,2,4	85:18
89:7	102:8 115:4	65:3 66:1 67:4	chemical 59:25
california 9:22	carry 115:17	67:11,21 68:4	60:1,2
called 5:6 6:20	cars 117:4	94:8 98:9,14	chicago 9:17
30:16 108:14	case 1:7 5:17	99:2,18 100:1	choose 83:10
calling 68:8	13:16 15:9	100:7,8,25	circle 126:25
calls 18:17 21:1	43:23 55:19	101:3 102:1	128:15
24:9 25:18,25	78:15 84:24	127:13	circuit 63:11
26:8 27:1,19	85:10 99:25	changed 78:16	cited 12:11,15
28:2,13,25	111:19 114:2	changes 71:6	12:19 28:11
32:7,19 33:17	114:24	94:8 98:17	43:15
34:7,14 35:3	cases 13:20	99:18 100:6	claim 19:9,11
35:24 36:9,17	118:23 125:18	changing 66:22	19:13 20:6
37:7 38:16,22	cause 117:13	71:1 93:3	27:8 28:19,23
39:7 40:7,14	136:14	characteristic	29:8,10,13,16
40:25 41:15	causing 117:19	58:8,11,23	29:18,20 30:5
42:18 43:2	ccd 120:21	68:21,24 69:2	33:11,12,15,22
44:25 45:7	121:18,20,24	69:4,25	33:23,25 34:3
46:8 47:9 48:2	center 106:14	characteristics	34:17,22 35:1
50:16 51:1,10	106:18 107:18	46:25 58:16	35:8,18,22
51:18 72:12	107:22 108:3,6	59:1,2,9,15,22	36:5,11,12,13
91:12 94:14	109:4,14	62:6,10,16	36:15,20,22
98:15 99:19	certain 16:25	70:7 72:10,25	37:1,3 38:9,11
109:21 115:8	24:22 25:13	73:2 106:1,5	39:3,4 40:15
117:8,16 121:9	59:4 99:23	110:6	41:1,4,6,13,13
122:14			

43:20 44:10,13 44:20,23 45:11 45:14,20,22,24 46:6,12,14,16 46:18 47:2,11 47:15,18,21,22 47:25 48:9,9 48:13 49:1,7,8 49:9,15,17,19 50:14,20,21,24 51:5,7,11,17,21 51:25 52:6,13 52:14 54:6,20 55:1,12 58:2,2 58:5,10 68:13 68:19,23 69:8 72:4,17 claimed 32:6 claims 18:11,15 18:23 25:7,10 25:13,16,24 26:25 27:6,11 27:18,23 28:1 28:5,11,19 29:6,17,23,24 32:4,13,16 33:1 40:10,12 40:19 41:8 42:16,20,22,25 43:12,15 45:4 47:20 52:10,22 53:2,7 54:9,9 54:10,15,18,23 55:2,8,10 69:6	clarification 9:3 clarified 82:21 class 24:23 clear 55:6 clearly 12:20 52:1 108:16 close 57:11 107:22 114:8 clothes 115:17 115:23 cluster 35:22 36:5,7,16 37:5 39:5,6 40:6 clusters 33:13 33:16 34:1,6,9 34:12,23 35:2 35:9,19 38:12 38:15 46:4,7 46:22 47:3,5,7 cmos 120:22,23 121:18,20,24 coated 115:23 collecting 120:12 collective 120:13 collects 120:12 color 59:22 60:12,13 64:19 coloring 113:6 colors 115:17 column 26:19 33:12 35:8,13 39:14 41:25	42:14 43:25 44:10 46:18 47:21 48:10,13 49:17 60:9 72:19 111:9,14 112:5 113:2 114:10,24 115:3,7,12 127:18 128:12 columns 68:13 84:22 combination 115:23 123:24 combined 60:19 come 16:8 31:16 61:20 comes 85:22 comfortable 14:12,14 coming 71:1 104:3 114:21 122:12 123:9 commencing 1:16 comment 16:7 20:6 132:14 commission 136:25 communicate 107:8 compare 85:3 87:22 88:1 compared 10:10 88:22	109:20 124:13 compass 57:13 98:23 99:3,7,7 99:9,16,17 100:1,5 compile 85:8 compiled 87:15 complete 97:14 131:24 completeness 29:18 complicated 90:23 114:6 122:25 comply 32:17 component 122:2 composed 103:21 105:23 compound 55:3 76:24 77:9 comprise 36:6 comprised 34:13 105:17 comprises 34:24 35:9,19 60:17 120:11 120:21 121:8 comprising 44:14 80:18 computer 61:19 136:10 concepts 15:17 107:20
--	---	--	---

conclude 37:12	consider 39:5	61:16 64:17,20	130:12 131:21
concluded	44:6 57:8	65:7,18 69:16	131:24
135:9	65:16 66:22	70:3,18 96:6	corner 84:19
concludes	67:2,6,12 71:7	98:24 99:1,21	126:22
135:6	98:5 100:8	102:15	corners 84:20
conclusion	considered	contexts 99:22	correct 7:7,8,11
25:19 26:1	12:8 26:10,15	continue 25:3	7:14,17 8:3,10
27:20 28:3,13	26:16 27:21,25	101:23	8:14,17,19
28:25 32:8,20	28:4 36:1,25	continues	9:17 20:13
33:18 34:8,15	74:2 114:8	85:15	25:2,8 27:13
35:4,25 36:2,9	126:7	continuous	29:14,22,23
36:13,18 37:7	considering	53:5	32:6 33:2,7
38:17,23 39:8	99:12	continuously	35:20,23 39:17
40:8,14,25	considers 99:23	100:13,22,23	40:3,20 41:6
41:16 42:18	constant	control 45:25	44:21,22,24
43:3 45:1,7,13	124:24	46:3 48:14	46:4,13 47:19
46:9 47:10	constraints	56:15 63:11	47:23 54:6
48:3 50:17	109:23 116:1	68:24 131:6,13	55:13 73:3,6
51:2,10,19	construction	132:3	73:17 74:2,9
conclusions	51:21,25 52:7	controllability	74:14,19,24
53:7	52:15 54:6,16	56:4,12	75:5,11 76:4
concurrently	55:10	controlled 83:1	76:12 78:20
81:4 82:3	construed	128:3,10	80:1,21,24
conditions 93:3	54:10	controller	81:10,19,20,22
confident 11:14	consulting 10:2	49:20	82:8,18 83:11
configuration	10:10	controlling	83:14 86:24
103:23	contain 79:24	46:3 48:17	87:6,24 88:9
configured	79:25 80:10,11	72:25 132:18	88:16 89:11,16
49:24 50:11	contents 4:1	controls 83:4	89:19,23 90:18
conflicts 125:3	130:25	coordinated	91:2 92:16
confusing	context 19:4,6	37:20	93:14,20,24
115:2,10	19:7,12,14	copy 25:1	94:9,10,21
connections 7:6	27:5 40:9,12	53:20 73:13	95:2,10 96:7
consequently	48:6 49:5,6	79:16 97:11,14	96:12,25 97:25
113:4	51:3 58:7,10	102:22 119:8	98:1,3,4,6,10

100:14,15 103:8,21 104:16 105:9 105:11,19 106:14 107:17 108:13,20 109:5,9,14 111:6,12,13,23 113:20,23 114:1 115:22 117:15 118:22 118:23 119:19 119:24 120:3 120:14,18 121:19 122:9 122:13,20,21 123:22 125:10 125:11,17,19 128:19 129:15 129:16,18 130:15,17 136:11 correctly 35:21 110:19 114:2 corrects 82:14 counsel 2:11 6:1 9:4 45:2 135:11 136:13 counts 9:23 county 136:4 136:24 couple 13:9,9 52:19,21 132:25	court 6:15,17 9:10,13 16:19 37:21 53:13 56:6,9 73:7 119:1 cover 60:21 covered 60:18 cpu 83:22 85:17 87:22 89:11 92:4 crr 1:18 136:22 csr 1:18 136:22 cubed 31:16 current 39:23 currently 9:16 79:24 80:10 curvature 100:25 101:1,2 101:5,8,10,12 101:17,19 curve 97:25 100:10,12,21 100:25 101:3 101:13,16,21 101:24,25 102:8,10,13 129:8,11,17 134:2 curved 127:21 curves 129:3 curving 129:5	d.c. 2:7 data 49:25 50:12,25 51:8 88:1 date 136:7 day's 52:4 dayglo 111:18 112:6,20,22 113:4,6,18 115:17,23,24 dazzle 114:11 115:1 dealership 20:23 decision 84:14 declaration 10:19 11:22,25 12:2 14:17 15:17,20,22,23 16:13,22,23 18:20 29:3 37:10 39:11 43:8 53:3,8,15 54:3 56:2 65:14 97:4,12 97:17,20 102:15 116:16 131:9,14,21,25 132:7,14 declarations 7:6 10:19,22 11:9 12:7,8,11 12:19,23 13:14 13:18 14:5,9 15:6 16:12	17:23 18:10 28:15 32:2,12 74:2 103:8 111:2 119:19 126:8 132:12 decreases 108:2,4 defined 43:8 108:9,16 109:7 109:10 111:25 defines 55:23 56:21 defining 101:1 107:20 definition 18:6 56:22 98:20 101:6,8,10,15 101:18,21 102:4 123:23 definitions 101:7 123:8 degree 75:23 76:4,7 100:25 101:1 124:21 124:24 degrees 125:5 demarkation 111:17 112:6 depend 19:3 57:5 67:9,25 70:17 99:15 112:19 dependent 68:21 69:2 106:6
	d		
	d 31:13,14 120:2,8,10,18 120:20 121:25		

depending 15:7 56:25 61:2,11 62:15 71:11 94:8 99:21 134:13 depends 64:6,6 65:7 68:10 76:19 96:13 100:2 depicted 122:17 123:17 deposed 7:5,25 8:25 14:5 deposited 60:25 deposition 1:13 5:14,19 9:1 12:5,17 53:1,4 135:7,9 136:7 describe 60:2 65:18 described 19:16 24:17 26:11 74:17 82:19,23 86:21 87:1,2,9,18 91:7 94:16 95:16 96:14,18 96:22 107:23 108:10 109:10 123:1,3 134:1 134:7 describes 47:13 74:16 75:1 87:21 88:21	91:9 112:13 131:3 133:19 describing 19:12 21:11 27:3 72:14 81:16 82:13,17 82:22 85:20 100:20 134:24 description 27:16 89:22 90:20 125:4 127:19 design 134:22 designated 129:1 desired 127:10 127:11,15,16 134:12 despite 113:13 detail 62:18 92:10 details 30:19 30:25 42:9,13 60:24 63:6,17 64:6 65:8 67:9 68:1,11 132:7 132:12 detect 19:16,19 19:25 20:9 80:18 93:20 detected 81:4,6 81:15,19,22 82:8 91:5 93:13 94:9	detecting 93:24 116:21 detector 121:8 121:11,13 determine 22:3 22:7 41:23 49:25 87:23 88:4 98:23 determined 89:21 92:11 94:19 128:1,8 128:20 determining 45:25 46:2 48:14 90:21 device 126:15 134:25 devices 30:12 30:14,15 diagram 109:17 124:8 diagram's 124:8 dictionary.com 100:16 dies 39:22 differ 99:22 134:13 difference 112:12,14 114:4 different 18:15 18:23 31:2,14 41:4 43:18 44:17 46:24	56:24 57:3,3,4 57:6,7,8,19 58:15,24 59:5 61:20 64:2 77:4,5 78:1,18 81:14,16 99:12 100:1 101:14 104:2,15 105:10,13,24 105:25 107:5 108:19,19 109:19,24 110:1,5,5 118:21 119:6 121:25 122:8 122:12,13 124:4,7,12,15 127:4,16 128:22 134:8 134:18 135:1 digital 56:13 dim 83:10 85:5 89:8 90:22,25 92:15 93:14,23 94:6,8,11,20,23 94:24 dimensions 99:13 dimnable 56:14 dimmed 89:7 91:1,10,14 94:12,24 95:1 114:13 125:8
---	---	---	--

dimmer 83:5 89:15 90:3 118:10,18 diode 121:13 diodes 39:20 dipped 115:14 115:15 direct 70:14 directed 25:10 75:20 103:13 105:17 109:8 109:13 111:5 111:10 119:23 123:12,15 126:10,13 128:14 directing 124:20 direction 57:2 57:18,24 58:12 62:19 63:3,3,8 63:9 64:22,25 65:3 84:15 98:9,14,17,19 98:23 99:2,8 99:10,13,17,18 99:23 100:1,7 100:8 101:3 102:1 108:5 116:25 126:22 127:5,8,9,10,11 127:13 128:2,9 128:15,18,18 128:20 129:13 129:17 133:20	directional 44:16 46:4,13 47:22 48:17,20 48:22 49:3 55:20 directions 57:4 57:6,7 62:19 63:10 86:9 directly 58:22 106:19 disagree 45:7 discharge 40:2 42:5,8,10 44:1 44:7 discloses 87:13 103:16 108:18 120:5,8 126:14 discrete 42:11 56:13 discuss 16:7 18:2 98:5 100:9 101:20 discussed 12:23 14:4 43:25 57:23 120:19 122:7 discusses 103:18 discussing 39:16 120:2 discussion 28:5 discussions 15:8,8 54:13 disguised 52:15	display 59:21 62:3 disseminated 42:12 distance 85:4 87:23 88:5,19 108:5 123:7 133:18,18,23 133:24 134:7 134:19 distances 134:4 distinguish 31:1 distinguishing 108:17 distribution 56:16 58:13,13 75:5,11,21,24 82:3,5,7 89:16 distributions 105:25 110:5 document 110:24 112:10 126:5 documents 111:1 doing 35:6 85:4 95:4 102:12 dots 91:21 92:3 dotted 127:4 double 105:4 105:11 109:1 109:20 126:25 128:15	dr 7:1 22:20 38:6 79:16 draft 15:13,14 15:19 16:9 drafted 15:6,7 15:15,24 16:1 drawn 125:2 driver 91:11 92:5 113:11,12 113:22,25 114:12,14 115:1,14,21 134:24 drivers 134:25 driving 19:20 20:1 100:4 117:3 duly 5:7 6:21 dynamic 59:16 65:20
e			
earlier 14:4 50:6 62:5 80:20 114:4 115:2 116:2 122:7 124:21 easier 9:10 edge 105:18 109:8,13 education 41:22 effect 56:24 134:9,23 effects 134:15			

either 41:2 47:18 86:10 87:3 136:13 electrode 39:23 electronics 56:15 element 56:20 63:19,24 64:8 64:12,14,24 65:2,11,16,21 65:23,25 66:2 66:6,23 67:5,6 67:12 68:3,5 69:18,24 70:5 70:12,13,21 71:22 72:2 74:7,12,14,17 75:3,17,19 76:2,10 77:10 96:1 124:19 125:12 element's 75:20 elements 56:14 62:9 69:10 70:25 72:7,11 72:23 74:18,24 94:23,25 95:16 95:25 96:7,8 96:20 97:1 122:9,13 emanate 39:22 emeritus 9:21 9:21 10:1,7 emits 82:1	emitted 69:11 69:20 71:23 72:23 75:17,19 108:1 134:17 emitter 60:17 emitting 35:10 35:15,19 36:6 36:15 39:20,21 41:20 43:17,19 43:21,24 44:3 44:6 68:20 82:3 94:25 employed 9:19 employment 9:23,24 10:6 10:11 ends 77:2,7 78:19 energy 30:7 31:12,19 42:10 42:12 114:6 engagements 10:16 engineers 30:12 entirety 22:23 23:1,16 130:23 entities 9:19 environmental 93:3 equipped 19:20 20:2 essentially 52:9 106:10 123:5	estimate 11:11 12:2 14:11,12 14:14 estimates 12:4 etcetera 111:18 evaluate 54:9 evan 1:9 event 136:13 exact 13:10 41:20 80:5 exactly 8:4 27:21 41:4 55:17 128:21 examination 4:6,7 6:24 133:12 examined 5:9 6:23 example 8:16 10:10 18:2 20:8 27:18 28:19 29:8 31:6 43:16 57:13,18 58:2 59:3 62:24 64:18 65:13,20 66:14 71:1 75:10,20 76:14 77:6 78:19 88:12 91:11,19 94:19 100:4 103:19 104:7 105:1,15,16,19 105:24 107:25 109:1 112:18	112:20 113:2 114:25 115:24 121:1,2 123:13 124:2,19 125:4 126:18 127:21 132:21 examples 31:9 31:11 61:17 62:23,25 132:20 excuse 46:2 exhibit 4:10,13 4:14,15,16,17 4:18,19,20,21 4:22 21:18,21 53:12,14,18 73:6,9,13 79:17 97:3,7 97:10 102:17 102:19 110:8 110:11,15 116:15 119:3,8 122:4 125:21 125:23 130:3 130:10 131:17 131:20 exhibits 4:9,11 exist 32:24 expect 24:2 63:16 71:16 76:17,22 107:21 125:8 125:15 experience 41:23 65:15
--	---	---	---

117:3 expert 10:2,5,9 10:23 11:10,21 11:25 17:16,16 19:2 40:15 41:1 42:21 49:15 51:24 52:16,23,25 69:6 expires 136:25 explained 125:3 explanation 35:5 express 39:10 extensive 15:8 extensively 15:16	familiarity 23:15 far 23:6 97:15 133:19 feel 11:14 72:15 field 46:24 figure 26:20 27:3,10,16 74:4,11,16,19 74:21,22 75:7 76:2,5,15 77:24 78:1,3 81:8 82:13,16 82:21,23 83:14 83:15 87:14,19 88:12,24 90:11 91:2 92:12 93:7 96:6,8,14 96:15 103:22 103:25 104:2 104:13 106:21 107:16 108:25 114:17,17,19 114:25 119:22 121:2,3,4 122:5,9 124:21 125:2,6,10,14 125:17 126:18 127:19 128:23 file 83:14 filed 5:15 8:1 final 15:17,22 financially 5:25 find 16:14 128:4	finding 132:7 fine 7:24 45:16 73:23 110:23 116:6 finish 9:7,8 121:23 firm 5:22 first 5:7 6:21 15:13,14 29:21 54:10 60:10,11 80:21,23 82:1 82:2,20 83:1 84:16,20,24 85:6,10,12 91:19,23 93:8 98:5 102:25 103:2,3 106:4 113:24 119:11 119:12 128:25 fit 64:21 five 15:3 105:24 fixed 66:11 fixture 56:17 flow 83:9,14 84:25 flowchart 82:17,22 84:1 84:3,14 85:15 85:21 86:12,22 86:23 87:19 88:2 95:21 96:14,15 fluoresces 60:18	focal 123:2,7 133:17,18,18 134:2,6,19 focus 123:5 133:21 focused 77:16 77:23 focuses 75:1 follow 83:14 84:25 85:15 following 86:12 106:11 follows 5:9 6:23 40:1 food 79:5 forecasting 37:15 foregoing 136:8 form 23:8 44:2 former 9:23 forth 15:16 136:8 forward 106:19 106:22 107:17 129:4 found 57:19 75:6 four 53:23 104:10 105:23 frequencies 112:2 frequency 112:1
f			
facilitates 101:25 fact 75:1 factors 57:5 faculty 9:21 fair 9:14 18:14 18:24 34:13 90:6 94:11 96:10,21 109:18 121:7 fairly 10:17 fall 51:17 52:12 falls 75:22 familiar 22:20 130:25 131:3			

front 26:22 38:7 69:14 79:17 81:9 97:2 105:14 116:24 117:4 117:14 119:22 128:1,8,14 130:9 131:10 134:12 full 7:3 15:13 15:14,20,21,22 41:23 60:11 75:13 91:19,23 99:24 106:4 131:15 136:11 fully 92:19 111:18 123:19 function 108:2 further 54:14 69:8 72:24 78:23 87:13,21 135:5	65:6 66:3,13 67:4,23 69:15 69:16 70:2,10 70:12 75:13 90:9 98:21,25 101:6 104:17 112:10 114:10 125:19 126:17 131:2 generally 10:14 16:25 17:17 19:7,18 57:14 59:12 100:23 111:25 118:2 120:23 generated 106:6 gestures 9:12 give 11:13 31:5 31:9 61:13,17 62:23 65:13 80:5,6 113:19 132:24 given 12:3 52:1 76:8,8 123:14 gives 83:1 giving 54:5 glare 50:4 117:13,19 go 9:1 30:18 31:20 33:11 35:8 37:21 38:9 39:14 45:3,23 46:18 48:9,13 49:17	49:23 53:8,21 54:2 58:2,5 60:9,16 68:13 72:17 75:15 79:24 80:11,15 80:20 82:25 84:3,14,21 85:2 86:9,13 87:2 88:3 90:11 91:18 92:24 103:19 104:6,18,19,23 104:24,25 105:5,21 106:3 108:25 115:12 118:16 120:17 122:3 123:14 125:12 127:17 127:17 128:12 131:9,14 goes 75:4 78:21 92:3 93:7 going 5:11 21:21 37:24 51:21,22 52:3 52:4,16,18,20 53:14 69:8 73:5,12 78:22 79:9 83:13,19 84:24 86:5 87:22 91:1,5 92:14 93:19,21 93:23 96:2 102:16,17 104:14,17	105:10,13 106:19 107:17 109:4 110:8 115:21 116:8 118:21 123:11 125:20,20 128:19 129:24 133:4 good 5:10 7:1,2 11:11 37:17 116:4 118:3,5 google.com 98:12 government 32:18,23 33:2 33:6 gradual 108:10 gradually 108:4 great 98:20 green 60:13 ground 9:1 group 60:11 guess 12:20 15:4 16:6 43:9 64:10 71:14 101:10 105:8 118:5 129:3 guide 62:14,17 62:23 63:12 guides 62:18 63:2,6,13,15,16 63:18 guys 37:21
g			
g 2:13 gated 120:11 general 17:3 18:20 20:4,10 24:24 29:14 30:5,6,7 40:9 40:15 42:23 43:4,6,23 47:3 55:17 58:17 59:2,20 61:6 61:16 63:6,21 64:7,13,17,20			

h	headings 99:8	hearing 56:10	hours 10:15
half 37:14	99:9	held 5:19	11:8,17,17,21
hand 6:18 9:12	headlamp	helicopter	12:3 13:9,9
84:19 102:16	26:21 44:15	26:13,16	14:8,13,17
119:7 126:22	103:14 104:9	help 115:21,25	15:3 52:19,21
handed 73:12	111:5,11	helps 23:8	houslander 3:2
110:14	119:23 120:6	hereinbefore	5:21
handing 97:10	126:11 128:2,9	136:8	hughes 2:3 4:6
happen 87:18	128:16,19	hereto 135:12	6:7,7,12,25
106:11	headlight 25:10	high 79:23 80:9	11:3 14:23
happening	28:20 29:7,13	82:4 89:23	16:2,18,20
81:17 95:23	29:15 32:6,17	90:7,8,15	17:10 18:22
happens 59:17	44:14 48:18	92:16,20,21	19:5,24 20:7
112:17	75:5,23 79:22	94:12 95:10	20:21 21:5,20
happy 20:6	80:9 81:2,9	96:12,20,24	22:13,19 23:14
22:16 132:14	82:2,4,7 85:5	114:7 117:6	23:25 24:6,14
harbers 102:25	89:7,7,16	123:18,19,24	25:22 26:6,12
103:16,18,19	91:20 92:2,15	123:24 124:2	26:18 27:9,15
103:24 104:6	93:23 104:15	124:11,13	27:24 28:6,10
105:22 106:3	105:2 108:1,20	125:7,9,14,16	28:18 29:5
106:23 107:11	109:15 118:6	higher 76:22	30:3 32:11,25
107:13,20,25	118:22 122:11	108:12 112:1,3	33:5,10,21
108:9,17,18	122:23 131:4	112:4	34:5,11,21
109:23 110:3	134:12	highway 20:16	35:7 36:4,14
harbors 103:10	headlights	hmm 60:6	36:21 37:2,17
103:13 105:12	25:23 29:25	hogan 2:5 6:8	38:5,20 39:2
hard 56:10	30:2 44:15	6:13	39:13 40:11,18
head 11:15	46:23 81:13	hoganlovells....	41:7,12,18
19:3 26:5	83:4,22 85:17	2:9,10	42:24 43:5
30:22 37:11	91:7,10 103:17	hope 3:3	45:2,10,19,21
39:12 56:23	104:3 117:15	hopefully 9:9	46:11 47:6,16
62:25 63:18	118:11,18	hostert 3:5	48:8 49:16
98:21 118:9	127:9 131:7,13	hour 13:8	50:19 51:6,13
heading 54:6	132:3	14:13,19,25	52:6,11,20,24
99:16 100:6		15:1 37:14	53:8,11,14,21

53:23 54:1 55:7 56:1,8,11 57:22 58:14,25 59:13 61:7 63:22 65:10 66:10,16,21 67:3,18 68:2 68:12,15,18 70:19 71:5,12 72:16 73:5,11 77:1,12,22 78:2,13 79:1,6 79:15 87:4 90:1,24 91:15 92:23 93:17 94:18 95:7 97:9 98:18 100:3 101:9 102:16,21 107:10,24 108:24 110:2,8 110:13 112:11 115:11 116:6 116:14 117:12 117:20 118:25 119:5 121:12 121:17 122:18 123:10,25 125:25 129:19 129:22 130:8 131:11,19 132:9,22 133:1 135:5 hypothetical 64:7 66:8,25	67:15 68:7 70:9,11,17,24 71:20 72:5,6 72:13,14 77:19 94:15 99:20 109:22 117:17 i i.e. 108:3 116:22 idea 118:2,3 identification 21:17 53:17 73:8 97:6 102:18 110:10 119:2 125:22 130:2 131:16 identified 124:22 126:19 identify 19:16 20:9 illuminated 76:3 113:7,19 114:14 123:19 illuminating 46:23 illumination 46:24 50:1,4 51:15 80:24 81:3,5 82:2,4 127:9 134:13 illustrates 82:22 illustration 75:22	images 120:13 imagine 68:8 110:1 impinging 60:13 71:3 implications 27:22 implies 48:23 imply 27:7 important 48:7 inadmissible 52:2 incandescent 38:21 40:2,5 40:23 42:2,16 44:1,7 61:25 62:2 incident 70:21 72:7 112:17 include 13:17 13:21 34:10 39:20 50:14 54:15 55:9 88:11 121:18 121:20 122:16 included 40:3 91:6 includes 54:18 55:10 58:11 93:22 including 13:20 44:16 48:18 49:21 57:5 58:18 70:25 78:24 111:10	119:21 incomplete 66:7,24 67:14 68:6 70:8,23 72:13 77:18 94:15 99:20 109:22 117:17 incorporate 113:5 incorrectly 58:4 independent 29:6,18 48:9 indicate 99:8,9 99:18 100:1,19 121:16 indicated 134:11 indicates 47:25 75:19 84:4,4 89:5 92:14 104:6 105:22 106:4 110:4 115:20 indicating 86:19 104:8 106:13 113:17 indication 98:22 108:15 individual 94:23 95:24 inform 23:6,12 92:1,7 information 93:25 94:2
---	--	---	--

126:15 informs 27:17 34:19 initial 15:7 initially 92:3 inks 113:6 instance 115:16 institute 9:17 9:20,25 10:6 10:11 instructed 9:5 instructions 48:15 intended 20:10 41:24 96:8 99:9 101:22 123:23 128:20 intensities 59:5 109:24 intensity 56:16 56:17 57:24 58:12 59:4 64:18 76:18,23 77:5 78:8,18 78:24 81:2,5 81:13,14 87:23 106:13 108:1,3 108:11,19 109:19 117:25 118:6,8,20,22 124:9,11,11 intent 83:15,16 83:17 84:21 88:10 90:9 91:3,17 92:11	93:16 94:6 95:4,6,15 96:22 interact 112:8 interactions 112:14 interest 19:8,10 interested 5:25 136:13 interpretation 40:15 41:2,6 49:15 52:12,22 83:12 125:11 interpreted 18:10,15,25 49:6 52:22 interpreting 34:17 41:4 51:14 54:22 69:6 introduced 122:4 invention 111:10 inventor 73:17 102:25 103:3 119:11,12 inventor's 103:2 inventors 32:23 involvement 13:16 ipr 1:7,7,8 5:18 5:18,18 7:7,9 7:10,10,10,13	7:16 8:1,3 14:6 53:15 97:4,12 97:17,21 130:16 131:21 iprs 14:9 irradiated 113:14 irradiating 127:1,25 128:2 128:7,15,18 irradiation 126:23 127:8 128:9 129:14 129:14 issue 8:13,13 21:24 45:17 130:16 item 34:10 iteration 93:11 95:12,13 iterative 86:17 86:20	k k 126:19 128:25 keep 52:16 117:14 keeping 94:1 kept 13:15 kind 10:2 12:2 24:20 25:21 26:3 37:20 45:2 56:6,9 62:9 70:17 89:2 102:13 104:2,14 105:1 106:18 109:4 121:24 122:11 125:6,9,12 126:13,21,25 127:4 128:12 129:8 135:1 kinds 13:19 26:2 31:11 81:16 know 8:24 9:8 10:12,25 11:16 11:18,20 15:21 16:5 21:3 22:4 23:9 26:2 44:11 45:12 46:19 56:22 57:9,24 60:2 60:24 64:7 65:7 66:4 71:21 74:4 79:20 82:11
		j j 2:4 job 1:19 joe 6:12 joseph 2:4 joseph.raffetto 2:10 joules 31:12 judge 51:22 52:4,17 june 1:17 5:2 5:12	

88:13 93:12 98:20 100:5 104:7 114:16 118:1,7 119:21 124:25 knowledge 41:22 knowledgeable 42:21,25 43:12 43:16,24 kobayashi 126:3,10,13,18 127:12 kopp 3:4 kushman 2:14 6:11	latitude 52:1 leaving 105:2 led 34:13 45:20 46:16,17 47:17 49:9 60:4,5,11 60:12,17,25 122:20,22 123:11,14 133:16 leds 38:12,13 39:23 44:24 46:15,17 47:17 49:9 60:12,21 61:23 94:11 96:7,9,11 103:16,18,21 123:2,9,11,18 124:1 left 84:19 85:3 85:8 86:15,17 87:14,22 93:7 105:2,3 129:5 129:17 134:14 legal 5:22 17:24 18:17 25:18 26:1,9 27:1,19 28:2 28:13,25 32:7 32:19 33:17 34:7,14 35:3 35:24 36:9,17 37:7 38:16,22 39:8 40:7,14 40:25 41:15 42:18 43:2	44:25 45:5,7 45:12 46:8 47:9 48:2,25 50:16 51:2,10 51:18 53:6 legend 126:21 leisa 1:18 136:6 136:22 length 123:2 133:17 134:2 lens 66:5,5,9,11 74:9,23 75:1 76:9,21 77:15 78:3,7,9,17,20 78:21 123:3,6 123:11 133:18 133:20,20,24 134:19 135:1 lenses 134:5 letting 45:3 lies 133:23 light 30:7 31:19 33:13,15,19,23 33:25 34:6,9 34:12,23 35:2 35:9,10,15,18 35:19,22,23 36:5,6,7,15,16 37:4,5 38:12 38:14,14 39:5 39:5,15,16,19 39:20,21,22 40:2,5,6,6,23 40:23 41:3,19 41:19,20 42:2	42:3,5,6,8,10 42:15,16,19 43:17,19,21,24 44:1,1,3,6,7,16 44:21,23 46:4 46:7,13,22 47:2,5,7,22 48:1,1,17,20,21 48:22 49:3,4 55:20 56:4,13 58:8,11,12,13 58:15,16,18 59:3,5,9,14 60:11,14 61:15 61:19,20 62:6 62:10,14,15,17 62:18,20,23 63:2,3,6,12,13 63:15,16,17,19 63:24 64:8,9 64:12,14,15,16 64:18,19,22,24 65:2,4,11,16,19 65:21,22,25 66:2,6,18,23 67:5,6,12 68:3 68:4,20,20,24 69:1,3,10,11,13 69:14,18,19,20 69:20,24,24 70:1,4,5,6,12 70:13,14,20,20 70:21,22 71:1 71:2,3,6,7,15 71:16,19,19,22
l			
label 124:2 labeled 76:6,12 85:1 88:13 105:1,3 114:20 labelling 114:23 lacks 101:24,25 lamp 56:18 108:1 land 26:21 27:4 lane 117:1 language 51:5 51:7 69:7 large 102:12 larger 91:20 92:2 108:5 lately 40:17			

71:23,24 72:1 72:2,6,7,8,11 72:22,23,25 73:2 74:17,18 74:23 75:2,3 75:11,14,17,19 75:20,21 76:3 76:11,14,17,18 76:22 77:16,20 78:4,7,18,21,24 83:24 84:5,8 84:16 85:13,19 86:5,15 88:8 88:16 89:14,15 89:20 90:2,2,3 90:18,21 91:1 91:5 93:9 94:9 94:25 103:17 103:21,22 104:8,9 105:17 105:22 106:6,6 106:7,14,18 107:5 108:1,2 108:4,6,11,19 109:4,19 110:4 111:11,16,25 111:25 112:1,3 112:4,7,7,17,22 112:23,25 113:3,7,8,14,17 113:20 114:5,5 114:5,8,13,21 115:13,21 120:12,13,22 121:1 122:8,19	122:22 123:3,9 123:12,14 124:3,3,20 125:9,16 126:14 128:19 132:19 133:21 133:22,25 134:7,17,20 lighting 56:17 74:14,24 75:3 94:25 95:15 96:7,8,20 97:1 122:8 125:12 126:15 lights 38:21 46:7 47:7 57:3 57:25 61:25 62:2 72:24 83:10 95:5 114:11,25 117:23 likely 78:15,25 84:23 109:25 likewise 86:14 limit 33:25 100:19 limitation 29:10 45:24 46:21 49:20 72:18 limitations 26:3 35:1 43:15,20 limited 10:16	limits 34:3 line 35:12,13 49:14 60:10,16 72:18,18 78:23 80:6 100:13,22 100:23 103:20 105:15,21 106:16 107:2 107:25 109:11 110:3 111:14 113:3 115:3 120:17 121:21 127:4,18 128:4 128:6,13,14,21 lines 26:19 27:7 37:3 39:15 75:16 104:3,7 106:24 115:12 120:2 122:12 122:17 123:4 134:8 list 16:24 17:2 51:11 122:2 listed 16:13,22 46:14 lit 77:10 literally 52:18 little 22:14 49:23 60:16 69:8 75:12 76:16 79:6 80:20 106:3 108:23 114:6 115:2,10 122:25 123:5	llc 1:5 5:15 6:8 6:14 llp 2:5 6:9 load 115:14,15 lobe 113:7 114:13 located 113:7 113:13 114:13 location 89:5 89:14 90:3 logic 82:17,22 83:14 logical 49:20 long 13:7 14:22 22:16 23:4 116:2 132:11 132:11 longer 95:9 longest 11:20 look 16:23 17:4 21:14 22:2,6 22:11 27:6,16 29:7,10 32:1 34:18,22 47:20 48:5 53:9 56:2 74:15 81:8 82:16,20,25 86:11 88:12,13 88:24 90:11 97:14 103:20 103:23 104:13 105:15 106:12 107:16 110:3 111:4,8,14 113:2 114:17
--	---	--	---

114:24 118:15 119:21 120:1 120:10 121:3 124:4,7,12,15 124:19 126:18 126:21 127:17 128:23 looked 41:25 looking 23:10 23:12 28:19 32:4,9 74:11 76:2 80:2,4 84:4,18 87:5 92:13 96:18 104:7 118:13 125:6 looks 114:20 129:12 132:1 lot 11:1 31:20 31:22 45:17 114:16 117:4 lots 29:23 lovells 2:5 6:8 6:13 low 79:25 80:11 82:2 91:2 92:24 96:11,20,25 117:15,23 124:6,7,11 125:15 lower 78:9 lumen 30:4,9 30:13,17,23 31:9 32:5,10	32:14 luminescent 39:23 lunch 37:16 79:3 m m 1:18 made 33:16 53:5 58:18,22 59:5 62:24 92:4 108:22 112:19 122:19 madeline 3:4 maintain 22:17 make 9:9 31:22 58:21 59:3 78:23 102:8,12 135:1 manner 86:20 manual 83:3,4 manually 83:10 map 85:3,3 87:14,15,22,23 maps 85:8 88:1 mark 21:21 53:14 102:17 125:21 marked 21:17 53:17 73:8,12 79:17 97:3,6 97:10 102:18 110:10,14 119:2,7 125:22 130:2,10 131:16,20	match 85:21 matches 84:2 matching 112:23 116:2 material 59:20 materials 12:7 12:10,11,13,14 12:16,22 14:1 16:13,14,22 17:1,11 62:24 mathematical 101:5,7,15,18 101:21 matter 5:15 matthew 1:13 4:4 5:5,14 6:19 7:4 53:15 maximal 106:13 108:3 maximum 46:12,14 90:10 134:12 mean 11:4,7 15:7,19,21 16:1 19:6,10 24:15,16,19 37:19 41:8,13 43:10,19,20 47:5 53:7 57:1 59:18 61:16 63:8,9 64:1 65:8 69:3,19 72:15 77:11 79:4 84:13 95:20 98:14,17	98:24 100:22 101:7 102:15 103:11 107:11 115:7 124:15 127:11 meaning 10:15 29:1 53:2 54:20 55:1,12 97:24 98:6,8 99:1 100:10,12 101:11,20,22 102:10 meanings 101:24 means 19:7 41:5 55:18 62:15 80:18 107:7 127:12 128:18 meant 65:24 100:19,19 measure 30:13 57:19 76:19 77:3 measured 30:10,11,17 76:18 77:6 78:8 measurement 31:19 78:18 118:8 measurements 30:20 31:3,12 31:17,21 78:23 78:24
--	--	--	---

measures 57:20 measuring 30:12 57:18 76:20,25 78:11 mechanical 127:12 media 5:13 meet 13:2,4,7 meetings 13:10 memory 50:8 85:1 86:2,6,10 86:16 87:3 mention 32:22 102:4 mentioned 12:24 13:1,12 17:24 29:17 30:2 31:18 32:21 42:2,5 112:21 114:4 116:1 132:17 mentions 29:19 29:20 76:6 87:17 mercedes 1:5 5:15 6:8,13 8:1 met 12:8 13:1,5 meters 31:15 31:15,16 michigan 1:15 2:17 5:1,20 136:2,24 middle 76:21 77:8 78:19 89:2 129:8	mind 21:14 24:13 57:10 79:6 mine 71:20 minute 129:20 minutes 52:2 132:25 mischaracteri... 14:20 22:9 36:24 41:9 51:9 missing 88:20 mixing 125:18 mm 60:6 mode 83:3,3,13 83:19 modification 71:3 modifications 59:16 63:25 64:1 modifier 69:14 71:4 modifies 65:19 71:23 74:23 modify 59:9,14 62:10 64:12,14 64:18 66:11 69:25 70:6,21 70:25 72:10 modifying 58:23 59:1 62:6 63:19,24 64:2,4,8,8,12 64:14,24,25	65:2,11,16,21 65:22,25 66:2 66:6,18,23 67:5,6,12 68:3 68:4 69:10,18 69:24 70:5,12 70:13,21 71:22 72:2,7,11,22 moment 17:2 30:22 moments 42:11 monroe 136:4 136:24 morning 5:10 7:1,2 motor 79:24 80:1,10,12 move 45:22 moving 65:19 65:20 98:9 99:2 multiple 10:19 63:9 70:4 72:1 74:18 122:13 n n.w. 2:6 name 5:21 7:3 7:4 102:25 103:2 110:18 119:11 126:3 named 103:3 119:12 narrower 105:4	natural 79:5 near 19:20 20:1 necessarily 27:8 41:1 48:25 94:3 95:19,23 99:14 121:16 123:16 need 9:4,12 22:2,6 57:7 63:11,15,16 89:7 92:21 114:16 117:11 134:21,25 never 26:10 27:25 85:7,8 new 79:2 nicholas 3:2 5:21 night 117:4 nine 74:20,22 nod 9:11 noncamera 132:6,16,20 nonvehicle 95:5 north 57:14,17 100:6 northeast 100:6 nos 1:7 5:17 notary 136:1 136:23 notes 12:14,24 13:13,13,15,17 13:19,21,23,24
---	---	--	--

30:18 31:21 32:1 136:12 notice 32:9 noticing 6:6 115:14 number 10:16 11:2,4,5,7 31:14 33:25 34:3 46:12 61:20 80:7 102:23 133:19 numbers 15:5 numeral 75:8	34:7,14 35:3 35:24 36:8,17 36:23 37:6 38:16,22 39:7 40:7,13,24 41:9,15 42:17 43:2 44:25 45:5,6,8,9 46:8 47:4,9 48:2 50:16 51:1,9 51:18 55:3,22 57:16 58:9,19 59:11 61:5 63:20 65:5 66:7,12,19,24 67:14,22 68:6 70:8,23 71:9 72:12 76:24 77:9,18,25 78:10 86:25 89:24 90:19 91:12 92:17 93:15 94:14 95:3 98:15 99:19 101:4 102:9 107:6,19 108:21 109:21 112:9 115:8 117:8,16 121:9 121:15 122:14 122:24 123:21 131:8 132:4 objections 6:4 22:18 23:20 26:14 45:3	53:6 objective 117:18,22 objects 88:8 89:6 93:20,24 113:4,13,13 114:1,12 115:15,16,22 observe 113:12 113:23 114:1 115:22,25 observer 124:15 134:11 134:14 obviousness 18:4 occupied 92:5 odd 67:1 offered 56:4,12 offhand 21:3 27:23 60:3 62:18,22 105:12 107:21 118:1 127:13 office 1:1 5:16 oh 58:3 75:6 91:23 okay 7:1,25 8:12,18,24 10:18 11:16,20 12:5 13:1,7,12 14:4 15:6 16:11,21 17:11 18:6,14 19:15 19:19 21:6,21	22:2,14 25:1,1 25:4,7,16 29:24 33:15 34:12,22 38:6 38:9,10 44:13 44:20 45:19,22 46:12,15,18,21 47:17,20,25 48:20 49:2,9 49:12,17,19 51:14 53:11 54:2,4,14 55:20 57:11,23 58:15 60:9 61:2,11,15 62:5 63:2,11 63:19 64:19,24 64:25 65:1,11 65:25 66:5,17 67:4 68:3,13 68:17,19,23 69:18 70:4 71:24,25 73:5 73:12,24 74:4 74:6,18,23 75:7 76:2 77:3 77:5,15 79:8 79:19,21,22 80:15,16 81:1 82:10,20,25 83:21 85:16 86:23 87:5,11 88:24 89:5,13 90:25 92:1,12 92:12 93:18,23
o			
oak 1:15 2:17 5:1,20 oath 5:24 8:18 object 9:4 49:14 60:13 87:24 88:5,19 88:21 90:22,22 90:25 93:12,13 115:24 objection 10:24 14:20 15:25 17:8 18:17 19:1,22 20:3 20:18 21:1 22:9 23:11,19 24:4,9 25:18 25:25 26:8 27:1,2,19 28:2 28:9,12,24 30:1 32:7,19 33:3,8,17 34:2			

94:5 95:18 96:6,10 97:2 97:16,23 98:5 99:11,16 100:9 100:22 101:14 103:10,13 104:13 105:21 107:13 109:3 109:18 110:8 110:14,21 111:23 112:5 112:15 115:12 116:18 117:3 117:25 118:20 118:24 119:7 119:16,21 120:16,20,24 121:7,22 122:3 122:3,6 124:1 124:10 125:20 126:10 127:17 127:20 129:21 131:24 132:2 132:22,24 135:3,6 once 17:17 oncoming 20:8 20:9 81:14 ones 31:10 91:5 open 68:16 operating 60:12 opine 42:22 54:14 118:10	opined 18:19 28:14 29:2 54:8 103:8 118:12 opinion 37:10 39:10,11 48:25 51:16 54:5,15 55:8 100:12 108:22 opinions 15:18 44:2 51:25 opposed 65:20 125:13 opposite 67:16 optics 120:12 134:21 ordinary 18:7 54:19,20 55:1 55:11,12 84:18 organic 39:23 orientation 56:25 57:1 98:22 originally 15:10 originating 104:9 outcome 5:25 output 30:4,9 30:13,17,24 31:9 32:5,10 32:14 56:5,13 60:19 122:8,11 122:16,19,23 123:19 124:4	outside 10:5,8 12:15 53:2,3 69:16 70:2 114:13 overall 100:8 104:15 107:5 109:4,15 122:23 123:19 124:3 overlap 104:20 134:10 own 12:14,24 owner 1:10 2:20 6:11 p p.c. 2:14 p.m. 73:10 79:10,11,12,14 97:8 102:20 110:12 116:9 116:10,11,13 119:4 125:24 129:25 130:1,4 130:5,7 131:18 133:5,6,7,9,11 135:7,9 page 4:3,10 45:23 54:2 56:3 77:2 91:23 97:17,18 97:21 103:19 104:6 105:15 105:21 106:3,4 106:23 109:11 110:3 116:16	118:13,15,16 119:22 120:1 120:16 paint 66:15,17 111:18 112:7 112:23 115:25 painted 113:5 painting 111:17 paints 112:20 113:4,5,18 paragraph 54:2,8 56:2 74:15 75:2,15 79:19,22 80:3 80:6,15,20 81:1,21,25 82:10,13,21 85:16 86:14 87:5,13,17,20 87:21 88:3,25 89:3,13 91:18 91:22 93:2 96:18,22 97:16 97:20,23 98:2 98:3 100:9 101:20,23 103:20 106:12 116:19 paragraphs 81:12 parallel 133:21 133:22 part 19:14 20:5 88:20 107:15
---	---	--	--

107:16 108:8 113:24 132:18 partially 111:18 particular 14:14 23:10 24:20,25 29:2 30:5 32:10 33:22 38:19 41:24 45:5,12 49:6,7,8 50:14 50:21,24 51:7 51:12 57:9 59:17 64:17,20 65:6,9,17 67:25 68:9 69:16 70:3,18 71:11 133:23 135:2 particularly 28:16 37:11 40:16 112:2 parties 135:11 parts 105:13 107:5,7 108:19 109:24 party 5:24 136:13 past 58:22 94:2 pastor 1:18 136:6,22 patent 1:1,2,10 2:20 5:16,17 6:11 7:13,16 7:19,20,22,23	8:6,9 14:9,16 18:15,24 19:2 20:5,20 21:22 21:24 22:7,12 22:21,23,25 23:15 24:12,18 25:2,7 26:20 27:11 28:7 32:4,16,22 33:1,11 34:19 38:6 39:14 41:23,25 43:1 43:9,14 49:18 51:24 54:15 55:20,23 56:21 58:3 60:9 61:16,22 68:14 72:17 73:13 98:25 110:15 112:13 126:1,3 127:14 130:12 130:14,16,19 130:21 131:1,3 131:6,12 132:14 patents 8:10 18:12 19:15,21 19:25 20:10 21:4,9,11,14 58:7 65:14 132:11 path 52:17 69:10,19,20,24 70:5,14 71:7 71:15,19 72:2	72:23 78:21 pedestrians 115:17 penned 15:10 people 30:16 66:9 percentage 10:9 perfect 53:25 performance 56:18 performs 86:19 period 11:6,7 11:19 permissible 53:4 perpendicular 133:20 person 18:7 41:22 84:17 personally 15:24 perspective 83:23 105:3 petitioner 1:6 2:11 ph 121:25 ph.d. 1:13 4:4 5:5 6:19 phone 51:23 52:5,17 phosita 18:8,11 40:20,22 41:1 41:8,13,21 42:15,20,25	43:7,8,9,11,13 43:13,16,23,25 44:2,6 51:14 52:15 phosphor 59:14,19,20 60:3,4,18 61:2 61:4,11 phosphors 60:21 61:9,15 61:19 62:2,11 photo 120:11 120:13,21 121:2,13 photometric 30:20 31:23 photometry 30:18 31:2 photon 121:8 121:11,13 phrase 34:17 42:19 47:24 48:22 49:5 64:21 65:17 90:8 phrases 15:10 15:11 physical 59:25 67:4,11 physically 16:3 physics 134:5 picture 20:24 21:7 22:4,7 23:18
---	--	--	--

pixel 120:22 pixels 120:11 place 85:2 132:13 places 105:5 plain 97:24 98:6,8 99:1 100:12 101:11 101:22,24 102:10 plane 133:23 134:1,3,4,6 play 31:16 please 6:4,15 6:17 7:3 9:3,11 19:23 49:18 110:9 plural 73:3 plurality 33:12 34:9 38:11 46:21 132:19 point 20:5 40:20,22 55:24 58:1 59:17 80:2 116:5 126:23 127:8 129:14,15 132:13 pointed 53:1 57:2,3,14 points 64:3 84:14 polymers 39:21 portion 75:4 104:15,17,19	128:14 133:10 portions 75:4 104:18 positas 52:9 position 84:6 84:20 85:6,11 85:12 88:22 106:7 108:2,5 127:1,15 128:1 128:8,25 129:7 129:10,13 positions 84:9 86:5,13 possibilities 121:20 possibility 94:17 113:21 possible 34:16 111:15,21 112:13 114:9 possibly 27:4 58:1 62:16 posts 111:17 112:6 potentially 63:9 92:5 127:12 power 61:3,12 63:12,15,17 68:21,24 69:2 71:1,6,18 powered 61:9 63:14 practical 99:4	practice 128:22 preceding 116:21,24 precise 99:25 123:8 precision 127:14 prefer 117:10 preparation 13:22,23 prepare 11:21 12:6,17 prepared 10:18 17:23 preparing 11:9 11:25 12:8 13:2,13,18 14:8,17 16:11 32:2,12 119:18 present 3:1 6:1 58:24 77:15 78:20 80:9 84:5,9,16 88:16 93:9 presented 84:15 president 9:16 presume 84:17 84:19 124:18 presumption 89:12 pretty 114:7 prevent 8:21 prime 105:4,8,8 105:8,11,16	109:1,7,20 primed 109:20 principle 18:21 principles 17:24 prior 8:12 18:11 22:18 74:1 103:7 probably 8:4 10:25 13:8,8,9 13:20,23 15:15 16:6 34:18 99:3,5 problem 84:11 124:23 problems 76:5 proceeding 6:4 8:13 proceedings 7:7,9 8:1 10:20 14:6 17:18 21:25 process 16:6 49:24 50:11 82:17,23 83:9 85:14 86:17,20 86:24 87:24 88:4,25 89:1,8 89:11,22 93:2 93:11,21 94:1 94:16 95:8,11 95:12,14 96:10 96:16,18 processed 50:25
---	---	---	--

processing 51:8 processor 49:21,24 50:10 produce 39:22 123:3 producing 61:20 professor 9:21 10:1,7 profile 126:16 project 134:7 projects 122:22 pronouncing 110:19 properly 54:8 112:23 116:2 properties 59:25 60:1,3 66:1,11,18 67:5,11 proposed 15:12 16:8 54:6 provide 51:25 53:6 81:2,13 provided 17:1 69:10 72:23 provides 48:6 providing 81:4 108:19 111:2 126:8 public 136:23 publication 73:14 102:23 purposes 37:15	put 71:14,15,18 puts 30:8	48:24 52:15 53:1 54:25 55:4,16 132:23 135:4 136:8 quick 82:16 quickly 32:9 quite 45:4 56:24 57:20 77:4 quote 98:9,10 100:13,14 101:24 quoted 102:6 quotes 100:18 quoting 102:11	133:22 reached 129:11 read 22:23,25 23:2,6,16 25:7 26:24 39:15 40:5 42:14 43:9,13,25 58:3 72:21 80:7 121:21 128:21 130:23 133:11 readily 115:15 115:22,25 reading 23:8 35:21 36:15 37:3 75:2 80:3
	q quantification 57:9 quantified 10:13 quantify 10:12 quantities 30:20 quantity 31:24 question 9:2,5 9:8,9 16:21 22:18 23:7,13 23:21,24 24:12 27:7 29:2 33:19 34:20 36:11,19 37:1 37:7 38:19 40:16 55:18 58:6,7,21 60:7 64:7 65:6 66:13 67:8 70:2 84:16 87:2 95:6 102:11 112:16 122:2 123:22 128:22 132:2 133:15 question's 84:24 questioning 49:14 questions 9:11 41:3 43:18	r radiometric 31:24 radiometry 31:2 rafetto 2:4 raffetto 6:12 raise 6:17 range 11:13 62:19 63:3,8 99:23,24 104:18 106:21 112:2 114:7 118:4,8 120:3 120:8,10,18,20 121:25 122:16 123:15 ray 75:13 rays 105:4 122:16 133:21	really 9:23 34:20 60:2 67:2 102:14 115:7 realtime 93:4 93:19 94:9 96:10 reasonable 35:5 83:12 96:5 117:24 recalculate 93:23 recall 7:25 10:18 11:22,23 11:24 12:2,4 12:14,25 14:16 14:22,24 15:1 15:4,5 16:25 17:6,9,11,25

18:6 23:2,5 25:15,20,20 28:4 29:6 30:15 32:3 37:9 42:9,12 47:13 51:3 55:23 56:21 57:23 61:10,14 62:6,18,22 63:5,17 70:10 105:12 107:20 108:22 109:23 111:20 122:7 127:13 131:12 recalling 132:12 receive 16:14 16:21 received 86:15 92:3 recently 7:25 29:4 recess 38:1 79:11 116:10 130:1 133:6 recitation 33:1 33:6 recite 29:7 33:22 38:11 44:23 45:4 46:6,12 50:21 50:24 recited 25:16 26:25 28:1 50:20	recites 28:20 33:12 35:18,22 45:11 47:2,22 48:13 50:8 68:19 reciting 35:1 recognize 20:16,20,24 21:7,22 22:7 23:17 24:1,2 73:24 97:11 103:5 110:24 119:16 126:5 130:19 recollection 10:13 132:5 record 5:11 6:3 7:3,9 16:16,17 37:22,25 38:2 38:4 49:13 79:10,12,13 116:9,11,12 129:24 130:5,7 133:5,7,8,10 135:8 recorded 1:13 5:14 136:9 red 60:12 reduce 50:4 51:15 reduced 136:10 reduces 50:3 redundant 64:10	refer 7:19,22 18:8 31:23 66:9 73:19 103:10 110:21 119:14 reference 8:16 73:19 75:8 82:14 102:22 111:5 113:25 115:20 119:8 119:16,18 121:5 122:4 126:7 referenced 116:21 references 8:12 13:17,20 74:1 103:7 referred 107:15 115:2 120:23 referring 29:13 50:18 58:1 60:8 69:1 75:14 92:9 100:16 101:15 101:17 104:20 refers 75:12 81:23 107:4 reflected 112:24,25 regarding 28:4 37:1 regulation 33:2 33:7	regulations 32:18 relate 30:23 31:8 related 5:24 7:13,16 8:9 13:19 30:7,20 31:2,19 136:12 relates 27:5,10 relation 8:6 relationship 109:25 133:15 relative 44:17 101:2 relatively 15:13 105:2 108:12 relevant 12:19 12:20 51:3 58:23 reluctant 41:5 42:22 rely 16:11 remember 8:4 8:6 13:10 14:10 18:9 30:19 31:5,6 32:13 90:8 remembering 132:6 remind 9:19 118:12 131:9 131:15 reminder 31:4 remotely 6:2
---	--	---	--

remove 78:17	22:20 40:19	86:13 87:6,7,8	rules 9:1
render 111:16	reviewed 12:7	87:9,14,22	s
111:21	12:7,10,14,15	98:7 100:5	safety 111:19
repeat 19:23	12:16 28:7	103:14 106:1	sangeeta 2:13
67:19	111:1 119:18	106:20 107:12	6:10
repeatedly 53:5	130:21	110:6 118:5,11	santa 9:22
repeats 93:18	reviewing	120:6 121:3	saw 61:22
reporter 6:15	32:13	122:23 123:12	saying 19:9
6:17 9:10,13	right 6:17 7:12	123:20 124:14	22:2,6 36:25
16:19 37:21	8:5,11,15 9:16	124:22 126:8	54:14 57:11
53:13 56:6,9	9:18,20 10:20	126:22 127:6,9	72:4 81:12
73:7 119:1	10:21 12:25	130:12,13,18	83:8 95:23
133:11	14:6,7 17:22	131:4 132:8,13	96:1,3 117:18
represented	18:12 19:17,21	134:14	123:16
76:15	20:2 22:8,21	road 19:20	says 26:20
requested	25:5,11,17	20:1 100:4	34:23 35:10,14
133:10 135:10	29:25 31:23,25	105:18,18	36:5 39:19
require 54:16	33:16,22 34:6	109:8,13	40:1 44:13
55:9	35:2 37:3	111:17,19	46:2,5,10
required 102:1	38:12 41:25	112:6,17,19,20	48:14,20 49:23
requisite 92:14	46:7 47:3,18	114:23 115:3	50:3,10 56:3
respect 14:5	48:1 50:7 51:8	115:16 126:16	60:10 68:23
30:5 36:20	52:24 53:12	127:22 128:1,8	69:9 72:4,22
123:6	54:11,16,20,21	129:3,4,7,11	79:22 80:5,8
respective	56:8 58:16	roads 102:14	80:17 81:1,3
85:18 135:11	59:10 62:10,25	roadside	81:21 82:1,24
response 39:22	63:4 66:18	111:17 112:6	83:1 85:3
116:3	69:2,14,17	role 10:7	86:14,18 88:18
responsive 93:3	70:1,3,7,10	room 6:1 13:25	89:13 90:14
result 80:8	72:25 73:18	14:1	91:19 92:1
retained 10:23	74:3 77:24	rows 84:22	106:2 107:25
11:9	78:9 79:7	royal 1:15 2:17	108:11,14
review 12:13	81:15,25 82:9	5:1,20	111:15 113:3
12:22 13:12	82:23 84:6,7,9	rpr 1:18	113:11,12,25
16:10 17:13	84:25 85:3,8		114:10,24

115:4,6,10,13 118:17 120:20 126:22 127:1,5 127:14,24 128:13 scene 120:12 scope 28:22 36:22 51:17 53:3,3 scott 2:3 6:7 52:14 scott.hughes 2:9 scratch 94:3 screens 61:19 se 99:7 sea 26:21 27:4 second 1:14 2:15 5:20 46:21 53:15 80:6 81:4 82:1 82:4,7 97:11 105:16 116:15 131:21 132:19 seconds 31:15 section 75:23 sector 75:13,21 77:16,21,21,23 81:3,18,21,23 82:3,5 83:23 85:18 86:15 92:24 95:8 96:2 122:22 sectors 75:10 79:23,25 80:9	80:11 81:16,18 86:16 93:13 105:10,13 see 16:23 17:12 18:23 22:3 26:20,23 27:17 28:19,21 29:9 29:10,12 33:13 34:19,22,25 35:8,10,14,17 38:13 39:3,15 39:19,25 40:1 40:4 44:13,18 44:22 45:20,23 45:24 46:10,14 46:15,17,21 47:1,11,14,17 47:19,24 48:4 48:11,14,19 49:3,19 50:2,3 50:5,6,8,10,13 50:23 51:4,11 56:3,19 60:10 60:15,20 68:19 68:23,25 69:9 69:12 72:18,21 73:1,4,15 74:7 74:11,21 75:7 75:16,25 76:1 76:3,9 77:23 80:7,13,14,17 81:1,7,23,25 83:6,7,21,25 84:1 85:16,22 86:3,8,14,18	87:16,17,19,25 88:2,6,7,14,18 88:20 89:1,4,9 89:10,17 90:4 90:12,14,16 91:2,19 92:12 93:1,5,6,10 97:23 100:10 102:2,14,22,25 103:4,23,24 104:2,5,10 105:20 106:2,4 106:8,17,24,25 108:7,25 110:7 110:16,18 111:14,20,22 113:9,10,15,16 114:5,10,19 115:4,13,18 116:19 117:11 118:17,19 119:9,12 120:15,20 121:6 122:11 125:8,15 126:1 126:4,19,23 127:1,7,18,22 128:3,10,11,12 128:16,17,25 129:1,5 131:21 133:2 seeing 17:2 seem 64:21 105:13	seems 26:5 27:3 34:9 35:5 55:19 66:13,14 66:20 67:1,23 83:16 121:16 123:1 134:10 seen 21:10 32:22 segment 76:4,7 76:11,11,15,18 76:22,25 77:6 78:11 94:22 95:19,20 104:14 105:16 108:25 109:3 123:4,17 124:22,24 segments 15:10 15:11 77:2,7,7 78:19 90:14,17 90:17 91:4 92:15,15,20 95:21,22 104:10,20 105:7,23,24 108:20 109:24 110:4 122:8 123:8 134:10 select 12:16 83:2 selected 12:18 12:18,21 semiconductor 39:21
--	---	---	--

send 63:11 133:22 sense 58:21 63:7 sensed 87:24 89:14,19,20 90:2 92:6 94:20 95:1,9 sensible 67:23 sensitive 120:22 sensor 49:25 50:12,25 51:8 84:6,9,20 85:6 85:10,12 86:5 86:16 87:6,7,8 87:9,12,12,14 87:15 88:22 132:10,21 sensors 20:12 29:11,20 83:24 85:19 131:6,12 132:2,6,16,20 sent 61:4,12,19 89:14,15 90:2 90:3 sentence 27:22 39:25 40:1,4 60:11 75:16 80:8,14 82:1 82:20 83:21 88:4 91:19,23 93:1 106:4,10 108:11 113:12	separate 27:6 96:2 series 51:21 sessions 13:22 13:23 set 93:14 136:8 sets 109:23 settings 99:24 setup 135:2 shah 2:13 4:7 6:10,10 10:24 12:9 13:1,4 14:20 15:25 17:8 18:17 19:1,22 20:3 20:18 21:1,13 22:9,17 23:11 23:19 24:4,9 25:18,25 26:8 26:14 27:1,12 27:19 28:2,9 28:12,24 30:1 32:7,19 33:3,8 33:17 34:2,7 34:14 35:3,24 36:8,17,23 37:6,13,19,23 38:16,22 39:7 40:7,13,24 41:9,15 42:17 43:2 44:25 45:6,16 46:8 47:4,9 48:2 49:13 50:16 51:1,9,18 52:8	52:14,23,25 53:10,20,22,25 55:3,22 57:16 58:9,19 59:11 61:5 63:20 65:5 66:7,12 66:19,24 67:14 67:22 68:6,17 70:8,23 71:9 72:12 76:24 77:9,18,25 78:10 79:4,8 86:25 89:24 90:19 91:12 92:17 93:15 94:14 95:3 98:15 99:19 101:4 102:9 107:6,19 108:21 109:21 112:9 115:8 116:4 117:8,16 121:9,15 122:14,24 123:21 129:21 131:8 132:4,24 133:2,13 135:3 shape 134:16 134:20 shifts 65:3 shineality 121:25 shining 128:19 shortest 11:24	shoulder 105:18 show 86:23 87:1 102:6 125:20 showing 86:4 124:8 shown 26:21 74:18 77:2 109:19 121:1,2 122:9,12 123:1 124:21 125:9 125:17 shows 87:14 122:7 side 20:15 sides 112:6 sign 112:17,19 112:20,24 signature 135:10 136:21 significant 60:4 significantly 91:20 92:2 signs 111:17 115:16 similar 57:20 87:9 similarly 7:22 29:6,20 78:17 96:24 133:21 simply 45:17 73:22 single 63:9 99:23
---	--	--	--

sitting 23:3 situated 108:5 situation 65:9 65:22 67:1,25 68:10 71:11,18 72:15 76:9 77:10,20 95:16 99:15 117:7 situations 84:23 99:5,6 skeleton 15:14 15:19,21 skill 18:7 84:18 small 10:14,15 solid 128:13 solutions 5:22 something's 67:20 somewhat 134:8,18 sorry 22:5 37:23 39:4 41:14 51:20 56:6,8 58:3,5,6 61:3 68:15,16 75:6 80:6 91:23 101:13 103:3 106:15 107:12 112:3 120:9 128:4 sort 59:21 67:1 76:5 99:9 124:23 134:14 sorts 31:17,21	sound 9:14 sounded 43:18 sounds 7:12 8:4 64:10 70:3 source 30:8 33:23 35:23 36:6,7,15 37:4 39:4,16,19 40:23 41:19,19 42:3,6 44:3 48:21 49:4 55:21 56:24 58:8,11,18 59:3,9,14,23 62:6,10,16 68:21,24 69:1 69:3,14,19,20 69:25 70:1,6 70:14,20 71:2 71:6,8,19,24 72:25 73:2 76:9 98:12 100:16,21 103:22 104:9 106:6 111:11 133:22 sources 33:16 33:20 34:3 35:11,15,19 38:14 40:2,6 41:3,20 42:15 42:19 43:17,19 43:22,24 44:6 44:7,16,21,23 46:13 47:23	48:1,17,21,23 49:3 59:5 61:15 68:20 69:11 70:4 72:1,8,24 100:18 103:17 103:21 132:19 133:25 134:17 134:18 space 31:13,14 92:5 105:14 spacial 56:16 spatial 58:12 105:25 110:5 speaking 15:11 45:3,6,8 specific 20:5 24:24 32:5,13 37:1 45:13 48:4 54:16 55:9 64:14 80:3 99:4 100:24 101:5,7 specifically 9:5 12:1 13:11 16:1 17:6 23:22,23 24:12 25:20 30:15 31:7 37:9 42:9 47:14 50:23 55:24 56:14,20 56:21 62:1,15 62:22 89:25 98:24 106:9 107:7 108:14	120:8 123:16 specification 34:18 44:22 47:12,13 48:6 51:4 76:7 83:17 specifications 32:10 specified 70:11 75:4 specifies 33:19 specify 25:13 32:5,16 33:15 41:5 44:20 47:7 specifying 25:15,20 spectral 58:13 59:22 105:25 106:5 110:6 spectrum 56:16 56:17 60:18 speculate 31:4 38:25 speculation 21:2 24:10 26:9 72:13 91:13 94:15 98:16 99:20 109:22 115:9 117:9,17 121:10 122:15 speed 127:25 128:7
--	---	---	---

spend 10:9,22 11:8,16,18 14:8 spent 11:21,25 12:3 14:17,24 15:1 spero 1:9 5:15 6:11 130:14 spread 57:24 58:12 78:4 square 31:15 ss 136:3 sshah 2:19 staff 17:15,18 standard 32:18 33:2,7 54:22 54:24 standards 32:23,24 start 15:20 47:21 59:19 79:2 93:21 104:21,21 started 8:24 starting 75:16 107:1 state 6:2,4 7:3 98:8 136:2 stated 36:11 statement 24:24,25 states 1:1 5:16 88:3 static 65:11,16 65:22 66:1,5	67:7 stationary 65:23 status 83:23 85:18 stay 79:23 80:9 92:12 steering 102:8 132:21 stenographic 136:11 stenographic... 136:9 step 89:3 93:8 steps 50:14,21 50:23 51:8,12 93:18 steradians 31:16 stop 85:15 123:13 stops 85:14 stored 86:16 storing 50:8 straight 101:3 129:15 strategy 45:25 46:3 street 1:14 2:6 2:15 5:20 strike 22:5 strips 39:23 subdivided 104:10	submitted 7:6 14:6 subsection 50:4 51:16 subsections 46:23 substances 113:6 substantially 15:22 succinct 56:22 98:20 suite 1:14 2:16 summarizing 80:4 summary 27:13 36:2,10 111:9 summer 3:3,4,5 sunday 13:5,9 suppose 25:21 64:13 65:18 66:9 71:10 102:11 sure 9:23 11:12 16:5 17:4 20:4 21:6,16 22:16 26:11,17 27:5 27:7,10,23 28:17,22 29:4 31:22 34:16,20 36:3,6,10,22 37:12 38:18,19 39:11 41:8 45:4 47:5,12 49:7,8 55:17	60:7 61:10 63:13,13 66:3 67:2,8,10 70:15 94:2 102:14 109:25 surface 60:25 115:3 120:11 120:13,21,22 121:1,2 swath 124:25 swear 6:16 switch 83:1,5 90:14 92:14,15 96:11,20,25 switched 90:7 91:2 94:12,20 94:23,24 95:10 95:17 96:17 switching 92:21 95:22,24 sworn 5:7 6:21 system 19:21 20:16,19,24 21:6,11 23:17 24:1,20,22,23 24:25 28:20 29:14,15 44:14 48:18 67:4,11 79:23 80:24 81:2,9 83:2,3,9 83:13,18,22 85:17 86:19 92:14 93:18 119:23 120:6 126:11 131:4
---	--	---	---

system's 93:19 systems 19:15 19:19,25 20:2 20:8 24:11,15 24:16,17,19 25:11 29:7 32:6,17 39:24 103:14	95:21,24 96:6 96:13 97:24 112:5 120:17 127:21 talks 95:22 103:20 106:23 107:1 teaching 20:6 techlin 3:3 technical 24:24 41:2 43:19,21 48:25 49:2,15 51:23 52:16,23 52:25 68:10 technician 3:2 5:10 6:15 37:24 38:3 79:9,13 116:8 116:12 129:24 130:6 133:4,8 135:6 technological 9:17,20,25 10:6,11 technologies 61:21 62:4 technology 42:10 59:21 televisions 61:18 tell 45:11 52:2 52:18 97:15 tend 30:16 42:9 56:23	term 19:11 39:16,19 41:14 41:21,24 63:21 68:9 73:2 101:11 105:12 108:16 terms 19:13 31:2 41:17 42:22 54:10,16 54:19 55:2,9 55:11 108:9,9 111:21 116:3 testified 5:9 6:23 testify 5:7 6:21 testifying 8:22 testimony 14:21 22:10 41:10 51:10 text 96:14 thanks 53:22 thing 19:8,10 58:22 64:2,4,5 72:22 87:6 things 10:8 12:15,18,19 13:19 16:7,8 17:3 19:8,16 20:12 23:12 24:1,2 31:15 31:20 52:12 57:23 58:15,24 59:8,8 81:17 85:4 96:24 112:8 116:2	125:3 think 9:24 10:17 11:8,11 12:24 13:3 15:23 17:2,17 18:19 20:10 21:12 23:21 28:14 29:2 30:23 31:8 32:21 35:21 36:20 37:9 41:5 42:10 43:11,13,23 44:4 45:10 48:13 55:17 56:23 57:5 58:24 61:9 63:5 64:6 67:25 68:10 69:22 70:2 71:17 74:25 79:5 82:9 83:12,15,15,17 83:20 84:1,17 85:9 87:18,25 88:10 89:12 90:20,23 91:3 91:6,8 92:11 92:19 94:16 95:4,6,15 96:4 96:4,15,22 97:2 98:21 99:5,21 100:2 101:12 103:2 106:10 107:8
t			
table 4:1 31:21 tables 31:24 32:1 taillight 117:25 118:21 taillights 116:22 118:10 118:15,17 take 10:1 13:23 16:23 22:14,16 29:1 37:13 47:20 53:9 82:16 114:17 116:6 129:19 taken 1:14 38:1 79:11 116:10 130:1 133:6 136:7,12 talk 29:24 talked 86:12 109:7 124:20 talking 9:6 24:23 29:21 42:3,5 62:5 71:22 78:11 88:24 91:14			

108:8,15,23	thursday 1:17	125:10,13	trigger 52:10
109:10 112:13	5:2,12	topic 43:19,21	triple 105:8
112:22 114:16	time 5:11 6:5	topmost 75:13	true 91:8
118:23 123:22	10:9,22,25	total 56:4,13	124:18 136:11
125:11 128:20	11:18,20,24	122:11	truth 5:7,8,8
128:22 129:22	13:6 18:12	towards 45:23	6:21,22,22
130:9 132:22	22:14,25 31:13	75:2,7,15 77:8	truthfully 8:22
134:1	37:25 38:4	80:17 89:2,13	try 9:7 22:16
thinking 31:10	39:9 42:11	103:24,24	trying 58:20
41:21 104:23	56:10 59:17	105:17 109:8	90:8 107:8
third 128:13	64:3 79:10,14	109:13 114:21	111:20 112:16
thought 12:18	84:11 87:18,25	125:9,13,16	128:4
21:8 22:3	94:7,12,13	126:13 127:24	turk 1:13 4:4
23:22 28:16	96:11,19	toyota 9:16,20	5:5,14 6:19 7:1
29:3 36:19	116:13 129:25	9:25 10:6,11	7:4 22:20 38:6
38:18 40:17	130:7 133:5,9	trademark 1:1	53:15 79:16
66:3 67:8	135:7	5:16	turn 26:19
114:23	times 8:25	traffic 117:3	44:10 74:4
thoughts 21:10	13:11 24:11	transcribe 9:13	79:19 82:10
three 7:7,9	45:18 117:10	transcript 4:11	97:16,20,24
44:16 47:22	timing 79:2	136:11	98:6,8 99:2
124:1,12	today 7:5 8:19	transcription	100:20 102:1,4
132:11	8:22 12:5	136:10	102:8,12
threshold 84:5	13:24 14:2	transmission	114:17 116:15
84:8,16 85:7	54:25 55:5,16	62:20	116:16 117:6
85:11,13 86:4	133:3	transmissions	117:23 120:1
86:8 88:9,16	took 78:3	63:4	120:16 122:5
88:19,21 90:18	top 11:14 19:3	transmit	turned 56:7
90:21 93:8,12	26:4 30:22	111:16	twice 17:17
93:20,24	37:11 39:12	traveling 99:17	two 14:13
thresholding	45:22 56:23	116:25	21:25 34:6,12
88:22	62:25 63:18	trial 1:2 5:17	43:18 57:19
thresholds	75:8 84:19	triangulation	58:24 81:16
89:20	98:21 110:18	88:4 89:1	86:9 97:24
	118:9,16 121:3		105:7 118:16

124:2 125:7 type 16:3 24:23 25:13,15 33:19 44:20 47:25 121:13 types 24:17 30:14 33:15 38:14 39:21 typical 10:25 16:6 118:8 typically 10:22 11:16,19 59:20 102:13	understanding 30:4 36:13 44:5 49:1,2 54:12 55:1,5 55:15 69:7 83:20 124:10 understood 39:20 72:9 unfortunately 117:5 unique 39:3 unit 5:13 31:19 united 1:1 5:16 units 30:17,21 30:23 31:3,8 31:11,13,13,14 university 9:22 unparalleled 56:18 usa 1:5 5:15 6:8 6:14 use 9:12 20:12 30:12,16 31:1 49:9 60:4 62:2 62:9 83:19 99:5,7,14 101:12 115:20 120:2 used 13:18 18:14 28:23 42:20 54:22,24 57:13 59:21 60:21 61:15,22 65:13,18 88:4 89:6 112:23	131:6,13 132:2 132:10,17,18 user 83:1,2,4,8 uses 73:2 90:8 105:12 127:12 using 55:15 98:23 99:3 101:11 102:10 103:16 120:5 usually 11:1 30:9,10 118:10 utilizing 29:11 uv 112:7,17 113:17 114:4,5 115:21	108:21 109:22 122:15,24 123:21 131:8 variable 63:19 64:24 65:2 67:6,13,20 68:20 69:1 variation 134:20 varies 11:1 various 15:5 30:19 134:4 varying 68:4 vehicle 19:20 20:1,17,20,25 21:7,7 22:8 23:18 24:1 25:10,14,15,16 25:21,24 26:11 26:16,21,25 27:3,4,18,25 28:8,20,22 29:1,7,11,14,15 29:17,19,21,23 29:24 30:2 32:6,17 44:14 44:15,17 79:24 80:1,10,12,21 80:23,23 81:5 81:8,9,14,15,19 81:22 82:1,8 89:14,19,21 90:2 91:11 92:6,9 93:12 94:20 95:1,5,9
u		v	
ultra 112:7 ultraviolet 111:11,16,23 111:24,25 113:3,8 115:13 unclear 75:12 107:7 under 8:18 52:11,12 54:5 85:11 understand 7:5 7:20,23 8:18 9:2 42:15 48:6 54:9,19 55:11 58:20 64:9,10 69:13,21 73:20 77:14 103:11 110:22 111:23 112:16 117:2 119:14 123:22		vague 10:24 15:25 17:8 19:22 20:18 21:2 23:11 24:4 25:25 27:2 39:7 40:13 47:4 55:22 57:16 58:9,19 59:11 61:5 63:20 65:5 66:7,12 66:19,24 67:22 68:6 70:23 71:9 77:25 78:10 86:25 89:24 90:19 92:17 93:15 95:3 101:4 102:9 107:6,19	

99:16 103:14 103:17 104:4 105:14 106:19 106:22 108:1 111:5,11,15 113:12,25 114:11,12,14 114:15,20,20 114:25 115:1,6 115:7,10 116:22,24,24 119:23 120:5 126:11,19 127:5,25 128:7 128:14,25 129:7,10,15 134:24 vehicle's 99:17 129:4 vehicles 19:17 19:19 20:1,8,9 24:3 26:2,4 28:5 29:21 117:14 veritext 1:19 5:22 version 15:18 versus 5:15 56:18 106:23 viable 111:19 video 1:13 3:2 5:10,14 6:15 37:24 38:3 79:9,13 116:8 116:12 122:1	129:24 130:6 133:4,8 135:6 videographer 5:23 view 26:22 40:20,22 41:3 42:14 46:24 63:2 70:16 viewer 60:14 viewing 108:12 108:13,15 violate 112:7 visible 112:1,3 112:4,25 113:14,19 114:5,8 vs 1:7	109:10 112:8 122:25 125:2 125:13 ways 34:17 61:10 99:12 we've 37:14 95:24 114:19 wearing 115:17 week 13:6,10 weeks 11:2,4,5 11:6,7,19 went 15:15 125:13 west 1:14 2:15 5:19 when's 22:25 white 60:14,17 60:19 wide 105:2 width 124:24 winding 129:22 witness 4:3 5:6 6:16,20 10:2,5 10:10,23 11:10 14:21 17:16 22:10 41:10 51:10 135:10 wo 102:23 119:8 word 18:23 23:3 41:20 45:20 46:15,17 49:9 98:6 101:12 102:6 124:25	wording 16:8 words 15:8,16 15:18 18:14 19:9,13 36:12 45:5,12,14,15 45:17 47:11,14 47:17 48:4 50:5 51:11 69:12 80:3,4 92:18 97:24 111:20,22 113:10 128:4 work 10:2,3,5 17:18 21:12 37:14 76:8 85:9 134:6 135:1 working 11:5,6 54:13 works 17:15 37:17 61:2,11 86:22 131:4 world 105:6 124:16 134:11 write 16:3,9 written 45:14 wrong 24:8,11 80:7
	w		x
	want 15:4 20:5 25:3 37:11,15 37:19,21 38:25 48:5 55:5 79:1 83:8 99:25 117:13,14,22 wanted 17:12 133:14 washington 2:7 wavelength 112:22 114:7 116:3 way 18:16,25 50:24 55:5 72:14 86:21 87:9 99:4 100:20 109:6		xml 84:9 86:16
			y
			yeah 19:25 27:13 41:20 56:12 72:6

101:25 112:14 114:2 115:6 119:6 121:13 yechezkal 1:9 yellow 60:19 yep 53:13 yesterday 13:5 13:8 yields 56:17
z
zone 90:22,25 94:19 zone's 89:8 zones 85:5 89:6 93:24 94:7,8

Federal Rules of Civil Procedure

Rule 30

(e) Review By the Witness; Changes.

(1) Review; Statement of Changes. On request by the deponent or a party before the deposition is completed, the deponent must be allowed 30 days after being notified by the officer that the transcript or recording is available in which:

(A) to review the transcript or recording; and

(B) if there are changes in form or substance, to sign a statement listing the changes and the reasons for making them.

(2) Changes Indicated in the Officer's Certificate. The officer must note in the certificate prescribed by Rule 30(f)(1) whether a review was requested and, if so, must attach any changes the deponent makes during the 30-day period.

DISCLAIMER: THE FOREGOING FEDERAL PROCEDURE RULES ARE PROVIDED FOR INFORMATIONAL PURPOSES ONLY.

THE ABOVE RULES ARE CURRENT AS OF APRIL 1, 2019. PLEASE REFER TO THE APPLICABLE FEDERAL RULES OF CIVIL PROCEDURE FOR UP-TO-DATE INFORMATION.

VERITEXT LEGAL SOLUTIONS

COMPANY CERTIFICATE AND DISCLOSURE STATEMENT

Veritext Legal Solutions represents that the foregoing transcript is a true, correct and complete transcript of the colloquies, questions and answers as submitted by the court reporter. Veritext Legal Solutions further represents that the attached exhibits, if any, are true, correct and complete documents as submitted by the court reporter and/or attorneys in relation to this deposition and that the documents were processed in accordance with our litigation support and production standards.

Veritext Legal Solutions is committed to maintaining the confidentiality of client and witness information, in accordance with the regulations promulgated under the Health Insurance Portability and Accountability Act (HIPAA), as amended with respect to protected health information and the Gramm-Leach-Bliley Act, as amended, with respect to Personally Identifiable Information (PII). Physical transcripts and exhibits are managed under strict facility and personnel access controls. Electronic files of documents are stored in encrypted form and are transmitted in an encrypted

fashion to authenticated parties who are permitted to access the material. Our data is hosted in a Tier 4 SSAE 16 certified facility.

Veritext Legal Solutions complies with all federal and State regulations with respect to the provision of court reporting services, and maintains its neutrality and independence regardless of relationship or the financial outcome of any litigation. Veritext requires adherence to the foregoing professional and ethical standards from all of its subcontractors in their independent contractor agreements.

Inquiries about Veritext Legal Solutions' confidentiality and security policies and practices should be directed to Veritext's Client Services Associates indicated on the cover of this document or at www.veritext.com.