



Planet Depos®
We Make It *Happen™*

Transcript of James F. Shanley, Ph.D.

Date: June 6, 2024

Case: Hesai Technology Co., Ltd. -v- Ouster, Inc. (PTAB)

Planet Depos

Phone: 888.433.3767

Email: transcripts@planetdepos.com

www.planetdepos.com

Conducted on June 6, 2024

1	1	1	3
1	UNITED STATES PATENT AND TRADEMARK OFFICE	1	COOLEY LLP
2	BEFORE THE PATENT TRIAL AND APPEAL BOARD	2	PHILLIP E. MORTON, ESQ.
3	- - - - -x	3	1299 Pennsylvania Avenue, N.W., Suite 700
4	HESAI TECHNOLOGY CO., LTD, : U.S. Patent No.	4	Washington, D.C. 20004-2400
5	Petitioner, : 11,175,405	5	202.842.7800
6	v. :	6	pmorton@cooley.com
7	OUSTER, INC., : IPR No. 2023-01421	7	
8	Patent Owner. :	8	
9	- - - - -x	9	
10		10	
11	Deposition of James F. Shanley, Ph.D.	11	
12	Boston, Massachusetts	12	
13	June 6, 2024	13	
14	9:14 a.m.	14	
15		15	
16		16	
17		17	
18		18	
19	Job No.: 539411	19	
20	Pages: 1 - 83	20	
21	Reported By: Alan H. Brock, RDR, CRR	21	
22		22	
2	2	4	4
1	A P P E A R A N C E S	1	C O N T E N T S
2		2	
3	ON BEHALF OF PETITIONER:	3	EXAMINATION OF JAMES F. SHANLEY, PH.D. PAGE
4	QUINN EMANUEL URQUHART & SULLIVAN, LLP	4	BY Mr. LAUPPE 5
5	RON HAGIZ, ESQ.	5	BY Mr. HAGIZ 80
6	51 Madison Avenue	6	
7	New York, New York 10010	7	
8	212.849.7000	8	PREVIOUSLY MARKED EXHIBITS
9	ronhagiz@quinnemanuel.com	9	001 52
10		10	003 6
11	ON BEHALF OF PATENT OWNER:	11	004 43
12	COOLEY LLP	12	006 50
13	PATRICK LAUPPE, ESQ.	13	014 49
14	3175 Hanover Street	14	
15	Palo Alto, California 94304-1130	15	
16	650.843.5000	16	
17	plauppe@cooley.com	17	
18	- and -	18	
19		19	
20		20	
21		21	
22		22	

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

5 1 June 6, 2024 9:14 a.m. 2 P R O C E E D I N G S 3 JAMES F. SHANLEY, PH.D., 4 being first duly sworn or affirmed to testify to the 5 truth, the whole truth, and nothing but the truth, was 6 examined and testified as follows: 7 EXAMINATION 8 BY MR. LAUPPE: 9 Q. Good morning, Dr. Shanley. 10 A. Good morning. 11 Q. Thanks for being here today, Dr. Shanley. 12 Have you been deposed before? 13 A. Yes. 14 Q. How many times? 15 A. Let's see. Three; I think this is the 16 fourth. 17 Q. Have you testified at trial before? 18 A. No. 19 Q. Have you been an expert in an IPR proceeding 20 before? 21 A. I've done a bunch of things on -- I'm trying 22 to think. You know, it's been a span of years. So	7 1 opinion. And so it was a give-and-take. 2 Q. Do you have any corrections or changes to 3 Exhibit 1003? 4 A. One I can think of was the typo on my 5 bachelor's degree. I think it's listed as 1996, and 6 that was after my doctorate. So that's kind of 7 incongruous. 8 Q. And so when did you receive your bachelor's 9 degree from Clarkson University? 10 A. 1966. 11 Q. Do you have any other corrections or changes 12 to your declaration in this case? 13 A. Not that I know of. 14 Q. Does your declaration, Exhibit 1003, state 15 all of the opinions you intend to provide in this IPR? 16 A. Yes. 17 Q. Where did you get the alleged prior art 18 referenced in Exhibit 1003? 19 MR. HAGIZ: Object to the form. 20 A. Through legal counsel, and also through 21 literature search that I did. 22 Q. Did you choose the alleged prior art
6 1 probably, I guess. I'd have to look at my resume. 2 Q. How did you prepare for today's deposition? 3 A. I read over my declaration, the patents, and 4 I talked with counsel. 5 Q. How many hours did you spend talking with 6 counsel? 7 A. A few. Do you want me to quantify it? 8 Q. Ballpark is fine. 9 A. Probably three or something like that. 10 Q. When were you first engaged by Hesai? 11 A. Oh, God. It's going back, I think, to about 12 this time last year. My declaration was done in 13 September, the end of September or so. I think it was 14 early summer or about this time frame. 15 Q. And since you mentioned your declaration, 16 let's go ahead and introduce Exhibit 1003, which is 17 your declaration in this proceeding. 18 Did you draft Exhibit 1003, Dr. Shanley? 19 A. Yes. 20 MR. HAGIZ: Objection, form. 21 A. I went back with the legal team and 22 consulting, and I wrote up parts of it and gave my	8 1 referenced in Exhibit 1003? 2 MR. HAGIZ: Objection, form. 3 A. Well, back and forth. We actually went 4 through and looked at the literature and looked at the 5 claims and actually selected the patents that we 6 thought were relevant for this. 7 Q. Which of the prior-art references discussed 8 in your declaration were you aware of before being 9 engaged in this case? 10 A. Well, having worked in the field, I was aware 11 of a lot of background information and a lot of 12 literature. And specifically, you know, I probably 13 glanced at those in the past. But, you know, I just 14 pulled a lot of stuff from my reference base and 15 provided it. 16 So I don't know, you know. It's that type 17 of thing. You know, you see so many references and so 18 many things that you know where to go to get the 19 pertinent information that you want. 20 Q. And what is your hourly rate? 21 A. It's listed in the -- I go through an agency, 22 and so the agency charges the fee. So I believe if we

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

9 1 look through this we can see what they charge. 2 Q. So if you go to Page 1, Paragraph 2, do you 3 see where you say, "I am being compensated at my 4 standard consulting rate for my time providing my 5 independent analysis, plus reasonable out-of-pocket 6 expenses"? Do you see that? 7 A. Yes. 8 Q. What is your standard consulting rate? 9 A. My standard rate is not what the agency 10 charges. So I charge the agency that employs me \$250 11 an hour. 12 Q. I'm sorry, you said that's not your standard 13 consulting rate, that \$250 an hour? 14 A. So, my standard rate is \$250 an hour, but the 15 agency doesn't charge that, they charge something 16 else. 17 Q. Understood. 18 A. So I'm employed through the agency, and the 19 agency contracts with Quinn Emanuel. 20 Q. How many hours have you worked on this IPR 21 proceeding to date? 22 A. Oh, my God. I would have to look that up. I	11 1 you see where you say, "I was personally involved 2 designing and building a number of LiDAR systems"? 3 A. Yes. 4 Q. Did these systems use photodetectors? 5 A. Some of them. 6 Q. What kinds of photodetectors? 7 A. It depended on the system. In the early days 8 it was photoconductors and photodiodes, and then 9 getting further out, we were experienced with 10 avalanche photodiodes. We were looking at resonant 11 cavity-type devices. 12 So there's a whole slurry of devices that 13 we actually looked at and evaluated to pick the best 14 one for our particular systems. 15 Q. When were you first aware of single-photon 16 avalanche diodes, or what I'll refer to as SPADs? 17 A. Oh, my goodness. Thinking back, with the 18 photodiodes -- so photodiodes and photoconductors are 19 the first devices that I worked with. But we were 20 always keeping an eye out for different devices, and I 21 was lucky enough to work with people at Lincoln Labs, 22 also, and consulting a lot on what they were doing.
10 1 don't know that number. 2 Q. And when I refer to "this IPR proceeding," 3 you understand that means IPR Proceeding 4 No. 2023-1421? Is that correct? 5 A. If that's, yes, what the declaration says, 6 yes. 7 Q. Did you review the patent owner preliminary 8 response in this proceeding? 9 A. We provided a list of items that I looked at, 10 and if it's in there, I did. I don't remember, 11 because I worked on several different things, and 12 going back, I'm not sure. I probably did, but I 13 really can't comment on that. 14 Q. Do you know if you reviewed the institution 15 decision in this proceeding? 16 A. What date is that on? 17 Q. So the institution decision was issued on 18 March 19th of 2024. 19 A. I would have to look back. It doesn't ring a 20 bell. 21 Q. So let's go to Paragraph 9 of your 22 declaration. That's on Page 3 of Exhibit 1003. Do	12 1 And there was a lot of early research in avalanche 2 photodiodes. God, this goes back to the late '70s, 3 early '80s time frame. 4 I might interject that basically -- I 5 started my life as a device physicist. And so I was 6 always aware of device structures and what was going 7 on in the various device research and development. 8 And so via conferences and things like 9 that, I became aware of alternatives to what we could 10 use in LiDAR systems. 11 Q. And so in your answer just now you referred 12 to avalanche photodiodes; correct? 13 A. Uh-huh. 14 Q. Those are -- strike that. 15 Avalanche photodiodes are otherwise known 16 as APDs; correct? 17 A. Yes. 18 Q. So my question was about SPADs or single- 19 photon avalanche diodes. Do you recall when you were 20 first aware of those? 21 A. That was in the McDonnell Douglas days in the 22 early '90s and the research that was going on. I had

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

13 1 groups working with semiconductor diode lasers and 2 receivers, and that seemed a natural way of increasing 3 sensitivity of these devices. 4 Q. And were these LiDAR devices? 5 A. Not at that particular point. 6 Q. What kind of -- 7 A. I'm sorry. We considered, you know, as I 8 said earlier, all types of given devices. And so when 9 we sat down to design a system, one of the system 10 considerations was what type of device are we going to 11 use, what's the sensitivity, what are the ranges that 12 we have to work with, what are the distances, what are 13 the velocities, how strong is the laser power, are we 14 doing a satellite-to-satellite thing or an 15 airplane-to-earth or an earth-based vehicle. So we 16 had to take all those considerations in to see what 17 type of device was best suited for that and what was 18 available and cheap. 19 Q. So you said SPADs were involved with your 20 work in the McDonnell Douglas days; correct? 21 A. No, not to the extent that they were 22 implemented in systems. But we were watching	15 1 putting a SPAD in there to give us increased 2 performance. 3 But did I ever build a system with one? 4 No. Did I design? I had some paper designs with 5 them, but never incorporated them. 6 Q. And what do you mean by a handheld 7 illuminator? 8 A. This would be for a soldier on the front 9 lines. And what they would do, it would be a 10 flashlight-sized object that would have in the same 11 focal plane a diode emitter, a bunch of laser diode 12 emitters, and a detector array, so that they could 13 illuminate an object, and that object could then give 14 information to other people, like an airplane flying, 15 or it could come back and give information to the 16 people who are there, such as range and range rate, 17 velocity. 18 Q. So you mentioned that you worked on a LiDAR 19 system for Scram; correct? 20 A. The handheld illuminator. 21 Q. And was that a LiDAR system? 22 A. Technically, yes, because it did range.
14 1 developments and who was developing what type of 2 device, and we would talk with people across the 3 industry -- whether it was Osram or Sony or Ball 4 Aerospace, developed a lot of APDs. And so we would 5 look at what's happening, and we would actually do 6 fact-finding visits to these various vendors to see 7 what was going on with devices that they were 8 developing, so that we could incorporate them into 9 future -- you know, future systems. 10 Q. Did you ever incorporate SPADs into future 11 systems? 12 A. Myself, no. 13 Q. Why didn't you use SPADs in any of the LiDAR 14 systems that you worked with? 15 MR. HAGIZ: Objection, form. 16 A. By the time I left that industry and actually 17 ended up as vice president of engineering for 18 Polaroid, and so we changed hats, and so outside of -- 19 when I left Polaroid, and doing some consulting with 20 Scram, we considered SPADs for some particular 21 applications. And this involved some handheld 22 illuminators, using diode lasers, and we considered	16 1 Q. But ultimately, you said, you did not use a 2 SPAD in the Scram system; is that correct? 3 A. Right. But let me just say this: The type 4 of detector -- this really -- to a device person like 5 myself, and also I wear my system hat, whether I put a 6 photoconductor in there or whether I put a resonant 7 cavity device or whether I put an APD or a SPAD or 8 whatever, it all boils down to system sensitivity and 9 noise-equivalent power or signal-to-noise ratio. So 10 it really doesn't matter. 11 And each device has its own special 12 characteristics, and SPADs, because you're operating 13 in the breakdown region, you have to consider multiple 14 arrays, because you have to quench one and still be 15 able to detect your incoming signal. 16 So, you know, the pixel considerations are 17 multi-SPAD for a pixel, as opposed to a single diode. 18 So you have those things, and it's all very 19 straightforward engineering. 20 Q. What did you use in the Scram system as a 21 photodetector? 22 A. We used the photodiode, an array of

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

17 1 photodiodes. 2 Q. Why didn't you use a SPAD in the Scram 3 system? 4 A. We didn't have the volume and the power 5 required at that point, and we wanted a simple system. 6 Q. What do you mean, that you didn't have the 7 volume? 8 A. Well, this was in the 2008 time frame. And 9 we didn't have -- at that point SPADs were not really 10 well developed. And so consequently we went with 11 something that had to be cheap, which was an 12 off-the-shelf array. 13 And cheapness and volume -- so if you have 14 something that's like a flashlight and you want to put 15 your arrays in there and you want a very simple 16 operation and you want it to be able to be 17 field-fixable, there wasn't a lot known about, you 18 know, how reliable and all the ilities about SPADs. 19 So we went with something that was very, very off the 20 shelf. 21 Q. You said you went with photodiode; is that 22 correct?	19 1 Q. And what would have caused the turnaround to 2 be longer if you used SPADs? 3 A. Unavailability. 4 Q. Have you ever worked on a rotating LiDAR 5 system? 6 A. Plenty. The one that comes to mind in terms 7 of rotational -- I mean, there are many, many 8 different types of rotational systems, and I've worked 9 on a lot of FLIR systems. Those are forward-looking 10 infrared, which are rotational. 11 Back in the early days at Honeywell we 12 actually had a FLIR system, and there was a unique 13 rectangular mirror that had mirrors on four sides that 14 could only be made by diamond turning. And 15 Polaroid -- or Honeywell had the patents on a lot of 16 diamond turning early in the '70s. And this system 17 rotated this way. So you had linear arrays of items 18 which eventually were replaced by focal planes, and it 19 spun this way to give you an image from the scene as 20 well as rotating this way. 21 So this could either rotate 360 degrees 22 while spinning like this, or it could look in a given
18 1 A. Uh-huh. 2 Q. And were photodiodes cheaper than SPADs? 3 A. At that point. 4 Q. And did SPADs take up a larger volume than 5 photodiodes? 6 A. Not really. You know, it really is a 7 question of cost. And the other thing is, the 8 reliability and the manufacturers, who's manufacturing 9 them in those time frames. 10 And at that particular time frame, there 11 was a lot of research and development being done in 12 the SPAD-type devices. And so we decided to go for 13 something that was very simple. And this was for the 14 Korean Army, basically, that we did this. So it had 15 to be very fast and very quick and very reliable. 16 Q. What do you mean by you had to go with 17 something that was very simple? 18 A. Not complicated. And that's not to imply 19 SPADs are complicated. The idea is, I have to fit it 20 in here. It has to work. And this program was only 21 like a nine-month program. So it had to be a quick 22 turnaround.	20 1 direction. 2 And these were for various types of 3 platforms to mount on. And in those days you had 4 linear arrays, so you had to scan and construct a 5 scene using, you know, strips of the scene and then 6 put them together. And so it created a lot of signal 7 processing. 8 And so basically the drive for arrays came 9 by the fact that I don't want to have this narrow 10 strip and be putting it together all the time. So 11 what they did was went to arrays and you could go to 12 staring systems and you could take those staring 13 systems and put them on gimbals and rotate them in 14 various directions. 15 Essentially we built -- I was involved in 16 programs for several different LiDAR systems -- for 17 laser altimeters, for space applications, for range 18 finders, for wind velocity measurements. We actually 19 built a system that we put out in Colorado on Tabletop 20 Plateau, which is sort of an oxymoron, for actually 21 doing wind velocity measurements, and that was a 22 360-degree system.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

21 1 Q. The Honeywell system you're describing, in 2 that system was it the mirror that rotated? 3 A. Yes. The mirror rotated axially. So it was 4 rotating this way. So if you can imagine a cylinder 5 rotating this way, and four sides -- each time the 6 cylinder rotated, you have four different images 7 you're going to get. 8 And in addition to that, it rotated.... 9 Sorry about that. So it also -- I should 10 have used this. So it's rotating this way, but at the 11 same time it's rotating this way. So it rotates 12 vertically as well as horizontally. 13 And these were pretty crude systems early 14 on, but they became very, very sophisticated. And 15 they're probably -- they were then all -- a lot of the 16 early imaging systems, the rotational ones in this 17 direction were replaced by staring arrays as focal 18 planes became more prevalent, where you had, like, 19 1028-by-760 focal planes. Then you could have the 20 whole scene and there was no need to rotate this way, 21 but you could just rotate this way. 22 Q. The handheld system you worked on for Scram,	23 1 good things that a pitot tube does. 2 And so we went through that whole thing, 3 including eye safety, detection capability, detectors, 4 lasers, the whole nine yards. 5 Q. What kind of photodetector was involved in 6 that system? 7 A. That was a Ball Aerospace -- I believe it was 8 a Ball Aerospace avalanche photodiode. 9 Q. Was that as an expert or in industry? 10 I'll repeat the question. The experience 11 you were just describing, was that as an expert or for 12 industry? 13 A. That was an expert. 14 Q. And was that a rotating system? 15 A. Yes. 16 Q. When did you last design a LiDAR system? 17 A. Probably in the 2008 time frame. 18 Q. And that was your work for Scram? 19 A. Right. 20 Q. How has the LiDAR field changed since the 21 2008 time frame? 22 A. I don't think very much, because -- a little
22 1 that wasn't a rotating system; correct? 2 A. No, that was just -- you know, the rotator 3 was the soldier, actually. 4 I might say, throughout my career also at 5 McDonnell Douglas we did various LiDAR systems on a 6 quick turnaround for some special projects. I'll just 7 leave it like that. 8 Q. When did you last participate in designing a 9 LiDAR system? 10 A. In a LiDAR system? Does an expert witness 11 count? I participated in a LiDAR system in 2018, 12 which involved replacing the pitot tubes on airplanes. 13 And the pitot tubes are the biggest source of crashes 14 of airplanes, because it's an open tube with a 15 diaphragm in it and all kinds of objects, from ice to 16 bird stuff, gets in it, and you lose all kinds of 17 information on aerodynamics. 18 And so that was to replace the pitot tubes 19 with a LiDAR system, and the LiDAR system was a 20 scanning system, and it would take both -- it would 21 bounce off the aerosol content. You had a scan, it 22 would give you air speed, it would give you all the	24 1 anecdote here, if I may. 2 My -- back at McDonnell Douglas and even 3 back at Honeywell the basic components of a LiDAR 4 system are very similar, and the techniques that you 5 use are basically the same. I mean, the question is, 6 you're going to capture a scene, and what part of the 7 scene are you going to look at? Are you only going to 8 look at forward-looking? Are you going to look at 9 360? Are you going to look at 180? So that 10 determines the way you design this system. 11 And then the components -- the components 12 change, but there's always the point where when you're 13 designing -- 14 Okay, in the early days the first LiDAR 15 systems that we actually built involved CO2 lasers. 16 And why did we choose CO2 lasers? Because they were 17 the most powerful laser at that point and we could get 18 it in a small package. So we could do ranges out to 19 six, seven hundred kilometers. And what type of 20 diodes we chose? We chose either photoconductors or 21 photodiodes. We chose photoconductors initially 22 because they were more reliable and could be produced

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

25 1 easily. Then we went to photodiodes. And then the 2 progression goes on. 3 Now, as I left there and went to GE, we 4 used CO2 for the wind velocity because that was the 5 ranges that we need on a satellite-based system. At 6 McDonnell Douglas we sort of had a change in pace, 7 because we were looking at -- and component technology 8 started to come along. And I might emphasize the fact 9 that while I was at both McDonnell Douglas and GE I 10 became involved in diode laser research and detectors 11 and components for diode lasers. And so as diode 12 lasers became more and more powerful, we could go to 13 and look at other detection capabilities for using 14 diode lasers. And avalanches actually turned out to 15 be really well suited for that. Also, we could do -- 16 if we had something even more sensitive, we could go 17 on. 18 Now, we also along the way -- so the 19 technology is very simple in terms of LiDARs. LiDAR 20 basically is light detection and ranging, or LaDAR, 21 people like to talk about, is laser detection and 22 ranging. So it doesn't matter. They're	27 1 so, you know, when I sit down to design a device, I 2 go, okay, what's the mission, what's the system, and 3 these are my laser sources, these are my detectors. 4 Now, what's the range? Okay, I get that. So I can 5 choose certain components. Does it have to be 6 eye-safe or does it not have to be eye-safe? Does it 7 have to be a humongous one, I could fill the room and 8 fly on a 747? We've done those, also. Or does it 9 have to be something that fits in a package like this? 10 So there was a whole range of things. And 11 my experience includes things like LAWS, laser 12 atmospheric wind sensor; Mars Observer laser 13 altimeter. Near Earth Asteroid Rendezvous; we built 14 some things for that. We also did, let's see -- 15 there's a whole bunch of things here. 16 Just various things like that. And also 17 we were one of the first people that developed VCSELs, 18 and we developed waveguided devices that you could 19 actually induce a signal using the waveguide, amplify 20 it, and actually use a turning mirror to get a 21 vertical cavity. 22 So there's a whole plethora of things that
26 1 interchangeable. 2 But the technique is very similar. I send 3 out a pulse of light, it bounces off the object. I 4 combine it, and knowing the time of flight and the 5 pulse, I can calculate how far away that object is, 6 and using techniques, I can also calculate velocity, 7 and if I had arrays, I can put an image together. 8 And so we went to scanning systems at 9 first because of linear arrays, so we could scan part 10 of the system. Then as time got better, we would go 11 to a range of devices, because we could get the whole 12 enchilada in one picture right away. 13 So it's basically the same technology. 14 What has changed is more powerful lasers -- and I'm 15 talking about semiconductor lasers, going from edge 16 emitters, which were cleaved crystals, to vertical 17 cavity devices. I'm talking about wavelengths, what 18 wavelengths are you working with, and diode lasers 19 tend to lend themselves to some of the eye safety 20 lasers. 21 So there's a whole -- there's a whole 22 range of devices here that you can choose from. And	28 1 we did that all came together in designing LiDAR 2 systems. 3 Q. Where was it that you worked on the 4 waveguided system you were just mentioning? 5 A. That was GE and McDonnell Douglas. I had a 6 diode laser group, and we actually produced diode 7 lasers. We actually built some of the early -- 8 It was this big and this big. It was 9 about a foot by a foot or a foot and a half by a foot 10 and a half square of diode lasers stacked for actually 11 pumping the Lawrence Livermore laser fusion project. 12 So I got involved with diode lasers there. 13 We also at GE made our own detectors. 14 Similarly at McDonnell Douglas we made our own 15 detectors. And so having the capability of doing 16 diode lasers plus detectors and putting them together 17 was a natural. 18 Q. How were the waveguides used in those 19 systems? 20 A. We were actually doing couples of things with 21 the waveguides. We actually used guided waves for 22 some totally reconfigurable networks and switches for

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

29 1 the F-18 and F-15 fighters. So the idea was to 2 replace all the copper with fiber optic components, 3 and you needed switches. And so these -- and so you 4 need to guide the light. And so we were using that to 5 guide the light. 6 We also used -- were looking at detection 7 capability and detectors. And I had a group working 8 on, we called them at the kinoform optics. But it was 9 diffractive optical lenses. These were small 10 miniature lenses that could either be put on the diode 11 laser or it could be put on the array of photodiodes. 12 And so what you would have would be 13 these -- they're like miniature waveguide devices. 14 And you would put these on the arrays of devices, and 15 you could have your incoming radiation light-focused 16 on those devices. 17 So -- and that technology goes back to -- 18 like Finlan and Company -- '86 through '90, in that 19 particular time frame. 20 Q. And you said you were involved with working 21 on a waveguide system that involved a rotating mirror; 22 is that correct?	31 1 can always get that signal out. It's not as simple as 2 that, because, okay, so you split your signal, you're 3 going to decrease your signal-to-noise ratio. You 4 split your natural signal, and if you maintain the 5 magnitude of the signal, then you amplify it, you're 6 also amplifying the noise. 7 So the moral of the story is you can do 8 more switching operations than splitting with these 9 doped waveguides than you can with an undoped 10 waveguide. 11 So this allows you to switch maybe a 12 thousand times more before your signal-to-noise 13 degraded than you could with an ordinary type switch. 14 But you could also apply that -- and we 15 were looking at putting that onto devices and receive 16 devices, so that if you took a photodiode and you put 17 a waveguide, doped waveguide onto that to amplify the 18 signal before it went into the detector -- and we were 19 throwing all kinds of schemes around like that and how 20 you would do it. 21 So that's basically some of the experience 22 I have in that.
30 1 A. No. Okay, this was for switching, optical 2 switching. 3 So what we actually did was, you could 4 take an incoming light beam -- 5 One of the big things in fiber optics is 6 amplifiers. And so you want to have your light wave 7 traveling down your fiber optic. When it gets to a 8 point, you want to be able to break that beam and 9 split it into two different channels. And so we 10 worked on techniques for splitting the beam in 11 different channels. 12 And the question is what happens to your 13 signal-to-noise ratio in each of those channels. And 14 so it happens that you can just switch or break that 15 beam so many times before your signal-to-noise ratio 16 goes crazy. 17 So what we did is, we actually did a thing 18 with waveguides that were actually doped, so that we 19 could get amplification, so that when you split your 20 signal you would then amplify that signal, and then 21 you could split it again and amplify it. 22 Now, you go oh, this is great, right? I	32 1 Q. Did you ever implement a system that used the 2 waveguides to guide light to photodetectors? 3 A. Yeah, it was similar with microlenses on top 4 of the photodiode arrays and also all the filtering 5 and all the other paraphernalia that goes along. So 6 the answer's yes. 7 In parallel to that we had a lot of 8 research projects that were going on to look at these 9 various techniques. 10 Q. We might be covering some material we've 11 already covered here, but I want to march through the 12 different LiDAR systems you listed in your declaration 13 and get some details about each of those. 14 So first if you want to turn to Paragraph 15 9. This is on Page 3 of Exhibit 1003. 16 A. What page is it? 17 Q. Page 3 of Exhibit 1003. And this is where 18 you discuss a LiDAR system during your time at 19 Honeywell; correct? 20 A. Yes. 21 Q. When was your involvement with this LiDAR 22 system you describe here?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

33 1 A. That one was '76. The Honeywell system? 2 Yes, '76. 3 Q. And you list a range here, during 1976 to 4 1985. But with your answer there, are you saying that 5 you only worked on this LiDAR system during some of 6 the beginning of your tenure at Honeywell? 7 A. Oh, no, throughout. I developed both 8 detectors as well as the system. And actually you had 9 a breadboard system put together by the end of '77. 10 Q. And what photodetectors did this system use? 11 A. I used a photodiode, and this was done in a 12 heterodyne mode. 13 Q. And what was this system intended to be used 14 for? 15 A. Laser radars -- and my funding came out of 16 the ballistic missile defense advanced technology 17 center. And it was basic research for using it in 18 terms of a classic radar-type device to find range and 19 range rate. 20 Q. What optical elements did this system have on 21 the receive side? 22 A. In terms of lenses and filters and things	35 1 correct? 2 A. Yes. 3 Q. What is the difference between a laser radar 4 system and a LiDAR system? 5 MR. HAGIZ: Objection to form. 6 A. Not really anything. You're doing range and 7 you're doing range rate, and they're actually one and 8 the same thing. 9 The thing you find is that the wording in 10 this area is pretty sloppy. I mean, they're all light 11 detection and ranging. It's just wordology. 12 Q. What photodetectors did this GE system use? 13 A. These were photodiodes, and these were 14 photodiode arrays. And this was an interesting 15 system, because one of the applications was to be able 16 to track an object across the horizon. So it's a scan 17 system, but you had to be able to tell what quadrant 18 that was. So we had to use an array of photodiodes to 19 do the imaging to actually get that information, and 20 at the same time, in terms of noting distance, we had 21 to know velocity. And, of course, these systems can 22 be used for velocity, because the timing between the
34 1 like that? A whole bunch of them. And so you're 2 working -- 3 These frequencies were in the infrared, 4 and it was at 10.6 microns, which is quite far out 5 there in the infrared. 6 And so you had a lot of special optics 7 that had to be designed, and you had to filter out all 8 the background radiation and things like that. And so 9 we used a whole range of different optics. 10 Q. Was this a time-of-flight system? 11 A. Oh, yeah. 12 Q. Do you know if Hesai owns any Honeywell 13 patents? 14 MR. HAGIZ: Objection to form. 15 A. No idea. 16 Q. And then if you want to turn to Paragraph 10 17 of your declaration, which is on Page 4. 18 A. Yep. 19 Q. Do you see this is where you discuss -- you 20 discuss your work for General Electric, or GE? 21 A. Uh-huh. 22 Q. So you discuss here a laser radar system;	36 1 pulses can give you distance, but also the time 2 between different pulses can also give you the 3 velocity. 4 Q. And you said this was a scanning system? 5 A. Yes. 6 Q. What do you mean by "scanning system"? 7 A. Because you had to track an object across the 8 horizon. So you had to be scanning up and down. And 9 in those days we wanted to make it as simple as 10 possible. So we did a 180 rotational system like 11 this -- because this was ground-based and you're 12 looking off on the horizon. 13 Q. And just for the record, what you're showing 14 with your hand there was a 180 system that goes back 15 and forth; is that correct? 16 A. Yeah. 17 Q. Okay. 18 A. Well, it could equally have gone 360 if we 19 put a different gimbal on it. 20 Q. And do you know if Hesai owns any GE patents? 21 MR. HAGIZ: Objection to form. 22 A. No idea.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

37 1 Q. Just to loop back on the Honeywell system: 2 Was that a rotating system? 3 A. Yes. 4 Q. How did the rotation -- 5 A. That was on a gimbal. The Honeywell system? 6 That was on a gimbal looking in this direction. 7 And we looked at schemes -- we were also 8 looking at these systems for helicopter wire 9 avoidance. So you had to be scanning the horizon in 10 front of you. We also looked at this for nape of the 11 earth and terrain following, which you're doing up and 12 down and side to side. 13 So there's all kinds of techniques. But 14 there's nothing magic about scanning, and it just 15 depends what the system requirements are. And there 16 are gimbals out there that can scan 360 or 180 or 17 whatever, and it's really not a big issue. 18 I might say, one of the programs I worked 19 on was a passive program, called MaxiGaze. And 20 basically this system looked out the side of an 21 airplane, and it painted an image in the vertical 22 direction. And as the airplane flew along in paths	39 1 the fact that I would tell my mech. guy, mechanical 2 engineer, to actually -- "this is the scan rate I 3 want. These are the latencies. These are the things. 4 Now go find me the gimbal and scanner that does that." 5 Q. Next let's turn to the McDonnell Douglas work 6 you did. This is on Page 5, Paragraph 12. So what 7 was your involvement with this -- these LiDAR systems 8 for MOLA? 9 A. We developed the laser and the pumping system 10 and recommended the detectors for it. We joked, 11 because that one exploded midcourse. And so we joked 12 that when they turned the laser on, the satellite 13 exploded. That didn't happen. That was just.... 14 Q. So did you build that whole system or a part 15 of it? 16 A. No, we supplied the diode laser part, or 17 the -- we supplied the laser for that. 18 Q. So you didn't work on the receive side of 19 that system; is that correct? 20 A. No, we had recommendations for it. 21 Q. And what was your recommendation for type of 22 photodetector?
38 1 like this, it could paint the whole scene that it was 2 looking at over in this direction. 3 And that was because they were limited to 4 the types of arrays that they could have put in it. 5 And I might say, that was a surveillance-type camera, 6 that's the platform I think you're all familiar with. 7 But it's still flying today. But it's a different 8 system. 9 Q. And again, just for the record, for this 10 Honeywell system, the rotation you're describing there 11 was back and forth in like 180 degrees? Is that 12 correct? 13 A. Yeah. 14 Q. How -- strike that. What was the scanning 15 speed of the Honeywell system? 16 MR. HAGIZ: Objection to form. 17 A. The speed, the slew rate? I don't know. I 18 mean, that's too far in the distant past. 19 Q. Same question for the GE system: Do you 20 recall? 21 A. No. 22 My involvement with scanning systems were	40 1 A. That was a photodiode system that we 2 recommended, high sensitivity with improved gain. 3 Q. And was that a rotating system? 4 A. Yes. 5 Q. How did the rotation take place? 6 A. Wait a minute. We're talking about -- 7 Q. McDonnell Douglas. 8 A. That one was -- I'm trying to think. I 9 believe that was a 180 rotation system. 10 Going back to GE: GE, when we built the 11 Wind Sounder, was a 360 system, and that's the one we 12 tested out at Tabletop Plaza. But this was 180, 13 because you're moving ahead and you're just looking in 14 this direction. 15 Q. How did the rotation work in a 360 system? 16 A. We had a gimbal, and the gimbal was -- it was 17 a really complex gimbal. It rotated this way and you 18 could do an XYZ rotation, very complex, because you 19 had to scan the whole sky and the whole region. 20 And then we were looking at -- that was a 21 trailer-based system that we put in. And then we 22 looked at all the system performance specifications

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

41 1 for a satellite. But it never flew. 2 Q. And by "gimbal" do you mean a rotating 3 mirror? 4 A. Yeah. Gimbals are -- there's a company that 5 just, believe it or not, specializes in building 6 gimbaled systems. And they do -- these gimbaled 7 systems do very high, precise rotation. They can do 8 the whole 360. God, it's hard to explain. They can 9 do three axes and things like that. 10 A lot of the helicopters that you fly -- 11 you see flying, like the fire departments' for imaging 12 and looking at infrared imaging of buildings and 13 things like that, they have a gimbal in that little 14 housing in front, and that's looking all over the 15 place. 16 So that's pretty standard technology, and 17 they do -- it's common. 18 Q. Do you know if Hesai owns any McDonnell 19 Douglas patents? 20 MR. HAGIZ: Objection to form. 21 A. No. 22 Q. Finally, let's talk about your work for	43 1 A. Yes. 2 Q. And do you see that you cite Exhibit 1004, 3 Paragraph 14? 4 A. Okay. 5 Q. Let's take a look at Exhibit 1004, which is 6 U.S. Patent Publication No. 2014/0211194A1. Like I 7 say, it's Exhibit 1004 in this proceeding. And please 8 go to Page 1 of the document, which is four pages into 9 the actual printed document. 10 Do you see Paragraph 14, starting at the 11 lower right column? 12 A. Yes. 13 Q. And then turning to the next page, do you see 14 that paragraph continuing halfway down the left column 15 on the page? 16 A. Yes. 17 Q. So where in this paragraph does Pacala 18 expressly teach that its optical receivers may be a 19 detector array, including a SPAD array, per your 20 declaration? 21 A. Well, it starts talking about the SPAD arrays 22 at the end of that paragraph. And then I go to say,
42 1 Scram. You say this was a small, handheld -- sorry, 2 strike that. 3 So this is Paragraph 13, on Page 5 of 4 Exhibit 1003. You say -- do you see where you say, "I 5 was involved in building a small handheld infrared 6 LiDAR for target designation"? 7 A. Uh-huh. 8 Q. What do you mean by "small" here? 9 A. Police flashlight type size. 10 Q. I think we've been going for about an hour, 11 so how about we take a quick, five-minute break. 12 (Recess taken.) 13 MR. LAUPPE: Back on the record. 14 Q. Dr. Shanley, let's take a look at Paragraph 15 154 of your declaration, Exhibit 1003. This is on 16 Page 90. 17 A. What page? 18 Q. Page 90. 19 A. Okay. 20 Q. Do you see where you say, "Pacala expressly 21 teaches that its optical receivers may be a detector 22 array, including a SPAD array"?	44 1 "Because of the recovery time associated with 2 individual SPADs, a POSITA would have understood that 3 a single SPAD could not be used to represent a pixel." 4 That's true cross the board. From my experience and 5 from looking at a SPAD and the recovery times, you 6 would have to have multiple SPADs representing a 7 single pixel, because while one is saturated, you 8 still have to detect a signal. So you quench that 9 circuit and you still have other elements in that 10 pixel that can be used to detect the incoming 11 radiation. 12 So I think that's the point, the fact that 13 he alludes to SPADs, and a POSITA would really say, 14 well, a single SPAD would not do it, because the 15 recovery time is not fast enough. 16 Q. And so you said that Pacala's teaching of the 17 use of SPADs comes at the end of Paragraph 14. Is 18 there any other teaching of SPADs in Pacala, Exhibit 19 1004? 20 A. No. But as I said, a POSITA -- the fact that 21 he alludes to SPADs and the fact that a SPAD can't be 22 operated as a standalone detector for these types of

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

45 1 systems, one would conclude that you would have to use 2 arrays to represent a single pixel. 3 Q. And why can't a SPAD be used as a standalone 4 detector in this type of system? 5 A. Because the recovery time of a single pixel 6 would negate what you're trying to do with the system. 7 That's a standard technique in the field 8 with SPADs. So I use multiple SPADs in my detector to 9 represent a single pixel. And as I explained in the 10 early part of my declaration, if you go to the figure 11 talking about SPADs -- it's in the early part -- and 12 there is an IV characteristic that is exhibited, that 13 shows the various regions of a diode. 14 It's Page 23, and the figure on that page 15 shows you that, if you have a photodiode, you tend to 16 operate photodiodes in a linear mode, and that's that 17 flat region, but the gain is only one. So one photon 18 in, one gain of one out. If you ever operate in the 19 avalanche mode, you can get a gain of 10 to the 20 thousand. And if you work in the single-photon mode, 21 you can get gains over a million. 22 But the problem is, you're down in the	47 1 you have a large-enough volume, you'll actually burn 2 that -- a single ASIC. Otherwise you'll use a 3 programmable for initial applications. But that's 4 just referring to the ASIC and the control of those 5 devices, which would involve the bias of the 6 detectors. The photodiode, as I explain, would work 7 in the linear mode. 8 Q. Is your answer complete? 9 A. Yes. 10 Q. What other types of detectors would a POSITA 11 have understood could be used in the system? 12 MR. HAGIZ: Objection, form, scope. 13 A. What other types of detectors besides SPADs? 14 Is that the question? 15 Q. Correct. So I'm just trying to point you to, 16 you know, the disclosure here is "e.g., single-photon 17 avalanche diode (SPAD) array." I'm just wondering: 18 What else could be included in that e.g.? 19 MR. HAGIZ: Same objections. 20 A. One could use a photodiode. One could use a 21 photoconductor. One could use an avalanche 22 photodiode. But he chooses the SPAD because it's the
46 1 knee of that curve, and you're going to break down. 2 So once you do your detection, you have to really get 3 out of that place on the photodiode curve. So you 4 quench it. You basically shut that circuit off so 5 that it goes back to zero and then rebias that 6 detector. 7 And so once Pacala says, "I'm using 8 SPADs," you automatically know I have to have an array 9 for a single pixel. 10 Q. Do you see where -- strike that. 11 Do you see at the end of Paragraph 14 of 12 Pacala, Exhibit 1004, it says -- it references the 13 "pixels of a detector array, e.g., single-photon 14 avalanche diode (SPAD) array"? Do you see that? 15 A. Yes. 16 Q. What does "e.g." mean here? 17 MR. HAGIZ: Objection. 18 A. They're just talking about an ASIC in the 19 processing and control of devices using an integrated 20 circuit. And usually the way people do is, they start 21 out with a Xilinx and then they burn a routine for 22 controlling the photodetector circuits. And then, if	48 1 best for his application. 2 Q. Let's go back to your declaration, Exhibit 3 2003, back to Paragraph 154, which is on Page 90. Do 4 you see toward the bottom of Paragraph 154 where you 5 say, "This is also confirmed by Pacala-803, which 6 describes 'single-photon avalanche diode (SPAD) 7 arrays' as a type of photodetector (contrasted with 8 avalanche photodiodes and PIN diodes, which are not 9 described as an 'array')?" Do you see that? 10 A. Yes. 11 Q. Is Pacala-803 one of the references you're 12 combining in ground 1? 13 A. Yes, we used that in preparing for the 14 declaration. 15 Q. But my question is: Is Pacala-803 part of 16 the obviousness combination for ground 1? 17 MR. HAGIZ: Objection to form. 18 A. Well, I say in my declaration it's confirmed 19 by 803. So I think that speaks for itself. I mean, 20 Pacala-803 confirms that, you know, SPADs are a type 21 of photodetector that can be used. 22 Q. Does Pacala-803 disclose the same invention

Conducted on June 6, 2024

49 1 as the Pacala reference Exhibit 1004? 2 A. Can we look at the 803? 3 Q. Sure. I'm going to introduce Pacala-803, 4 which is Exhibit 1014. 5 MR. HAGIZ: I don't know if your general 6 question is still pending, but if it is, I'll object 7 to form and scope. 8 A. Okay, what was the question? 9 Q. Does Pacala-803 disclose the same invention 10 as the Exhibit 1004 Pacala reference? 11 MR. HAGIZ: Objection, form, scope. 12 A. 803 is complementary to the -- to Pacala -- 13 the '194. 14 Q. And what do you mean by "complementary"? 15 A. In that it explains further types of devices 16 that could be used. And if we look at the -- it 17 further describes the photodetector types, including 18 single-photon and avalanche. It includes the SPADs, 19 the avalanche photodiodes, the PIN diodes. But it 20 doesn't allude to them in any array-type thing. 21 So it just confirms the previous patent. 22 Q. But is Pacala-803 describing the same system	51 1 A. Yes. 2 Q. Then starting at column 5, line 27, do you 3 see where Droz says, "Light detectors 112, 114, et 4 cetera, may include various types of light detectors. 5 In one example, detectors 112, 114, et cetera, include 6 SPADs"? Do you see that? 7 A. Yes. 8 Q. So SiPMs can be made up of SPADs; correct? 9 A. Repeat that, please. 10 Q. SiPMs can be made up of SPADs; is that 11 correct? 12 A. A silicon photomultiplier? 13 Q. Correct. 14 A. I'd have to look at the device structures. 15 But, I mean, it is a device structure. But SPADs 16 have -- 17 We have to look at the device structure. 18 So the same thing with an MPCC. These devices are all 19 made out of silicon. But the question is, what is the 20 form of the p-n junction, and, you know, is it -- how 21 many layers are in there and how it operates. I'd 22 have to look at that.
50 1 as the '194 Pacala reference, Exhibit 1004? 2 MR. HAGIZ: Objection to form and scope. 3 A. It describes different aspects of the system. 4 Q. Is Pacala-803 related to the Pacala Exhibit 5 1004 reference? 6 MR. HAGIZ: Object to form. 7 A. I believe I state that in my declaration, 8 that the use of SPADs -- so if we look at the 9 combination provided, you know, in a declaration, we 10 talk about the recovery time. But it's also confirmed 11 by Pacala-803, which describes these devices used in a 12 LiDAR system. 13 Q. I'm going to introduce another exhibit. This 14 is Exhibit 1006, which is the Droz reference you 15 discuss in your declaration. And this is U.S. Patent 16 No. 10,605,984. And Droz is spelled D-r-o-z. 17 Let's go to column 5, line 23. Do you see 18 the sentence starting at column 5, line 23, discusses 19 a light detector array called an SiPM? 20 A. Yes. 21 Q. And that stands for silicon photomultiplier; 22 correct?	52 1 Q. But can an SiPM be made up of SPADs? 2 MR. HAGIZ: Objection to form. 3 A. It's possible. I don't know. I wouldn't 4 venture without looking at the device structures and 5 the manufacturers and how they're constructed. But, I 6 mean, the bottom line is that SPADs and all these 7 devices are either silicon or, depending on 8 wavelengths, you could have gallium arsenide. 9 Q. Let's turn to Exhibit 1001, which is the '405 10 patent. This is U.S. Patent No. 11,175,405. And I'm 11 going to take you all the way to the end. Can you 12 turn to Page 68, which is columns 55 and 56. 13 A. Okay. 14 Q. And can you look on column 56, go down to 15 line 49. 16 A. Okay. 17 Q. And this is part of claim 21. Do you see 18 where it reads "a light transmission module 19 coupled" -- sorry, I'm looking in the wrong place. 20 Move down to line 56 instead. Do you see 21 that? 22 A. Okay.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

53 1 Q. So starting there, "a light sensing module 2 coupled to the platform and comprising a bulk receiver 3 optic, an aperture layer comprising a plurality of 4 apertures separated by a stop region"? Do you see 5 that? 6 A. Yes. 7 Q. What is your understanding of a stop region? 8 MR. HAGIZ: Objection to form. 9 A. A stop region in an optical term basically 10 stops light from propagating in that area. 11 Q. So I'd like to talk about your combination 12 between Pacala and Droz. We can go to that part of 13 your declaration, if you'd like, but I'd just like to 14 sort of talk in general about it. 15 In your combination between Pacala and 16 Droz, the waveguides of Droz would be part of Pacala's 17 light sensing module; is that correct? 18 MR. HAGIZ: Objection to form. 19 A. The waveguides, you know, are -- yes. 20 Q. And in Pacala -- this is Exhibit 1004 -- the 21 light sensing module spins or rotates; is that 22 correct?	55 1 A. I can't -- I haven't calculated mass 2 distribution in many, many years. So, you know -- and 3 not knowing the form and the materials and all of that 4 involved, I couldn't venture a guess. 5 Q. So what would you need to know before you 6 could calculate the mass distribution for the Pacala- 7 Droz system? 8 MR. HAGIZ: Objection, scope. 9 A. I'd need to know all the materials in the 10 system. There's a whole bunch of physics parameters 11 that I'd have to know. I'd have to know all the -- 12 you're talking about optics and things like that. 13 Yeah, I would venture not to guess. And 14 usually what they do in the industry is, basically, 15 the mechanical CAD programs will talk with the optical 16 CAD programs and come up with the optimum design. 17 Then you can get all of these quantities out of those 18 programs, including the -- you know, you can run the 19 PTRAN and thermal analysis and all the other things. 20 And that flops out of all the CAD programs today. 21 Back in the old days we had to do all of that stuff. 22 It wasn't easy.
54 1 MR. HAGIZ: Object to form. 2 A. In Pacala, yes. 3 Q. So the waveguides in the Pacala-Droz 4 combination would spin or rotate; is that correct? 5 A. Yes. 6 Q. How much would the combined Pacala-Droz 7 system weigh? 8 MR. HAGIZ: Objection to form, scope. 9 A. I can't answer that because I don't know -- I 10 mean, it's -- 11 I can't answer that because I'd have to do 12 a whole system analysis to look at the weight of the 13 thing, including bias circuits and the whole thing. 14 Q. How large would the Pacala-Droz system 15 combination be? 16 MR. HAGIZ: Objection to form and scope. 17 A. Again, I really can't answer that. I don't 18 know dimensions of objects and the system requirements 19 and things like that. 20 Q. What would the mass distribution be for the 21 combined Pacala-Droz system? 22 MR. HAGIZ: Objection to form and scope.	56 1 Q. Would a POSITA have had that information? 2 A. Would who? 3 MR. HAGIZ: Objection to form. 4 A. Oh. Yeah. Oh, yeah. I mean, myself, today, 5 I myself can run the CAD programs and top-level. But 6 a mechanical engineer out of school or an optical 7 engineer or an electrical engineer, they all can run 8 these programs that does it. 9 And basically, between the optical CAD and 10 the mechanical CAD, it talks about how you wrap metal 11 around these objects once you've done your optical 12 design. It gives you the whole list of materials and 13 all the parameters associated with the materials and 14 the optics. And then the same thing happens with the 15 CAD programs. And then there's interfaces that marry 16 the two. And you can get all this information, just 17 drops out. 18 So you'll get the form, fit, and function, 19 including weight and all this right out of those 20 systems. 21 Q. But you didn't do that analysis for the 22 Pacala-Droz combination; correct?

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

57 1 MR. HAGIZ: Objection to form. 2 A. I don't have any of the data to do it. 3 Q. Would a person of ordinary skill in the art 4 have had the data to do that analysis? 5 MR. HAGIZ: Objection to form. 6 A. They could do that analysis -- 7 Let me caveat that by saying: If they 8 came to me and said design this system, then the 9 answer is yes, I could do that analysis. Okay? And a 10 POSITA could do that, also. 11 But you have to remember that usually the 12 way these things are done is that there's a team of 13 people, and there's the system guy who sets all the 14 requirements, and he sort of runs the whole program. 15 And then there's the optics guy saying, "Well, you've 16 got to design optics for such-and-such a thing." And 17 the electrical engineering guy says, "Okay, this is 18 going to be my focal plane. This is going to be my 19 laser. These are my electronic requirements." And 20 then there's the mechanical guy, that says, "Okay, you 21 guys, give me all that stuff and then I'll wrap my 22 metal around it and design all the housing and stuff	59 1 required to construct the Pacala-Droz combination 2 system? 3 MR. HAGIZ: Objection to form and scope. 4 A. To answer -- I really can't comment on that. 5 But it's indeterminate unless you do -- 6 I'd have to sit down and cost the whole 7 program out. And usually the way this is done and the 8 way I've done it many, many times in the past is set 9 out my overall system flow and the requirements. And 10 then I would say I need so many hours of a mechanical 11 person, I need so many hours of an electrical person, 12 I need so many hours of a physics guy, so many hours 13 of an optics guy, a technician to put this thing 14 together. 15 And so, you know, taking 2,000 hours as a 16 man-year, I would put a flow diagram or a flow sheet 17 together or an Excel spreadsheet with all this 18 information on it and come up with the total hours for 19 constructing a system like this. And that's how we 20 did LAWS and that's how we did our handheld 21 illuminator and the other objects. Handheld 22 illuminator was easy to do because it was a small
58 1 like that." 2 So each of these areas in the team would 3 understand the overall systems part of it and then how 4 to craft this into a unique operating, functioning 5 system. 6 Q. So is it your understanding that a POSITA 7 would be a team here? 8 MR. HAGIZ: Objection to form. 9 A. Most definitely. But I might remark that the 10 team possesses overlapping skills. And so there are 11 certain members of the team who could run all of those 12 programs and do all of that analysis. But in -- what 13 happens in a practical purpose is that you have this 14 team because you want to run things in parallel. And 15 if you had one person doing all these things, they 16 would have to do it sequentially. I do my optics 17 design. Then I would have to run my optomechanical 18 design, and I would have to do my electronics design 19 and all of that. 20 So you have to have a team to put all 21 these pieces together. 22 Q. How many manhours would you estimate would be	60 1 system. 2 Q. Ballpark, do you think it would require more 3 than a man-year or less than a man-year? 4 MR. HAGIZ: Objection to form. 5 A. I really can't answer that. I mean, that's 6 hard. 7 So the question is, starting from what's 8 the known information? I couldn't quantify that. I'd 9 have to know, you know, what the team was, who the 10 team was, what they were going to give me, what 11 resources were available, and things like that. 12 And I might caveat that also saying that 13 when you start a system like this, you start looking 14 at components and component availability and things. 15 And I've been on systems where you required a detector 16 array which wasn't off the shelf. And so you had to 17 visit vendors and say, "Hey, I want a 1080-by-1024 18 array." And so it's not really quantifiable until you 19 have a lot of information. 20 Q. How would the Droz waveguides impact the 21 gyroscopic forces in the Pacala system? 22 MR. HAGIZ: Objection to form and scope.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

61 1 A. Can you further elaborate on that question? 2 Q. In your opinion, would the Droz waveguides 3 impact the gyroscopic forces in the Pacala system? 4 MR. HAGIZ: Objection to form and scope. 5 A. Meaning the rotational forces? These are -- 6 Basically, the way I look at this, these 7 are all optomechanically configured, so that it could 8 have no effect. But that depends on how good your 9 design is, so that something doesn't go flying off and 10 stuff like that. But I would venture to say once it's 11 designed, no effect. 12 Q. Have you analyzed a Waymo device? 13 A. A Waymo? No. I looked at some of the 14 patents. And it was interesting to me when that case 15 first came out -- or the -- 16 Well, let's see. I got interested in it 17 when the lawsuit appeared. And just being interested 18 in LiDAR systems, I looked at what that system was. 19 But I don't really have any -- except there was a 20 patent that was a Waymo patent that we looked at. 21 Q. Let's go to Paragraph 208 of your 22 declaration, which is on Page 123 of Exhibit 1003.	63 1 A. What is that from? That's from the... 2 That's from Spector? Do we have the 3 Spector patent? 4 Q. Yes, of course. So I'm going to introduce 5 Exhibit 1005, which is the Spector patent. That's 6 U.S. Patent No. 10,473,766. 7 So figure 4A is from Spector; correct? 8 A. Yes. 9 Q. And so you propose that the Hall and Spector 10 references, it would have been obvious to combine 11 those references; correct? 12 A. Yes. 13 Q. So in that combination -- strike that. That 14 combination would include -- strike that. 15 A. Do you want to take a quick bio break? 16 Q. Sure. Let's take a quick break. 17 (Recess taken.) 18 Q. So, Dr. Shanley, we were just talking about 19 Page 124 of your declaration. If you turn now to Page 20 125, Paragraph 210, do you see where you say, "In the 21 Hall-Borowski-Spector combination, Hall's LiDAR system 22 is implemented based on Spector's suggestion to use an
62 1 A. Okay. 2 Q. Got there? 3 A. Yes. 4 Q. In Paragraph 208 do you see where you say, 5 "Hall teaches that collection optics 116 may 'include 6 four lens elements 116A-D arranged to focus collected 7 light 118 onto each of the array of detecting elements 8 113"'? Do you see that? 9 A. Yes. 10 Q. And then moving down to figure 5, reproduced 11 on the next page, do you see elements 116A through D? 12 A. Yes. 13 Q. So in your proposed Hall-Borowski-Spector 14 combo, what happens to elements 116A to 116B? 15 MR. HAGIZ: Objection to form. 16 A. Can you clarify what you're asking? 17 Q. Sure. So if you look -- 18 Turn to Page 130 of your declaration. 19 A. Okay. 20 Q. Do you recognize figure 4A on Page 130? 21 A. Let's see. Can I look at -- 22 Q. Feel free to look at the context here.	64 1 aperture array to reduce cross-talk at the light 2 detecting elements"? Do you see that? 3 A. Yes. 4 Q. So, then, turning back to Page 124, figure 5: 5 How, in your opinion, would an aperture array be 6 incorporated into the Hall system shown in figure 5? 7 MR. HAGIZ: Objection to form. 8 A. Let me just go back in terms of using 9 apertures. Now, in a system composed of optics, 10 you're going to have many, many different places that 11 you can put apertures, and a lot of this has to go 12 back to one does a stray light analysis. 13 And what you would do -- as I explained in 14 my declaration, stray light can consist of many 15 different things, and there's a whole list in my 16 declaration of where stray light comes from. 17 So apertures, "apertures" or "baffles," 18 can be used at strategic points in the optical system 19 to eliminate light that you don't want. 20 Now, when we're talking about the 21 combination of the patents here and apertures, we're 22 talking about apertures to limit stray light onto the

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

65 1 SPADs. We're also talking about apertures to define 2 and be part of the field of view of individual pixels 3 in the array. So apertures can have twofold effects. 4 So if you're talking about some apertures 5 to be used to define a pixel field of view, which is a 6 portion of your image that's coming back, you can have 7 an aperture at the pixel. If you have stray light and 8 cross-talk, because you don't want light from this 9 field of view getting into this other field of view, 10 you can restrict that with apertures also. 11 So the placement of apertures in a system 12 depends upon what you're doing. And the patents here 13 teach that you have fields of view each with 14 individual detectors -- or pixels; excuse me, pixels. 15 You have to limit the amount of stray light from pixel 16 to pixel. So you use apertures for that. As well as 17 it has a dual procedure to -- or dual process to limit 18 your field of view to a specific region of the image. 19 Q. And so we're talking here about the Borowski 20 system. 21 A. Yes. 22 Q. The Hall-Borowski-Spector combo. Is that	67 1 you know, those number of optical elements or a 2 different number. It depends upon what the design is. 3 Q. Can you go to Paragraph 44 of your 4 declaration, which is on Page 20. This is, again, 5 Exhibit 1003. 6 A. Page 44. What paragraph? 7 Q. Sorry, I confused you. Paragraph 44 on Page 8 20. 9 A. Okay, got it. 10 Q. And do you see at the bottom of Paragraph 44 11 where you say, "SPADs, in particular, are considered 12 to be optimal in many applications because they can 13 attain a high gain which enables them to detect a 14 single photon"? Do you see that? 15 A. Yes. 16 Q. What do you mean by "optimal" here? 17 A. Will give you the best signal-to-noise ratio 18 in the system, or the most sensitive. The fact that 19 you're able to detect a single photon and not packets 20 of photons. 21 Q. Do SPADs have disadvantages? 22 MR. HAGIZ: Objection to form.
66 1 right? 2 A. Yes. 3 Q. So in your view, what would happen to the 4 optical elements 116A through 116D in the 5 Hall-Borowski-Spector combo? 6 MR. HAGIZ: Objection to form. 7 A. By "happen" -- what do you mean by "happen"? 8 Just for clarification. 9 Q. Would optical elements 116A through 116D be 10 in the combined Hall-Borowski-Spector combination 11 system? 12 A. Let's see. There would be some form of 13 optics in the Hall-Borowski combination. And I might 14 say, most optics -- and I can't really infer what the 15 optical -- or what the characteristics are of that 16 chain of optical elements without doing an analysis. 17 I would, a POSITA, assume that it's a 18 telecentric set of elements -- i.e., telecentric being 19 the magnification is maintained everywhere in that 20 system. 21 So the answer is I really can't be 22 definite in terms of whether it's one, two, three --	68 1 A. You have to be very careful with their 2 operating point, because you're in that region of 3 breakdown. So you would have to make sure your 4 quenching circuits are operating properly and your 5 design is proper, so you don't go into breakdown and 6 you destroy the diode itself. 7 Q. Have you designed a quenching circuit for 8 SPADs? 9 A. I've designed quenching circuits for APDs and 10 things like that. And that's way back when that's in 11 the standard electrical engineering stuff. 12 Q. You said you designed a quenching circuit for 13 APDs. Have you designed one for SPADs? 14 A. No, I haven't. But I imagine they're 15 similar. I mean, quenching circuits are quenching 16 circuits. So, you know, you have some capacitance and 17 some things to shut it off and turn it on quickly and 18 looking at switching times. And then that all can be 19 the controller that can all be put into an ASIC. So 20 it's not a difficult thing to do. I think any -- 21 probably a senior electrical engineer in college could 22 do that, or maybe even a junior. My grandson, who's a

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

69 1 junior in high school, I taught him some of that 2 stuff, and he actually can do that. 3 Q. Do photodetectors other than APDs and SPADs 4 require the use of quenching circuits? 5 MR. HAGIZ: Objection to form. 6 A. The other photodetectors require some type of 7 circuit for control and collection of the information, 8 to basically cleanse the well. 9 The only thing I know where you would have 10 to quench something and reset it would be something 11 like a charge-coupled device, which are arrays of 12 elements, and they're used in cameras and things for 13 visible wavelengths. But photodiodes, you're 14 operating -- if we go to my ID diagram, here 15 someplace -- on Page 23 -- photodiodes, you're 16 operating in that linear mode. So your circuitry's 17 going to be different for them. For avalanche 18 photodiodes, you're going to be in this breakdown 19 region, so you have to carefully design how your 20 circuitry works there. But it's this region here 21 where you have the gain in that silicon -- or single 22 photon that you can get into thermal runaway. So you	71 1 Q. Do you know the cost of designing a quenching 2 circuit for SPADs? 3 MR. HAGIZ: Objection to form, scope. 4 A. I don't know the cost exactly. But I would 5 say an engineer could do that in probably a morning. 6 Q. Can you go to Paragraph 50, on Page 24. 7 A. Okay. 8 Q. And this is going to roll over to the next 9 page. Do you see where you say, "Noise and 10 interference can affect the sensitivity of the 11 system -- for example, the ability of the LiDAR device 12 to accurately detect objects at longer ranges, or in 13 poor weather conditions. They may be particularly 14 problematic in systems that employ SPADs, because even 15 a single errant photon may cause a false positive 16 detection, while also rendering the SPAD inoperable 17 until it is quenched"? Do you see that? 18 A. Yes. 19 Q. Is that a disadvantage of SPADs in LiDAR 20 systems? 21 MR. HAGIZ: Object to form. 22 A. It's a disadvantage of a single SPAD. That's
70 1 have to have that quenching very fast. 2 So whereas for a photodiode or 3 photoconductor, you really don't. It's a different 4 circuit. 5 Q. So what happens with SPADs if you don't have 6 a quenching circuit? 7 A. Okay, I have a lot of experience with that. 8 Initially -- 9 So you have this IV characteristic; okay? 10 And if you start biasing here and you continue 11 biasing -- 12 So what you have is current and voltage 13 characteristic here, and this is voltage here, and 14 this is current on this axis. 15 So if you continue biasing -- and what 16 happens is, when you operate down here, it's going to 17 automatically increase to go to infinity. So what 18 happens is this whips back to zero, and you may burn 19 your diode out. You may just destroy it. I've seen 20 things where they actually go into thermal runaway and 21 all you have is a heated mess of semiconductor there. 22 I've done many of those.	72 1 why people use multiple SPADs for a pixel. It's an 2 advantage, then, because you can go to multiple 3 pixels. So while you're quenching this device, you're 4 still looking out there with that other element in 5 your pixel. 6 So that pixel is always on and ready to 7 detect radiation. 8 So SPADs basically are optimum for 9 signal-to-noise ratio in this method. 10 Q. But for other types of photodetectors, you 11 don't need to use an array, because there's not the 12 recovery timing issue; correct? 13 MR. HAGIZ: Objection to form. 14 A. That's correct. Actually, with some arrays 15 you can just have the array and you don't need to use 16 multiple elements for a pixel -- though there may be 17 some advantages for multiple elements for a pixel. 18 But it's my experience in designing a system, if you 19 can make your signal-to-noise ratio or your noise- 20 equivalent-power numbers with a single element, you're 21 better off, because that consumes both electrical 22 power, and it might make for a smaller package or

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

73 1 things like that. 2 So, yes, the answer's yes. 3 Q. So if I refer to the challenged claims of the 4 '405, do you understand what I mean there? 5 Let me repeat the question. If I refer to 6 the challenged claims of the '405 patent, do you 7 understand what that means? 8 A. Yes. 9 Q. And the challenged claims are the claims that 10 are being challenged in this proceeding. Correct? 11 A. Yes. 12 Q. Did the challenged claims of the '405 patent 13 satisfy a long-felt but unsolved need? 14 MR. HAGIZ: Objection to form, scope. 15 A. I'm trying to.... 16 Well, it's my opinion, when I read the 17 claims, that these particular ones, there was a large 18 base of knowledge that a POSITA would have. And I 19 struggled with -- I don't want to be sarcastic. Let 20 me see how I can say this. 21 I struggled with -- a good designer would 22 automatically know these things. With the sea of	75 1 MR. HAGIZ: Objection to form, scope. 2 A. I don't really know much about Ouster's 3 product line. 4 Q. Do you know what the Consumer Electronics 5 Show is, or CES? 6 A. Yes. Oh, yeah. 7 Q. Are you aware that Ouster was a CES 8 Innovation Award honoree in 2019? 9 MR. HAGIZ: Objection to form and scope. 10 A. Yeah, I saw that. I have a history of going 11 to CES. We actually exhibited a lot of products at 12 CES when I was with Polaroid. 13 Q. Are you aware that Ouster was a CES 14 Innovation Award honoree in 2020? 15 MR. HAGIZ: Objection to form and scope. 16 A. No. 17 Q. How about are you aware that Ouster was a CES 18 Innovation Award honoree in 2023? 19 MR. HAGIZ: Objection to form and scope. 20 A. No. 21 Q. But you were aware of its award in 2019? 22 A. Yeah, I saw that headline once. I mean, CES
74 1 knowledge that's out there, in designing a particular 2 system like this, a good designer and design team 3 would have this knowledge already, to be able to put a 4 system together with all of these parts that are 5 listed in the claims. 6 Q. Do you know if there are commercially 7 successful embodiments of the challenged claims of the 8 '405 patent? 9 MR. HAGIZ: Objection to form and scope. 10 A. I don't want to assume anything, no. But 11 these are known techniques, and I'm sure they're used 12 in patents. But I couldn't, at this point, without, 13 you know, searching the literature, say yes or no. I 14 mean, there are references -- Lincoln Labs did a lot 15 of things, not commercially available, but a lot of 16 things with LiDAR systems and SPADs, and various 17 companies in -- 18 There's also the whole other world where 19 there's bunches of things that are done that are never 20 reported in the literature. 21 Q. Does Ouster manufacture products that embody 22 the '405 patent claims?	76 1 is CES. It's -- how can I say this? It's interesting 2 with innovative products, and the whole auto LiDAR 3 situation has gotten a lot of press and things. It's 4 sort of interesting. 5 But that doesn't necessarily mean that 6 they're judged technically -- I mean, it's a show 7 award, and it's a showy thing. And it reminded me -- 8 putting exhibits in CES reminded me of my son's 9 pinewood derbies, where everybody is tweaking and 10 fixing things. It's much like its cousin, Photokina, 11 in Cologne, which occurs every year also. 12 Q. Does Hesai sell products that use SPADs? 13 MR. HAGIZ: Objection to form and scope. 14 A. I haven't looked at Hesai's product line, and 15 I assume by their patent that they do. 16 Q. Did Velodyne use SPADs in LiDAR systems? 17 MR. HAGIZ: Objection to form and scope. 18 A. I would have to look that up. Offhand.... 19 Q. Did Waymo use SPADs and LiDAR systems? 20 MR. HAGIZ: Objection to form and scope. 21 A. I'm not sure. I'd have to look that up. If 22 they were smart, they would.

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM


Conducted on June 6, 2024

77 1 Q. Can you identify any LiDAR systems sold prior 2 to the '405 patent that used SPADs? 3 MR. HAGIZ: Objection to form and scope. 4 A. No, I'm not basically -- you know, I know 5 there are LiDAR systems using SPADs that have flown, 6 by NASA and people doing LiDAR-based geophysical 7 measurements and things like that. But commercially, 8 no. 9 Q. Have the challenged claims of the '405 patent 10 been licensed? 11 MR. HAGIZ: Objection to form, scope. 12 A. I don't know. 13 Q. Has Hesai licensed the challenged claims of 14 the '405 patent? 15 MR. HAGIZ: Same objections. 16 A. And my answer is I don't know. 17 Q. Have the challenged claims of the '405 patent 18 been praised? 19 MR. HAGIZ: Objection to form and scope. 20 A. Have been praised? I don't know. 21 Well, the only thing I'll say is that a 22 lot of the information in that patent is very -- from	79 1 MR. LAUPPE: Back on the record. 2 Q. Dr. Shanley, you mentioned that you weren't 3 aware of any commercial LiDAR devices that use SPADs 4 prior to the '405 patent; is that correct? 5 A. Yes. 6 MR. HAGIZ: Objection to form. 7 Q. But you indicated that there were possibly 8 some noncommercial LiDAR devices prior to the '405 9 patent that use SPADs; is that correct? 10 A. Yes. 11 Q. So what were those devices? 12 A. I would assume that a lot of people doing the 13 geophysical imaging and NASA programs and things like 14 that have gravitated to using SPADs. 15 Q. So you said you assume that those systems use 16 SPADs? 17 A. I would think so. 18 Q. What's your basis for thinking that? 19 A. They're more sensitive detectors. And I 20 might say also, the commercial availability of the 21 arrays -- because Sony and Osram and a whole bunch of 22 people are now supplying SPADs, SPAD arrays.
78 1 a LiDAR designer, and having worked with LiDAR for 40 2 years, it's obvious what they are, to a designer. So 3 I don't know what the commercial world would do. 4 Q. Was there industry skepticism about the use 5 of SPADs in LiDAR systems prior to the '405 patent? 6 MR. HAGIZ: Objection to form and scope. 7 A. I don't know, but I don't see why there 8 should be. Lincoln Labs and other organizations had 9 put a lot of research and development, and Sony -- 10 about a year ago I was looking at Sony's product 11 lines, and I noticed they had some SPAD arrays that 12 looked absolutely magnificent. But that's about all I 13 know. 14 Q. Did others fail in the area of the challenged 15 claims of the '405 patent? 16 MR. HAGIZ: Objection to form and scope. 17 A. I don't know. 18 MR. LAUPPE: I think we're pretty close to 19 done, so how about we take another ten-minute break, 20 just to see if I have any further questions. We 21 should be close. Thank you. 22 (Recess taken.)	80 1 Q. And you say now supplying SPAD arrays, Sony, 2 Osram. I mean, do you know how long they've been 3 providing SPAD arrays? 4 A. I would assume over the last three or four 5 years, though I don't know that's true. We could go 6 back and compile a history. But it's just my gut 7 feeling that that technology has really matured to be 8 cheap and off-the-shelf -- though prior to that people 9 probably -- 10 You know, if I needed a SPAD array and it 11 wasn't available, I would go directly to the 12 manufacturer and fund a program to actually build me 13 these detectors for a specific application. 14 Q. So can you name a specific system prior to 15 the '405 patent that used SPADs in a LiDAR system? 16 A. Not at this point. 17 Q. I think that's all the questions I have for 18 today. 19 MR. HAGIZ: I have one really quick point 20 of clarification. 21 EXAMINATION 22 BY MR. HAGIZ:

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

Conducted on June 6, 2024

81 1 Q. Dr. Shanley, I think before the last break 2 you were asked if Hesai sells products that use SPADs, 3 and your response was, "I haven't looked at Hesai's 4 product line. I assume by their patent that they do." 5 Which patent were you referring to when you said that? 6 A. They -- maybe I was.... 7 I'm not sure, to tell you the truth. I 8 assume they had patents in this area. 9 Q. Well, are there any specific Hesai patents 10 that you looked at in connection with your declaration 11 that you're referring to? 12 A. No, I can't remember. 13 MR. HAGIZ: That's all I have. 14 A. The only thing I did with Hesai was, when we 15 initially talked about who the company was, I looked 16 at, I think, their website and saw where it was 17 located in Shanghai, and I recognized that whole area 18 as an automotive area. But that was about it. 19 MR. HAGIZ: That's all I have. 20 Anything else, Patrick? 21 MR. LAUPPE: No, that's all for me. 22 (11:45 a.m.)	83 1 way interested, financially or otherwise, in the 2 outcome of this matter. 3 IN WITNESS WHEREOF, I have hereunto 4 set my hand this 6th day of June, 2024. 5 6 7 8 My commission expires: 9 March 25, 2027. 10 11  12 13 Alan H. Brock, RDR, CRR 14 15 16 17 18 19 20 21 22
82 1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC. 2 3 I, Alan H. Brock, Certified Reporter and 4 Notary Public within and for the Commonwealth of 5 Massachusetts, do hereby certify: 6 That James F. Shanley, Ph.D., the witness 7 whose deposition is hereinbefore set forth, was duly 8 sworn by me before the commencement of such deposition 9 and that such deposition was taken before me and is a 10 true record of the testimony given by such witness. 11 I further certify that the adverse party, 12 Hesai Technology Co., Ltd, was represented by counsel 13 at the deposition. 14 I further certify that the deposition of 15 James F. Shanley, Ph.D. occurred at the offices of 16 Cooley LLP, 500 Boylston Street, Boston, Massachusetts 17 on Thursday, June 6, 2024, commencing at 9:14 a.m. to 18 11:45 a.m. 19 I further certify that I am not 20 related to any of the parties to this action by blood 21 or marriage, I am not employed by or an attorney to 22 any of the parties to this action, and that I am in no	

PLANET DEPOS

888.433.3767 | WWW.PLANETDEPOS.COM

A			
a-d	20:18, 20:20,	airplane	32:5, 37:22
62:6	22:3, 24:15,	15:14, 37:21,	already
al	25:14, 27:19,	37:22	32:11, 74:3
43:6	27:20, 28:6,	airplane-to-earth	also
ability	28:7, 28:10,	13:15	7:20, 11:22,
71:11	28:20, 28:21,	airplanes	16:5, 21:9,
able	30:3, 30:17,	22:12, 22:14	22:4, 25:15,
16:15, 17:16,	30:18, 33:8,	alan	25:18, 26:6,
30:8, 35:15,	35:7, 35:19,	1:21, 82:3,	27:8, 27:14,
35:17, 67:19,	39:2, 47:1,	83:13	27:16, 28:13,
74:3	69:2, 70:20,	all	29:6, 31:6,
about	72:14, 75:11,	7:15, 13:8,	31:14, 32:4,
6:11, 6:14,	80:12	13:16, 16:8,	36:1, 36:2,
12:18, 17:17,	addition	16:18, 17:18,	37:7, 37:10,
17:18, 21:9,	21:8	20:10, 21:15,	48:5, 50:10,
25:21, 26:15,	advanced	22:15, 22:16,	57:10, 60:12,
26:17, 28:9,	33:16	22:22, 28:1,	65:1, 65:10,
32:13, 37:14,	advantage	29:2, 31:19,	71:16, 74:18,
40:6, 41:22,	72:2	32:4, 32:5,	76:11, 79:20
42:10, 42:11,	advantages	34:7, 35:10,	alternatives
43:21, 45:11,	72:17	37:13, 38:6,	12:9
46:18, 50:10,	adverse	40:22, 41:14,	altimeter
53:11, 53:14,	82:11	51:18, 52:6,	27:13
55:12, 56:10,	aerodynamics	52:11, 55:3,	altimeters
63:18, 64:20,	22:17	55:9, 55:11,	20:17
64:22, 65:1,	aerosol	55:17, 55:19,	alto
65:4, 65:19,	22:21	55:20, 55:21,	2:15
75:2, 75:17,	aerospace	56:7, 56:13,	always
78:4, 78:10,	14:4, 23:7,	56:16, 56:19,	11:20, 12:6,
78:12, 78:19,	23:8	57:13, 57:21,	24:12, 31:1,
81:15, 81:18	affect	57:22, 58:11,	72:6
absolutely	71:10	58:12, 58:15,	amount
78:12	affirmed	58:19, 58:20,	65:15
accurately	5:4	59:17, 61:7,	amplification
71:12	after	68:18, 68:19,	30:19
across	7:6	70:21, 74:4,	amplifiers
14:2, 35:16,	again	78:12, 80:17,	30:6
36:7	30:21, 38:9,	81:13, 81:19,	amplify
action	54:17, 67:4	81:21	27:19, 30:20,
82:20, 82:22	agency	alleged	30:21, 31:5,
actual	8:21, 8:22,	7:17, 7:22	31:17
43:9	9:9, 9:10, 9:15,	allows	amplifying
actually	9:18, 9:19	31:11	31:6
8:3, 8:5,	ago	allude	analysis
11:13, 14:5,	78:10	49:20	9:5, 54:12,
14:16, 19:12,	ahead	alludes	55:19, 56:21,
	6:16, 40:13	44:13, 44:21	57:4, 57:6,
	air	along	57:9, 58:12,
	22:22	25:8, 25:18,	

64:12, 66:16 analyzed 61:12 anecdote 24:1 another 50:13, 78:19 answer 12:11, 33:4, 47:8, 54:9, 54:11, 54:17, 57:9, 59:4, 60:5, 66:21, 77:16 answer's 32:6, 73:2 any 7:2, 7:11, 14:13, 34:12, 36:20, 41:18, 44:18, 49:20, 57:2, 61:19, 68:20, 77:1, 78:20, 79:3, 81:9, 82:20, 82:22 anything 35:6, 74:10, 81:20 apd 16:7 apds 12:16, 14:4, 68:9, 68:13, 69:3 aperture 53:3, 64:1, 64:5, 65:7 apertures 53:4, 64:9, 64:11, 64:17, 64:21, 64:22, 65:1, 65:3, 65:4, 65:10, 65:11, 65:16 appeal 1:2 appeared 61:17	application 48:1, 80:13 applications 14:21, 20:17, 35:15, 47:3, 67:12 apply 31:14 area 35:10, 53:10, 78:14, 81:8, 81:17, 81:18 areas 58:2 army 18:14 around 31:19, 56:11, 57:22 arranged 62:6 array 15:12, 16:22, 17:12, 29:11, 35:18, 42:22, 43:19, 46:8, 46:13, 46:14, 47:17, 50:19, 60:16, 60:18, 62:7, 64:1, 64:5, 65:3, 72:11, 72:15, 80:10 array' 48:9 array-type 49:20 arrays 16:14, 17:15, 19:17, 20:4, 20:8, 20:11, 21:17, 26:7, 26:9, 29:14, 32:4, 35:14, 38:4, 43:21, 45:2, 48:7, 69:11, 72:14, 78:11, 79:21,	79:22, 80:1, 80:3 arsenide 52:8 art 7:17, 7:22, 57:3 asic 46:18, 47:2, 47:4, 68:19 asked 81:2 asking 62:16 aspects 50:3 associated 44:1, 56:13 assume 66:17, 74:10, 76:15, 79:12, 79:15, 80:4, 81:4, 81:8 asteroid 27:13 atmospheric 27:12 attain 67:13 attorney 82:21 auto 76:2 automatically 46:8, 70:17, 73:22 automotive 81:18 availability 60:14, 79:20 available 13:18, 60:11, 74:15, 80:11 avalanche 11:10, 11:16, 12:1, 12:12, 12:15, 12:19, 23:8, 45:19,	46:14, 47:17, 47:21, 48:6, 48:8, 49:18, 49:19, 69:17 avalanches 25:14 avenue 2:6, 3:3 avoidance 37:9 award 75:8, 75:14, 75:18, 75:21, 76:7 aware 8:8, 8:10, 11:15, 12:6, 12:9, 12:20, 75:7, 75:13, 75:17, 75:21, 79:3 away 26:5, 26:12 axes 41:9 axially 21:3 axis 70:14 B bachelor's 7:5, 7:8 back 6:11, 6:21, 8:3, 10:12, 10:19, 11:17, 12:2, 15:15, 19:11, 24:2, 24:3, 29:17, 36:14, 37:1, 38:11, 40:10, 42:13, 46:5, 48:2, 48:3, 55:21, 64:4, 64:8, 64:12, 65:6, 68:10, 70:18, 79:1,
--	---	--	---

80:6 background 8:11, 34:8 baffles 64:17 ball 14:3, 23:7, 23:8 ballistic 33:16 ballpark 6:8, 60:2 base 8:14, 73:18 based 63:22 basic 24:3, 33:17 basically 12:4, 18:14, 20:8, 24:5, 25:20, 26:13, 31:21, 37:20, 46:4, 53:9, 55:14, 56:9, 61:6, 69:8, 72:8, 77:4 basis 79:18 beam 30:4, 30:8, 30:10, 30:15 became 12:9, 21:14, 21:18, 25:10, 25:12 because 10:11, 15:22, 16:12, 16:14, 22:14, 23:22, 24:16, 24:22, 25:4, 25:7, 26:9, 26:11, 31:2, 35:15, 35:22, 36:7, 36:11, 38:3, 39:11, 40:13, 40:18, 44:1,	44:7, 44:14, 45:5, 47:22, 54:9, 54:11, 58:14, 59:22, 65:8, 67:12, 68:2, 71:14, 72:2, 72:11, 72:21, 79:21 been 5:12, 5:19, 5:22, 42:10, 60:15, 63:10, 77:10, 77:18, 77:20, 80:2 before 1:2, 5:12, 5:17, 5:20, 8:8, 30:15, 31:12, 31:18, 55:5, 81:1, 82:8, 82:9 beginning 33:6 behalf 2:3, 2:11 being 5:4, 5:11, 8:8, 9:3, 18:11, 61:17, 66:18, 73:10 believe 8:22, 23:7, 40:9, 41:5, 50:7 bell 10:20 besides 47:13 best 11:13, 13:17, 48:1, 67:17 better 26:10, 72:21 between 35:3, 35:22, 36:2, 53:12, 53:15, 56:9 bias 47:5, 54:13 biasing 70:10, 70:11,	70:15 big 28:8, 30:5, 37:17 biggest 22:13 bio 63:15 bird 22:16 blood 82:20 board 1:2, 44:4 boils 16:8 borowski 65:19 boston 1:12, 82:16 both 22:20, 25:9, 33:7, 72:21 bottom 48:4, 52:6, 67:10 bounce 22:21 bounces 26:3 boylston 82:16 breadboard 33:9 break 30:8, 30:14, 42:11, 46:1, 63:15, 63:16, 78:19, 81:1 breakdown 16:13, 68:3, 68:5, 69:18 brock 1:21, 82:3, 83:13 build 15:3, 39:14, 80:12	building 11:2, 41:5, 42:5 buildings 41:12 built 20:15, 20:19, 24:15, 27:13, 28:7, 40:10 bulk 53:2 bunch 5:21, 15:11, 27:15, 34:1, 55:10, 79:21 bunches 74:19 burn 46:21, 47:1, 70:18 C cad 55:15, 55:16, 55:20, 56:5, 56:9, 56:10, 56:15 calculate 26:5, 26:6, 55:6 calculated 55:1 california 2:15 called 29:8, 37:19, 50:19 came 20:8, 28:1, 33:15, 57:8, 61:15 camera 38:5 cameras 69:12 can't 10:13, 44:21, 45:3, 54:9,
--	--	---	---

54:11, 54:17, 55:1, 59:4, 60:5, 66:14, 66:21, 81:12 capabilities 25:13 capability 23:3, 28:15, 29:7 capacitance 68:16 capture 24:6 career 22:4 careful 68:1 carefully 69:19 case 7:12, 8:9, 61:14 cause 71:15 caused 19:1 caveat 57:7, 60:12 cavity 16:7, 26:17, 27:21 cavity-type 11:11 center 33:17 certain 27:5, 58:11 certificate 82:1 certified 82:3 certify 82:5, 82:11, 82:14, 82:19 ces 75:5, 75:7, 75:11, 75:12, 75:13, 75:17,	75:22, 76:1, 76:8 cetera 51:4, 51:5 chain 66:16 challenged 73:3, 73:6, 73:9, 73:10, 73:12, 74:7, 77:9, 77:13, 77:17, 78:14 change 24:12, 25:6 changed 14:18, 23:20, 26:14 changes 7:2, 7:11 channels 30:9, 30:11, 30:13 characteristic 45:12, 70:9, 70:13 characteristics 16:12, 66:15 charge 9:1, 9:10, 9:15 charge-coupled 69:11 charges 8:22, 9:10 cheap 13:18, 17:11, 80:8 cheaper 18:2 cheapness 17:13 choose 7:22, 24:16, 26:22, 27:5 chooses 47:22 chose 24:20, 24:21 circuit 44:9, 46:4,	46:20, 68:7, 68:12, 69:7, 70:4, 70:6, 71:2 circuitry 69:20 circuitry's 69:16 circuits 46:22, 54:13, 68:4, 68:9, 68:15, 68:16, 69:4 cite 43:2 claim 52:17 claims 8:5, 73:3, 73:6, 73:9, 73:12, 73:17, 74:5, 74:7, 74:22, 77:9, 77:13, 77:17, 78:15 clarification 66:8, 80:20 clarify 62:16 clarkson 7:9 classic 33:18 cleanse 69:8 cleaved 26:16 close 78:18, 78:21 co2 24:15, 24:16, 25:4 collected 62:6 collection 62:5, 69:7 college 68:21 cologne 76:11	colorado 20:19 column 43:11, 43:14, 50:17, 50:18, 51:2, 52:14 columns 52:12 com 2:9, 2:17, 3:6 combination 48:16, 50:9, 53:11, 53:15, 54:4, 54:15, 56:22, 59:1, 63:13, 63:14, 63:21, 64:21, 66:10, 66:13 combine 26:4, 63:10 combined 54:6, 54:21, 66:10 combining 48:12 combo 62:14, 65:22, 66:5 come 15:15, 25:8, 55:16, 59:18 comes 19:6, 44:17, 64:16 coming 65:6 commencement 82:8 commencing 82:17 comment 10:13, 59:4 commercial 78:3, 79:3, 79:20 commercially 74:6, 74:15, 77:7
---	---	--	--

commission 83:8	connection 81:10	82:16	61:7, 68:21,
common 41:17	consequently 17:10	copper 29:2	71:5, 80:5
commonwealth 82:4	consider 16:13	correct 10:4, 12:12,	couldn't 55:4, 60:8,
companies 74:17	considerations 13:10, 13:16,	12:16, 13:20,	74:12
company 29:18, 41:4,	16:16	15:19, 16:2,	counsel 6:4, 6:6, 7:20,
81:15	considered 14:22, 67:11	17:22, 22:1,	82:12
compensated 9:3	consist 64:14	29:22, 32:19,	count 22:11
compile 80:6	constructed 20:4, 59:1	35:1, 36:15,	coupled 52:19, 53:2
complementary 49:12, 49:14	constructing 59:19	38:12, 39:19,	couples 28:20
complete 47:8	consulting 6:22, 9:4, 9:8,	47:15, 50:22,	course 35:21, 63:4
complex 40:17, 40:18	9:13, 11:22,	51:8, 51:11,	cousin 76:10
complicated 18:18, 18:19	14:19	51:13, 53:17,	covered 32:11
component 25:7, 60:14	consumer 75:4	53:22, 54:4,	covering 32:10
components 24:3, 24:11,	consumes 72:21	56:22, 63:7,	craft 58:4
25:11, 27:5,	content 22:21	63:11, 72:12,	crashes 22:13
29:2, 60:14	context 62:22	72:14, 73:10,	crazy 30:16
composed 64:9	continue 70:10, 70:15	79:4, 79:9	created 20:6
comprising 53:2, 53:3	continuing 43:14	corrections 7:2, 7:11	cross 44:4
conclude 45:1	contracts 9:19	cost 18:7, 59:6,	cross-talk 64:1, 65:8
conditions 71:13	contrasted 48:7	71:1, 71:4	crr 1:21, 83:13
conferences 12:8	control 46:19, 47:4,	could 12:9, 14:8,	crude 21:13
configured 61:7	69:7	15:12, 15:13,	crystals 26:16
confirmed 48:5, 48:18,	controller 68:19	15:15, 19:14,	current 70:12, 70:14
50:10	controlling 46:22	19:21, 19:22,	curve 46:1, 46:3
confirms 48:20, 49:21	cooley 2:12, 3:1,	20:11, 20:12,	cylinder 21:4, 21:6
confused 67:7		21:19, 21:21,	
		24:17, 24:18,	D
		24:22, 25:12,	d-r-o-z 50:16
		25:15, 25:16,	
		26:9, 26:11,	
		27:7, 27:18,	
		29:10, 29:11,	
		29:15, 30:3,	
		30:19, 30:21,	
		31:13, 31:14,	
		36:18, 38:1,	
		38:4, 40:18,	
		44:3, 47:11,	
		47:18, 47:20,	
		47:21, 49:16,	
		52:8, 55:6,	
		57:6, 57:9,	
		57:10, 58:11,	

data 57:2, 57:4 date 9:21, 10:16 day 83:4 days 11:7, 12:21, 13:20, 19:11, 20:3, 24:14, 36:9, 55:21 decided 18:12 decision 10:15, 10:17 declaration 6:3, 6:12, 6:15, 6:17, 7:12, 7:14, 8:8, 10:5, 10:22, 32:12, 34:17, 42:15, 43:20, 45:10, 48:2, 48:14, 48:18, 50:7, 50:9, 50:15, 53:13, 61:22, 62:18, 63:19, 64:14, 64:16, 67:4, 81:10 decrease 31:3 defense 33:16 define 65:1, 65:5 definite 66:22 definitely 58:9 degraded 31:13 degree 7:5, 7:9, 20:22 degrees 19:21, 38:11 departments 41:11	depended 11:7 depending 52:7 depends 37:15, 61:8, 65:12, 67:2 deposed 5:12 deposition 1:11, 6:2, 82:7, 82:8, 82:9, 82:13, 82:14 derbies 76:9 describe 32:22 described 48:9 describes 48:6, 49:17, 50:3, 50:11 describing 21:1, 23:11, 38:10, 49:22 design 13:9, 15:4, 23:16, 24:10, 27:1, 55:16, 56:12, 57:8, 57:16, 57:22, 58:17, 58:18, 61:9, 67:2, 68:5, 69:19, 74:2 designation 42:6 designed 34:7, 61:11, 68:7, 68:9, 68:12, 68:13 designer 73:21, 74:2, 78:1, 78:2 designing 11:2, 22:8, 24:13, 28:1,	71:1, 72:18, 74:1 designs 15:4 destroy 68:6, 70:19 details 32:13 detect 16:15, 44:8, 44:10, 67:13, 67:19, 71:12, 72:7 detecting 62:7, 64:2 detection 23:3, 25:13, 25:20, 25:21, 29:6, 35:11, 46:2, 71:16 detector 15:12, 16:4, 31:18, 42:21, 43:19, 44:22, 45:4, 45:8, 46:6, 46:13, 50:19, 60:15 detectors 23:3, 25:10, 27:3, 28:13, 28:15, 28:16, 29:7, 33:8, 39:10, 47:6, 47:10, 47:13, 51:3, 51:4, 51:5, 65:14, 79:19, 80:13 determines 24:10 developed 14:4, 17:10, 27:17, 27:18, 33:7, 39:9 developing 14:1, 14:8 development 12:7, 18:11, 78:9	developments 14:1 device 12:5, 12:6, 12:7, 13:10, 13:17, 14:2, 16:4, 16:7, 16:11, 27:1, 33:18, 51:14, 51:15, 51:17, 52:4, 61:12, 69:11, 71:11, 72:3 devices 11:11, 11:12, 11:19, 11:20, 13:3, 13:4, 13:8, 14:7, 18:12, 26:11, 26:17, 26:22, 27:18, 29:13, 29:14, 29:16, 31:15, 31:16, 46:19, 47:5, 49:15, 50:11, 51:18, 52:7, 79:3, 79:8, 79:11 diagram 59:16, 69:14 diamond 19:14, 19:16 diaphragm 22:15 difference 35:3 different 10:11, 11:20, 19:8, 20:16, 21:6, 30:9, 30:11, 32:12, 34:9, 36:2, 36:19, 38:7, 50:3, 64:10, 64:15, 67:2, 69:17, 70:3 difficult 68:20
--	---	---	---

diffractive 29:9 dimensions 54:18 diode 13:1, 14:22, 15:11, 16:17, 25:10, 25:11, 25:14, 26:18, 28:6, 28:10, 28:12, 28:16, 29:10, 39:16, 45:13, 46:14, 47:17, 48:6, 68:6, 70:19 diodes 11:16, 12:19, 24:20, 48:8, 49:19 direction 20:1, 21:17, 37:6, 37:22, 38:2, 40:14 directions 20:14 directly 80:11 disadvantage 71:19, 71:22 disadvantages 67:21 disclose 48:22, 49:9 disclosure 47:16 discuss 32:18, 34:19, 34:20, 34:22, 50:15 discussed 8:7 discusses 50:18 distance 35:20, 36:1 distances 13:12 distant 38:18	distribution 54:20, 55:2, 55:6 doctorate 7:6 document 43:8, 43:9 doing 11:22, 13:14, 14:19, 20:21, 28:15, 28:20, 35:6, 35:7, 37:11, 58:15, 65:12, 66:16, 77:6, 79:12 done 5:21, 6:12, 18:11, 27:8, 33:11, 56:11, 57:12, 59:7, 59:8, 70:22, 74:19, 78:19 doped 30:18, 31:9, 31:17 douglas 12:21, 13:20, 22:5, 24:2, 25:6, 25:9, 28:5, 28:14, 39:5, 40:7, 41:19 down 13:9, 16:8, 27:1, 30:7, 36:8, 37:12, 43:14, 45:22, 46:1, 52:14, 52:20, 59:6, 62:10, 70:16 dr 5:9, 5:11, 6:18, 42:14, 63:18, 79:2, 81:1 draft 6:18 drive 20:8	drops 56:17 droz 50:14, 50:16, 51:3, 53:12, 53:16, 55:7, 60:20, 61:2 dual 65:17 duly 5:4, 82:7 during 32:18, 33:3, 33:5 E e 46:16, 47:16 each 16:11, 21:5, 30:13, 32:13, 58:2, 62:7, 65:13 earlier 13:8 early 6:14, 11:7, 12:1, 12:3, 12:22, 19:11, 19:16, 21:13, 21:16, 24:14, 28:7, 45:10, 45:11 earth 27:13, 37:11 earth-based 13:15 easily 25:1 easy 55:22, 59:22 edge 26:15 effect 61:8, 61:11 effects 65:3 either 19:21, 24:20, 29:10, 52:7 elaborate 61:1 electric 34:20 electrical 56:7, 57:17, 59:11, 68:11, 68:21, 72:21 electronic 57:19 electronics 58:18, 75:4 element 72:4, 72:20 elements 33:20, 44:9, 62:6, 62:7, 62:11, 62:14, 64:2, 66:4, 66:9, 66:16, 66:18, 67:1, 69:12, 72:16, 72:17 eliminate 64:19 else 9:16, 47:18, 81:20 emanuel 2:4, 9:19 embodiments 74:7 embody 74:21 emitter 15:11 emitters 15:12, 26:16 emphasize 25:8 employ 71:14 employed 9:18, 82:21 employs 9:10 enables 67:13
--	--	--

enchilada 26:12 end 6:13, 33:9, 43:22, 44:17, 46:11, 52:11 ended 14:17 engaged 6:10, 8:9 engineer 39:2, 56:6, 56:7, 68:21, 71:5 engineering 14:17, 16:19, 57:17, 68:11 enough 11:21, 44:15 equally 36:18 equivalent-power 72:20 errant 71:15 esq 2:5, 2:13, 3:2 essentially 20:15 estimate 58:22 et 51:3, 51:5 evaluated 11:13 even 24:2, 25:16, 68:22, 71:14 eventually 19:18 ever 14:10, 15:3, 19:4, 32:1, 45:18 every 76:11 everybody 76:9	everywhere 66:19 exactly 71:4 examination 4:3, 5:7, 80:21 examined 5:6 example 51:5, 71:11 excel 59:17 except 61:19 excuse 65:14 exhibit 6:16, 6:18, 7:3, 7:14, 7:18, 8:1, 10:22, 32:15, 32:17, 42:4, 42:15, 43:2, 43:5, 43:7, 44:18, 46:12, 48:2, 49:1, 49:4, 49:10, 50:1, 50:4, 50:13, 50:14, 52:9, 53:20, 61:22, 63:5, 67:5 exhibited 45:12, 75:11 exhibits 4:8, 76:8 expenses 9:6 experience 23:10, 27:11, 31:21, 44:4, 70:7, 72:18 experienced 11:9 expert 5:19, 22:10, 23:9, 23:11, 23:13 expires 83:8	explain 41:8, 47:6 explained 45:9, 64:13 explains 49:15 exploded 39:11, 39:13 expressly 42:20, 43:18 extent 13:21 eye 11:20, 23:3, 26:19 eye-safe 27:6 F f 29:1 fact 20:9, 25:8, 39:1, 44:12, 44:20, 44:21, 67:18 fact-finding 14:6 fail 78:14 false 71:15 familiar 38:6 far 26:5, 34:4, 38:18 fast 18:15, 44:15, 70:1 fee 8:22 feel 62:22 feeling 80:7 few 6:7	fiber 29:2, 30:5, 30:7 field 8:10, 23:20, 45:7, 65:2, 65:5, 65:9, 65:18 field-fixable 17:17 fields 65:13 fighters 29:1 figure 45:10, 45:14, 62:10, 62:20, 63:7, 64:4, 64:6 fill 27:7 filter 34:7 filtering 32:4 filters 33:22 finally 41:22 financially 83:1 find 33:18, 35:9, 39:4 finders 20:18 fine 6:8 finlan 29:18 fire 41:11 first 5:4, 6:10, 11:15, 11:19, 12:20, 24:14, 26:9, 27:17, 32:14, 61:15 fit 18:19, 56:18
--	--	--	---

fits 27:9	36:21, 38:16, 41:20, 47:12,	front 15:8, 37:10, 41:14	40:16, 40:17, 41:2, 41:13
five-minute 42:11	48:17, 49:7, 49:11, 50:2,	function 56:18	gimbaled 41:6
fixing 76:10	50:6, 51:20, 52:2, 53:8,	functioning 58:4	gimbals 20:13, 37:16, 41:4
flashlight 17:14, 42:9	53:18, 54:1, 54:8, 54:16,	fund 80:12	give 15:1, 15:13, 15:15, 19:19, 22:22, 36:1, 36:2, 57:21, 60:10, 67:17
flashlight-sized 15:10	54:22, 55:3, 56:3, 56:18,	funding 33:15	give-and-take 7:1
flat 45:17	57:1, 57:5, 58:8, 59:3,	further 11:9, 49:15, 49:17, 61:1, 78:20, 82:11, 82:14, 82:19	given 13:8, 19:22, 82:10
flew 37:22, 41:1	60:4, 60:22, 61:4, 62:15, 64:7, 66:6,	fusion 28:11	gives 56:12
flight 26:4	66:12, 67:22, 69:5, 71:3,	future 14:9, 14:10	glanced 8:13
flir 19:9, 19:12	71:21, 72:13, 73:14, 74:9,	G	go 6:16, 8:18, 8:21, 9:2, 10:21, 18:12, 18:16, 20:11, 25:12, 25:16, 26:10, 27:2, 30:22, 39:4, 43:8, 43:22, 45:10, 48:2, 50:17, 52:14, 53:12, 61:9, 61:21, 64:8, 64:11, 67:3, 68:5, 69:14, 70:17, 70:20, 71:6, 72:2, 80:5, 80:11
flops 55:20	75:1, 75:9, 75:15, 75:19, 76:13, 76:17,	gain 40:2, 45:17, 45:18, 45:19, 67:13, 69:21	god 6:11, 9:22, 12:2, 41:8
flow 59:9, 59:16	76:20, 77:3, 77:11, 77:19, 78:6, 78:16, 79:6	gains 45:21	goes 12:2, 25:2, 29:17, 30:16, 32:5, 36:14, 46:5
flown 77:5	forth 8:3, 36:15, 38:11, 82:7	gallium 52:8	
fly 27:8, 41:10	forward-looking 19:9, 24:8	gave 6:22	
flying 15:14, 38:7, 41:11, 61:9	four 19:13, 21:5, 21:6, 43:8, 62:6, 80:4	ge 25:3, 25:9, 28:5, 28:13, 34:20, 35:12, 36:20, 38:19, 40:10	
focal 15:11, 19:18, 21:17, 21:19, 57:18	fourth 5:16	general 34:20, 49:5, 53:14	
focus 62:6	frame 6:14, 12:3, 17:8, 18:10, 23:17, 23:21, 29:19	geophysical 77:6, 79:13	
following 37:11	frames 18:9	getting 11:9, 65:9	
follows 5:6	free 62:22	gimbal 36:19, 37:5, 37:6, 39:4,	
foot 28:9	frequencies 34:3		
forces 60:21, 61:3, 61:5			
form 6:20, 7:19, 8:2, 14:15, 34:14, 35:5,			

going 6:11, 10:12, 12:6, 12:22, 13:10, 14:7, 21:7, 24:6, 24:7, 24:8, 24:9, 26:15, 31:3, 32:8, 40:10, 42:10, 46:1, 49:3, 50:13, 52:11, 57:18, 60:10, 63:4, 64:10, 69:17, 69:18, 70:16, 71:8, 75:10 gone 36:18 good 5:9, 5:10, 23:1, 61:8, 73:21, 74:2 goodness 11:17 gotten 76:3 grandson 68:22 gravitated 79:14 great 30:22 ground 48:12, 48:16 ground-based 36:11 group 28:6, 29:7 groups 13:1 guess 6:1, 55:4, 55:13 guide 29:4, 29:5, 32:2 guided 28:21	gut 80:6 guy 39:1, 57:13, 57:15, 57:17, 57:20, 59:12, 59:13 guys 57:21 gyroscopic 60:21, 61:3 H hagiz 2:5, 4:5, 6:20, 7:19, 8:2, 14:15, 34:14, 35:5, 36:21, 38:16, 41:20, 46:17, 47:12, 47:19, 48:17, 49:5, 49:11, 50:2, 50:6, 52:2, 53:8, 53:18, 54:1, 54:8, 54:16, 54:22, 55:8, 56:3, 57:1, 57:5, 58:8, 59:3, 60:4, 60:22, 61:4, 62:15, 64:7, 66:6, 67:22, 69:5, 71:3, 71:21, 72:13, 73:14, 74:9, 75:1, 75:9, 75:15, 75:19, 76:13, 76:17, 76:20, 77:3, 77:11, 77:15, 77:19, 78:6, 78:16, 79:6, 80:19, 80:22, 81:13, 81:19 half 28:9, 28:10 halfway 43:14	hall 62:5, 63:9, 64:6 hall's 63:21 hall-borowski 66:13 hall-borowski-sp- ector 62:13, 63:21, 65:22, 66:5, 66:10 hand 36:14, 83:4 handheld 14:21, 15:6, 15:20, 21:22, 42:1, 42:5, 59:20, 59:21 hanover 2:14 happen 39:13, 66:3, 66:7 happening 14:5 happens 30:12, 30:14, 56:14, 58:13, 62:14, 70:5, 70:16, 70:18 hard 41:8, 60:6 hat 16:5 hats 14:18 headline 75:22 heated 70:21 helicopter 37:8 helicopters 41:10 here 5:11, 18:20, 24:1, 26:22, 27:15, 32:11, 32:22, 33:3, 34:22, 42:8, 46:16, 47:16, 58:7, 62:22, 64:21, 65:12, 65:19, 67:16, 69:14, 69:20, 70:10, 70:13, 70:16 hereby 82:5 hereinbefore 82:7 hereunto 83:3 hesai 1:4, 6:10, 34:12, 36:20, 41:18, 76:12, 77:13, 81:2, 81:9, 81:14, 82:12 hesai's 76:14, 81:3 heterodyne 33:12 hey 60:17 high 40:2, 41:7, 67:13, 69:1 history 75:10, 80:6 honeywell 19:11, 19:15, 21:1, 24:3, 32:19, 33:1, 33:6, 34:12, 37:1, 37:5, 38:10, 38:15 honoree 75:8, 75:14, 75:18 horizon 35:16, 36:8, 36:12, 37:9 horizontally 21:12
--	---	---

hour 9:11, 9:13, 9:14, 42:10 hourly 8:20 hours 6:5, 9:20, 59:10, 59:11, 59:12, 59:15, 59:18 housing 41:14, 57:22 humongous 27:7 hundred 24:19	impact 60:20, 61:3 implement 32:1 implemented 13:22, 63:22 imply 18:18 improved 40:2 inc 1:7 include 51:4, 51:5, 62:5, 63:14 included 47:18 includes 27:11, 49:18 including 23:3, 42:22, 43:19, 49:17, 54:13, 55:18, 56:19 incoming 16:15, 29:15, 30:4, 44:10 incongruous 7:7 incorporate 14:8, 14:10 incorporated 15:5, 64:6 increase 70:17 increased 15:1 increasing 13:2 independent 9:5 indeterminate 59:5 indicated 79:7 individual 44:2, 65:2, 65:14	induce 27:19 industry 14:3, 14:16, 23:9, 23:12, 55:14, 78:4 infer 66:14 infinity 70:17 information 8:11, 8:19, 15:14, 15:15, 22:17, 35:19, 56:1, 56:16, 59:18, 60:8, 60:19, 69:7, 77:22 infrared 19:10, 34:3, 34:5, 41:12, 42:5 initial 47:3 initially 24:21, 70:8, 81:15 innovation 75:8, 75:14, 75:18 innovative 76:2 inoperable 71:16 instead 52:20 institution 10:14, 10:17 integrated 46:19 intend 7:15 intended 33:13 interchangeable 26:1 interested 61:16, 61:17,	83:1 interesting 35:14, 61:14, 76:1, 76:4 interfaces 56:15 interference 71:10 interject 12:4 introduce 6:16, 49:3, 50:13, 63:4 invention 48:22, 49:9 involve 47:5 involved 11:1, 13:19, 14:21, 20:15, 22:12, 23:5, 24:15, 25:10, 28:12, 29:20, 29:21, 42:5, 55:4 involvement 32:21, 38:22, 39:7 ipr 1:7, 5:19, 7:15, 9:20, 10:2, 10:3 issue 37:17, 72:12 issued 10:17 items 10:9, 19:17 itself 48:19, 68:6 iv 45:12, 70:9
I			
ice 22:15 id 69:14 idea 18:19, 29:1, 34:15, 36:22 identify 77:1 ilities 17:18 illuminate 15:13 illuminator 15:7, 15:20, 59:21, 59:22 illuminators 14:22 image 19:19, 26:7, 37:21, 65:6, 65:18 images 21:6 imagine 21:4, 68:14 imaging 21:16, 35:19, 41:11, 41:12, 79:13			J james 1:11, 4:3, 5:3, 82:6, 82:15 job 1:19

joked 39:10, 39:11 judged 76:6 junction 51:20 june 1:13, 5:1, 82:17, 83:4 junior 68:22, 69:1	68:16, 69:9, 71:1, 71:4, 73:22, 74:6, 74:13, 75:2, 75:4, 77:4, 77:12, 77:16, 77:20, 78:3, 78:7, 78:13, 78:17, 80:2, 80:5, 80:10 knowing 26:4, 55:3 knowledge 73:18, 74:1, 74:3 known 12:15, 17:17, 60:8, 74:11 korean 18:14	25:12, 25:14, 26:14, 26:15, 26:18, 26:20, 28:7, 28:10, 28:12, 28:16 last 6:12, 22:8, 23:16, 80:4, 81:1 late 12:2 latencies 39:3 lauppe 2:13, 4:4, 5:8, 42:13, 78:18, 79:1, 81:21 lawrence 28:11 laws 27:11, 59:20 lawsuit 61:17 layer 53:3 layers 51:21 leave 22:7 left 14:16, 14:19, 25:3, 43:14 legal 6:21, 7:20 lend 26:19 lens 62:6 lenses 29:9, 29:10, 33:22 less 60:3 let's 5:15, 6:16, 10:21, 27:14, 39:5, 41:22, 42:14, 43:5,	48:2, 50:17, 52:9, 61:16, 61:21, 62:21, 63:16, 66:12 licensed 77:10, 77:13 lidar 11:2, 12:10, 13:4, 14:13, 15:18, 15:21, 19:4, 20:16, 22:5, 22:9, 22:10, 22:11, 22:19, 23:16, 23:20, 24:3, 24:14, 25:19, 28:1, 32:12, 32:18, 32:21, 33:5, 35:4, 39:7, 42:6, 50:12, 61:18, 63:21, 71:11, 71:19, 74:16, 76:2, 76:16, 76:19, 77:1, 77:5, 78:1, 78:5, 79:3, 79:8, 80:15 lidar-based 77:6 lidars 25:19 life 12:5 light 25:20, 26:3, 29:4, 29:5, 30:4, 30:6, 32:2, 35:10, 50:19, 51:3, 51:4, 52:18, 53:1, 53:10, 53:17, 53:21, 62:7, 64:1, 64:12, 64:14, 64:16, 64:19, 64:22, 65:7, 65:8, 65:15
K	L		
keeping 11:20 kilometers 24:19 kind 7:6, 13:6, 23:5 kinds 11:6, 22:15, 22:16, 31:19, 37:13 kinoform 29:8 knee 46:1 know 5:22, 7:13, 8:12, 8:13, 8:16, 8:17, 8:18, 10:1, 10:14, 13:7, 14:9, 16:16, 17:18, 18:6, 20:5, 22:2, 27:1, 34:12, 35:21, 36:20, 38:17, 41:18, 46:8, 47:16, 48:20, 49:5, 50:9, 51:20, 52:3, 53:19, 54:9, 54:18, 55:2, 55:5, 55:9, 55:11, 55:18, 59:15, 60:9, 67:1,	labs 11:21, 74:14, 78:8 ladar 25:20 large 54:14, 73:17 large-enough 47:1 larger 18:4 laser 13:13, 15:11, 20:17, 24:17, 25:10, 25:21, 27:3, 27:11, 27:12, 28:6, 28:11, 29:11, 33:15, 34:22, 35:3, 39:9, 39:12, 39:16, 39:17, 57:19 lasers 13:1, 14:22, 23:4, 24:15, 24:16, 25:11,		

light-focused 29:15 limit 64:22, 65:15, 65:17 limited 38:3 lincoln 11:21, 74:14, 78:8 line 50:17, 50:18, 51:2, 52:6, 52:15, 52:20, 75:3, 76:14, 81:4 linear 19:17, 20:4, 26:9, 45:16, 47:7, 69:16 lines 15:9, 78:11 list 10:9, 33:3, 56:12, 64:15 listed 7:5, 8:21, 32:12, 74:5 literature 7:21, 8:4, 8:12, 74:13, 74:20 little 23:22, 41:13 livermore 28:11 llp 2:4, 2:12, 3:1, 82:16 located 81:17 long 80:2 long-felt 73:13 longer 19:2, 71:12 look 6:1, 9:1, 9:22,	10:19, 14:5, 19:22, 24:7, 24:8, 24:9, 25:13, 32:8, 42:14, 43:5, 49:2, 49:16, 50:8, 51:14, 51:17, 51:22, 52:14, 54:12, 61:6, 62:17, 62:21, 62:22, 76:18, 76:21 looked 8:4, 10:9, 11:13, 37:7, 37:10, 37:20, 40:22, 61:13, 61:18, 61:20, 76:14, 78:12, 81:3, 81:10, 81:15 looking 11:10, 25:7, 29:6, 31:15, 36:12, 37:6, 37:8, 38:2, 40:13, 40:20, 41:12, 41:14, 44:5, 52:4, 52:19, 60:13, 68:18, 72:4, 78:10 loop 37:1 lose 22:16 lot 8:11, 8:14, 11:22, 12:1, 14:4, 17:17, 18:11, 19:9, 19:15, 20:6, 21:15, 32:7, 34:6, 41:10, 60:19, 64:11, 70:7, 74:14, 74:15, 75:11, 76:3, 77:22,	78:9, 79:12 lower 43:11 lucky 11:21 M made 19:14, 28:13, 28:14, 51:8, 51:10, 51:19, 52:1 madison 2:6 magic 37:14 magnification 66:19 magnificent 78:12 magnitude 31:5 maintain 31:4 maintained 66:19 make 36:9, 68:3, 72:19, 72:22 man-year 59:16, 60:3 manhours 58:22 manufacture 74:21 manufacturer 80:12 manufacturers 18:8, 52:5 manufacturing 18:8 many 5:14, 6:5, 8:17, 8:18, 9:20, 19:7, 30:15, 51:21, 55:2, 58:22, 59:8, 59:10,	59:11, 59:12, 64:10, 64:14, 67:12, 70:22 march 10:18, 32:11, 83:9 marked 4:8 marriage 82:21 marry 56:15 mars 27:12 mass 54:20, 55:1, 55:6 massachusetts 1:12, 82:5, 82:16 material 32:10 materials 55:3, 55:9, 56:12, 56:13 matter 16:10, 25:22, 83:2 matured 80:7 maxigaze 37:19 maybe 31:11, 68:22, 81:6 mcdonnell 12:21, 13:20, 22:5, 24:2, 25:6, 25:9, 28:5, 28:14, 39:5, 40:7, 41:18 mean 15:6, 17:6, 18:16, 19:7, 24:5, 35:10, 36:6, 38:18, 41:2, 42:8,
--	--	---	---

46:16, 48:19, 49:14, 51:15, 52:6, 54:10, 56:4, 60:5, 66:7, 67:16, 68:15, 73:4, 74:14, 75:22, 76:5, 76:6, 80:2 meaning 61:5 means 10:3, 73:7 measurements 20:18, 20:21, 77:7 mech 39:1 mechanical 39:1, 55:15, 56:6, 56:10, 57:20, 59:10 members 58:11 mentioned 6:15, 15:18, 79:2 mentioning 28:4 mess 70:21 metal 56:10, 57:22 method 72:9 microlenses 32:3 microns 34:4 midcourse 39:11 might 12:4, 22:4, 25:8, 32:10, 37:18, 38:5, 58:9, 60:12, 66:13, 72:22, 79:20 million 45:21	mind 19:6 miniature 29:10, 29:13 minute 40:6 mirror 19:13, 21:2, 21:3, 27:20, 29:21, 41:3 mirrors 19:13 missile 33:16 mission 27:2 mode 33:12, 45:16, 45:19, 45:20, 47:7, 69:16 module 52:18, 53:1, 53:17, 53:21 mola 39:8 moral 31:7 more 21:18, 24:22, 25:12, 25:16, 26:14, 31:8, 31:12, 60:2, 79:19 morning 5:9, 5:10, 71:5 morton 3:2 most 24:17, 58:9, 66:14, 67:18 mount 20:3 move 52:20 moving 40:13, 62:10 mpcc 51:18	much 23:22, 54:6, 75:2, 76:10 multi-spad 16:17 multiple 16:13, 44:6, 45:8, 72:1, 72:2, 72:16, 72:17 myself 14:12, 16:5, 56:4, 56:5 N name 80:14 nape 37:10 narrow 20:9 nasa 77:6, 79:13 natural 13:2, 28:17, 31:4 near 27:13 necessarily 76:5 need 21:20, 25:5, 29:4, 55:5, 55:9, 59:10, 59:11, 59:12, 72:11, 72:15, 73:13 needed 29:3, 80:10 negate 45:6 networks 28:22 never 15:5, 41:1, 74:19 new 2:7	next 39:5, 43:13, 62:11, 71:8 nine 23:4 nine-month 18:21 noise 31:6, 71:9, 72:19 noise-equivalent 16:9 noncommercial 79:8 notary 82:1, 82:4 nothing 5:5, 37:14 noticed 78:11 noting 35:20 number 10:1, 11:2, 67:1, 67:2 numbers 72:20 O object 7:19, 15:10, 15:13, 26:3, 26:5, 35:16, 36:7, 49:6, 50:6, 54:1, 71:21 objection 6:20, 8:2, 14:15, 34:14, 35:5, 36:21, 38:16, 41:20, 46:17, 47:12, 48:17, 49:11, 50:2, 52:2, 53:8, 53:18, 54:8, 54:16, 54:22, 55:8, 56:3, 57:1,
--	---	---	--

57:5, 58:8, 59:3, 60:4, 60:22, 61:4, 62:15, 64:7, 66:6, 67:22, 69:5, 71:3, 72:13, 73:14, 74:9, 75:1, 75:9, 75:15, 75:19, 76:13, 76:17, 76:20, 77:3, 77:11, 77:19, 78:6, 78:16, 79:6 objections 47:19, 77:15 objects 22:15, 54:18, 56:11, 59:21, 71:12 observer 27:12 obvious 63:10, 78:2 obviousness 48:16 occurred 82:15 occurs 76:11 off-the-shelf 17:12, 80:8 offhand 76:18 office 1:1 offices 82:15 oh 6:11, 9:22, 11:17, 30:22, 33:7, 34:11, 56:4, 75:6 okay 24:14, 27:2, 27:4, 30:1, 31:2, 36:17, 42:19, 43:4,	49:8, 52:13, 52:16, 52:22, 57:9, 57:17, 57:20, 62:1, 62:19, 67:9, 70:7, 70:9, 71:7 old 55:21 once 46:2, 46:7, 56:11, 61:10, 75:22 one 7:4, 11:14, 13:9, 15:3, 16:14, 19:6, 26:12, 27:7, 27:17, 30:5, 33:1, 35:7, 35:15, 37:18, 39:11, 40:8, 40:11, 44:7, 45:1, 45:17, 45:18, 47:20, 47:21, 48:11, 51:5, 58:15, 64:12, 66:22, 68:13, 80:19 ones 21:16, 73:17 only 18:20, 19:14, 24:7, 33:5, 45:17, 69:9, 77:21, 81:14 open 22:14 operate 45:16, 45:18, 70:16 operated 44:22 operates 51:21 operating 16:12, 58:4, 68:2, 68:4, 69:14, 69:16	operation 17:16 operations 31:8 opinion 7:1, 61:2, 64:5, 73:16 opinions 7:15 opposed 16:17 optic 29:2, 30:7, 53:3 optical 29:9, 30:1, 33:20, 42:21, 43:18, 53:9, 55:15, 56:6, 56:9, 56:11, 64:18, 66:4, 66:9, 66:15, 66:16, 67:1 optics 29:8, 30:5, 34:6, 34:9, 55:12, 56:14, 57:15, 57:16, 58:16, 59:13, 62:5, 64:9, 66:13, 66:14 optimal 67:12, 67:16 optimum 55:16, 72:8 optomechanical 58:17 optomechanically 61:7 ordinary 31:13, 57:3 organizations 78:8 osram 14:3, 79:21, 80:2 other 7:11, 15:14,	18:7, 25:13, 32:5, 44:9, 44:18, 47:10, 47:13, 55:19, 59:21, 65:9, 69:3, 69:6, 72:4, 72:10, 74:18, 78:8 others 78:14 otherwise 12:15, 47:2, 83:1 ouster 1:7, 74:21, 75:7, 75:13, 75:17 ouster's 75:2 out 11:9, 11:20, 20:19, 24:18, 25:14, 26:3, 31:1, 33:15, 34:4, 34:7, 37:16, 37:20, 40:12, 45:18, 46:3, 46:21, 51:19, 55:17, 55:20, 56:6, 56:17, 56:19, 59:7, 59:9, 61:15, 70:19, 72:4, 74:1 out-of-pocket 9:5 outcome 83:2 outside 14:18 over 6:3, 38:2, 41:14, 45:21, 71:8, 80:4 overall 58:3, 59:9 overlapping 58:10
--	--	--	--

own 16:11, 28:13, 28:14 owner 1:8, 2:11, 10:7 owns 34:12, 36:20, 41:18 oxymoron 20:20 P p-n 51:20 pacala 42:20, 43:17, 44:18, 46:7, 46:12, 48:5, 48:11, 48:15, 48:20, 48:22, 49:1, 49:3, 49:9, 49:10, 49:12, 49:22, 50:1, 50:4, 50:11, 53:12, 53:15, 53:20, 54:2, 55:6, 60:21, 61:3 pacala's 44:16, 53:16 pacala-droz 54:3, 54:6, 54:14, 54:21, 56:22, 59:1 pace 25:6 package 24:18, 27:9, 72:22 packets 67:19 page 4:3, 9:2, 10:22, 32:15, 32:16, 32:17, 34:17, 39:6, 42:3, 42:16, 42:17, 42:18,	43:8, 43:13, 43:15, 45:14, 48:3, 52:12, 61:22, 62:11, 62:18, 62:20, 63:19, 64:4, 67:4, 67:6, 67:7, 69:15, 71:6, 71:9 pages 1:20, 43:8 paint 38:1 painted 37:21 palo 2:15 paper 15:4 paragraph 9:2, 10:21, 32:14, 34:16, 39:6, 42:3, 42:14, 43:3, 43:10, 43:14, 43:17, 43:22, 44:17, 46:11, 48:3, 48:4, 61:21, 62:4, 63:20, 67:3, 67:6, 67:7, 67:10, 71:6 parallel 32:7, 58:14 parameters 55:10, 56:13 paraphernalia 32:5 part 24:6, 26:9, 39:14, 39:16, 45:10, 45:11, 48:15, 52:17, 53:12, 53:16, 58:3, 65:2 participate 22:8 participated 22:11	particular 11:14, 13:5, 14:20, 18:10, 29:19, 67:11, 73:17, 74:1 particularly 71:13 parties 82:20, 82:22 parts 6:22, 74:4 party 82:11 passive 37:19 past 8:13, 38:18, 59:8 patent 1:1, 1:2, 1:4, 1:8, 2:11, 10:7, 43:6, 49:21, 50:15, 52:10, 61:20, 63:3, 63:5, 63:6, 73:6, 73:12, 74:8, 74:22, 76:15, 77:2, 77:9, 77:14, 77:17, 77:22, 78:5, 78:15, 79:4, 79:9, 80:15, 81:4, 81:5 patents 6:3, 8:5, 19:15, 34:13, 36:20, 41:19, 61:14, 64:21, 65:12, 74:12, 81:8, 81:9 paths 37:22 patrick 2:13, 81:20 pending 49:6 pennsylvania 3:3	people 11:21, 14:2, 15:14, 15:16, 25:21, 27:17, 46:20, 57:13, 72:1, 77:6, 79:12, 79:22, 80:8 performance 15:2, 40:22 person 16:4, 57:3, 58:15, 59:11 personally 11:1 pertinent 8:19 petitioner 1:5, 2:3 ph 1:11, 4:3, 5:3, 82:6, 82:15 phillip 3:2 photoconductor 16:6, 47:21, 70:3 photoconductors 11:8, 11:18, 24:20, 24:21 photodetector 16:21, 23:5, 39:22, 46:22, 48:7, 48:21, 49:17 photodetectors 11:4, 11:6, 32:2, 33:10, 35:12, 69:3, 69:6, 72:10 photodiode 16:22, 17:21, 23:8, 31:16, 32:4, 33:11, 35:14, 40:1, 45:15, 46:3, 47:6, 47:20, 47:22, 70:2
--	--	---	--

photodiodes 11:8, 11:10, 11:18, 12:2, 12:12, 12:15, 17:1, 18:2, 18:5, 24:21, 25:1, 29:11, 35:13, 35:18, 45:16, 48:8, 49:19, 69:13, 69:15, 69:18 photokina 76:10 photomultiplier 50:21, 51:12 photon 12:19, 45:17, 67:14, 67:19, 69:22, 71:15 photons 67:20 physicist 12:5 physics 55:10, 59:12 pick 11:13 picture 26:12 pieces 58:21 pin 48:8, 49:19 pinewood 76:9 pitot 22:12, 22:13, 22:18, 23:1 pixel 16:16, 16:17, 44:3, 44:7, 44:10, 45:2, 45:5, 45:9, 46:9, 65:5, 65:7, 65:15, 65:16, 72:1, 72:5, 72:6, 72:16, 72:17	pixels 46:13, 65:2, 65:14, 72:3 place 40:5, 41:15, 46:3, 52:19 placement 65:11 places 64:10 plane 15:11, 57:18 planes 19:18, 21:18, 21:19 plateau 20:20 platform 38:6, 53:2 platforms 20:3 plauppe@cooley 2:17 plaza 40:12 please 43:7, 51:9 plenty 19:6 plethora 27:22 plurality 53:3 plus 9:5, 28:16 pmorton@cooley 3:6 point 13:5, 17:5, 17:9, 18:3, 24:12, 24:17, 30:8, 44:12, 47:15, 68:2, 74:12, 80:16, 80:19 points 64:18 polaroid 14:18, 14:19,	19:15, 75:12 police 42:9 poor 71:13 portion 65:6 posita 44:2, 44:13, 44:20, 47:10, 56:1, 57:10, 58:6, 66:17, 73:18 positive 71:15 possesses 58:10 possible 36:10, 52:3 possibly 79:7 power 13:13, 16:9, 17:4, 72:22 powerful 24:17, 25:12, 26:14 practical 58:13 praised 77:18, 77:20 precise 41:7 preliminary 10:7 prepare 6:2 preparing 48:13 president 14:17 press 76:3 pretty 21:13, 35:10, 41:16, 78:18 prevalent 21:18	previous 49:21 previously 4:8 printed 43:9 prior 7:17, 7:22, 77:1, 78:5, 79:4, 79:8, 80:8, 80:14 prior-art 8:7 probably 6:1, 6:9, 8:12, 10:12, 21:15, 23:17, 68:21, 71:5, 80:9 problem 45:22 problematic 71:14 procedure 65:17 proceeding 5:19, 6:17, 9:21, 10:2, 10:3, 10:8, 10:15, 43:7, 73:10 process 65:17 processing 20:7, 46:19 produced 24:22, 28:6 product 75:3, 76:14, 78:10, 81:4 products 74:21, 75:11, 76:2, 76:12, 81:2 program 18:20, 18:21, 37:19, 57:14, 59:7, 80:12 programmable 47:3
---	---	---	---

programs 20:16, 37:18, 55:15, 55:16, 55:18, 55:20, 56:5, 56:8, 56:15, 58:12, 79:13 progression 25:2 project 28:11 projects 22:6, 32:8 propagating 53:10 proper 68:5 properly 68:4 propose 63:9 proposed 62:13 provide 7:15 provided 8:15, 10:9, 50:9 providing 9:4, 80:3 ptran 55:19 public 82:1, 82:4 publication 43:6 pulled 8:14 pulse 26:3, 26:5 pulses 36:1, 36:2 pumping 28:11, 39:9 purpose 58:13 put 16:5, 16:6,	16:7, 17:14, 20:6, 20:13, 20:19, 26:7, 29:10, 29:11, 29:14, 31:16, 33:9, 36:19, 38:4, 40:21, 58:20, 59:13, 59:16, 64:11, 68:19, 74:3, 78:9 putting 15:1, 20:10, 28:16, 31:15, 76:8 Q quadrant 35:17 quantifiable 60:18 quantify 6:7, 60:8 quantities 55:17 quench 16:14, 44:8, 46:4, 69:10 quenched 71:17 quenching 68:4, 68:7, 68:9, 68:12, 68:15, 69:4, 70:1, 70:6, 71:1, 72:3 question 12:18, 18:7, 23:10, 24:5, 30:12, 38:19, 47:14, 48:15, 49:6, 49:8, 51:19, 60:7, 61:1, 73:5 questions 78:20, 80:17 quick 18:15, 18:21,	22:6, 42:11, 63:15, 63:16, 80:19 quickly 68:17 quinn 2:4, 9:19 quite 34:4 R radar 34:22, 35:3 radar-type 33:18 radars 33:15 radiation 29:15, 34:8, 44:11, 72:7 range 15:16, 15:22, 20:17, 26:11, 26:22, 27:4, 27:10, 33:3, 33:18, 33:19, 34:9, 35:6, 35:7 ranges 13:11, 24:18, 25:5, 71:12 ranging 25:20, 25:22, 35:11 rate 8:20, 9:4, 9:8, 9:9, 9:13, 9:14, 15:16, 33:19, 35:7, 38:17, 39:2 ratio 16:9, 30:13, 30:15, 31:3, 67:17, 72:9, 72:19 rdr 1:21, 83:13 read 6:3, 73:16	reads 52:18 ready 72:6 really 10:13, 16:4, 16:10, 17:9, 18:6, 25:15, 35:6, 37:17, 40:17, 44:13, 46:2, 54:17, 59:4, 60:5, 60:18, 61:19, 66:14, 66:21, 70:3, 75:2, 80:7, 80:19 reasonable 9:5 rebias 46:5 recall 12:19, 38:20 receive 7:8, 31:15, 33:21, 39:18 receiver 53:2 receivers 13:2, 42:21, 43:18 recess 42:12, 63:17, 78:22 recognize 62:20 recognized 81:17 recommendation 39:21 recommendations 39:20 recommended 39:10, 40:2 reconfigurable 28:22 record 36:13, 38:9, 42:13, 79:1,
--	--	--	--

82:10 recovery 44:1, 44:5, 44:15, 45:5, 50:10, 72:12 rectangular 19:13 reduce 64:1 refer 10:2, 11:16, 73:3, 73:5 reference 8:14, 49:1, 49:10, 50:1, 50:5, 50:14 referenced 7:18, 8:1 references 8:7, 8:17, 46:12, 48:11, 63:10, 63:11, 74:14 referred 12:11 referring 47:4, 81:5, 81:11 region 16:13, 40:19, 45:17, 53:4, 53:7, 53:9, 65:18, 68:2, 69:19, 69:20 regions 45:13 related 50:4, 82:20 relevant 8:6 reliability 18:8 reliable 17:18, 18:15, 24:22 remark 58:9 remember 10:10, 57:11,	81:12 reminded 76:7, 76:8 rendering 71:16 rendezvous 27:13 repeat 23:10, 51:9, 73:5 replace 22:18, 29:2 replaced 19:18, 21:17 replacing 22:12 reported 1:21, 74:20 reporter 82:1, 82:3 represent 44:3, 45:2, 45:9 represented 82:12 representing 44:6 reproduced 62:10 require 60:2, 69:4, 69:6 required 17:5, 59:1, 60:15 requirements 37:15, 54:18, 57:14, 57:19, 59:9 research 12:1, 12:7, 12:22, 18:11, 25:10, 32:8, 33:17, 78:9 reset 69:10 resonant 11:10, 16:6	resources 60:11 response 10:8, 81:3 restrict 65:10 resume 6:1 review 10:7 reviewed 10:14 right 16:3, 23:19, 26:12, 30:22, 43:11, 56:19, 66:1 ring 10:19 roll 71:8 ron 2:5 ronhagiz@quinnem- anuel 2:9 room 27:7 rotate 19:21, 20:13, 21:20, 21:21, 54:4 rotated 19:17, 21:2, 21:3, 21:6, 21:8, 40:17 rotates 21:11, 53:21 rotating 19:4, 19:20, 21:4, 21:5, 21:10, 21:11, 22:1, 23:14, 29:21, 37:2, 40:3, 41:2 rotation 37:4, 38:10, 40:5, 40:9,	40:15, 40:18, 41:7 rotational 19:7, 19:8, 19:10, 21:16, 36:10, 61:5 rotator 22:2 routine 46:21 run 55:18, 56:5, 56:7, 58:11, 58:14, 58:17 runaway 69:22, 70:20 runs 57:14 S s 12:2, 12:3, 12:22, 19:16 safety 23:3, 26:19 said 9:12, 13:8, 13:19, 16:1, 17:21, 29:20, 36:4, 44:16, 44:20, 57:8, 68:12, 79:15, 81:5 same 15:10, 21:11, 24:5, 26:13, 35:8, 35:20, 38:19, 47:19, 48:22, 49:9, 49:22, 51:18, 56:14, 77:15 sarcastic 73:19 sat 13:9 satellite 39:12, 41:1 satellite-based 25:5
--	---	---	---

satellite-to-satellite 13:14 satisfy 73:13 saturated 44:7 saw 75:10, 75:22, 81:16 say 9:3, 11:1, 16:3, 22:4, 37:18, 38:5, 42:1, 42:4, 42:20, 43:7, 43:22, 44:13, 48:5, 48:18, 59:10, 60:17, 61:10, 62:4, 63:20, 66:14, 67:11, 71:5, 71:9, 73:20, 74:13, 76:1, 77:21, 79:20, 80:1 saying 33:4, 57:7, 57:15, 60:12 says 10:5, 46:7, 46:12, 51:3, 57:17, 57:20 scan 20:4, 22:21, 26:9, 35:16, 37:16, 39:2, 40:19 scanner 39:4 scanning 22:20, 26:8, 36:4, 36:6, 36:8, 37:9, 37:14, 38:14, 38:22 scene 19:19, 20:5,	21:20, 24:6, 24:7, 38:1 schemes 31:19, 37:7 school 56:6, 69:1 scope 47:12, 49:7, 49:11, 50:2, 54:8, 54:16, 54:22, 55:8, 59:3, 60:22, 61:4, 71:3, 73:14, 74:9, 75:1, 75:9, 75:15, 75:19, 76:13, 76:17, 76:20, 77:3, 77:11, 77:19, 78:6, 78:16 scram 14:20, 15:19, 16:2, 16:20, 17:2, 21:22, 23:18, 42:1 sea 73:22 search 7:21 searching 74:13 see 5:15, 8:17, 9:1, 9:3, 9:6, 11:1, 13:16, 14:6, 27:14, 34:19, 41:11, 42:4, 42:20, 43:2, 43:10, 43:13, 46:10, 46:11, 46:14, 48:4, 48:9, 50:17, 51:3, 51:6, 52:17, 52:20, 53:4, 61:16, 62:4, 62:8, 62:11, 62:21, 63:20,	64:2, 66:12, 67:10, 67:14, 71:9, 71:17, 73:20, 78:7, 78:20 seemed 13:2 seen 70:19 selected 8:5 sell 76:12 sells 81:2 semiconductor 13:1, 26:15, 70:21 send 26:2 senior 68:21 sensing 53:1, 53:17, 53:21 sensitive 25:16, 67:18, 79:19 sensitivity 13:3, 13:11, 16:8, 40:2, 71:10 sensor 27:12 sentence 50:18 separated 53:4 september 6:13 sequentially 58:16 set 59:8, 66:18, 82:7, 83:4 sets 57:13 seven 24:19	several 10:11, 20:16 shanghai 81:17 shanley 1:11, 4:3, 5:3, 5:9, 5:11, 6:18, 42:14, 63:18, 79:2, 81:1, 82:6, 82:15 sheet 59:16 shelf 17:20, 60:16 shorthand 82:1 should 21:9, 78:8, 78:21 show 75:5, 76:6 showing 36:13 shown 64:6 shows 45:13, 45:15 showy 76:7 shut 46:4, 68:17 side 33:21, 37:12, 37:20, 39:18 sides 19:13, 21:5 signal 16:15, 20:6, 27:19, 30:20, 31:1, 31:2, 31:4, 31:5, 31:18, 44:8 signal-to-noise 16:9, 30:13, 30:15, 31:3, 31:12, 67:17, 72:9, 72:19 signature-mig2k 83:11
--	---	---	---

silicon 50:21, 51:12, 51:19, 52:7, 69:21 similar 24:4, 26:2, 32:3, 68:15 similarly 28:14 simple 17:5, 17:15, 18:13, 18:17, 25:19, 31:1, 36:9 since 6:15, 23:20 single 12:18, 16:17, 44:3, 44:7, 44:14, 45:2, 45:5, 45:9, 46:9, 47:2, 67:14, 67:19, 69:21, 71:15, 71:22, 72:20 single-photon 11:15, 45:20, 46:13, 47:16, 48:6, 49:18 sipm 50:19, 52:1 sipms 51:8, 51:10 sit 27:1, 59:6 situation 76:3 six 24:19 size 42:9 skepticism 78:4 skill 57:3 skills 58:10 sky 40:19	slew 38:17 sloppy 35:10 slurry 11:12 small 24:18, 29:9, 42:1, 42:5, 42:8, 59:22 smaller 72:22 smart 76:22 sold 77:1 soldier 15:8, 22:3 some 11:5, 14:19, 14:20, 14:21, 15:4, 22:6, 26:19, 27:14, 28:7, 28:22, 31:21, 32:10, 32:13, 33:5, 61:13, 65:4, 66:12, 68:16, 68:17, 69:1, 69:6, 72:14, 72:17, 78:11, 79:8 someplace 69:15 something 6:9, 9:15, 17:11, 17:14, 17:19, 18:13, 18:17, 25:16, 27:9, 61:9, 69:10 son's 76:8 sony 14:3, 78:9, 79:21, 80:1 sony's 78:10	sophisticated 21:14 sorry 9:12, 13:7, 21:9, 42:1, 52:19, 67:7 sort 20:20, 25:6, 53:14, 57:14, 76:4 souder 40:11 source 22:13 sources 27:3 space 20:17 spad 15:1, 16:2, 16:7, 17:2, 42:22, 43:19, 43:21, 44:3, 44:5, 44:14, 44:21, 45:3, 46:14, 47:17, 47:22, 48:6, 71:16, 71:22, 78:11, 79:22, 80:1, 80:3, 80:10 spad-type 18:12 spads 11:16, 12:18, 13:19, 14:10, 14:13, 14:20, 16:12, 17:9, 17:18, 18:2, 18:4, 18:19, 19:2, 44:2, 44:6, 44:13, 44:17, 44:18, 44:21, 45:8, 45:11, 46:8, 47:13, 48:20, 49:18, 50:8, 51:6, 51:8,	51:10, 51:15, 52:1, 52:6, 65:1, 67:11, 67:21, 68:8, 68:13, 69:3, 70:5, 71:2, 71:14, 71:19, 72:1, 72:8, 74:16, 76:12, 76:16, 76:19, 77:2, 77:5, 78:5, 79:3, 79:9, 79:14, 79:16, 79:22, 80:15, 81:2 span 5:22 speaks 48:19 special 16:11, 22:6, 34:6 specializes 41:5 specific 65:18, 80:13, 80:14, 81:9 specifically 8:12 specifications 40:22 spector 63:2, 63:3, 63:5, 63:7, 63:9 spector's 63:22 speed 22:22, 38:15, 38:17 spelled 50:16 spend 6:5 spin 54:4 spinning 19:22 spins 53:21
---	---	--	--

split 30:9, 30:19, 30:21, 31:2, 31:4 splitting 30:10, 31:8 spreadsheet 59:17 spun 19:19 square 28:10 stacked 28:10 standalone 44:22, 45:3 standard 9:4, 9:8, 9:9, 9:12, 9:14, 41:16, 45:7, 68:11 stands 50:21 staring 20:12, 21:17 start 46:20, 60:13, 70:10 started 12:5, 25:8 starting 43:10, 50:18, 51:2, 53:1, 60:7 starts 43:21 state 7:14, 50:7 states 1:1 still 16:14, 38:7, 44:8, 44:9, 49:6, 72:4 stop 53:4, 53:7, 53:9 stops 53:10	story 31:7 straightforward 16:19 strategic 64:18 stray 64:12, 64:14, 64:16, 64:22, 65:7, 65:15 street 2:14, 82:16 strike 12:14, 38:14, 42:2, 46:10, 63:13, 63:14 strip 20:10 strips 20:5 strong 13:13 structure 51:15, 51:17 structures 12:6, 51:14, 52:4 struggled 73:19, 73:21 stuff 8:14, 22:16, 55:21, 57:21, 57:22, 61:10, 68:11, 69:2 successful 74:7 such-and-such 57:16 suggestion 63:22 suite 3:3 suited 13:17, 25:15 sullivan 2:4 summer 6:14	supplied 39:16, 39:17 supplying 79:22, 80:1 sure 10:12, 49:3, 62:17, 63:16, 68:3, 74:11, 76:21, 81:7 surveillance-type 38:5 switch 30:14, 31:11, 31:13 switches 28:22, 29:3 switching 30:1, 30:2, 31:8, 68:18 sworn 5:4, 82:8 systems 11:2, 11:4, 11:14, 12:10, 13:22, 14:9, 14:11, 14:14, 19:8, 19:9, 20:12, 20:13, 20:16, 21:13, 21:16, 22:5, 24:15, 26:8, 28:2, 28:19, 32:12, 35:21, 37:8, 38:22, 39:7, 41:6, 41:7, 45:1, 56:20, 58:3, 60:15, 61:18, 71:14, 71:20, 74:16, 76:16, 76:19, 77:1, 77:5, 78:5, 79:15 T tabletop 20:19, 40:12 take 13:16, 18:4,	20:12, 22:20, 30:4, 40:5, 42:11, 42:14, 43:5, 52:11, 63:15, 63:16, 78:19 taken 42:12, 63:17, 78:22, 82:9 taking 59:15 talk 14:2, 25:21, 41:22, 50:10, 53:11, 53:14, 55:15 talked 6:4, 81:15 talking 6:5, 26:15, 26:17, 40:6, 43:21, 45:11, 46:18, 55:12, 63:18, 64:20, 64:22, 65:1, 65:4, 65:19 talks 56:10 target 42:6 taught 69:1 teach 43:18, 65:13 teaches 42:21, 62:5 teaching 44:16, 44:18 team 6:21, 57:12, 58:2, 58:7, 58:10, 58:11, 58:14, 58:20, 60:9, 60:10, 74:2 technically 15:22, 76:6 technician 59:13
--	--	---	---

technique 26:2, 45:7 techniques 24:4, 26:6, 30:10, 32:9, 37:13, 74:11 technology 1:4, 25:7, 25:19, 26:13, 29:17, 33:16, 41:16, 80:7, 82:12 telecentric 66:18 tell 35:17, 39:1, 81:7 ten-minute 78:19 tend 26:19, 45:15 tenure 33:6 term 53:9 terms 19:6, 25:19, 33:18, 33:22, 35:20, 64:8, 66:22 terrain 37:11 tested 40:12 testified 5:6, 5:17 testify 5:4 testimony 82:10 th 10:18 thank 78:21 thanks 5:11 themselves 26:19	thermal 55:19, 69:22, 70:20 thing 8:17, 13:14, 18:7, 23:2, 30:17, 35:8, 35:9, 49:20, 51:18, 54:13, 56:14, 57:16, 59:13, 68:20, 69:9, 76:7, 77:21, 81:14 things 5:21, 8:18, 10:11, 12:8, 16:18, 23:1, 27:10, 27:11, 27:14, 27:15, 27:16, 27:22, 28:20, 30:5, 33:22, 34:8, 39:3, 41:9, 41:13, 54:19, 55:12, 55:19, 57:12, 58:14, 58:15, 60:11, 60:14, 64:15, 68:10, 68:17, 69:12, 70:20, 73:1, 73:22, 74:15, 74:16, 74:19, 76:3, 76:10, 77:7, 79:13 think 5:15, 5:22, 6:11, 6:13, 7:4, 7:5, 23:22, 38:6, 40:8, 42:10, 44:12, 48:19, 60:2, 68:20, 78:18, 79:17, 80:17, 81:1, 81:16 thinking 11:17, 79:18 thought 8:6	thousand 31:12, 45:20 three 5:15, 6:9, 41:9, 66:22, 80:4 through 7:20, 8:4, 8:21, 9:1, 9:18, 23:2, 29:18, 32:11, 62:11, 66:4, 66:9 throughout 22:4, 33:7 throwing 31:19 thursday 82:17 time 6:12, 6:14, 9:4, 12:3, 14:16, 17:8, 18:9, 18:10, 20:10, 21:5, 21:11, 23:17, 23:21, 26:4, 26:10, 29:19, 32:18, 35:20, 36:1, 44:1, 44:15, 45:5, 50:10 time-of-flight 34:10 times 5:14, 30:15, 31:12, 44:5, 59:8, 68:18 timing 35:22, 72:12 today 5:11, 38:7, 55:20, 56:4, 80:18 today's 6:2 together 20:6, 20:10, 26:7, 28:1,	28:16, 33:9, 58:21, 59:14, 59:17, 74:4 took 31:16 top 32:3 top-level 56:5 total 59:18 totally 28:22 toward 48:4 track 35:16, 36:7 trademark 1:1 trailer-based 40:21 transmission 52:18 traveling 30:7 trial 1:2, 5:17 true 44:4, 80:5, 82:10 truth 5:5, 81:7 trying 5:21, 40:8, 45:6, 47:15, 73:15 tube 22:14, 23:1 tubes 22:12, 22:13, 22:18 turn 32:14, 34:16, 39:5, 52:9, 52:12, 62:18, 63:19, 68:17 turnaround 18:22, 19:1,
---	---	---	---

22:6 turned 25:14, 39:12 turning 19:14, 19:16, 27:20, 43:13, 64:4 tweaking 76:9 two 30:9, 56:16, 66:22 twofold 65:3 type 8:16, 13:10, 13:17, 14:1, 16:3, 24:19, 31:13, 39:21, 42:9, 45:4, 48:7, 48:20, 69:6 types 13:8, 19:8, 20:2, 38:4, 44:22, 47:10, 47:13, 49:15, 49:17, 51:4, 72:10 typo 7:4	undoped 31:9 unique 19:12, 58:4 united 1:1 university 7:9 unless 59:5 unsolved 73:13 until 60:18, 71:17 urquhart 2:4 use 11:4, 12:10, 13:11, 14:13, 16:1, 16:20, 17:2, 24:5, 27:20, 33:10, 35:12, 35:18, 44:17, 45:1, 45:8, 47:2, 47:20, 47:21, 50:8, 63:22, 65:16, 69:4, 72:1, 72:11, 72:15, 76:12, 76:16, 76:19, 78:4, 79:3, 79:9, 79:15, 81:2 using 14:22, 20:5, 25:13, 26:6, 27:19, 29:4, 33:17, 46:7, 46:19, 64:8, 77:5, 79:14 usually 46:20, 55:14, 57:11, 59:7	20:2, 20:14, 22:5, 27:16, 32:9, 45:13, 51:4, 74:16 vcsels 27:17 vehicle 13:15 velocities 13:13 velocity 15:17, 20:18, 20:21, 25:4, 26:6, 35:21, 35:22, 36:3 velodyne 76:16 vendors 14:6, 60:17 venture 52:4, 55:4, 55:13, 61:10 vertical 26:16, 27:21, 37:21 vertically 21:12 via 12:8 vice 14:17 view 65:2, 65:5, 65:9, 65:13, 65:18, 66:3 visible 69:13 visit 60:17 visits 14:6 voltage 70:12, 70:13 volume 17:4, 17:7, 17:13, 18:4, 47:1	want 6:7, 8:19, 17:14, 17:15, 17:16, 20:9, 30:6, 30:8, 32:11, 32:14, 34:16, 39:3, 58:14, 60:17, 63:15, 64:19, 65:8, 73:19, 74:10 wanted 17:5, 36:9 washington 3:4 watching 13:22 wave 30:6 waveguide 27:19, 29:13, 29:21, 31:10, 31:17 waveguided 27:18, 28:4 waveguides 28:18, 28:21, 30:18, 31:9, 32:2, 53:16, 53:19, 54:3, 60:20, 61:2 wavelengths 26:17, 26:18, 52:8, 69:13 waves 28:21 way 13:2, 19:17, 19:19, 19:20, 21:4, 21:5, 21:10, 21:11, 21:20, 21:21, 24:10, 25:18, 40:17, 46:20, 52:11, 57:12, 59:7, 59:8, 61:6, 68:10, 83:1
U			
uh-huh 12:13, 18:1, 34:21, 42:7 ultimately 16:1 unavailability 19:3 understand 10:3, 58:3, 73:4, 73:7 understanding 53:7, 58:6 understood 9:17, 44:2, 47:11	various 12:7, 14:6,	W	
		wait 40:6	

waymo 61:12, 61:13, 61:20, 76:19 we're 40:6, 64:20, 64:21, 65:1, 65:19, 78:18 we've 27:8, 32:10, 42:10 wear 16:5 weather 71:13 website 81:16 weigh 54:7 weight 54:12, 56:19 went 6:21, 8:3, 17:10, 17:19, 17:21, 20:11, 23:2, 25:1, 25:3, 26:8, 31:18 weren't 79:2 whatever 16:8, 37:17 whereas 70:2 whereof 83:3 whether 14:3, 16:5, 16:6, 16:7, 66:22 whips 70:18 whole 5:5, 11:12, 21:20, 23:2, 23:4, 26:11, 26:21, 27:10, 27:15, 27:22, 34:1, 34:9,	38:1, 39:14, 40:19, 41:8, 54:12, 54:13, 55:10, 56:12, 57:14, 59:6, 64:15, 74:18, 76:2, 79:21, 81:17 wind 20:18, 20:21, 25:4, 27:12, 40:11 wire 37:8 within 82:4 without 52:4, 66:16, 74:12 witness 22:10, 82:6, 82:10, 83:3 wondering 47:17 wording 35:9 wordology 35:11 work 11:21, 13:12, 13:20, 18:20, 23:18, 34:20, 39:5, 39:18, 40:15, 41:22, 45:20, 47:6 worked 8:10, 9:20, 10:11, 11:19, 14:14, 15:18, 19:4, 19:8, 21:22, 28:3, 30:10, 33:5, 37:18, 78:1 working 13:1, 26:18, 29:7, 29:20, 34:2 works 69:20	world 74:18, 78:3 wouldn't 52:3 wrap 56:10, 57:21 wrong 52:19 wrote 6:22 X x 1:3, 1:9 xilinx 46:21 xyz 40:18 Y yards 23:4 yeah 32:3, 34:11, 36:16, 38:13, 41:4, 55:13, 56:4, 75:6, 75:10, 75:22 year 6:12, 76:11, 78:10 years 5:22, 55:2, 78:2, 80:5 yep 34:18 york 2:7 Z zero 46:5, 70:18 \$ \$250 9:10, 9:13, 9:14 . .5000 2:16	.7000 2:8 .7800 3:5 0 001 4:9 003 4:10 004 4:11 006 4:12 014 4:13 01421 1:7 0211194 43:6 1 10 34:16, 45:19 10,473,766 63:6 10,605,984 50:16 10.6 34:4 1001 52:9 10010 2:7 1003 6:16, 6:18, 7:3, 7:14, 7:18, 8:1, 10:22, 32:15, 32:17, 42:4, 42:15, 61:22, 67:5 1004 43:2, 43:5, 43:7, 44:19, 46:12, 49:1, 49:10, 50:1, 50:5, 53:20 1005 63:5
--	--	---	--

1006 50:14 1014 49:4 1024 60:17 1028 21:19 1080 60:17 11 81:22, 82:18 11,175,405 1:5, 52:10 112 51:3, 51:5 113 62:8 1130 2:15 114 51:3, 51:5 116 62:5, 62:6, 62:11, 62:14, 66:4, 66:9 118 62:7 12 39:6 123 61:22 124 63:19, 64:4 125 63:20 1299 3:3 13 42:3 130 62:18, 62:20 14 1:14, 5:1, 43:3, 43:10, 44:17, 46:11, 82:17 1421 10:4	15 29:1 154 42:15, 48:3, 48:4 18 29:1 180 24:9, 36:10, 36:14, 37:16, 38:11, 40:9, 40:12 19 10:18 194 49:13, 50:1 1966 7:10 1976 33:3 1985 33:4 1996 7:5 2 2,000 59:15 20 67:4, 67:8 20004 3:4 2003 48:3 2008 17:8, 23:17, 23:21 2014 43:6 2018 22:11 2019 75:8, 75:21 202.842 3:5 2020 75:14 2023 1:7, 10:4,	75:18 2024 1:13, 5:1, 10:18, 82:17, 83:4 2027 83:9 208 61:21, 62:4 21 52:17 210 63:20 212.849 2:8 23 45:14, 50:17, 50:18, 69:15 24 71:6 2400 3:4 25 83:9 27 51:2 3 3175 2:14 360 19:21, 20:22, 24:9, 36:18, 37:16, 40:11, 40:15, 41:8 4 40 78:1 405 52:9, 73:4, 73:6, 73:12, 74:8, 74:22, 77:2, 77:9, 77:14, 77:17, 78:5, 78:15, 79:4, 79:8, 80:15	43 4:11 44 67:3, 67:6, 67:7, 67:10 45 81:22, 82:18 49 4:13, 52:15 4a 62:20, 63:7 5 5 64:4 50 4:12, 71:6 500 82:16 51 2:6 52 4:9 539411 1:19 55 52:12 56 52:12, 52:14, 52:20 6 650.843 2:16 68 52:12 6th 83:4 7 70 12:2, 19:16 700 3:3 747 27:8 76 33:1, 33:2
---	---	--	---

760 21:19 77 33:9	
8	
80 4:5, 12:3 803 48:5, 48:11, 48:15, 48:19, 48:20, 48:22, 49:2, 49:3, 49:9, 49:12, 49:22, 50:4, 50:11 83 1:20 86 29:18	
9	
1:14, 5:1, 82:17 90 12:22, 29:18, 42:16, 42:18, 48:3 94304 2:15	