In the Matter Of:

AYLO FREESITES LTD. v

DISH TECHNOLOGIES L.L.C.

DR. HENRY HOUH

July 09, 2024

---

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD
3 Case IPR2024-00043
4 U.S. Patent No. 11,677,798
5 ----------
6 AYLO FREESITES LTD.,
7 Petitioner,
8 vs.
9 DISH TECHNOLOGIES L.L.C.,
10 Patent Owner.
11 ----------
12
13
14 REMOTE VIDEOTAPED DEPOSITION OF
15 DR. HENRY HOUH
16
17 Tuesday, July 9, 2024
18 10:02 a.m. (EDT)
19
20
21
22
23 Reported By:
24 Joan Ferrara, RMR, FCRR
25 Job No. 2024-946453

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 2
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

1
2 July 9, 2024
3 10:02 a.m. (EDT)
4
5
6
7 Videotaped Deposition of DR. HENRY HOUH,
8 held remotely via Zoom, before Joan Ferrara,
9 a Registered Merit Reporter, Federal
10 Certified Realtime Reporter and Notary
11 Public.
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 REMOTE APPEARANCES:
2
3 ON BEHALF OF PETITIONER:
4 VENABLE
5 151 West 42nd Street
6 New York, New York 10036
7 BY: IAN G. PAQUETTE, ESQ.
8 PHILIP SHENG, ESQ.
9
10
11 ON BEHALF OF PATENT OWNER:
12 BAKER BOTTS L.L.P.
13 700 K Street N.W.
14 Washington, DC 20001
15 BY: ELIOT D. WILLIAMS, ESQ.
16 JAMIE R. LYNN, ESQ.
17 ANDREW WILSON, ESQ.
18 PARKER HANCOCK, ESQ.
19 THOMAS CARTER, ESQ.
20
21
22 ALSO PRESENT:
23 JOSHUA CLARKE, Videographer
24 JOSEPH EDELL, In-House, DISH
25

1 ---------- I N D E X ----------

2 WITNESS EXAMINATION BY PAGE
3 DR. HENRY HOUH MR. WILLIAMS 6
4 MR. PAQUETTE 228
5
6
7 EXHIBITS REFERENCED PAGE
8 Exhibit 1003 for '138 Patent 9
9 Exhibit 1003 for '554 Patent 34
10 Exhibit 1004 70
11 Exhibit 1007 79
12 Exhibit 1005 112
13 Exhibit 1013 155
14 Exhibit 1006 179

15 - - -
16
17
18
19
20
21 (REPORTER'S NOTE: All quotations
22 from exhibits are reflected in the manner in
23 which they were read into the record and do
24 not necessarily denote an exact quote from
25 the document.)

1 THE VIDEOGRAPHER: We are now on
2 the record on July 9, 2024, at
3 approximately 10:02 a.m. Eastern Time,
4 for the remote video deposition of
5 Dr. Henry Houh in the matter of Aylo
6 Freesites Ltd., Petitioner, versus
7 DISH Technologies LLC.
8 My name is Joshua Clarke and I am
9 the videographer on behalf of Lexitas.
10 So starting with Mr. Williams, will
11 all counsel please introduce themselves
12 and who they represent.
13 MR. WILLIAMS: Eliot Williams, of
14 Baker Botts, for the Patent Owner.
15 MR. PAQUETTE: Ian Paquette, for
16 Aylo Freesites, Petitioner.
17 Also with me, I have Philip Sheng,
18 co-counsel.
19 MR. WILLIAMS: Let me see, check
20 the attendee list to see who is here.
21 Thomas Carter, Andrew Wilson,
22 Jamie Lynn and Parker Hancock are also
23 with Baker Botts and are attending.
24 Joseph Edell is in-house counsel
25 for DISH and he is attending, and I

1 think that's everyone from our side.
2 THE VIDEOGRAPHER: Now would the
3 court reporter please swear in the
4 witness.
5 DR. HENRY HOUH,
6 called as a witness, having been duly
7 sworn by a Notary Public, was examined
8 and testified as follows:
9 EXAMINATION BY
10 MR. WILLIAMS:
11 Q. Good morning, Dr. Houh.
12 Looking at your CV, it appears
13 you've been deposed over 50 times. Does that
14 sound correct?
15 A. Yes, definitely over 50 times.
16 Q. Okay. Any reason you can't
17 testify truthfully or accurately today?
18 A. No.
19 Q. Okay. Do you have any questions
20 about today's procedure?
21 A. I don't think so. Not right now.
22 Q. Okay. Super.
23 Let me ask you about your
24 involvement in these IPRs.
25 So you've provided declaration

1 testimony in a group of IPRs that you're being
2 deposed about in this case.
3 Does that sound correct to you.
4 A. Yes. That's my understanding.
5 Q. And in total then, you've written
6 five declarations regarding the patents
7 that are being contested in these IPRs, is
8 that right?
9 A. Yeah, for these cases, I
10 submitted one declaration per case. So I
11 think that's five cases is my
12 understanding.
13 Q. Okay.
14 A. That this deposition is about.
15 Q. Okay. Super.
16 Can you describe for me what you did
17 to prepare for today's deposition without
18 revealing any communications with counsel?
19 A. I met with the attorneys and I
20 reviewed a number of documents.
21 Q. And how many meetings did you
22 have with the attorneys to prepare for
23 today's deposition?
24 A. I think it was at least three.
25 Q. Okay. And over what period of

1 time are we talking about? Was this within
2 the last week or more than that?
3 A. Within the last week.
4 Q. Okay. About how many hours did
5 you meet in total?
6 A. Maybe five hours-ish, give or
7 take a few. Probably not take a few, but
8 probably five hours around. Maybe a few
9 more.
10 Q. Okay. And other than those
11 meetings, did you do anything else to
12 prepare for today's deposition?
13 A. I reviewed a number of documents.
14 Q. And what documents are you
15 referring to? You're talking about your
16 declarations and the exhibits in this case,
17 or something else?
18 A. The prior art references, the
19 patents and my declarations.
20 Q. All right. Anything else you
21 recall reviewing in preparation for today's
22 deposition?
23 A. Not that I can think of at the
24 moment.
25 Q. Okay. I have given you -- let me

1 ask you about the setup you have today.
2 You're in front of a computer screen, is
3 that right?
4 A. Two, two screens.
5 Q. Okay. Can you tell me what you
6 see on the two screens?
7 A. I have the Zoom window on an
8 external monitor attached to my laptop, and
9 then on the laptop I have the folder of
10 deposition exhibits open on this desktop.
11 That's all. That's the only window I have
12 open.
13 Q. Okay. And is one of those
14 exhibits labeled Exhibit 1003?
15 A. Yes.
16 Q. Can you open that one and tell me
17 if you recognize it.
18 A. This is the declaration I
19 submitted for the '138 Patent case, the IPR
20 2024-00044.
21 Q. Okay. You call that the '138
22 Patent. The full number, for the record,
23 is 11,470,138, correct?
24 A. Yes.
25 Q. And if I call that the '138

1 Patent, you'll know what I'm talking about
2 then, is that right?
3 A. Yes.
4 Q. Okay, great.
5 And this is one of the five
6 declarations you prepared for these IPRs,
7 correct?
8 A. It's one of the five I've
9 submitted, yes.
10 Q. Okay. I'll just ask you to
11 verify that that's your signature on page
12 77 of the document.
13 A. Yes.
14 Q. Okay. And then after your
15 signature page, there's an Exhibit A. Can
16 you describe for me what that is?
17 A. That appears -- that's a copy of
18 my CV that was probably current at the time
19 this was submitted.
20 Q. Okay. And that was in October of
21 2023, is that right?
22 A. Yes.
23 Q. Okay. I'll just ask you a few
24 questions about your CV then.
25 You have an address there in

1 Lexington, Massachusetts. Is that where you
2 normally reside?
3 A. Yes.
4 Q. Okay. The -- and the CV is
5 accurate or was accurate at the time,
6 correct?
7 A. Should be, yes.
8 Q. Okay. The first item on your
9 experience has Houh Consulting
10 Incorporated. Can you explain what that
11 is?
12 A. So that's the company that I work
13 for. It's really just me.
14 Q. And it says you're a technical
15 consultant in various technologies, is that
16 right?
17 A. Yes.
18 Q. One of them you have listed is
19 media streaming and voice over IP, is that
20 right?
21 A. Yes -- well, I would say they're
22 two things. I guess I'm missing a comma
23 after media stream.
24 Q. That's what I wanted to ask you.
25 Okay, that's two things. That's a fine.

1 So media streaming is separate from
2 voice over IP, correct?
3 A. That was the intention, yes.
4 Q. Okay. And can you describe
5 generally what you mean by you're being a
6 consultant in media streaming? What does
7 media streaming mean in that context?
8 A. I mean, I guess it means what it
9 says generally, which is I've done a lot of
10 video in my careers, starting in grad
11 school in the early to mid '90s. You know,
12 we early on had presented video
13 demonstrations that were initiated through
14 a website and it was one of the first, you
15 know, hundred or couple of hundred websites
16 ever set up, and we put our groups of video
17 demos online, and that was the start. And
18 now I do work for a number of other media
19 streaming and video, online video
20 companies.
21 Q. Just going back to that first
22 example that you mentioned of a website
23 with a video, what time period are we
24 talking about?
25 A. I think we had demos in early

1994, if not -- yeah, early '94 we, I think I set up the website in late 1993. And then the video demos were put online shortly thereafter because we already had them within our group running on our group's computers at that time.

Q. Okay.

A. Yeah.

Q. And this was when you were at MIT?

A. Yes. I was in grad school and was that the focus of our group's research, was digital video over a high-speed LAN that we built all the components for, including the video capture devices. And I wrote -- and I built some devices to interface to the high-speed network, which was also of art design, both the interface card, which was my design, and the network, which was the group's design. And I wrote a bunch of software to get the data from the networking to the computer and other people wrote software about processing video.

So, we had all these demos

1 already. So shortly after I set up the
2 first website for our group, we were able
3 to put -- collectively, as a group, put our
4 video demos online.
5 Q. And that would then have allowed
6 users from a client computer to access
7 those videos, is that right?
8 A. Yeah. This was before there were
9 plug-ins. So if you had the right of the
10 software, you could actually follow our
11 instructions and get the video from our
12 cameras onto your desktop.
13 Q. Okay, maybe -- sorry, I think I
14 made a wrong assumption there.
15 So this demo you're talking about
16 would have been streaming a live video?
17 A. It would have pulled live video.
18 The quality wasn't that great back then as,
19 you know, it was early '90s, but you could
20 actually see a live camera feed. And if
21 you made it small enough, you probably
22 could get it to be, you know, actually
23 reasonable quality -- reasonable frame
24 rate, that is if you shrunk the video
25 window itself small enough.

1 But within our group, we could do
2 full resolution, standard definition video
3 live throughout our high-speed network for the
4 computers that were directly attached to it.
5 It's just that once you got off our high-speed
6 network, then it slowed down.
7 Q. I see, okay.
8 So would the demos that you're
9 talking about have all been live videos or
10 would some of them have been pre-recorded
11 videos?
12 A. Some were live. So I had a
13 camera in my office. You could select a
14 number of the live cameras that were
15 basically in different offices to watch us
16 live. I mean, we were just sitting there
17 at the computers mostly. But we also had
18 pre-recorded demos.
19 For example, we recorded a number
20 of different -- we recorded monologues off
21 of late night TV from like, I think back
22 then it was David Letterman and Jay Leno.
23 We recorded CNN News at the top of every
24 half hour, the headline news. And you
25 could actually -- those demos were

1 available also to watch.
2 So, you could watch the latest
3 CNN that we had recorded -- or we kept, I
4 don't remember how long, a few days or
5 week's worth of monologues. And one of the
6 demonstrations we had -- this might not
7 have been right when we launched it, but
8 you know, this is one that we eventually
9 had because an undergraduate wrote this
10 demo. He called the joke browser, and it
11 would automatically segment the monologues
12 to Jay Leno and David Letterman into
13 individual jokes and then you could
14 search -- you could search them by, because
15 we actually captured the closed captions,
16 so you could find all the jokes where Bill
17 Clinton was mentioned and receive just a --
18 you get effectively a playlist with them
19 all queued up of just the Bill Clinton
20 jokes. So that was called the joke
21 browser.
22 And we had a number of other demos.
23 The CNN demo was simply the segmented CNN
24 sections. So, you know, there was headline
25 news and sports and finance all broken out

1 automatically segmented by our system. So you
2 could just jump to the finances and watch just
3 the financial report part of the news.
4 So those were the kinds of demos we
5 had. We recorded a few other things, too. I
6 don't remember the full set of items we
7 recorded. But there were maybe a few other
8 shows like Wild World of Sports or something
9 like that.
10 Q. What was the name of the group
11 that you're referring to?
12 A. That group was called the
13 Telemedia Network and Systems Group.
14 Q. Okay. I just want to go back to
15 something you said.
16 In talking about the joke server,
17 you said that there were segmenting performed
18 on the video. Can you just explain what you
19 mean by segmented?
20 A. Well, like during the monologues,
21 there was a, you know, Letterman tells a
22 series of jokes. And then I think -- I'm
23 not sure who recognized this, but they
24 actually put "Laughter" in the caption
25 track. You know, it says "Laughter."

1 So I think the undergraduate, he
2 actually won the best undergrad thesis
3 prize for his thesis this year, which
4 was -- that year, on this topic with the
5 joke browser. But he realized that, and I
6 think he was the one, I'm not sure, and he
7 basically snipped the video at those
8 boundaries where it said "Laughter" in the
9 track. So then you could get all the
10 different jokes because people -- if people
11 laughed after every joke, then the laughter
12 is the boundary between the jokes.
13 So I think that's how he did it.
14 And then he was able to save -- I can't
15 remember if he saved each joke or we -- there
16 were markers were used in the video, I don't
17 remember exactly how he did it, but you could
18 then bring up a browser -- so we had a
19 software environment where you could code
20 using high-level language and put together a
21 screen with, you know, buttons on it for
22 playing and a panel listing different segments
23 to play.
24 And he wrote a specific one for the
25 joke browser. A specific application, which

1 he called the joke browser. And then you
2 could play just the jokes and they would be
3 pre-segmented using his algorithms.
4 And so I think that's -- did that
5 answer your question?
6 Q. I think so. So as I understood,
7 this segmenting in that context means to
8 take the whole monologue and break it up
9 into pieces based on some division, is that
10 correct?
11 A. Yes. But I don't know actually
12 how he, if he saved them as individual
13 files or it was just a marker into a long
14 video.
15 Q. I understand.
16 Thinking back to this time period
17 when you were working on this project, how
18 was -- was there a particular standard to
19 which your videos were encoded?
20 MR. PAQUETTE: Objection. Form.
21 A. So actually, I just want to be
22 clear. I know the word "segment" is used
23 in some of these patents. I'm not really
24 indicating that that's how they should be
25 understood. I'm just trying to describe,

1 you know, what he did.
2 I don't -- I think we encoded it as
3 some form of digital video that I don't think
4 was compressed because we weren't really
5 concerned about the compression at that point.
6 So I don't remember exactly, but I
7 think it was really what we thought of as just
8 captured digital video without compression.
9 I had written part of a video
10 encoder for -- but we didn't actually use
11 that, I don't think, in our system.
12 Q. So when you used the word
13 "encoder," does that imply to you that the
14 video has been compressed in some way?
15 A. Well, I mean, it could,
16 certainly, as an example, of what encoding
17 is.
18 Q. Okay. The uncompressed digital
19 video format that you recall using in this
20 project, was that video encoded or not
21 encoded?
22 A. Well, I would say, you know, the
23 video is this analog, you know -- I think
24 we got analog video feeds from a satellite
25 box. And so I think, you know, we had to

1 convert them into digital format. You
2 know, it was the hardware that my office
3 built that took in basically an analog TV
4 signal and output digital.
5 So I think that's a form -- that
6 would be an example of a form of encoding
7 there.
8 Q. Okay. Now, just getting back to
9 this word "media," the idea of media
10 streaming that I asked you about a few
11 moments ago and you gave -- I think when I
12 asked you about this you gave this as an
13 example of your experience with media
14 streaming -- is that right? Would you
15 describe that project as being an example
16 of media streaming?
17 A. Yeah, I think it would have been
18 an example. We had live feeds from either
19 cameras or the satellite dish which we
20 could record, but we could also put up a
21 window -- there was an application written
22 in our language that you could just put up
23 a live window from any of our camera inputs
24 on to any computer in our group. And then,
25 if you had the right software on the web,

1 if you followed our directions, you could
2 put the window up on a user's screen.
3 And people did that. We got
4 e-mail from all over, probably the world,
5 people having watched our demos.
6 Q. Okay. So certainly, I think as I
7 understood your answer, the live video
8 feeds you would say qualify as media
9 streaming at the time in 1994. Do I have
10 that right?
11 A. Yeah, I think that would be an
12 example. We would stream, you know,
13 recorded programs as well. Because
14 people -- it was -- it was individualized
15 for the user who was browsing our videos.
16 So it wasn't like you were joining a
17 broadcast and just getting, you know, like
18 tuning to a channel -- well, but -- yeah,
19 so people could control individually and
20 pause and scrub back and forth within the
21 video on their individual browser
22 independently of everyone else's.
23 I mean, that's just an example of
24 what we did. I'm not saying that's necessary
25 for streaming. But, you know, we had that

1 kind of browser.
2 Q. Sure. That makes sense.
3 So, you know, in terms of the way
4 you used media streaming, it would include
5 sending pre-recorded video as well as live
6 video, correct?
7 A. Well, in a sense that they were
8 coming off our disks and, you know, across
9 the internet to whatever user had started
10 our demo.
11 Q. We don't need to spend a lot of
12 time on this, but I just want to make sure
13 I understood a few more things about this
14 example you're describing.
15 So you said that end users could
16 kind of select which videos they wanted to
17 view. Would that have required the special
18 software that you described earlier?
19 A. Yes, because there wasn't a video
20 player embedded in the browser at the time
21 yet.
22 Q. Okay.
23 A. Or I don't think plug-ins were
24 available really.
25 Q. So can you just explain to me

1 what this software is that a user would
2 need in order to access these videos from
3 outside your internal high-speed network?
4 A. Yeah. They need to be running an
5 X Windows server. By the way, an
6 X Windows -- the word server is mis -- is
7 backwards from everyone else's. Basically
8 a client that can put up X Windows.
9 Q. I understand. Okay.
10 And that was -- an X Windows client
11 was a software that was available from places
12 other than your group at the time, correct?
13 A. Yes. I think there were
14 commercial versions of the X Windows --
15 well, I'll say X Windows server, but it's
16 really -- it's like people think of it --
17 you would think of it as a client today,
18 not a -- there are technical reasons why
19 they call it a server, but it's backwards
20 from everyone else.
21 Q. Okay. I understand.
22 A. If you had the software, you
23 could put up an X Windows of our demos on
24 your screen. And that was something that
25 was typically available on Unix or Linux

1 distributions as the main window system,
2 like Windows is today or Mac OS has a
3 windowing system. It was basically the
4 Unix system.
5 And so, most people who had Unix
6 systems that ran kind of GUI, graphical
7 system on top of Unix would have had
8 X Windows. And early in the web, you know,
9 that's a lot of what people used.
10 But there were X Windows software
11 you could buy for PCs. Actually, I'm not sure
12 at what time frame. I know during the time
13 that we were there, we had versions of
14 X Windows on PCs, but I don't remember the
15 exact timeframe that they were available; on
16 the PC, that is. They were available on Unix
17 systems at the time.
18 Q. The demos that you're talking
19 about within your group, were these meant
20 to be commercialized or was this purely for
21 research purposes?
22 A. It was purely for research
23 purposes.
24 Q. Did you at some point, either
25 before or -- I'm sorry, let me reask, let

1 me withdraw the question.
2 Did you at some point become
3 involved in attempting to commercialize any
4 type of media streaming technology?
5 A. No, I did not at that time.
6 Actually, I did work to commercialize kind
7 of web -- you know, while I was in grad
8 school, I started a company to do targeted
9 advertising and recruiting online.
10 But no, I never made an effort to
11 commercialize the group's research.
12 Q. Okay, yeah -- yeah, maybe I want
13 to make clear. I'm trying to expand beyond
14 what you were doing during your graduate
15 program.
16 At any point in your career, were
17 you involved in commercializing media
18 streaming technologies?
19 A. Well, I was involved in a video
20 search start-up at one point, but we
21 weren't commercializing the underlying
22 technology. We leveraged what was
23 commercially available and we provided a
24 set of -- we set up a number of servers to
25 stream video, video search results that we

had indexed.

So we did -- I wouldn't say that's commercializing the underlying technology, but I think it was a company directed towards, you know, search results that are video/audio.

Q. Are you referring there to Podzinger or to something else?

A. Yeah, it was initially called Podzinger and then later it changed its name to, I think EveryZing, and then I don't remember what the names that they had after that.

Q. What period of time were you involved in that, with that company?

A. Sorry, could you repeat that, please?

Q. At what period of time was it that you were involved with that company?

A. I think around 2006.

Q. Okay. So was that company involved in actually streaming video or was it just indexing video that was stored somewhere else?

A. Well, part of our indexing

1 process, we actually stored a small copy of
2 the video that we actually would stream as
3 part of search results.
4 So we had video streaming software
5 which we, I think, acquired commercially to
6 serve up those results.
7 Q. So would users be able to then
8 watch -- okay, back up.
9 Can you describe for me what the
10 essence of that company was?
11 A. Yeah. So what we did was we took
12 video and audio assets -- first of all, we
13 have a demo site that we scraped, we
14 searched for podcasts and video podcasts.
15 We started out with podcasts, hence the
16 name Podzinger, and we indexed those to --
17 and the way we did that was we -- BVN,
18 which was where we started this start-up
19 before we spun it out and raised some
20 external capital, was well known for and
21 had a lot of research in speech
22 recognition. I think they may have been
23 the first to apply neural networks to the
24 problem, which is what all AI is today.
25 But they had the best large language

1 speaker independent speech recognition at the
2 time. And so, we took that and we basically,
3 you know, sucked down these podcasts and
4 video. And then we generated a transcript, we
5 called it a pseudo transcript because the
6 accuracy wasn't that great. It was, you know,
7 80 to 90 percentage points, which is not great
8 for -- we didn't think it was great, but it
9 was good enough to be able to then generate
10 the pseudo transcript and use the text of the
11 spoken words in video or audio podcast to
12 generate -- to use in generating a search
13 index. So now you could search for words that
14 were spoken inside video.
15 And then the business model
16 became -- we would sell the system to media
17 companies looking to process their video
18 assets to what we would say unlock the
19 searchability of them. Because prior to that,
20 you know, a news organization might write a
21 short blurb about what a video might be and
22 they had many, many, many hours of archived
23 videos. So our selling point was now you can
24 unlock them, have them searchable, and then,
25 you know, make, you know, monetize them

1 through providing them online and with
2 advertisements. And so that was kind of the
3 business model of the spinout company.
4 And actually we ended up selling, I
5 think, to companies including mobile major
6 networks, ABC, NBC, CBS as well as companies
7 like ESPN and Disney.
8 I don't remember the full list. I
9 think those were companies, if I recall
10 correctly, that we actually sold the system
11 to.
12 Q. Right, okay. So the business
13 model wasn't to stream directly to an end
14 user that was trying to do searches then,
15 that you were just trying to sell a
16 solution to the content providers
17 themselves?
18 A. Right, but the demonstration site
19 we had was basically actually, you know,
20 collecting podcast, audio and video, and
21 actually streaming the results.
22 So what was unique about our -- we
23 had a demo page where, when you did the
24 search, you know, you'd see -- if you see --
25 like Google, if you do a search you see a list

1 of search results. Well, we'd have a little
2 video player right next to every search
3 result.
4 And then each of the words that came
5 up, you could click on it, each word, and jump
6 to exactly the location in the video where
7 that word was spoken. So you can use it to
8 jump into the video and to hear, you know,
9 from the exact point the word was spoken for
10 each word that came up in the search result.
11 So we -- and that's part of the
12 reason we had the small, you know,
13 thumbnail-sized video, you know, that we
14 actually stored on our site. So you could
15 actually interact with that video with what we
16 call the jump-to feature in the transcript.
17 Q. Do you recall in that demo
18 whether the video was compressed?
19 A. I think we used whatever -- I
20 don't remember what server we ended up
21 using. We got a commercial office site.
22 So whatever -- I think it was compressed.
23 I don't know exactly what standard server
24 system we have used.
25 Q. Okay. And then what about on the

1 client side, would the client need any
2 special software to view that video?
3 A. I don't remember exactly, but it
4 was worked on a lot of systems back then.
5 So it may have either used a plug-in that
6 most people probably had, it might have
7 been Flash or something like that -- it
8 might have been Flash. So we weren't as
9 concerned about -- and people at that time
10 were downloading and installing these extra
11 client plug-ins.
12 Q. Okay. Let me ask you a few
13 questions about your kind of work in this
14 case.
15 Do you recall when you first got
16 contacted about these IPRs?
17 A. I don't remember the exact
18 timing.
19 Q. And what was the process you
20 used -- well, generally, what was the
21 process you used in these cases to prepare
22 your declaration?
23 A. Well, I mean generally usually,
24 you know, I review the patents. I have
25 discussion with the attorneys. I may

1 sometimes search for prior art or I'm
2 provided with prior art, I review that.
3 Have further discussions. And then a draft
4 will be generated and a final declaration
5 will be submitted.
6 Q. In this case, do you recall
7 whether you did any prior art searching?
8 A. I don't recall exactly, but I
9 don't think I did much for this case.
10 Q. Okay. I guess we need to be
11 careful. When we talk about "this case,"
12 we're talking about, in general, the five
13 IPRs that we're here to talk about today.
14 Is that what you mean by "this case"?
15 A. Yes. I should have been more
16 clear about that, but yeah.
17 Q. I think I probably should have
18 been more clear about that.
19 I'm just trying to think of what the
20 best way to tackle that is so that we are
21 clear about what we're talking about.
22 I think one thing I should do is
23 probably mark all of your other
24 declarations -- not mark, but put into chat
25 all of your other declarations so we can sort

1 of get a clear terminology down so we're not
2 confusing the record.
3 MR. WILLIAMS: I'm going to put
4 into chat now a few other exhibits
5 that I'll ask you just to download,
6 Dr. Houh.
7 Okay. I think I've got them all
8 into the chat now.
9 Q. Just let me know when you've got
10 those downloaded.
11 A. Okay, I have them all downloaded
12 now.
13 Q. Okay. So we previously looked at
14 Exhibit 1003 related to patent, the '138
15 Patent, correct, okay.
16 So the next one I'm going to ask you
17 to look at is Exhibit 1003 relating to the
18 '554 Patent. Let me know when you see that.
19 A. Okay, I have that one open.
20 Q. And this relates to IPR No.
21 2024-00045, correct?
22 A. Yes.
23 Q. Okay. And that's your signature
24 there towards the bottom of page 74,
25 correct?

A. Yes.
Q. Okay. And if I use the '554 Patent, you'll know that I'm referring to the Patent No. That you're discussing in this declaration, correct?
A. Yes, sure.
Q. Okay. Next I'll ask you to look at the declaration relating to the '555 Patent.
A. Okay, I have that open.
Q. And that's relating to Patent No. 1,0469,555, correct?
A. Yes.
Q. And the IPR number there is 2024-000146, is that right?
A. Yes.
Q. And again, that's your signature on page 77, is that correct?
A. Yes.
Q. And then if you can open the declaration relating to the '680 Patent. Let me know when you have that.
A. Yes.
Q. And the patent you're analyzing there is 10,951,680, correct?

1 A. Yes.
2 Q. And the IPR number is 2024-00046,
3 is that right?
4 A. Yes.
5 Q. And that's your signature
6 appearing on page 77, is that correct?
7 A. Yes.
8 Q. Okay. And one more, if you open
9 the '798 declaration.
10 A. Okay.
11 Q. And that relates to Patent
12 11,677,798, correct?
13 A. Yes.
14 Q. And the IPR number is 2024-00043,
15 is that correct?
16 A. Yes.
17 Q. And that's your signature on page
18 82, is that right?
19 A. Yes.
20 Q. So I think I've now shown you all
21 of the declarations you've submitted in
22 these institute IPRs, is that right?
23 A. Yes, I think so.
24 Q. Okay. I'm just going to ask you
25 a general process.

1 So did you prepare all five of these
2 declarations around the same time period?
3 A. I think one of them is dated a
4 week and a half later, but I mean, they're
5 all from approximately the same time. So I
6 think I was probably working them around
7 the same time, working on them around the
8 same time.
9 Q. Okay. And do you recall
10 approximately how much time you spent from
11 when you were first engaged for these
12 matters until you finished these
13 declarations?
14 A. I wouldn't be able to come up
15 with an estimate. It's hard. I wouldn't
16 remember. I don't remember.
17 Q. And you don't remember how far
18 before October 2023 you were first engaged,
19 is that right?
20 A. For these IPRs, I don't remember
21 exactly when that process got kicked off
22 for these IPRs.
23 Q. Okay. So it could have been a
24 month before, it could have been six months
25 before, you're not sure, is that right?

1 MR. PAQUETTE: Objection. Form.
2 A. With respect to these IPRs, I
3 just don't remember exactly when and what
4 time frame I got started on preparing the
5 declaration for these IPRs.
6 Q. Prior to your work on the
7 declarations for these IPRs, were you
8 familiar with any of the five patents that
9 you analyzed, any of the five DISH
10 Technologies patents that you analyzed in
11 these declarations?
12 MR. PAQUETTE: Objection. Form.
13 A. I think I probably was, but I
14 don't remember specifically which ones.
15 Q. And do you remember in what
16 context you had some familiarity with those
17 DISH patents?
18 A. I think I previously submitted
19 some re-examination declarations for --
20 some of these are similar patents.
21 Q. Can you just explain what that
22 project was that you're alluding to? When
23 you say re-examination, can you just
24 explain what you mean by re-examination?
25 A. Well, I think -- my memory is not

1 great, but these patents may have been
2 challenged in a re-examination, or some of
3 them or some related patents that I think I
4 worked on preparing a report.
5 There's another -- well, I mean, I'm
6 not an attorney, but I think there's another
7 process by which some patents could be
8 challenged. That's known as an ex parte
9 re-examination.
10 So if my memory serves me correctly
11 here, I think I worked on -- I'm not sure
12 actually. I don't remember. There's a lot
13 of -- I'm not sure. There may have been
14 another case. I don't remember.
15 Q. Do you remember who you were
16 working -- who you were retained by for
17 that work?
18 A. I think it was the same law firm,
19 you know, this law firm.
20 Q. Okay. And do you think it was
21 for the same client? Was it for Aylo?
22 A. It was a precursor company to
23 Aylo, but it may have been a different
24 patent owner. Yeah, I don't remember
25 exactly. There's a lot of -- I've done at

1 least a few cases. I may be mixing them up
2 here with respect to the re-examination.
3 Q. That's fine. I just wanted to
4 make sure I understood that answer.
5 There are -- you're saying you've
6 done work for some, for various
7 re-examinations, and you're not sure if you're
8 remembering correctly whether any of those
9 related to DISH patents? Is that what you're
10 saying?
11 A. Yeah, I can't remember off the
12 top of my head whether that's the case or
13 it was a different patent owner, patents.
14 But I know at some point I worked on them
15 with this client, this law firm.
16 Q. Okay. And so you know that for
17 this law firm that you're working with on
18 these IPRs, you also worked with them on
19 some, one or more ex parte re-exams, is
20 that right?
21 A. Yes, but I just don't remember.
22 I'm sorry now, I may be mixing up the
23 patent owner in those two cases, but I know
24 I had worked previously on some re-exams
25 for this law firm and the client.

Q. Okay. I understand.
Do you recall approximately when that work was?
A. Sometime before working on these IPRs. I don't know how long before.
MR. PAQUETTE: Eliot, I can represent that that was for a completely different patent owner. So if you want to continue with this -- I don't want you to keep going down this road if, you know, you want to switch gears.
MR. WILLIAMS: Okay. Thank you.
Q. So now let me go back to the original question that got us kind of going down this particular line of questioning, which is, you know, prior to these IPRs, do you have a recollection of being familiar with any of these DISH patents that are challenged in the declarations we just looked at?
A. I mean, I don't remember when I first saw these patents with respect to the timing of getting the IPR process kicked off.

Q. Okay. But do you recall whether or not you were familiar with these patents prior to being engaged to work on these IPRs?

A. I don't -- I just don't remember, one way or the other, whether the IPR -- the start of the IPR was the first time I saw these or I may have seen them before. I just don't remember.

Q. Do you know how many times you've given testimony in any proceedings adverse to DISH?

A. Well, there was one court case, at least. I don't remember if that was the first one, but I think it may have been. That went to trial maybe last year or the year before.

Q. And do you remember who the other -- who the party was you were engaged by for that trial?

A. Well, it was -- there was a law firm named Beus-Gilbert, and I think the company was Clearplay.

Q. Okay. So you're not sure if there was any other work you did adverse to

1 DISH before that Clearplay trial then, is
2 that correct?
3 A. Yeah, I can't remember if there
4 was any, but that may have been the first
5 time.
6 Q. Okay. And then after that, do
7 you remember any other cases besides these
8 IPRs where you testified adverse to DISH?
9 A. Well, I mean, I can't think of
10 any others at the moment, but I mean, it's
11 possible that there are, but I just don't
12 remember them right now.
13 Q. Do you recall ever being engaged
14 by Peleton to testify in connection with an
15 ITC action?
16 A. I don't remember an ITC action,
17 but I remember some IPRs that I worked on
18 on behalf of Peleton, but I don't know -- I
19 don't -- I didn't think they were DISH
20 patents.
21 Q. What was the subject matter of
22 those patents, if you recall?
23 MR. PAQUETTE: Objection. Scope.
24 A. I think it was both -- it was
25 some of -- I think there was part of the

1 case was some of Peleton's patents, and the
2 other part of the case was some of other
3 patent owner's patents. Might have been
4 like Echelon or something like that.
5 There were some features related
6 to the user interface and meter boards. I
7 think that was one of them. I can't
8 remember everything as I sit here right
9 now. It was a few years ago at least. But
10 I don't think DISH was involved in any of,
11 you know, the IPRs that I worked on.
12 MR. WILLIAMS: Okay. Why don't
13 we take a short 5 or 10-minute break
14 here, go off the record.
15 THE VIDEOGRAPHER: The time is
16 10:55 a.m. and we are going off the
17 record.
18 (Recess taken from 10:55 a.m. to
19 11:03 a.m. EDT)
20 THE VIDEOGRAPHER: The time is
21 11:03 a.m. and we are back on the
22 record.
23 BY MR. WILLIAMS:
24 Q. Dr. Houh, did you discuss the
25 substance of your testimony with anyone

1 during the break?
2 A. I was just reminded about the
3 re-exams for a different client.
4 Q. Okay. Is there anything in your
5 prior answers you wanted to correct based
6 on that?
7 A. No, no. But I was having a
8 difficult time remembering exactly, but now
9 I was reminded about that.
10 Q. Okay. So let me just, to make
11 sure I understood then, the work on the
12 re-exams. Have any of your prior
13 re-examination projects involved any of the
14 DISH patents?
15 A. No. They were for a different
16 patent owner, with the same attorneys.
17 That's why I was confused.
18 Q. And in those cases, you were
19 testifying to the Patent Office on behalf
20 of the patent owner, is that right?
21 A. No, on behalf of the petitioner.
22 Q. Okay. So you were testifying on
23 behalf of the person challenging the
24 patents -- on behalf of the entity that was
25 challenging the patents, is that correct?

A. Yes.
Q. Okay. Did those patents relate to streaming media?
A. I think they had similarities to streaming media and navigating through videos and whatnot.
Q. Let me ask you to pull up the declaration you submitted in the '138 Patent IPR.
A. Okay.
Q. And we'll start at paragraph 21.
A. Okay.
Q. And first, just to make sure we're looking at the same thing, the top of that page says IPR 2024-00044, correct?
A. Yes.
Q. Okay. Super.
This paragraph 21 lists materials considered in your evaluation of the '138 Patent, correct?
A. Yes, these were part of the materials that I reviewed.
Q. Okay. Is there anything that you considered in your evaluation of the '138 Patent that isn't identified here?

A. Just looking at this now, it looks fairly complete. I'm not sure. I mean, sometimes I may cite something in the body of the declaration that somehow doesn't end up on this list. But to the extent that's not the case, this should be a full list.

Q. Okay. Let me ask you, having looked at this list now, do you recall whether in preparing your analysis in these IPRs you did any prior art searching to locate any of these materials?

A. I mean, I don't think so, but I'm not totally sure that I didn't do any.

Q. In paragraph 24, you say you don't have a recollection of having contact with DISH, Sling or the named inventors of the '138 Patent.

Do you see that?

A. Yes.

Q. And the named inventors on the '138 Patent are David Brueck, Mark Hurst Andrew Major. You don't recall any interaction with any of those three individuals, is that right?

A. That's right.
Q. Do you know if you ever heard of any of those individuals prior to your work on this matter?
A. I don't think I have, no.
Q. What about EchoStar, have you had any interactions with individuals at EchoStar?
A. I mean, I can't think of any as I sit here. I mean, it's possible that I have friends that I -- worked for them, but nothing would have been for my work. It would just have been if I was talking to them.
There may be some people that I have talked to that I don't know about where they work, but they may have worked for these companies. But it wouldn't have been for the purposes of any of these -- any of my professional work.
Q. Okay. I don't think I asked you this before, but you may have explained this in one of your prior answers, but let me just verify that it's true.
So for all five of the declarations

1 that you signed in these IPRs, is it fair to
2 say that you reviewed all of them thoroughly
3 before you signed them?
4 A. Yes.
5 Q. Okay. And they reflect your
6 opinions, is that correct?
7 A. Yes.
8 Q. In the course of preparing for
9 the deposition, you reviewed all of them
10 again, correct?
11 A. I mean, they're very similar, so
12 I reviewed a few of them, but I didn't -- I
13 tried to compare differences between some
14 of them quickly, but I did take a look
15 through or tried to take a look through all
16 of them. Again, they're all very similar.
17 Q. Okay. That's fair.
18 My follow-up question to that would
19 be, in the course of kind of trying to compare
20 the differences, did you identify any?
21 MR. PAQUETTE: Objection. Form.
22 A. I mean yes, there are slight
23 differences. I don't remember them all.
24 That's why it's good to have them all in
25 front of me here.

Q. Okay. And in the course of the review you did for preparing for today's deposition, did you notice anything in these declarations that you believed was incorrect or needed to be clarified?

A. No.

Q. Okay. Just going back to that paragraph 21.

A. Okay.

Q. Were there any materials that you wanted access to, but weren't allowed -- weren't able to get access to in preparing your declarations?

A. I don't think so.

Q. You have a legal standard section that begins with paragraph 26.

Do you see that?

A. Yes.

Q. I think you already said, you don't have any legal training in intellectual property law, is that correct?

A. Not any formal training through going to law school or anything, no. I've never done that.

Q. So in terms of the legal

1 standards you're applying, is it fair to
2 say you're relying on counsel to provide
3 that information to you?
4 A. Typically, they tell me what the
5 law is and how it's applied, and that's
6 what this legal standard sections are for.
7 Q. I'm going to ask you about
8 paragraph 32 for a moment. Let me know
9 when you see that paragraph.
10 A. Okay, I'm there.
11 Q. And I'll just read a little bit
12 of it: As petitioner's counsel has
13 informed me that in considering
14 obviousness, it is important not to
15 determine obviousness using the benefit of
16 hindsight derived from the patent being
17 considered.
18 Do you see that portion of the
19 sentence I just read?
20 A. Yes.
21 Q. Can you describe for me what, if
22 anything, you did to avoid using hindsight
23 in considering obviousness in these cases?
24 A. Well, I mean, what I understand
25 is, you know, if there are two references

1 that are, in combination, render something
2 obvious, I look at the motivations that a
3 reasonable POSITA at the time of the
4 invention would want to take features from
5 one or combine them or, you know, look to
6 another patent for features.
7 And those motivations may be, you
8 know, things like it produced a better
9 system, easier-to-use system, it's
10 cheaper -- I mean, there are a number of
11 things that I understand, I typically talk
12 about for why a POSITA would be motivated
13 to combine items.
14 I don't look at the patent to
15 provide the motivation or I don't use the
16 patent as a roadmap, as I understand attorneys
17 like to say sometimes, to come up with the
18 invention. I look at it purely from a person
19 of ordinary skill and why they may have been
20 motivated to combine different references or
21 different references.
22 Q. Let's talk more about that person
23 of ordinary skill you mentioned.
24 So in paragraph 41 you have a
25 paragraph under the heading: Level of

1 Ordinary Skill in the Art.
2 Do you see that?
3 A. Sorry, what was the paragraph
4 number again, please?
5 Q. 41. Sorry, I'm on the wrong one.
6 Give me one second.
7 A. Yeah, I do not see that.
8 Q. Give me one second. I'll be
9 there. All right. I'm on paragraph 45.
10 A. Okay, yes. That is under the
11 heading: Level of Ordinary Skill in the
12 Art.
13 Q. And is that paragraph describing
14 the characteristics that person of ordinary
15 skill that you were just referring to?
16 A. That's what I'm setting forth
17 here and that's what my opinion is about
18 that level of ordinary skill.
19 I understand there's some
20 disagreement about it, but this is what I've
21 written.
22 Q. Okay. So talking about this
23 person, first of all, just to be clear, and
24 this is referred to in the paragraph just
25 above paragraph 45, we're looking at the

1 question of the person of ordinary skill in
2 the art as of around April of 2004,
3 correct?
4 A. Yes, that's the priority date
5 that I assumed for the purposes of my
6 analysis.
7 Q. Okay. And so in your view, the
8 person of ordinary skill in the art in 2004
9 would have had a BS degree in computer
10 science or electrical engineering or
11 comparable degree and two years of
12 practical experience in network or
13 streaming media or alternatively they could
14 have had maybe more formal education, is
15 that right?
16 MR. PAQUETTE: Objection. Form.
17 A. I mean, I wouldn't disagree with
18 what you say, but you didn't read it
19 exactly. But I think it captured most of
20 what I say here.
21 Q. Can you explain a little bit
22 about why in your view networking would be
23 a substitute for streaming media
24 experience?
25 MR. PAQUETTE: Objection. Form.

1 Q. Okay. Let me withdraw the
2 question.
3 I'll ask you a slightly different
4 question.
5 Is it true that in your view
6 networking experience would be a substitute
7 for streaming media experience in assessing
8 the level of ordinary skill in the art in this
9 case?
10 A. Well, I do say it's either
11 networking or streaming media. So I think
12 if you have two years of experience in
13 networking, that's okay. And if you have
14 two years of experience in streaming media,
15 that's okay, too.
16 Q. So in your view, do you think
17 that someone with no streaming media, no
18 practical experience in streaming media
19 would still qualify as a person of ordinary
20 skill in the art as long as they had at
21 least two years of networking experience?
22 A. I mean, there's lots of ways to
23 meet the level of POSITA. You know, a
24 higher level education or a specific skill
25 might make up for lack of experience in any

of these areas. So, you know, so there's alternatives to this and I think this is what I'm saying, this is what my opinion is about what the level of ordinary skill is.

Q. In 2004, would a BS degree in computer science or electrical engineering have included educational experience in streaming media?

A. I mean, there very well may be some curriculums across the U.S. and accredited colleges that have some element of that. But computer science and -- or electrical engineering, they're foundational, so they teach you how to think about issues. So I think that's part of the requirement that I have set forth here.

But still, a higher level of education or specific skill might make up for less experience. But, you know, a lot of what's listed here would certainly provide a foundation for a lot of the concepts discussed in these patents.

Q. Okay. So let me -- I think I understand what you're saying. I guess

1 what I want to know is, is it possible in
2 your view that someone could have had no
3 educational and no practical experience
4 with streaming media in 2004 and still
5 qualify as a person of ordinary skill in
6 the art at that time?
7 A. Sorry, could you repeat as to
8 what they're lacking again, please?
9 Q. Sure. I'll reask the question.
10 Is it possible that someone who in
11 2004 had no educational and no practical
12 experience with streaming media would still
13 qualify as a person of ordinary skill in the
14 art, in your view?
15 A. If someone has a requisite
16 experience that made up for the lack of
17 those, then -- and it was sufficient, I
18 suppose, but I'm not sure exactly which --
19 I mean, a POSITA is kind of a -- already a
20 hypothetical person because they have a lot
21 of knowledge that any real person might
22 not, you know, have in their head.
23 But this is laying out some
24 educational requirements in certain areas,
25 which I think are -- that's what my opinion

1 is, that this person would be the POSITA
2 from the point of view of being able to
3 look at these patents and making use of
4 what's described here.
5 So if they achieve a certain level
6 based on pure experience alone, even if they
7 didn't have, you know, a degree or any work in
8 streaming media, but they have sufficient
9 experience that allows them to make and use
10 the invention, I suppose.
11 But I haven't really -- I mean,
12 that's a hypothetical situation. It's not
13 really what I'm considering about, like what
14 other random person off the street might
15 actually qualify. I'm just trying to lay out
16 the qualifications for a POSITA as I see it
17 for the purposes of these matters.
18 Q. As of 2004, you personally have
19 the requisite experience that you lay out
20 here in paragraph 45?
21 A. Yes. I was at least that level
22 as of the priority date.
23 Q. At least that level.
24 I mean, as of that date, as of 2004,
25 you would have had -- would you have had more

1 than this requisite amount of experience?
2 A. Well, I did. I already had my
3 Ph.D. at that time and I worked in -- you
4 know, I spent more than two years working
5 on my Ph.D., which involved, you know,
6 streaming media and networking. So I
7 think, yes, I had more. But I'd look at --
8 I'd do my analysis from this point of view
9 for -- with respect to a POSITA.
10 So, that's the viewpoint from
11 which I render my opinions, not the fact
12 that I have more experience. It's from the
13 level, point of view of this POSITA.
14 Q. So what was the process you used
15 to kind of isolate that additional
16 expertise that you had from the level of
17 expertise that a person of ordinary skill
18 would have had in doing your analysis in
19 this case?
20 A. Well, so, you know, I can look
21 back at my experience as to where I was
22 when I had acquired, you know, a bachelor's
23 and a few years of experience. And I've
24 worked with other people that at various
25 levels, including probably at this level in

1 my past. So, I mean, that's part of how I
2 approach it.
3 So I mean, I understand that, you
4 know, things that are obvious to someone with
5 less experience may be more obvious to people
6 of more -- that is, I suppose -- but I
7 don't -- but this is the point of view I take
8 in terms of the obviousness opinions and not
9 my level of experience, which is, exceeds, you
10 know, at that time the level of the POSITA
11 that's stated here.
12 So I do my analysis from the
13 perspective of this POSITA, even for my
14 obviousness opinions. Because I do consider
15 both people I've worked with and myself having
16 two years of experience.
17 But then I also consider what was
18 happening in 2004 timeframe of the priority
19 date as opposed to when I acquired the level
20 of experience was probably in the mid '90s.
21 So, I mean, there's a lot of ways I -- I mean,
22 but with respect to all my analysis, it's from
23 this POSITA's point of view at the time of the
24 invention, or as of the priority date.
25 Q. Okay. You mentioned your Ph.D.

as being at the research you were doing for your Ph.D. as being streaming media. What was the subject of your Ph.D.?

A. Well, it was called: Designing networks for tomorrow's traffic. And what I looked at was efficient ways to route data in a network that were more efficient than what was around at the time, which included analyzing streaming media flows and video flows on the network and kind of, you know -- I don't say I make predictions, but I realized that video was going to be a bigger part of the traffic in future networks, both audio and video.

And so the proposals that I set forth about what would be the most efficient way or, you know, more efficient ways of routing data included the consideration that more data in the future would be of, you know, streaming audio or video or -- yeah.

Q. And could you just expand a little bit more and explain to me then how the fact that there would be more streaming media data would impact in your view the

1 best way to efficiently route that traffic?
2 A. Yeah. So what I looked at was --
3 and the focus, part of the focus of my
4 thesis was looking at data flows in the
5 network. And, you know, the old way of
6 performing routing was to do what's known
7 as a longest prefix match lookup at every
8 router on the destination IP address of a
9 patent. And that's kind of an expensive
10 operation because of this longest prefix
11 match.
12 So a routing table may have broad
13 general entries, some more -- some mid-level
14 hierarchal entries, and some very detailed
15 entries. But the best route would be the one
16 that best matches -- has the longest prefix of
17 bits matched to the destination IP address.
18 So you actually have to do -- there
19 could be multiple entries returned from a
20 routing table, but then you have to take the
21 one that matches the longest amount of the
22 prefix of the IP address, destination IP
23 address.
24 And so it's kind of an expensive
25 operation that routers used to do for every

1 single packet. And what I looked at was
2 labeling at the edge of the network a packet,
3 so that routing look-ups could be performed
4 based on the label that was placed on the
5 packet instead of the whole IP address and
6 doing a longest prefix match at every single
7 router.
8 By the way, this wasn't my
9 invention. This is what people were talking
10 about. But what I wanted to see was what's
11 the best way of classifying packets at the
12 edge of the network and how much work would
13 you save, and how much more efficient is the
14 label switching depending on how you label the
15 packets.
16 And so I look at a number of
17 different ways of labeling the packets.
18 And effectively, the longer -- the
19 more packets in a flow, the determination of
20 how to label a flow becomes cheaper. It gets
21 amortized over more packets. So if there are
22 more packets in a flow, like in an audio
23 conversation or in a video stream, then you
24 save a lot more in routing capacity because
25 there's a lot more packets.

1 Part of my thesis was looking at how
2 much more efficient these label methods were
3 based on how you would label it. Would you
4 label it based on just the destination IP
5 address, the destination IP address and port
6 number, a combination of source and
7 destination addresses or ports. So I looked
8 at all these things in my thesis.
9 And, you know, recognizing that
10 there would be more flows for longer in, you
11 know, miles network, you know, it was pretty
12 clear that these label-switching methods were
13 the way to go, to start with. And so I was
14 trying to propose, you know, what's the best
15 way of determining how you label them, is
16 it -- you know, what combination of data in
17 the packet would be most efficient.
18 So that was really a large part of
19 the analysis I did for my thesis. Added to
20 that's -- I don't think it was known back
21 then, but today there's this thing called
22 multi-protocol label switching, which is
23 effectively what I analyzed for my thesis.
24 Again, not that I invented it. It was just
25 how much more efficient -- it was clear that

1 it was more efficient, but is it 100 times
2 faster, 10 times faster, that kind of thing.
3 Q. Okay. Let me -- thanks for that.
4 Let me go back now to your declaration in
5 the '044 Patent -- I'm sorry, for the '138
6 Patent, and talk a little bit about claim
7 construction. So this is at paragraph 46
8 is where that begins.
9 Do you see that?
10 A. Yes.
11 Q. In paragraph 47, you say you've
12 applied the ordinary and customary meaning
13 of claims when read in light of the '138
14 Patent and the prosecution history of the
15 '138 Patent is understood by a POSITA at
16 the time of the invention, is that right?
17 A. That's what I say in 47, yes.
18 Q. And is that what you did in your
19 analysis?
20 A. It is what I did, but I think
21 there's further discussion, but I normally
22 apply the plain and ordinary meaning as
23 understood by a POSITA at the time of the
24 invention. And that's what I try to do,
25 yes.

1 Q. Okay. Let's look at the next
2 paragraph then, 48.
3 Right in the middle of that
4 paragraph you say: I understand that in the
5 ITC investigation between patent owner and
6 iFit asserting family members of the '138
7 Patent, the chief administrative law judge,
8 ALJ, construed streamlet as any size portion
9 of the content file.
10 Do you see that?
11 A. Yes.
12 Q. Is that the definition of
13 streamlet that you applied in these
14 declarations?
15 A. Well, I applied the plain and
16 ordinary meaning. The point here is I
17 understand what the -- in this ITC case
18 what the judge, how the judge construed
19 streamlet. But, I mean, for the purposes
20 of my declaration, I don't think it, you
21 know, is impacted by this, one way or the
22 other, because I think what I applied is --
23 I think if you look at the prior art, I
24 believe it just -- it doesn't actually
25 matter, but I applied the plain and

1 ordinary meaning.
2 Q. So in your view, does the plain
3 and ordinary meaning differ from that
4 construction that's quoted in the sentence
5 I just read?
6 A. I mean, I don't think it matters
7 for the purposes of the prior art that I
8 applied here, but I hadn't considered the
9 full boundaries of both in detail with
10 respect to the -- but I'm just laying out
11 that I understand that there was a
12 construction for streamlet in this ITC
13 case, but it -- I mean, I think you can see
14 it, if you read the detailed analysis, it
15 doesn't -- it doesn't matter if there's any
16 difference between that. It's just that
17 I'm saying here that this is what I
18 understand, is that there was a
19 construction.
20 But I don't think -- I'm not
21 aware of any dispute of how I applied it
22 doesn't somehow meet the ALJ's construction
23 in the ITC case versus plain and ordinary
24 meaning.
25 So there's no dispute. I don't

1 understand that there is any reason necessary
2 to do claim construction.
3 Q. Sorry, you said "there's no
4 dispute." Can you just explain what you
5 mean when you say "there's no dispute"?
6 A. Yeah. I don't think that the
7 patent owner has disputed whether or not
8 this prior art doesn't meet -- that somehow
9 it doesn't meet the ALJ's definition of
10 streamlet.
11 So, I guess that's what I mean by
12 there's -- I don't recall that from -- that
13 they're disputing somehow that I should
14 have used this construction and that the
15 prior art in the petitions doesn't meet
16 this construction.
17 Q. Okay. What about the next
18 paragraph where you talk about the term
19 "virtual timeline"?
20 Do you see that?
21 A. Yes.
22 Q. You say that in your opinion the
23 term "virtual timeline" may require
24 construction.
25 What did you mean by that?

A. Well, it's possible that there may be a dispute about this. But what I did was I analyzed the prior art under both -- under this construction -- under this situation of virtual timeline. And you can look at the virtual timeline sections to see where there's an argument. And to the extent that the virtual timeline is construed this way, I have more analysis.

So I think -- I'm not proposing this, I think everything is plain and ordinary meaning. But to the extent it may arise as a dispute, I tried to analyze or provide alternative analysis to the extent that virtual timeline would have been construed in the manner here.

Q. Let me ask you to turn to paragraph 54 of your declaration.

A. Okay.

Q. Here you refer to a reference called Ogdon, is that right?

A. Yes.

Q. Okay. Is that one of the references you downloaded before the

1 deposition began?
2 A. Yes.
3 Q. Could you just open that for me?
4 A. Okay.
5 Q. All right. So the document you
6 just opened has an exhibit number at the
7 bottom and it's exhibit, Aylo Exhibit 1004,
8 is that correct?
9 A. Yes.
10 Q. The first named inventor at the
11 top is Ogdon, Robert Ogdon, is that right?
12 A. Yes.
13 Q. This reference is one that you've
14 analyzed in all five of your declarations,
15 is that right?
16 A. Yes.
17 Q. So when you used the word Ogdon
18 in all five declaration, you're referring
19 to this prior art reference, is that right?
20 A. Yes.
21 Q. Okay. We'll try to use that term
22 as well.
23 Let me ask you now actually to go to
24 paragraph 60, which appears under a heading
25 Analogous Art.

1 Do you see that?
2 A. Yes.
3 Q. Okay. And the first sentence of
4 that paragraph says that: Each prior art
5 reference is analogous art to the claimed
6 invention in the '138 Patent.
7 Is that right?
8 A. Yes.
9 Q. Okay. So that includes Ogdon.
10 So it's your view that Ogdon is analogous
11 art to the claimed invention of the '138
12 Patent, correct?
13 A. Yes.
14 Q. Okay. What is your understanding
15 of what that means, to be analogous art?
16 MR. PAQUETTE: Objection. Form.
17 A. I mean, I think it means what it
18 says. I go on in this paragraph to say:
19 Each is in the same field of endeavor of
20 client server media streaming, and more
21 specifically streaming by a client from a
22 server, including having the data stream
23 segmented into a plurality of streamlets at
24 the server side, wherein the client
25 requests the streamlets from the server and

1 plays out the media.
2 Q. So in your view then -- well, let
3 me ask you about what you just read.
4 When you say "media streaming" there
5 in that sentence, what are you talking about?
6 A. Well, I'm talking about things
7 that the patents and these references are
8 talking about. It's a term, "media."
9 Media includes a lot of different things.
10 And things like Ogdon talk about presenting
11 video, and that's certainly meets
12 qualifications. And Ogdon talks about
13 presenting and making these live
14 presentations and recorded presentations as
15 well that are delivered to the users as the
16 users are reviewing a presentation or a
17 video presentation.
18 Q. So just turning to Ogdon for a
19 moment then, does Ogdon ever use the word
20 "stream" in relation to video?
21 A. Well, I'm searching Ogdon and I
22 found the word "stream" four times.
23 Hopefully, the index is correct.
24 Sometimes, you know, the characters may be
25 misidentified. But in each of these four

1 that I found, it talks about audio files
2 stream and audio, live streaming of an
3 audio file, realtime audio stream. But
4 elsewhere it does talk about video can be
5 what's presented as well.
6 So, it's -- those four parts
7 don't use stream with, explicitly with
8 respect to video, but that's what Ogdon is
9 teaching. But it's also teaching that
10 presentations can be video presentations as
11 well.
12 Q. Okay. Other than the fact that
13 Ogdon mentions video, is there any other
14 basis for your conclusion that Ogdon
15 teaches video streaming?
16 MR. PAQUETTE: Objection. Form.
17 A. Well, I mean, I'm using the word
18 media streaming in the analogous art
19 section. I think audio is certainly
20 included as media. But no, I think Ogdon
21 is teaching that these video presentations
22 can be streamed just like the audio is part
23 of the presentation that is streamed. So
24 Ogdon is teaching about that its
25 presentations can be streamed, that include

video presentations.

Q. So while we're on Ogdon, let me just talk a little bit about how this system works so I understand how you view Ogdon's disclosures.

Does Ogdon describe in your view replacing video content at one quality level with video content at a different quality level?

MR. PAQUETTE: Objection. Form.

A. Well, Ogdon says a number of things about this, which is that -- and I cite to some of these in my declaration.

But if you look in paragraph 68 on the second page of that paragraph, it talks about how -- Ogdon is talking about how corresponding segments or subcollections thereof in different versions are the -- and only some of these are directly quoted from Ogdon -- but are the same such that -- and then there's a quote: Any of the corresponding alternative segments or subcollections thereof, from different versions, can be presented as a replacement for another such

1 corresponding segment or subcollection
2 during the presentation.
3 And then it talks about in paragraph
4 73, it's the same quote from Ogdon.
5 And 74 is kind of summarizing, or
6 actually it's additionally, making additional
7 point: Ogdon states that each corresponding
8 segment at various versions should serve as a
9 replacement for one another and that the
10 durations are approximately the same
11 presentation duration.
12 But then it goes on to say that: A
13 POSITA would have understood that this
14 teaching of approximately the same duration,
15 not to be limited to only durations that are
16 close but not exactly the same, but rather
17 encompass durations that are either the same
18 or approximately the same, especially given
19 additional evidence from Ogdon's provisional
20 application and file history as discussed
21 below.
22 So, I mean, I discuss this issue at
23 various locations in my declaration.
24 Q. Is that the end of your answer?
25 I want to make sure you're done.

A. Yes.

Q. So none of those sections you just directed me to talk about replacing video with a different video quality level, correct?

MR. PAQUETTE: Objection. Form.

A. Well, I mean, there's other analysis about that in my declaration. So if you want to point me to such, we can talk about it or if you want me to just look for what I've said there. But it is -- that is generally -- that is -- I'm saying this is what Ogdon is teaching. And that's laid out in my declaration.

Q. Yeah. Well, the section is right. So the question I asked you was about Ogdon and you found me some portions from your declaration where you quote Ogdon, and I'm trying to figure out, is there any other portion of Ogdon you would point me to that describes replacing video at one quality level with video at a different quality level?

MR. PAQUETTE: Objection. Form.

I'm not sure if there was a question.

A. So if you look at paragraph 69, I talk about how Ogdon further discloses that each group comprises at least first and second streamlets, which are segments, because Ogdon teaches plural ordered segments, and that each of the streamlet segments correspond to a portion of the video because Ogdon discloses that each "subcollection of segments, i.e. presentation elements, are ordered according to their presentation sequence," and that the presentation is an ordered series of presentation segments.

And then I also cite to Claim 21 which says: There are subcollections of one or more segments. Each subcollection having a presentation order.

And also Figure 2C, at Step 508, that says: Next collection of one or more (video) segments.

So all this put together, Ogdon is teaching that the segments can be -- that there's a collection of video segments and that the segments are ordered. And Ogdon does teach -- let me find where this is about the

1 network bandwidth.
2 Yes, Ogdon -- let's see, in
3 paragraph 64, I talk about how the second part
4 of the page, there's a citation to the second
5 line to Ogdon that says: Several variations
6 of presentation content can be provided to
7 clients based on the available bandwidth.
8 And then Ogdon goes on to say that:
9 Alternative segment collections that are
10 smaller.
11 And so this is discussing different
12 variations of the video segment part of the
13 presentation and that the presentation is an
14 ordered sequence of video segments.
15 So I think I've discussed all of --
16 I think I got everything that you asked about
17 in your question. But it's all in my
18 declaration. Like I talk about all those
19 elements you asked about.
20 Q. Sorry, what was your reading on
21 paragraph 64 at the end there? I was just
22 trying to find that.
23 A. It's on the second page of the
24 paragraph. It's at the top of the next
25 page. It's page 39 of the PDF file and

1 it's page 34 on the bottom. And this is
2 the 00044 case.
3 Q. Yeah, I see it now, the bolded
4 language: Several variations of
5 presentation content. That's what you're
6 referring to?
7 A. Yes.
8 Q. Okay.
9 A. I mean, that's one of the things
10 I was referring to. And, you know, taken
11 all together, I think that addressed -- I
12 think I got everything that you asked about
13 in your question. But certainly I think my
14 declaration is what it is, I'm pretty sure
15 I discuss all those things in my
16 declaration.
17 Q. While we're on this paragraph 64
18 for a moment, the very last line is a
19 citation line to an exhibit number that I
20 can't find. I'm assuming that's supposed
21 to be a citation to Exhibit 1007, but do
22 you know if that's what that's supposed to
23 be? I'll tell you that 1007 there is a
24 reference called Chang.
25 A. I mean, that could be right. It

1 looks like it's just missing a "1" there.
2 But I would double-check. I mean, I don't
3 have these references memorized.
4 Q. Let me just put Chang into the
5 chat for you. Why don't you download that
6 and open it and let me know if that's the
7 reference you're citing to there.
8 A. Yes, that would be the reference,
9 that's right. Sorry, there's a typo there.
10 It should have a "1" in there, 1,007.
11 I don't know if this typo is in all
12 of the other ones, but it may be.
13 Q. That's fine. I think we can sort
14 that out. I just want to verify that
15 that's what you were intending to rely on
16 for that sentence?
17 A. Yeah.
18 Q. Okay. Just going back to the
19 answer you were giving when you quoted that
20 bolded language, so none of those sections
21 from Ogdon with the possible exception of
22 that Figure 2C that you cited seems to say
23 that those different segments that are
24 replacements for each other are the same
25 video and coded at a different quality

1 level.
2 So is it your view that that's what
3 Ogdon is teaching?
4 MR. PAQUETTE: Objection to form.
5 A. I mean, I think that is what
6 Ogdon is teaching, you know, taken as a
7 whole and considering all the different
8 things that Ogdon says. It may not
9 explicitly say it exactly with all those,
10 you know, in one sentence, but it's
11 teaching all the parts of that as part of
12 what the -- of what Ogdon overall is
13 teaching.
14 So, it's talking about different
15 encoded levels based on different
16 bandwidths. It even says that two
17 different users watching the same
18 presentation may have different bandwidths
19 and may be presented with different
20 versions. It talks about how the video,
21 the presentation could be video, how the
22 different -- there would be replacement
23 segments for encoded at a different level.
24 I mean, put all together, this is
25 what Ogdon is teaching. So -- and if you're

1 asking does it say it all in one sentence
2 somewhere, I don't think it needs to have it
3 in order for Ogdon to teach it, because
4 overall it is what it's teaching. That's what
5 Ogdon's, you know, overall teaching.
6 And to the extent that -- you know,
7 there is this other reference Allen, to the
8 extent that Ogdon isn't already teaching
9 different bandwidth encodings for video, Allen
10 provides some explicit encoding bitrates for
11 its media content. And that's in my
12 declaration, too. I'm just point you there,
13 to what I said there.
14 Q. So let me just go back to the
15 figure that you cited, which is -- so I
16 think you're reading from paragraph 69,
17 which goes -- you're reading from the
18 bottom of page 37 and over to the top of
19 page 38, there's a reference to Figure 2C
20 where you have a quote: Step 508 next
21 collection of one or more video segments.
22 Do you see that?
23 A. Yes. Yes, I see that.
24 Q. Okay. That is one of the
25 citations you gave me in your last series

of answers, is that right?

A. Yes. That's not the only place I talk about video, but that is one I explicitly mentioned in one of my previous answers.

Q. So is that Step 508 that you point to there, is that a step being performed on the client?

A. You're asking about Step 508? Step 508 in Figure 2C is talking about what the host 200 sends, one or more commands to the client presentation software at each client node indicating, A, the next collection of one or more video segments from one or more presentation versions for presenting at the client node.

So I would say my point there is to say how they're video segments. But it's part of a step where this is the host informing, indicating to the client that there is a next collection of one or more video segments.

But the purpose of the citation was to say that the segments are video as well. And that's just one of the quotes that I have about how a presentation can be video that I

1 take from Ogdon, that Ogdon is teaching.
2 So that particular Step 508 is
3 talking about the host sending information to
4 the client. So the client is certainly
5 involved in that step. But it's the host
6 sending one or more commands to the client
7 indicating the next collection of one or more
8 video segments.
9 Q. So can you turn to Ogdon -- I'm
10 going to use column and line numbers. So
11 the numbers across the top of each column
12 are the column numbers I'm going to be
13 talking about. So if you turn to column 2,
14 then the line numbers run down the middle
15 of the page, and I'm looking at around --
16 oh, start at that paragraph beginning line
17 36.
18 A. Okay, I see it.
19 Q. Okay. And this is a portion of
20 Ogdon you're familiar with, correct?
21 You've cited to some of this in your
22 declaration, I believe, is that right?
23 A. Yes. I think so, but you know,
24 the declaration cites to what it cites to.
25 Cite somewhere around here too.

1 Q. That's fine. I was just going to
2 say, if you're not familiar with it, feel
3 free to take some time to read it to
4 yourself.
5 When you get down to around line 55,
6 it reads: Thus, it is an aspect of the
7 present invention to provide corresponding
8 alternative segments or subcollections thereof
9 having substantially different network
10 transmission requirements so that
11 corresponding alternative segments, or
12 subcollections thereof, can be substituted for
13 one another depending on the performance of
14 the communication network.
15 Do you see that?
16 A. Yes, and I'm pretty sure I cited
17 to that in my declaration.
18 Q. Yes, I think you did as well.
19 Now, the next sentence reads: For
20 example, the segments (subcollections) of a
21 first version of a presentation may require a
22 network transmission rate sufficient for
23 realtime or animated video, and the segments
24 for another version of the presentation may
25 only require a transmission rate sufficient

for graphic slides.

Do you see that?

A. Yes, I do.

Q. Okay. First question about that is how did you -- what's your understanding of what Ogdon is referring to when he talks about graphic slides?

A. Well, first of all, you know, this is just one example from Ogdon. So it's just giving the example of things that are presentation bandwidths.

But I think it would have been understood that, you know, different video quality encodings are part of -- would have been included in different quality presentation segments requiring different performance of the network.

So one example here of seeing graphic slides, that appears to be the first place that Ogdon is mentioning graphic slides, but I think it would have been understood by a POSITA that a graphic slide might include an image with some graphics on it. That's part of a slide presentation, which most people call, you know, the version of presentation

1 with just slides or -- well, I guess
2 PowerPoint I think uses the term slide.
3 But Ogdon is teaching more than
4 this. This is just an example. Ogdon is
5 teaching that the presentation should also be
6 video, and I cite to those portions elsewhere.
7 So this is just one example wherein
8 the example of something that's low bandwidth
9 or transmission rate that would understood to
10 be low is just a slide, a graphic slide. But
11 Ogdon, I believe, is -- you know, if you look
12 at my declaration -- is teaching that
13 different network bandwidths can be, I think,
14 different video encoding, and certainly
15 combined with Allen is teaching explicit video
16 encoding rates.
17 Q. Okay.
18 A. Encoding rates for the media.
19 Q. Sorry, I didn't mean to interrupt
20 you.
21 A. No. I'm sorry. I sometimes
22 think of something immediately after I
23 finish. But I appreciate you waiting
24 certain other times for me to finish.
25 Q. So I understood your last answer,

1 but let me just make sure we're on the same
2 page with respect to this sentence then.
3 So as I understand this sentence,
4 what he's describing is the possibility that a
5 user would have sufficient bandwidth in which
6 case they would get realtime or animated
7 video, but then there would be another user
8 with insufficient bandwidth for that, and so
9 instead they would get graphic slides.
10 Is that how you read that sentence
11 as well?
12 A. Well, in this one example that's
13 here. But there are other things that
14 would be understood by a person of ordinary
15 skill. This is certainly an explicit
16 example of a user receiving a graphic slide
17 and another user receiving realtime
18 animated video.
19 MR. WILLIAMS: Okay. Let's go
20 off the record for a second.
21 THE VIDEOGRAPHER: The time is
22 12:07 p.m. and we are going off the
23 record.
24 (Recess taken from 12:07 p.m. to
25 12:11 p.m. EDT)

1 THE VIDEOGRAPHER: The time is
2 12:11 p.m. and we are back on the
3 record.
4 BY MR. WILLIAMS:
5 Q. I don't remember if I asked you
6 this, so let me -- I apologize if I did.
7 Before working on this case, were
8 you familiar with either of the inventors on
9 this Ogdon patent, Robert Ogdon or Frank
10 Johnson?
11 A. I don't think I was, but it's
12 hard to be sure.
13 Q. What about the Assignee, MSHOW,
14 were you familiar with that company?
15 A. Yeah, I don't think I knew about
16 that company either. That's the Ogdon,
17 right?
18 Q. Yeah, I'm looking at Ogdon
19 Exhibit 1004.
20 A. Yeah, I don't think I had heard
21 of them, but it's hard to be sure.
22 Q. Okay. If you turn to Ogdon
23 column 3.
24 A. Okay.
25 Q. So starting around line 37, there

1 is a sentence that begins: Thus it is an
2 aspect of.
3 Do you see that?
4 A. Yes.
5 Q. Okay. If you can just read that
6 portion from there to the end of that
7 paragraph around line 62 and let me know
8 when you're done.
9 (Pause.)
10 A. Okay.
11 Q. So let me ask you in particular
12 about what's described there as the third
13 client towards the end of that section.
14 Do you see that reference to the
15 third client?
16 A. Yes.
17 Q. Okay. So as I understand what
18 Ogdon is saying there is the third client
19 begins the sequence by being presented with
20 the same first segment of the presentation
21 that the first client was receiving, but
22 then because of a change in network
23 transmission parameters, they then see an
24 alternative segment that the first client
25 did not see during the second segment

1 period.
2 Do I have that right? Is that
3 what's going on here?
4 MR. PAQUETTE: Objection. Form.
5 Q. If not, can you just explain
6 what's going on here with this third
7 client?
8 A. I'm not sure how -- it doesn't
9 mention anything about what the first
10 client sees. But it is -- so, first of
11 all, it's talking about a situation where
12 there may be different content servers and
13 versions of the presentation. And it's
14 giving a lot of alternatives at the very
15 top where you can switch between content
16 servers and/or versions.
17 And it's just giving a few examples
18 here of switching between versions and also
19 switching between content servers and maybe
20 even switching between a version and a content
21 server at the same time.
22 And I think if you look at what the
23 third client is -- it is getting the first
24 segment via the first content server, but then
25 switches to a different version from a

1 different server, experiences the
2 corresponding slideshow alternative to the
3 second segment from the third content web
4 server.
5 So I guess it is experiencing the
6 first segment synchronously with the -- a
7 first client may experience a presentation as
8 an -- this is line 44 -- 45 -- presented in
9 full animation where the first of the order
10 segments is obtained from a first content web
11 server.
12 So this is just an example that
13 appears to have, you know, the first
14 content -- first version on the first server
15 and a different version on a third content web
16 server. But it is teaching that it could just
17 be one server that has all different versions.
18 It could be, you know, a different version on
19 each different server. I mean, it's teaching
20 a lot of combinations. And this is an example
21 where it's experiencing the first segment
22 synchronously with the other users from the
23 first server and it's the first segment, which
24 is I think the same first segment as being
25 discussed as what the first client may be

1 receiving.
2 And then the second segment would be
3 a different one, whereas in the first one the
4 first client is receiving the first and second
5 segments in full animation, where the first is
6 at least obtained from the first web server
7 and the second is obtained from a second web
8 server. So this is just a few examples of the
9 many combinations that Ogdon is teaching.
10 So it is -- it's -- there are a lot
11 of combinations. These are just a few
12 examples. But it doesn't have to enumerate
13 every possible example to teach different
14 variations. It's just enumerating a few of
15 the combinations of what it's teaching.
16 Q. So in this example that you were
17 just reading from, what are the different
18 versions that Ogdon is talking about?
19 A. Well, it is talking about a full
20 animation version that is the first -- and
21 again, these are just examples -- that --
22 so that's one -- the first and second
23 segments presented the first client are in
24 full animation.
25 Then there is a slideshow format

1 from a third content web server -- this is
2 around line 53-4-ish -- that a second
3 client may experience presentation in the
4 slideshow format where the initial two
5 segments presented are corresponding
6 alternative segments to the first and
7 second segments presented.
8 So this is just one example where
9 the alternative to full animation is a
10 slideshow.
11 Q. So is the -- is it your
12 understanding then that the full animation
13 there is talking about a slideshow with
14 full animations and the second version is a
15 slideshow that just has static slides?
16 A. Well, it's using the phrase
17 "animation," but it does talk about how
18 multimedia elements can include, you know,
19 audio image, animations or video.
20 Yeah, if you look at column 3, line
21 5: Such a multimedia element plays over a
22 section, period of time, and can be as simple
23 as a single image or complex as a combination
24 of images, audio, animation and video.
25 So it does talk about video as what

1 can be in segments, but in this example that
2 we're looking at, this is just one example and
3 it's saying that it's full animation.
4 But Ogdon is teaching that these
5 segments can also just, you know, be video.
6 Q. Yeah. So the section you read at
7 the top of column 3 -- that was at line 8,
8 was it?
9 A. Yeah, I think that's around
10 there, yes.
11 Q. So those are examples Ogdon gives
12 of what he calls multimedia data, correct?
13 A. For multimedia elements -- or
14 yes, multimedia -- he talks about HTML
15 multimedia data and how multimedia
16 elements, such a multimedia element plays
17 over a second period of time.
18 Q. Yeah. And in both of those
19 cases, so in line 5 and line 8, he says
20 "animation or video" in line 5, and he says
21 "animation and video" in line 8.
22 It's the difference between those
23 terms as a POSITA would have understood it
24 reading Ogdon?
25 A. What's the difference between

1 "or" and "and," is that what you're asking?
2 Q. No, sorry. What's the difference
3 between animation and video as Ogdon is
4 using them in this paragraph?
5 A. Well, I mean, there are things
6 such as like an animated GIF file, G-I-F,
7 was an example of some things that could be
8 animated, like short images embedded in a
9 web page that have animations in them that
10 are -- but I've seen animated GIFs that are
11 essentially videos.
12 So, I mean, maybe -- you know, and
13 you can imagine, like if you're videotaping a
14 presentation of someone doing a slide, a slide
15 might be sitting there or it might have like
16 animated elements on it. But if you're doing
17 a video of it, it's a video of a slide.
18 And so, I mean -- they're different
19 words, but an animation, I've certainly seen
20 animated GIFs that are short-form videos,
21 short-form series of images presented and a
22 the video people I think if -- you know, if I
23 were drawing a Venn diagram, they may have
24 some overlap, but they're not fully
25 necessarily, fully within each other.

Q. If my theory is correct, there are some types of animation that you would say are not videos, is that right?

A. That could be the case. They might not be what people traditionally think of as a video. There could be some short-form animation like that.

Like, I think if you animate a PowerPoint slide, I don't know if people would think of that as a video, they may think of it as an animated animation. You know, certainly, I think you could have a whole PowerPoint slide that is, just automatically plays a video for the slide when you get to that slide.

Q. You mentioned animating a PowerPoint slide. You're talking about making material on the slide move around while the slide deck is being watched, is that what you're talking about?

A. Yes, that's one of the things is what I was thinking of in my head, yes. But I've also kind of exported PowerPoint slides into video form to be played as part of -- as an alternative of me presenting

1 the PowerPoint as well. So I've done that
2 before.
3 So then I had animations on a slide
4 and encoded it as video, then I would think of
5 it as a video. But, you know, outside the
6 context of anything, right, we're just talking
7 generally, but if I had a PowerPoint and I had
8 something move or, you know, use the animation
9 feature, I wouldn't necessarily think of it in
10 that form yet as a video, but it has
11 animations.
12 Q. And those types of animations
13 you're talking about there, they would have
14 been available and known it a POSITA in
15 2004, is that right?
16 A. Yeah. I mean, I'm not -- I don't
17 remember when all these features were
18 available in PowerPoint, but I may have
19 used some of those features in my
20 presentations before then.
21 But again, I can't remember. But
22 sure, I mean, I think -- I think those
23 kinds of things were probably available
24 around then to present slides like that.
25 But Ogdon is teaching that the

1 segments would simply be videos as well. So,
2 you know, I refer you to my declaration for
3 where I talk about that.
4 Q. Okay. Let me know, by the way,
5 when your lunch arrives and we can find a
6 time for a break. But I'm just going to
7 keep going until you tell me.
8 Let's, if we could, turn to
9 paragraph -- I'm back in your declaration for
10 the '138 Patent.
11 A. Okay.
12 Q. Turn to paragraph 62.
13 This is where you begin analyzing
14 Claim 1 of the '138 Patent, correct?
15 A. Yes, this is for the preamble.
16 Q. Okay. Just wanted to make sure
17 we're in the same place.
18 In the second sentence you say:
19 Ogdon discloses a system for adaptive rate
20 content streaming of a video.
21 Do you see that?
22 A. Yes.
23 Q. I have questions about a couple
24 of those words. When you say "adaptive
25 rate" there, what do you -- that's language

1 from the preamble, but what's your
2 understanding of what that means?
3 A. So I think what it means includes
4 how I'm analyzing this with respect to
5 Ogdon, which is how there's video
6 presentation information received -- the
7 top of the next page I have a cite from
8 Ogdon about that -- and how there's a
9 client presentation software on a system
10 that coordinates with a browser for
11 requesting and receiving the presentation
12 segments as appropriate during the
13 presentation.
14 And that's another quote that
15 I -- from Ogdon. And how it dynamically
16 and adaptively switches between versions of
17 the presentation according to network
18 transmission characteristics.
19 So I think a person of ordinary
20 skill would have recognized that that is, you
21 know, included in what the meaning of adaptive
22 rate content streaming that's in the preamble.
23 Q. Yeah, so I'm going to -- I
24 totally understood from that answer how you
25 think Ogdon is adaptive, I think. I'm more

1 interested in how what you just described
2 there is adaptive rate. Can you explain
3 that to me?
4 A. Yeah. So it talks about the
5 network transmission characteristics of a
6 network. If you look at Ogdon, you know,
7 there are clearly some network transmission
8 characteristics that are lower bandwidth
9 and require lower rate to show the same
10 content segments. There are some that are
11 higher, have better network transmission
12 characteristics and they can show, for
13 example, full animation.
14 And so that's where the rate comes
15 in, which is, you know, different bandwidths
16 require -- to be able to keep up with the
17 segment being presented, you have some
18 information that has to be transmitted over
19 those different network transmission
20 characteristics in a certain amount of time.
21 And so that's where the rate comes
22 in because Ogdon is recognizing that you need
23 to transmit data at a lower rate for the same
24 segment when the network characteristics are
25 worse or lower, lower bandwidth.

1 But, you know, that's what its a he
2 teaching. It may not be using those exact
3 words, but that's what Ogdon is teaching in
4 terms of how its adaptive rate.
5 MR. PAQUETTE: If you want to
6 continue, please do so, but our lunch
7 is here. So if had is a good stopping
8 point or you've got a couple of more
9 questions on this, it's up to you.
10 MR. WILLIAMS: Let me just ask
11 one or two more to follow up on the
12 last answer.
13 Q. You said "keep up with the
14 segment being presented." When you say
15 "keep up with," are you talking about the
16 client?
17 A. Yeah, I mean, the client is
18 making these synchronous presentations of
19 the segment, you know. And so it's --
20 well, the client may be faster than the
21 network it's connected to. So if I'm
22 dialed up through a modem, you know, to
23 present a segment in a certain amount of
24 time and receive the next segment and the
25 next segment, that's low bandwidth. So it

1 requires data that is encoded at a lower
2 rate.
3 So I think that's what a POSITA
4 would understand. You know, the slide is
5 different than a full animation because the
6 slide has less data in it, which can be
7 transmitted -- and to transmit a full
8 animation or an alternative slide in the same
9 amount of time requires different rates of
10 network capacity.
11 And so, I think that's where the
12 rate comes in. Because, you know, you're
13 presenting these segments one after another
14 and you have to receive the segment before you
15 can play it. And everyone's watching these as
16 it's being presented and it's synchronous. So
17 the data would be transmitted at a different
18 rate and that's where -- that's how Ogdon
19 recognizes that and that's how it's teaching
20 adaptive rate, the adaptive rate part of the
21 preamble.
22 Q. So if I understood your last
23 answer to mean, in that example, if I've
24 got a client device that's got a relatively
25 slow network connection, I may not be able

1 to keep up, meaning that I may not have the
2 bandwidth to download the full animation or
3 the full video in the time that I need
4 before the next segment is going to need to
5 be presented, is that right?
6 A. I mean, that would be an example.
7 So, you know, because the segments are
8 being presented at times and you're trying
9 to have everyone see the show, you know, as
10 it's progressing, then, you know, one
11 example is you have a slower modem or a
12 slower connection to the network. And
13 therefore, if you recognize, you know,
14 Ogdon is teaching that the -- that its
15 system dynamically and adaptively switches
16 to versions according to network
17 transmission characteristics at each client
18 node.
19 So it might be that you have a
20 modem, a slow, like dial-in modem, but it
21 might be that you have a fast connection,
22 but for whatever reason, you know, someone
23 else in the house starts up a huge download
24 or someone else starts watching video or
25 doing other things that your network has

1 just slowed down for you, that's why
2 there's dynamic and adapt -- that's part of
3 the dynamic and adaptive.
4 I mean, if you're always on a slow
5 modem and you're the only person, you
6 wouldn't -- you know, you would probably need
7 to get like the lower, the lower rating
8 encoded data, like a slideshow or a smaller
9 video, smaller resolution image video. But --
10 but -- but Ogdon is teaching more than just
11 that, which is that it's teaching dynamic and
12 adaptive switching based on network
13 conditions.
14 So the network conditions can change
15 over time and Ogdon is teaching that you can
16 adapt over time as network conditions change
17 as well.
18 Q. You used the word
19 "synchronously." Just so I make sure I
20 understand how a POSITA is using that term,
21 in this example synchronous, you're using
22 that to mean that all the users should be
23 seeing the same content at approximately
24 the same time, is that right?
25 A. I think in the one example of

1 where I used the word synchronously in
2 Ogdon, that is what it was talking about,
3 that first client, second client, different
4 servers. And it was talking about I think
5 synchronous presentation or something like
6 that. Actually, let me see if I can find
7 that again.
8 Yeah, so this is column 3, line 43,
9 but go back to line -- I mean, there's a part
10 of the invention that dynamically and
11 adaptively switches between content web
12 servers and/or versions of the presentation
13 according to network transmission
14 characteristics at each client node so that
15 the clients at the client nodes have presented
16 to them simultaneously, synchronously and in
17 realtime corresponding content segments of the
18 presentation.
19 So it goes on to say that, but in
20 that sense synchronously is that everyone's
21 really seeing the same segments, you know, at
22 the same time, in real -- does it use the word
23 realtime -- yes, and in realtime.
24 So you don't want to have someone
25 get, you know, too far behind. I would say

1 that falls outside of what it's talking about
2 in this example.
3 Q. So just to follow up on that, one
4 last thing before lunch. Realtime meaning
5 that at about the time the presenter is
6 actually presenting the material? Is that
7 what that means?
8 MR. PAQUETTE: Objection. Form.
9 A. Well, in this particular
10 sentence, I wouldn't, I wouldn't argue too
11 much with that. But, I mean, I think we
12 have to look at the context of how that
13 phrase is used.
14 MR. WILLIAMS: Okay. All right.
15 This is a fine time for lunch. Let's
16 go off the record.
17 THE VIDEOGRAPHER: The time is
18 12:38 p.m. and we are going off the
19 record.
20 (Luncheon recess taken from 12:38
21 p.m. to 1:26 p.m. EDT)
22 THE VIDEOGRAPHER: The time is
23 1:26 p.m. and we are back on the
24 record.
25 BY MR. WILLIAMS:

1 Q. Dr. Houh, did you discuss the
2 substance of your testimony with anyone
3 over the break?
4 A. No.
5 Q. Okay. Do you still have your
6 declaration from the '138 Patent available?
7 A. Yes.
8 Q. Okay. If you turn to paragraph
9 64.
10 A. Okay.
11 Q. This is the beginning of your
12 analysis of limitation that you've signed
13 the identifier 1B to.
14 Do you see that?
15 A. Yes.
16 Q. Okay. So you start off by saying
17 Ogdon alone would teach this limitation,
18 but at the very least Ogdon in view of
19 Allen teaches element -- teaches 1B.
20 Do you see that?
21 A. Yes.
22 Q. So what part of Ogdon would you
23 rely on to show digitizing a video into
24 three different quality levels?
25 A. I just lost my screen for some

1 reason. Hold on. It's -- hello?
2 Q. Yeah, I'm with you. Do you want
3 to go off the record for a minute?
4 A. Yeah. I don't know what
5 happened. We went blank.
6 MR. WILLIAMS: Let's go off the
7 record.
8 (Recess taken at 1:28 p.m. EDT)
9 BY MR. WILLIAMS:
10 Q. Okay. So do you have your
11 declaration up now in front of you?
12 A. Yes.
13 Q. Okay. We were at paragraph 64,
14 and I was asking about the first sentence.
15 So what in Ogdon would you say discloses
16 encoding a video into three different
17 quality levels?
18 MR. PAQUETTE: Objection. Form.
19 A. So Ogdon teaches that the video
20 or video presentation is digitally encoded
21 at a plurality of different bitrates, and
22 that would be different versions or quality
23 levels in creating a plurality of streams.
24 Because Ogdon is talking about
25 different versions of the same presentation

1 with different network requirements, and that
2 there's a first version requirement, network
3 transmission rate sufficient for realtime or
4 animated video, and another version requiring
5 a transmission rate sufficient for graphic
6 slides. And that if a load transmission rate
7 is detected, presentation elements of a
8 reduced size can be retrieved, or if a higher
9 transmission rate is detected, presentation
10 elements of a greater size and corresponding
11 enhanced quality of presentation can be
12 retrieved.
13 And that also Ogdon talks about how
14 better presentation quality, presentation
15 elements may be retrieved, e.g., the
16 presentation quality may be enhanced from
17 limited or no animation to full animation.
18 And also, that several variations of
19 presentation content can be provided to
20 clients based on the available bandwidth. And
21 that it was well-known to a POSITA at the time
22 of the priority date to provide three or more
23 alternatives of media at different bitrates
24 for quality levels.
25 And then there's another typo, maybe

1 this was the same one, citing to Exhibit 1007
2 about what that reference says.
3 Q. So Exhibit 1007 has three or
4 more -- let me back up.
5 You agree with me that Element 1B
6 requires three different quality levels of the
7 video, correct?
8 A. At least three different
9 bitrates: A low quality stream, medium
10 quality stream and high quality stream.
11 Q. Okay. And you indicated that a
12 person of ordinary skill in the art would
13 know to provide three or more alternatives
14 for the media bitrates citing to Exhibit
15 1007. But is there anything in Ogdon that
16 discloses three different quality levels?
17 A. Well, I think it was understood
18 that there's not just two states to a
19 network, like high bandwidth and, you know,
20 that the network transmission
21 characteristics vary widely. And so I
22 think that's why I'm saying Ogdon is
23 teaching the three elements, three quality
24 levels.
25 But, you know, to the extent that

1 it's found that it doesn't, certainly with
2 Allen, combining with Allen, and I go on to
3 talk about that later in the following
4 paragraph 66 and thereabouts, which
5 actually explicitly discloses three, at
6 least the three quality levels.
7 Q. You're saying Allen explicitly
8 discloses three quality levels, right?
9 A. Yeah, I mean, Allen does
10 explicitly. And to the extent Ogdon is
11 found not to, then Allen certainly does.
12 And a person would have been motivated to
13 combine Allen with Ogdon as I discuss
14 elsewhere.
15 Q. Okay. I think I sent you
16 previously Exhibit 1005 to download. Can
17 you open that up for me?
18 A. Yes, you did, and I have it open
19 now.
20 Q. Okay. Can you tell me what that
21 is?
22 A. This is the Allen reference.
23 Q. Okay. So when you're talking
24 Allen, it's this Exhibit 1005 that you're
25 referring to, correct?

A. Yes.
Q. And that's true for all five of your declarations, this is the Allen reference you applied in all five of them?
A. Yes.
Q. Okay. And the citation you give in paragraph 66 to Allen is I think column -- well, the first citation I see is to column 12, line 1, is that right?
I'm sorry, in Allen, I guess these are page numbers. So anyway, let me restart the question.
The first citation I see in paragraph 66 to Allen is to page 12, line 1, is that right?
A. Yes, I think that's right. The first one I see in Allen as well.
Q. Okay. And do you have that section of Allen up in front of you?
A. Not yet. But I will find it.
Q. Okay.
A. Yes, I have it up now.
Q. Okay. So he calls -- he begins this paragraph by saying in the currently existing model as shown in Figure 10,

1 content is produced and encoded by the
2 content producer 1002 at the point of
3 origination. Correct?
4 A. Yes.
5 Q. And this sentence is describing
6 content delivery network techniques that
7 were in use at the time, is that right?
8 A. It is talking about this. It
9 does mention the acronym CDN later, and
10 that would be content delivery network.
11 That's on the previous page, the previous
12 paragraph that it says that as well.
13 Q. Okay, so on page 11, like line
14 20, there is a paragraph that begins Figure
15 10. Is that the one you're talking about?
16 A. Yes.
17 Q. Okay. And you're familiar with
18 that terminology, CDN, is that right?
19 A. Yes.
20 Q. Okay. So then back to page 12
21 then, the inventor goes on to say: This
22 example assumes it is pre-processed encoded
23 in Real System, Microsoft Windows Media,
24 and Apple QuickTime formats, that each
25 format is encoded in three different

1 bitrates.
2 Then he lists them. Do you see
3 that?
4 A. Yes.
5 Q. Let me ask you first, what -- do
6 you have an understanding of what the Real
7 System, Microsoft Window Media and Apple
8 QuickTime formats is a reference to?
9 A. Yeah, I think so.
10 Q. Can you explain what that is?
11 A. Well, these are separate media
12 presentation formats and systems. So Real
13 System was a system put out by RealNetworks
14 that allowed for streaming both live and
15 pre-recorded content, and they were one of
16 the first to get in the market, I think, in
17 the late 1990s, if I recall correctly.
18 And then Microsoft Windows Media
19 refers to Microsoft format for I think Windows
20 Media, and they have a corresponding player,
21 which was capable of playing local and
22 network-based content.
23 And the QuickTime form, I think is
24 one -- I think Apple put it forth, it's
25 another format for the video in which -- and

1 how it's encoded.
2 So I think they're different systems
3 that have different use -- I think different
4 encoding formats and file types.
5 Q. And it appears he's saying then
6 each format is encoded in three different
7 bitrates. And he says already nine
8 individual streams have been created for
9 one discrete piece of content.
10 So the nine individual streams
11 there, as I understand it, means that each of
12 those three you just described for Real
13 System, Windows and Apple, each of those three
14 would also have three bitrate versions of
15 those streams created, is that correct?
16 A. Yeah, I think that's how a POSITA
17 would have read that, this example. That's
18 why there are nine.
19 Q. So why was it at the time there
20 were three different formats, if you know?
21 A. Well, I think there were probably
22 a lot more than just these three formats,
23 but I think the market wasn't as
24 consolidated or standardized around certain
25 few formats as it is today or less so. And

1 the browsers didn't support natively many
2 streaming media types as in modern browsers
3 today, for example.
4 And so there were different
5 plug-ins. So like Apple had its own system.
6 I think they had a plug-in, and QuickTime was
7 the one Apple pushed. Real System was its
8 own, you know, system that was, started from
9 scratch as by RealNetworks -- or I think
10 before then their name was Progressive
11 Networks, and Microsoft did its own thing for
12 its Media Player.
13 And some them may have supported
14 eventually other formats, but I don't think
15 initially they did for various reasons that
16 may include licensing things and whatnot.
17 But back then, if you wanted to
18 reach a wide audience, this is what Allen is
19 saying, is that you would want to use
20 different formats so that people had either a
21 choice or, you know, the people on Apple
22 platforms, they would be using QuickTime,
23 people on Windows platform would be using
24 Windows Media, and Real Systems, if you had
25 the RealNetworks player, I think it was called

1 Real Player installed. It would really beat
2 supporting just the Real System and maybe any
3 open standards, but maybe not yet Windows
4 Media or QuickTime.
5 And so I think that's why it's just
6 so people had a choice in the early days,
7 because there were a wide variety of formats
8 and players that had not yet been incorporated
9 into standard browsers and whatnot.
10 Q. Okay. So going back to your
11 paragraph 66 for a moment in your
12 declaration, you're pointing to the section
13 of Allen as disclosing the three different
14 stream qualities, correct?
15 A. Yes.
16 Q. And you say a POSITA -- this is
17 about the third line of that paragraph --
18 says a POSITA would have been motivated to
19 combine Ogdon with Allen to arrive at this
20 limitation.
21 Do you see that?
22 A. Yes.
23 Q. Can you explain to me what you
24 mean by combining Ogdon with Allen? How
25 would a POSITA have gone about doing that

1 interview?
2 A. Well, it's simply taking the
3 explicit encoding levels of Allen and using
4 those to choose rates to encode video in
5 Ogdon. Ogdon's already's teaching a
6 person's video. It's not that explicit at
7 about what bitrates, but it teaches that
8 there are different bitrates depending on
9 network conditions, so different
10 presentation -- whatever my declaration
11 said. But Ogdon is teaching that.
12 So it's simply recognizing that
13 Allen is teaching these very specific
14 bitrates and the quality levels. And so a
15 person of ordinary skill would have been
16 motivated to just take those bitrates, the
17 three different bitrates that represent
18 three different quality levels, and use
19 those to encode the video in Ogdon.
20 Q. Do you recall whether Allen talks
21 about or -- I should say -- let me withdraw
22 the question.
23 Do you recall whether Allen
24 discloses the user or the system changing the
25 quality level while the user is watching a

1 video?
2 MR. PAQUETTE: Objection. Form.
3 A. Well, I mean, I'm relying on what
4 Ogdon's teaching for disclosing what the
5 claim says. I'm just using the quality
6 levels of Allen in this particular claim
7 element.
8 Q. Okay. I understood that. But
9 you don't recall Allen teaching the
10 possibility of a single client switching
11 quality levels while they're watching the
12 video, correct?
13 A. I don't recall that offhand in
14 Allen. But it's certainly disclosed in
15 Ogdon. It's combining them in this way
16 that said for this element, which is just
17 using the explicitly listed quality levels
18 for low, medium and high that Allen is
19 teaching about video, whereas Ogdon is
20 teaching different levels of quality for
21 its presentations.
22 I think a person of ordinary
23 skill would recognize -- and I discuss this
24 I think in paragraph 67, around there --
25 why they'd be motivated to make such a

1 combination. But if the combination is
2 just using explicitly listed quality levels
3 from -- the system Ogdon to encode its
4 different quality versions of video
5 segments for presentation level.
6 Q. Let me ask you about the next
7 paragraph 67.
8 Do you have that?
9 A. Yes.
10 Q. So I think this paragraph is sort
11 of describing what you're just mentioning,
12 which, let me just read it. It says: A
13 POSITA would have been motivated to encode
14 the video presentation of Ogdon, including
15 each sequential segment of the video into
16 each of a low quality, medium quality and
17 high quality level bitrate stream as taught
18 by Allen -- and then it goes on.
19 Do you see that?
20 A. Yes.
21 Q. That's what you were just
22 describing in your prior answer, is that
23 right?
24 A. I think it's part of what I was
25 describing, yes.

Q. Okay. Let me ask you a few things about that first sentence.

So, first of all, what are the -- what's the segment of the video that you're referring to there?

A. So I discuss this, if you look around paragraph 64 and then 67 and 68. But 67, I talk about from Ogdon it talks about alternative segment collections that are smaller. And so that refers to the parts of presentation including video presentation. So that there are -- those are the segments that are discussed in Ogdon.

And then in paragraph 67, that's the segment it's talking about, which is the segments of the presentation that Ogdon is talking about. And I think there's another reference here in paragraph 68, but that's the next element, so...

But Ogdon uses the word segment, I think, a number of times in its description.

Q. Yeah. Is there a place where Ogdon describes segments of the video?

A. Well, in Figure 2C, in Box 508,

1 it does talk about a collection of one or
2 more in parenthesized video segments. So
3 it's talking about video segments there, at
4 least. That's one place.
5 Q. So just focusing on that
6 disclosure in Ogdon and as shown in Box 508
7 of Figure 2C, what's your understanding of
8 what a video segment is that he's talking
9 about?
10 A. If you look in column 2, what it
11 talks about there at line 36, it says
12 Ogdon -- of Ogdon that is: Note that each
13 of the one or more presentation versions
14 includes one or more presentation segments,
15 hereinafter, also denoted simply segments,
16 that provide different portions of the
17 presentation.
18 So it's saying that the segments are
19 what's providing different portions of the
20 presentation. And elsewhere it's teaching
21 that those segments also can be video segments
22 as well and that the presentation can be
23 video. So that's what the segments refer to.
24 Q. Okay. Do you know at the time --
25 or let me withdraw the question.

1 Does Ogdon ever say which type of
2 encoding format he's using for the video and
3 whether it's any of the three specific
4 examples we looked at in Allen a few moments
5 ago?
6 A. You mean on specific bitrates?
7 Q. No, sorry, format types. So we
8 looked at RealNetworks, Microsoft and
9 QuickTime in Allen. I'm sort of wondering
10 if anywhere in Ogdon he describes whether
11 he's using any of those video formats.
12 A. I mean, I don't recall it
13 mentioning specific formats like the Allen
14 does, but it's certainly teaching that
15 there's video and that the presentation
16 segments are encoded for different network
17 characteristics.
18 Q. Okay. So back to the Ogdon
19 disclosure of segments, am I right to say
20 that, as you understand it then, the video
21 segments that Ogdon is talking about are
22 segments of video that correspond to
23 different segments of the presentation?
24 A. If you look a little further up
25 in column 2 of Ogdon, it talks about that

there's a presentation sequence in that the subcollections of segments, the presentation elements, are ordered according to their presentation sequence.

Actually, just after that segment, that portion we were looking at -- sorry, that's line around 45 -- but there was -- there was another part that said that the subcollection might be one or more -- let's see if I can find that again.

Q. You may be referring to the top of column 3.

A. Yes, but there was another -- let me just find it. It's essentially right above where I was looking. So we were looking at line 36 down, and line 39 it says: More precisely subcollections, each having one or more segments, are provided as presentation elements that each -- in that each such subcollection is intended to be an individual portion of a presentation performance.

And so it's saying that a subcollection could just have one segment and that the presentation version is made up of

one or more presentation segments. And so each subcollection can just have one element, which it may be just one segment, for example.

So, I mean, it's teaching that they are sequential parts of the presentation overall and that the presentation could be video, and it could just be one element per -- one example of what this teaching is, could be just one element per segment.

Q. Okay. I'm just waiting for a lawnmower to go by. Give me a moment.

A. And I might add, at the top of column 3, it talks about how presentation elements can be one or more of the following, which includes video.

Q. Right. So I guess in your view then, based on what you're saying here in paragraph 67 at least, Ogdon is already describing video that's been divided into segments, is that right?

A. Yes, it's describing an overall presentation could be an overall video which is divided into segments, of which each segment is a subportion of the overall presentation in the video.

1 Q. Okay. So can you explain what
2 you mean by overall video in the last
3 answer? I'm struggling to formulate a
4 question because I don't think I understood
5 what you were saying.
6 A. Well, so Ogdon is talking about a
7 presentation. What I mean by "overall" is
8 that it's the whole presentation, but that
9 the presentation is broken into
10 presentation segments that are ordered,
11 which the whole sequence of segments
12 ordered, it forms the whole presentation.
13 I think when I was trying to --
14 overall, I think I was referring to the
15 whole presentation, which is then comprised
16 of these segments that are in order. And
17 each of the segments comprise, you know, is
18 teaching that they comprise just one
19 element, which is video. And so the
20 overall presentation also could be video
21 and that's just following all the teachings
22 of Ogdon.
23 Q. Okay. So in your view, one of
24 the teachings of Ogdon is you could have
25 one overall presentation, which is one

1 overall video. Am I right so far?
2 A. Well, it would be an overall
3 video that's made of different segments in
4 sequence, each of which could be a portion
5 of the overall video. Because when you
6 play them in sequence, you still may
7 have -- you still have a video, but it's
8 made up of different presentation segments,
9 each of which is also a video, as Ogdon
10 teaches, it can be one element of the
11 video.
12 Q. Okay. And is there any other
13 place in Ogdon that we haven't spoken about
14 yet today that you think describes that,
15 what you just said, in other words, that
16 there's one overall video corresponding to
17 one overall presentation that is divided
18 into segments?
19 MR. PAQUETTE: Objection. Form.
20 A. Well, I think there are probably
21 a lot of places that reference this
22 concept.
23 So if you look at Figure 2C in Box
24 524, kind of the last two lines talk about the
25 time of presentation of this collection of

1 segments on the client node. So it's talking
2 about the collection of ordered segments.
3 There's a -- there's an element in
4 Figure 2D or some text talking about in the
5 rightmost -- it's not in the box, but it's --
6 on the right side, it says: Is a host 200
7 interrupt detected requesting a halt to the
8 presentation of the current collection of
9 segments.
10 So that's talking about the
11 presentation overall being made up of these
12 segments, which elsewhere it talks about it
13 being ordered.
14 The field of the invention, column
15 1, is talking about providing a presentation
16 to a plurality of client nodes on a
17 communications network, such as the internet.
18 And it neatly summarizes the
19 adaptation thing, which goes on to say: And
20 more particularly, to a presentation system
21 wherein network transmission characteristics
22 are utilized in determining the presentation
23 materials presented at each client node during
24 a performance of the presentation.
25 There's probably many others. If

1 you want me to spend the time looking for
2 them, you know, we can go through them.
3 Q. Well, let me just take one of the
4 examples you just gave, which is in Figure
5 2D. I think you're reading around Box 548,
6 is that right?
7 A. Yes, just to the upper right of
8 Box 548.
9 Q. Right. What is that discussing,
10 that step?
11 A. Oh, I think that is describing
12 the decision in Box 548, which is too small
13 the whole of a text. But it's asking a
14 question. And then the diamond represents
15 like a branch depending on whether the
16 answer is yes or no, what it's describing.
17 Q. And the question being asked
18 there is whether the host wants to halt the
19 current present -- the presentation of the
20 current collection of segments, is that
21 right?
22 A. That's -- yeah, that's part of
23 the question. It's part of what it says,
24 yes.
25 Q. Okay. So what is the host there,

as best as you understand it from Ogdon's teachings?

A. If you look in column 3 around line 30, it talks about, in particular, one or more presentation controlling network-connected nodes, in parenthesized, each hereinafter has denoted a host node.

So a host, they're referring to the host node that actually can control the presentation.

And again, that's just an example what's providing. Certainly it's not required that the host interrupt the presentation.

But the point of my pointing that out is it showed how the presentation was in these segments, provided through a number of segments, just additional discussion about how a presentation is made up of a sequence of presentation segments.

Q. Again, let me ask you to turn back to paragraph 67 of your declaration.

A. Okay.

Q. So we were just talking about segment of the video in the second line. And I want to ask you about, I think it's

1 the last sentence of this paragraph. It
2 starts a POSITA would have had a reasonable
3 expectation.
4 Do you see that?
5 A. Yes.
6 Q. Okay. One thing you say in there
7 is, you say: Simply encoding another copy
8 at an additional quality level would have
9 been a simple and straightforward
10 modification for a POSITA.
11 Did I read that right?
12 A. Yes.
13 Q. Okay. When you say "another
14 copy" there, another copy of what? What
15 are you referring to?
16 A. Well, another version of the
17 segment at a different quality level.
18 That's what I'm referring to. It's not
19 literally a bit-for-bit copy. But the
20 video it represents is effectively the same
21 at a lower resolution. That would be one
22 example of another copy that I'm referring
23 to.
24 Q. Okay. And you're describing that
25 as a simple and straightforward

1 modification, correct?
2 A. Yes. It's well known how we
3 encode and transcode these videos into
4 lower resolutions. So it would have been
5 straightforward probably for that reason
6 and it's fairly simple because the encoders
7 existed to do such transcoding.
8 Q. Just explain what you mean by
9 "transcoding" there.
10 A. Well, transcoding is a form of
11 encoding going from one version of an
12 encoded video to a different version that
13 has different characteristics such as maybe
14 different frame resolutions.
15 Q. So the modification that you're
16 describing here would be a modification to
17 Ogdon where Ogdon would take a video
18 segment, make a copy of it and transcode
19 that segment into a new quality level and
20 maybe do that twice, is that right?
21 MR. PAQUETTE: Objection to form.
22 A. Yeah, I used the word "copy," but
23 what I'm referring to is not a literal
24 bit-by-bit copy. It's not making a copy
25 and then transcoding that copy. You can

1 feed it a source segment at one resolution
2 and ask it to transcode it to a different
3 resolution, and it will just, you know,
4 transcode or it may do it by decoding and
5 then re-encoding or using the compression
6 space to make a direct version at a small
7 resolution.
8 But those are -- you know,
9 people -- there were I think basically
10 packages, software, that could just do
11 that. And so it's a straightforward
12 matter. It should take one version of a
13 segment and make other versions at lower
14 resolutions and lower bitrates as a result
15 of the lower resolution.
16 And that would be an example of
17 what Ogdon's teaching about a different
18 presentation segment version that would be
19 appropriate for different network
20 characteristics such as lower bandwidth or
21 temporarily lower bandwidth that Ogdon
22 teaches it can detect and use in deciding
23 which of the versions of a presentation
24 segment to provide or that the client would
25 request.

1 And so that's what I mean by that.
2 I guess, yeah, there's some -- I mean -- so
3 the word "copy" there, I understand why it's a
4 little -- a better word may have been ordered,
5 but what I mean by different encoding another
6 copy is taking one version, say a high
7 resolution version and encoding the video
8 represented by that high bandwidth version to
9 a lower bandwidth.
10 And that's what I mean by encoding
11 of a copy, an additional quality level. You
12 just simply transcode the high resolution
13 video segment into a couple of lower
14 resolution video segments.
15 And then Allen is teaching that that
16 high, the high quality level being coded at
17 600 kilobits per second and that the lower
18 ones are 356 kilobits per second.
19 So what I mean there is that you
20 would take the 600 kilobit encoded version and
21 create another version of the same video
22 segment at a lower bitrate, which is 300
23 kilobits, and yet another lower bitrate which
24 is 56 kilobits.
25 So that's what I mean by simply

1 encoding another copy.
2 Remember, also, Ogdon is teaching
3 that these different versions of the segments
4 are replacements for each other. So
5 re-encoding the video would qualify as, you
6 know, replacement for the higher quality
7 segment. But simply at a lower quality. But
8 it would be the same video and audio of the
9 higher bandwidth segment version.
10 Q. So you said it would be the same
11 video and audio of the higher bandwidth
12 segment version? Where does Ogdon say that
13 it would be the same audio?
14 A. Well, Ogdon is teaching video and
15 I think people, a POSITA would understand a
16 video has audio as part of it. And
17 certainly -- but transcoding down the
18 quality of the video certainly would -- you
19 know, there's more information in video
20 than there is in audio typically.
21 And so Ogdon is teaching that the
22 different segments are replacements for each
23 other. And certainly if you're doing a
24 presentation of video, a POSITA would
25 understand that that's got audio in it, too.

1 Q. Okay. Let me ask you about the
2 next element, which you've labeled 1C in
3 your declaration.
4 Do you see that element?
5 A. Yes.
6 Q. Okay. My first -- I think this
7 is the first place in the claim, this
8 patent, where the word "streamlet" appears.
9 I'll just read the first bit of this
10 claim -- this claim element, I should say:
11 It's the low quality stream, the medium
12 quality stream and the high quality stream,
13 each comprising a group of streamlets.
14 Do you see that?
15 A. Yes.
16 Q. We talked a little bit about this
17 at the beginning of the deposition, but
18 what meaning did you apply when
19 interpreting this word "streamlet" in your
20 application of the prior art?
21 A. So I applied the plain and
22 ordinary meaning as it would have been
23 understood by a POSITA at the time of the
24 invention in the context of the
25 specification and prosecution history.

1 That's how I come up with the plain and
2 ordinary meaning of a POSITA.
3 Q. Let me ask you something
4 different.
5 At the time, in 2004, prior to the
6 applicant's disclosure here, was the word
7 "streamlet" a term that had a plain and
8 ordinary meaning to a POSITA?
9 A. I don't think it was a term of
10 art, if that's what you're asking. I think
11 it's just, you know, people use words and
12 you can understand words even if, you know,
13 you haven't -- and may have different
14 meanings in different contexts, but you can
15 understand them in the context of how it's
16 presented.
17 And the context I used for that is
18 the context of the patent at the time of the
19 invention, and also in view of the prosecution
20 history as well. So I review that and I think
21 a person of ordinary skill would review it in
22 that context and be able to see how it's being
23 used.
24 Q. Okay. When you say it wasn't a
25 term of art, are you saying that the word

1 "streamlet" was not a term that people in
2 the art were commonly using in 2004?
3 A. Yeah, I think -- you know, if you
4 said MPEG, well, MPEG might be more of a
5 term of art because many people use that as
6 a standard for an encoding methodology.
7 But "streamlet" would be
8 understood just -- yeah, I mean, I don't
9 think that evokes this definition if a
10 person just used the word "streamlet."
11 So I think it's understood in
12 light of the specification and prosecution
13 history and of their knowledge, like the
14 ending "let", you know, people understand
15 that that, those are small things or
16 portion of things or -- I'm just talking
17 generally here, but you know, you can
18 understand that in the context, and part of
19 it's "stream" and part of it is "let" --
20 L-E-T, that is.
21 Q. Okay. So this claim element
22 recites that there's a group of streamlets
23 and each streamlet corresponds to a portion
24 of a video, correct?
25 A. Yeah, I think that language is

1 part of the claim element.
2 Q. And that's the meaning you
3 applied in your analysis as well, correct?
4 A. Well, I applied the plain and
5 ordinary meaning understood in light of the
6 specification and prosecution history to a
7 POSITA at the time of the invention.
8 Q. Okay. And does that plain and
9 ordinary meaning differ from the way I just
10 described it in my question?
11 MR. PAQUETTE: Objection. Form.
12 A. I think you just read the words
13 of the -- that were in the claim. I'm not
14 sure if you're reinterpreting or
15 interpreting them as they mean what they
16 say, or you're just saying they say what
17 they say.
18 Q. Right. I was just reading the
19 words of the claims.
20 And I guess I'm asking, in your
21 view, would a POSITA have thought that the
22 word "streamlet" is something other than
23 what's recited in this claim?
24 A. Well, I mean, I understand that a
25 patentee can be their own lexicographer, so

1 they could have defined the streamlet.
2 So I could write a patent that says
3 when I use the word blue, I mean this color
4 with these color characteristics, which I
5 might think is red.
6 But if I tell the reader that I'm
7 going to redefine the word blue to something
8 else, then subsequent uses of the word blue
9 should be read as whatever the patentee
10 redefined it as.
11 But I don't think there was any
12 express definition of the word streamlet that
13 I can recall in the -- was it the '183 Patent
14 we're talking about or -- sorry, '138, that
15 is?
16 Q. Yeah, that's right. Okay.
17 And what about the other four
18 patents that we're talking about today, do you
19 remember there being an express definition of
20 streamlet in any of those other patents?
21 A. I mean, I don't have it
22 memorized, but off the top of my head I
23 don't recall an express definition of
24 "streamlet." But I could be misremembering
25 if it's actually there. But I don't recall

one sitting here today.

Q. Okay. This is an interesting question before I forget.

Did you do any kind of analysis to see what differences there were among the specifications of these five patents?

A. I probably did way back, but I don't have a specific recollection. I mean, if I understand what the differences are, I sometimes review things by comparing them and seeing what the differences are because I've already reviewed -- base one, I don't remember which one I would have started with -- but then I would have looked to see what the differences, so I can understand what the differences were.

Q. Sure.

Do you remember any differences that stand out to you in your memory now?

A. I don't recall off the top of my head. I just don't remember everything I've ever done.

Q. Okay. Getting back into Element 1C here, okay, so now I'm at paragraph 68. Are you there?

A. Almost. Yes, now I'm here.
Q. So for this element, Element 1C, it's your opinion that Ogdon, in view of Allen, teaches this element, correct?
A. Yes.
Q. Okay. So in the next sentence of that paragraph reads: Ogdon further discloses that each stream (at each corresponding bitrate) comprises a group (elements, collections or subcollections) of streamlets (segments) encoded at respective 1 of the bitrates.
And then you have some citations?
Do you see that?
A. Yes.
Q. So let me first ask, what is the stream that you're referring to when you say each stream comprises a group?
(Pause.)
A. So I think Ogdon is closing a video presentation and there are different digital encoding at different bitrates. And so I think the stream refers to each of the overall presentations encoded at each of a number of different bitrates.

1 So if there's a presentation and
2 there are three different bitrates, there
3 are -- there's a low quality stream, medium
4 quality stream, and a high quality stream for
5 each presentation. And so that's what I'm
6 talking about, is that the overall
7 presentation is the stream -- or overall
8 presentation at a specific bitrate, as Ogdon
9 is teaching, and as Ogdon and Allen combined
10 are teaching.
11 Q. Okay. Then you say: It
12 comprises a group of streamlets, and you
13 say paren segments.
14 So does that mean that you're saying
15 in Ogdon each segment is one of the claimed
16 streamlets?
17 A. Yes. In Ogdon, this segment is
18 an example of what the claim stream is.
19 And recall that each presentation is
20 comprised of an ordered set of streamlets
21 and that's what Ogdon is teaching.
22 So the overall presentation at a
23 bitrate, it would be one stream, and these
24 different segments as is used in Ogdon are the
25 streamlets of the claim for this mapping.

1 Q. Okay. So what's your
2 understanding of what -- the citation you
3 have that follows that to Ogdon, column 2,
4 line 43 uses the word subcollection of
5 segments, i.e., presentation elements.
6 What's your understanding of what
7 that's referring to in Ogdon?
8 Let me withdraw that question and
9 ask it a slightly different way.
10 Does a subcollection of segments
11 mean something less than a segment or does it
12 mean a collection of segments that's less than
13 the entire presentation?
14 A. Well, the segments are what
15 provide different portions of the
16 presentation. And a subcollection may have
17 one or more segments that -- and so, you
18 know, where the segment is a video, because
19 it's one segment -- I'm looking at column,
20 I think, 2, around line 36, down that
21 paragraph.
22 So, you know, in the case where
23 there's just one segment per subcollection,
24 but the overall presentation makes a series of
25 segments, I think, you know, in that case

1 subcollection and segment are referring --
2 they're the same because they're just someone
3 segment per subcollection. So you could
4 either refer to it as the subcollection or the
5 segment.
6 But we already know that the video
7 is comprised of an order collection of
8 segments. And so these are the different
9 segments that comprise an overall
10 presentation.
11 MR. WILLIAMS: Why don't we go
12 off the record.
13 THE VIDEOGRAPHER: The time is
14 2:25 p.m. and we're going off the
15 record.
16 (Recess taken from 2:25 p.m. to 2:31
17 p.m. EDT)
18 THE VIDEOGRAPHER: The time is
19 2:31 p.m. and we are back on the
20 record.
21 BY MR. WILLIAMS:
22 Q. Okay. Did you discuss the
23 substance of your testimony with anyone
24 during the break, Dr. Houh?
25 A. No.

Q. Okay. I'm turning now to paragraph 69 of your declaration.

Are you there?

A. Yes, now I am.

Q. Okay, great.

The first sentence reads: Ogdon further discloses that each group comprises at least a first and second streamlets (segments) -- and then you go on.

Do you see that?

A. Yes.

Q. What is the group you're talking about there in that sentence?

A. I think I'm referring to the group at a different encoding rate. That would be a group, each group. So each group of the segments for the encoding rate.

Q. Okay. Hang on a second. Sorry. Maybe I didn't understand that.

So it would be one group of segments at different encoding rates, is that what you're saying?

A. Yeah. So the presentation has three different coding rates, for example,

in complying with Allen.

So the presentation at 600 kilobits is one group of segments, and it comprises at least a plurality of segments, because it talks about ordered segments plural.

So each encoding rate -- each of the presentations encoded at a different rate, each of those I'm saying is a group. And each group has the plurality of segments that comprise -- of ordered segments that comprise the presentation at that rate or the overall video at that rate.

I may use "group" in another way later, but that's what I'm meaning here.

Q. Okay, got it.

Let me ask you about paragraph 70.

A. Okay.

Q. It begins with the word: Additionally.

Do you see that?

A. Yes.

Q. Okay. By the word "additionally" here, are you meaning to invoke now a new theory of obviousness or is this really just expanding on the same theory of

1 obviousness that we were discussing with
2 respect to paragraph 68 and 69?
3 MR. PAQUETTE: Objection. Form.
4 A. Well, I mean, now I'm talking
5 about Allen. So now additionally there's
6 an Allen, combined with Ogdon, part of this
7 analysis, which is now what I'm moving to,
8 so I'm start to talk about Allen.
9 Q. And the combination, can you just
10 explain to me what the combination is that
11 you're analyzing in this paragraph? Is it
12 different than the ones we've been
13 previously talking about?
14 A. I think this is the same one,
15 which is, you know, Allen is teaching very
16 specific encoding rates at the low, medium
17 or high, very specific bitrates.
18 I suppose here I'm not referring to
19 the explicit bitrates, but Allen is explicitly
20 talking about this encoding of the video, the
21 same video at low, medium and high quality
22 levels.
23 Q. And what is the -- you say in the
24 second sentence here, in the middle of that
25 sentence, you say: A POSITA would have

1 been motivated to encode each recorded
2 video segment of Ogdon into each quality
3 level in parallel in realtime prior to
4 storing at the web servers.
5 Do you see that section of that
6 sentence?
7 A. Yes.
8 Q. What do you mean by "recorded
9 video segment of Ogdon" here?
10 A. So Ogdon talks about how certain
11 portions of the presentation can be
12 recorded and pre-recorded. And so I think
13 that it's teaching that the portions of the
14 presentation can be pre-recorded or
15 recorded.
16 And so what I mean there is just by
17 taking any of a segment that's recorded and
18 creating these additional versions or
19 copies -- "versions" is probably a better word
20 here -- of each recorded video segment in
21 Ogdon, at each of the levels of quality as
22 taught by Allen.
23 Q. So in that scenario you're
24 describing, how would the additional
25 versions that are being created be done in

1 realtime?
2 A. Well, the presentation would be
3 done as the video is being played back, but
4 I mean, here it can be -- a presentation
5 can be made in realtime and it can also --
6 I think Ogdon is teaching it can be
7 recorded.
8 Q. Are those two things compatible
9 with each other, being presented in
10 realtime and being recorded?
11 A. Sure. I mean, I probably
12 understand that this Zoom is being
13 presented realtime and it's also being
14 recorded.
15 Q. Okay. So let's take that
16 example. Is it possible to take this Zoom
17 as it's being recorded and encode it into
18 three different quality levels in realtime?
19 A. Yeah, I think that would be
20 possible.
21 Q. And is that the modification that
22 you're talking about here?
23 MR. PAQUETTE: Objection. Form.
24 A. I lost the paragraph. What
25 paragraph are were you on again, please?

Q. 70. Paragraph 70.
A. Sorry, could you repeat the question, please?
Q. Yeah. That hypothetical we were talking about of taking this Zoom as it's being recorded and then creating three different bitrate versions of that recorded Zoom session, is that the modification that you're describing in paragraph 70?
A. So what I'm describing here is Ogdon contemplates a live video presentation that is segmented and stored at various quality levels. And therefore a person of ordinary skill would have been motivated to encode each recorded video segment in Ogdon, each quality level in parallel in realtime prior to storing at the web servers.
So that's the combination which is Ogdon is teaching these pre-recorded -- these live video presentations that are segmented, and certainly it talks about using pre-recorded audio as part of its presentation and that, you know, that extends also to other types of multimedia content.

1 And I'm just saying that it would
2 encode each recorded video segment -- it could
3 be presented on the fly as being presented or
4 it could do it at any time -- but you encode
5 those stored video segments into different
6 versions. And that can be done on the fly.
7 I actually have a media server I run
8 at home that can do it all on the fly as I'm
9 watching a video, are being streamed, even
10 though the video is being encoded only at a
11 high resolution that I have, it can do the
12 transcoding on the fly.
13 So what I'm talking about here is
14 encoding each recorded video segment of Ogdon
15 into each quality level in parallel, in
16 realtime, according to storing of the web
17 servers.
18 Q. Do you explain this paragraph --
19 when you say a person would have been
20 motivated to do that, do you explain why
21 they would have been motivated to do that?
22 A. Well, I mean, I address it in a
23 different sections, but it's the same
24 overall reason, which is that -- if you
25 look at paragraph 67, because providing

1 three different quality levels would enable
2 user client nodes with a wide variety of
3 network bandwidth requirements and -- and
4 that's exactly what Ogdon is already
5 teaching, but some of them are on a low
6 band -- well, have different network
7 characteristics that require lower bitrate
8 presentations, and some of them don't have
9 that restriction.
10 But the presentation can be made
11 simultaneous to all those by having
12 different versions sent to them. And if
13 it's of a live video, then certainly it
14 would be motivated to keep that capability
15 to include clients on lower -- having
16 different network characteristics such as
17 lower bandwidth, and that's still the same
18 reason why a person of ordinary skill would
19 be motivated to make that combination in
20 this way.
21 Q. So the next -- well, the next
22 sentence you have a citation to Exhibit
23 1005 -- do you see that -- which is Allen,
24 is that right?
25 A. Allen, yeah, I think 1005 is

1 Allen. But I'm not sure which sentence
2 you're referring to.
3 Q. It just -- you know, it's the
4 sentence right after the one we were just
5 looking at. It says Exhibit 1005, column
6 5, line 15 to 21. That's the sentence that
7 I'm talking about.
8 A. Oh, okay. Those are just --
9 okay, sure, I see that.
10 Q. And then there is a citation to
11 Exhibit 1013.
12 Do you see that?
13 A. Yes.
14 Q. Okay. I'm going to put a
15 document in to chat. Let me know when
16 you're able to download and open that.
17 A. Okay, I have it open.
18 Q. Is this the Exhibit 1013 that
19 you're citing to there in paragraph 70 of
20 your declaration?
21 A. Yes.
22 Q. Okay. I'm going to call this
23 Chou. You know what I mean if I'm
24 referring to this as Chou?
25 A. Sure, yes.

Q. Okay. So what was it that you found Chou useful for in connection with your analysis in this paragraph?

A. So Chou is talking about somehow a streaming media system comprises an encoder which may be coupled to a camera or other realtime or uncompressed video sources in codes and/or compresses the data, the live transmission such as videoconferencing.

So Chou is teaching that there are other systems and therefore it was well known that you can actually do this compression and encode it into other resolutions even for like a live conference or realtime media source.

Q. Can you turn to column 9 of Chou for a moment.

A. Okay.

Q. There's a paragraph beginning around line 42 that starts: It is not always possible.

Do you see that?

A. Yes.

Q. Just read that paragraph to yourself. Let me know when you're done.

(Pause.)

A. Okay.

Q. Do you have an understanding of what Chou is referring to when he says: The seek delay may be as large as the transmission delay, plus the decoder buffer delay?

A. Oh, it talks about seek at the bottom of column 2, line 64.

It says seek delay refers to the latency the user experiences after he signals to the server to start transmitting data from an arbitrary place in the middle of the content, such as when a seek bar is dragged to a particular point in time before the data can be decoded and presented. So that's what seek delay is referring to.

And then it talks about decoder buffer as the -- this is the bottom of column 7, around line 60. Decoder buffer is a hardware or software component that receives encoded data from a network and stores the encoded data until such time as it can be decoded by the decoder 310.

And so what you're asking about

1 was -- so the decoder buffer is how much data
2 a player, in this example of Chou, talking
3 about how much data the client has received
4 before it's actually played back the frame.
5 So there is a decoder buffer that may have
6 some video stored in it already, that it's
7 already got over the network. And there's
8 some amount of video left there.
9 So what it's saying here, it's
10 possible that the seek delay, which is the
11 time it takes, as I said earlier, to go to a
12 new position and start encoding and sending
13 that data, plus the transmission delay, which
14 is how long it would then take that data to
15 get to the client -- and so, you know, if in
16 the condition, in this case it says the seek
17 delay may be as large as the transmission
18 delay plus the coder buffer delay -- if you --
19 and that's the situation that seeks, that this
20 invention is seeking to reduce, the start-up
21 and seek delays.
22 And so if you did jump to a position
23 in a video, you want to -- it's trying to
24 reduce the delay to get that video to the
25 ultimate sender and play it back. But you

1 might be able to tolerate like some portion
2 of -- you'd still, you know -- it has some
3 video to play back in a buffer before you
4 start to get the media from the new position
5 that the user is seeking to. And I think
6 that's what this example is talking about.
7 It's talking about how to improve on -- how
8 the invention would improve on reducing the
9 start-up and seek delays.
10 Q. And one of the ways that talks
11 about improving upon that is in the next
12 column, column 10, line 35 through 63, I
13 think. So if you could read that section
14 and let me know when you're done.
15 A. Okay.
16 Q. So in that section that you just
17 read, it seems to be describing a scenario
18 where this encoder takes full bitrate
19 content, prepares a portion that's at a
20 lower bitrate, then splices that with a
21 subsequent portion at a higher bitrate and
22 sends it to the user.
23 Is that what's going on?
24 A. Yeah, I think that is describing
25 a faster start-up environment where the

1 user can start to play sooner by doing so.
2 But this isn't the part of Chou I
3 used to support that it was well-known that
4 you could do this encoding of live video
5 content on the fly. That's a different
6 section. But this is just -- this reference
7 is not a -- it's not a secondary reference and
8 the obvious combination for this -- it's
9 simply being used to support that it was known
10 to be able to do this transcoding or encoding
11 at lower bitrates even with live video, and
12 that was a different section.
13 But sure, I mean, this section is
14 talking about how to reduce the start-up when
15 you seek by including a lower quality video as
16 the initial part of the video at the new seek
17 point.
18 Q. And at column 9, line 39, he
19 says: In this way, the start-up delay can
20 be minimized at the expense of the quality
21 of the first view frames. Is that right?
22 A. Sorry, I don't see where you are.
23 Q. Column 9, line 39.
24 A. Oh, I see it now. Yes, I see
25 that.

1 Q. And you agree that if you
2 followed the teachings of Chou at column
3 10, line 46 to 62, that's what would
4 happen, right?
5 MR. PAQUETTE: Objection. Form.
6 A. Well, that portion of Chou in
7 column 10 seems to be describing in more
8 detail the process of, you know, minimizing
9 delay by reducing the quality of the first
10 view frames of the video.
11 Q. Okay.
12 A. Again, but, you know, the portion
13 of Chou that I cite to is merely to support
14 that it was known that you can do this
15 encoding at different rates even for live
16 video sources.
17 Q. I'll ask you to turn to page 39
18 of your declaration.
19 A. Okay.
20 Q. At the bottom of that page there
21 is an element identified as 1E.
22 Do you see that?
23 A. Yes.
24 Q. Okay. And your analysis of that
25 element begins at paragraph 73 on the next

1 page, is that right?
2 A. Yes.
3 Q. Okay. The first sentence there,
4 you say in your opinion Ogdon, in view of
5 Allen, teaches Element 1E, and you cite
6 back to Elements 1B through 1C, is that
7 right?
8 A. Yeah, I mean what I'm referring
9 to is my analysis for Sections 1B -- or
10 Elements 1B and 1C above.
11 Q. Okay. Then the next sentence you
12 say Ogdon further discloses -- and then it
13 goes on.
14 So is this an alternative theory
15 for how the combination satisfies Element
16 1E?
17 MR. PAQUETTE: Objection. Form.
18 Q. Or is this part of the same
19 theory that you were alluding to when you
20 said that Ogdon, in view of Allen, teaches
21 1E?
22 A. I wouldn't say this is
23 additional. I'm referring to 1B and 1C
24 because they talk about the different level
25 of stream quality. And now I'm discussing

1 parts that I may not have discussed above
2 that somehow how Ogdon, in view of Allen,
3 is teaching this element.
4 So, I don't think I called this
5 an alternative. I think this is part of
6 the analysis that is -- now I'm talking
7 about how Ogdon is disclosing the first
8 streamlet or segment of each of the groups
9 of streamlets at the different quality
10 levels, at each quality level, has the same
11 first duration and encodes the same first
12 portion of the video in each of the quality
13 levels.
14 And that's what you'd get if you
15 transcoded a video down from an original video
16 segment. They'd represent the same timing.
17 And it encodes the same portion of the overall
18 video, albeit at a different encoding bitrate.
19 Q. Okay, that's fine.
20 And then the last sentence of that
21 paragraph after the quotations from Ogdon
22 says: Thus, Ogdon as modified above (see
23 1B) -- and it goes on.
24 Do you see that?
25 A. Yes, I see.

1 Q. Okay. So the invalidity analysis
2 that you're applying in paragraph 73 is
3 just Ogdon modified in view of Allen as
4 we've been talking about in connection with
5 the other elements, is that correct?
6 A. Yes, that's right. And this is
7 addressing an additional point, that each
8 of the first segments across the different
9 encoding rates encodes the same portion of
10 video, so -- or whatever the claim element
11 in 1E that further requires that, and so
12 that's why I'm addressing this here as
13 opposed to above, because this part of
14 Element 1E was not a requirement of
15 Elements 1B or C.
16 Q. Okay. Can we go to paragraph 75?
17 A. Okay.
18 Q. So the first sentence of
19 paragraph 75 makes reference to the
20 provisional application of Ogdon.
21 Do you see that?
22 A. Yes.
23 Q. What was the relevance of the --
24 let me withdraw the question.
25 Why is it that you reviewed the

1 provisional application of Ogdon?
2 A. Because it discloses that
3 corresponding presentation segments in the
4 collection have identical audio portions.
5 And so that's just to support what, you
6 know, what Ogdon is teaching and certainly
7 Ogdon in view of Allen are teaching.
8 Q. So was it your view that a person
9 of ordinary skill in the art would have
10 looked to the provisional to better
11 understand the disclosures of Ogdon?
12 MR. PAQUETTE: Objection. Form.
13 A. I mean, I don't remember the
14 legalisms of the provisional application,
15 whether -- I don't know. I don't know if
16 part of the -- so I'm not a lawyer here,
17 but it certainly provides some context for
18 Ogdon as filed as a -- in the application
19 that followed the provisional application.
20 I forget what they call that legally.
21 Q. That's fine. I'm not trying to
22 test you on any legal doctrines here. But
23 I guess in your view as a person of
24 ordinary skill in the art, is it fair to
25 say you found it helpful to review the

1 Ogdon provisional in trying to determine
2 how a POSITA would have understood the
3 disclosures of the Ogdon patent?
4 A. Well, I mean, I would review them
5 and the terms would mean what they would
6 mean to a POSITA in the context of the
7 specification and file history. But
8 certainly the provisional is just
9 reinforcing what I think a POSITA would
10 already understand based on the
11 specifications and file history. And so
12 it's just reinforcing that point, which I
13 think was already, would have already been
14 understood. So I don't think it's
15 necessary, but it's certainly part of the
16 background of this patent that led to its
17 ultimate issuance.
18 Q. Okay. I've put Exhibit 1014 in
19 the chat. If you could just open that and
20 verify that that's the provisional
21 application that you're referring to in
22 your declaration.
23 A. Yes, it is.
24 Q. Okay. All right. And the
25 citation that you give in your paragraph 75

is to page 35 of the document, is that correct?

A. Yes, of the PDF document, which is listed as page 34 at the bottom of the page, but it's Claim 25.

Q. Okay. That claim reads -- okay, so Claim 25 reads in relevant part: Wherein, for at least some of the collections, the corresponding presentation segments in the collection have identical audio portions for the presentation. Is that right?

A. Yes.

Q. Okay. And what was the relevance of that to your analysis in this case?

A. What it's talking about here is that the collections that it's referring to are in Claim 1 where a collection of said segments is that for the collection, the first collection, there are two segments, wherein each of said segments is replaceable when presenting the presentation by another of the said segments.

So there's multiple segments and

1 each of them can be used as a replacement for
2 the other.
3 And Claim 25 further narrows it to
4 say that the -- that each of those segments,
5 in at least some of the collections, have
6 identical audio portions for the presentation.
7 So that's relevant in just
8 supporting the notion that the video segments
9 are encoding the same underlying content as
10 taught by the Ogdon reference 1005 -- sorry,
11 1004.
12 Q. The language you just pointed to
13 in Claim 25 of the provisional does not
14 appear in the Ogdon patent, correct?
15 A. I didn't find the word
16 "identical" in my search right now. So
17 identical does appear in Claim 25, but I
18 didn't find the word -- I mean, I did a
19 search, the search index is correct. I
20 didn't not find it. I don't recall it
21 there, but...
22 Q. So is it your view that this
23 disclosure in the provisional application
24 is itself prior art against the DISH
25 patents?

1 MR. PAQUETTE: Objection. Form.
2 A. I mean, the prior art that I'm
3 using is the Ogdon patent and this is just
4 reinforcing what I'm saying the Ogdon
5 patent is teaching. And as I say above
6 this, Ogdon and -- sorry, Ogdon is teaching
7 that there are these -- that the different
8 versions of the encoded different bitrates
9 are the same digital content and same
10 duration because Ogdon, the Ogdon patent
11 does talk about them as replacements for
12 one another.
13 Q. Okay. I guess the question I
14 have for you is how does language that was
15 in a provisional application but was taken
16 out of the published patent reinforce your
17 conclusions about what the published patent
18 means?
19 A. So it's reinforcing the notion
20 that Claim 1 is talking about segments that
21 are replaceable. And that includes where
22 the audio is identical. And so it's -- and
23 I think Ogdon talks about how segments can
24 be replacements for each other.
25 So, it's clear that the patentees

had the notion in their head that replacements for each other include the situation where audio is identical across the different segments that may be replacements for each other.

Q. Okay. Let me ask you to turn to paragraph 77 of your declaration.

A. Okay.

Q. And here you're providing some reasoning why a POSITA would have been motivated to make all of the corresponding segments in equal duration of time, correct?

A. Yes.

Q. Okay. So one of the things you say is that, around the middle of this paragraph, is this would simplify the system and -- well, okay.

I guess one thing you say is this would simplify the system. Do you see that?

A. Yes.

Q. So what do you mean by "simplify"? How would this simplify the system?

A. Well, suppose that different

1 segments didn't represent the same one,
2 then, you know, the segment three and
3 one -- the third ordered segment in one
4 quality level and coding wouldn't have the
5 same content as the third segment in a
6 different level of encoding and maybe
7 segment 5. And you'd have to keep track of
8 the corresponding information and what's in
9 what segment. It simplifies it because you
10 know all the segments have the same
11 information.
12 And segment three, you just need
13 to know -- you don't need to know anything
14 else other than what segment you want and
15 what encoding level you want. And you
16 don't have to reindex segment numbers in
17 different quality collections so that you
18 can actually get to the right position in
19 the context when you request a segment at a
20 different resolution.
21 I mean, that's why it would be
22 simpler than if you didn't have the same
23 iterations, if the segments didn't encode.
24 It's the way that Ogdon is teaching,
25 to start. And to the extent that it's not

1 disclosing that, it's found out to disclose
2 that explicitly, it still would have been
3 obvious to a person of ordinary skill to
4 encode each -- encode the same content each
5 segment across different quality levels.
6 Q. Okay. But, I mean, Ogdon itself
7 already -- I mean, just as is, Ogdon
8 teaches there can be alternative versions
9 for each segment corresponding to different
10 transmission requirements, correct?
11 A. Yes, and they -- yes. Ogdon does
12 talk about that. It's not the only thing
13 -- I mean, Ogdon teaches a lot of things,
14 including that the segments can be
15 replacements for each other and a person of
16 ordinary skill would understand that
17 because of that they would be encoding the
18 same content, you know, especially when the
19 lower quality segments are also video
20 segments.
21 Q. Okay. The next thing you say in
22 that sentence is that: And so that each
23 corresponding streamlet in each version
24 could serve as a replacement for that
25 portion of presentation content so that

1 when switching to a different version
2 during the presentation, the user still
3 experience as seamless presentation.
4 Do you see that?
5 A. Yes.
6 Q. What do you mean by "seamless
7 presentation" there?
8 A. Well, if you're watching it at
9 one quality level and suddenly you jumped
10 to a different time in a different
11 presentation, it's like if you're watching
12 a movie and it suddenly jumped from the
13 beginning to somewhere in the middle, it
14 might come as a bit of a start because, you
15 know, the video was designed to be
16 presented in order or kind of from start
17 without weird jumps.
18 So if you requested the same
19 segment or the next segment in a different
20 quality level and that segment didn't
21 encode the same information or video as the
22 corresponding next segment in the current
23 resolution, then there would be this weird
24 jump.
25 I mean, there might be transitions

1 between slides, like a slide goes to another
2 slide or a scene changes from one scene to
3 another, but they may not necessarily line up
4 with any of the segment boundaries. And so
5 forcing a jump from one position to a
6 different position because of encoding is not
7 the same, the duration of the encoding content
8 is not the same across different quality
9 levels would be what I would say is not
10 seamless. A user would notice a jump in
11 position because the video doesn't have the
12 natural flow it was intended to have.
13 And so that's another reason why it
14 would be more -- if you actually had to track
15 that you could, but it would be more
16 complicated, so you know, maybe the next
17 segment in this encoding is 3, but the next
18 segment in a different encoding is 7, and so
19 you could calculate all that, but that would
20 make the system more complex. And if you
21 simply requested 3 and you got 3, you might
22 have jumped backwards in time.
23 So that's another reason why it
24 would be obvious to have all the segments
25 encode the same portion of the video

1 information.
2 Q. And then the next sentence I
3 think you describe the converse of that
4 then, which is where you have the
5 corresponding streamlets being of different
6 durations. And then you say that would
7 result in an undesirable viewing
8 experience, is that right?
9 A. Yeah, I mean, if you are
10 playing -- especially if you are playing
11 back at the beginning of a segment, you
12 know, like the same -- if the segments
13 didn't line up and contain the same portion
14 of the video, then the requested segment,
15 if you played it at the beginning and, you
16 know, where it was supposed to be was at a
17 different segment or in the middle, you'd
18 still experience this weird jump that you
19 didn't expect because, you know, if every
20 segment was, you know, every segment was
21 say five seconds long and, you know, the
22 durations between different encoding levels
23 was different so that now you're actually
24 wanting to switch to the middle of the
25 segment, then again you'd experience a jump

1 of half of that or about half of that
2 five-second or couple-second jumping video
3 and that would not -- that would not be
4 seamless either.
5 Q. Do you cite any prior art
6 examples of segments that are exactly equal
7 duration?
8 Let me withdraw that question. Do
9 you cite any prior art that contains examples
10 of alternative presentation segments that are
11 all of exactly equal duration?
12 A. Not in paragraph 77. This is
13 based just on the knowledge of a POSITA and
14 what they would expect. There's no
15 external other supporting references I cite
16 in paragraph 77.
17 Q. Okay. What about anywhere in
18 this declaration, is there any prior art
19 that you can think of that discloses the
20 idea of alternative presentation segments
21 that are of exactly equal duration?
22 (Pause.)
23 A. Yeah, I cite to the Smil document
24 for the '138 declaration.
25 Q. Sorry, what document?

A. S-M-I-L. I say "Smile." I think that's the way people say it, but I'm not -- I think that's the way people say it. If you look at paragraph 147.

Q. Just give me one second. Okay, 147 of your declaration.

A. This talks about -- to the extent that Ogdon, in view of Allen, lacks certain limitations, for example, that each corresponding first streamlet has the same or equal first duration. And Smil is taking -- because they teach this thing called the switch, which allows the author to specify a set of alternative elements encoded at different quality levels, from which only the first acceptable element, first acceptable element is chosen. And that Smil then also teaches a sequence.

But in the Smil example, it does teach that the alternative segments do have exact same duration. And so if you look at paragraph 148 at the bottom, just above paragraph 149, there's a figure there where it shows two different alternative sequences and each segment, each brush element within

1 each -- you know, there's the sequence that
2 each has -- they have the same corresponding
3 durations between them, between the first
4 sequence -- or SEQ limit and the second SEQ
5 element in the switch.
6 So that's talking about the
7 duration.
8 Q. Okay. So we'll come back to Smil
9 in just a minute, but I want to make sure
10 we finish the answer.
11 Is there any other prior art
12 reference that would disclose the idea of
13 having identical duration alternative
14 presentation segments?
15 MR. PAQUETTE: Objection. Form.
16 A. With respect to the prior art
17 that I support in this declaration, I don't
18 think I've cited anything explicit about
19 the content, just that it would -- I think
20 Ogdon is teaching it already, but if not
21 it's still obvious to a POSITA to have the
22 same content at the same duration in each
23 corresponding segments, in different
24 quality levels.
25 Q. I'm just trying to look at your

1 other declarations for a moment.
2 Do you recall if, in any of your
3 other declarations submitted in these
4 institute IPRs, you relied on any other prior
5 art that would disclose the idea of identical
6 duration alternative presentation segments?
7 MR. PAQUETTE: Objection. Form.
8 A. So just to take a quick look at
9 the table of contents. I see that for four
10 of them it's really the same art, Ogdon,
11 Allen and Smil. And then I think for the
12 '798 there's a Stein reference that I also
13 talk about, that I think I haven't cited to
14 portions of Stein that are disclosing
15 exactly what you're asking about in the
16 '798.
17 Q. Okay. That's fine.
18 First of all, let me just put into
19 the chat an exhibit. You could open that and
20 let me know what it is.
21 A. It's the -- Exhibit 1006 is the
22 Smil reference that I mentioned.
23 Q. Okay. So turning back to the
24 '138, the declaration for the '138 Patent,
25 you were referring I think to the

1 discussion beginning around paragraph 147
2 where you discuss Smil, is that right?
3 A. Yes. I was talking about around
4 this paragraph.
5 Q. So, I mean, just so I understand,
6 what is this document Smil? Is it
7 describing a streaming technology?
8 A. It's actually describing a
9 language for describing synchronized
10 multimedia presentations, interactive
11 multimedia presentations.
12 Q. Okay. Does interactive
13 multimedia presentations include streaming
14 video?
15 A. I mean, the Smil document does
16 refer to media object elements that include
17 video.
18 Q. So, let's see. You have an
19 example on paragraph 148 from Smil.
20 Do you see that?
21 A. Yes.
22 Q. And you said that this example
23 from Smil is talking about -- is teaching
24 three sequential media elements.
25 Do you see that?

A. There's two sequences, each sequence having three different elements within the sequence.

Q. Okay. And what -- so let's start with what are the media elements that you're referring to here?

A. Well, the point of referring to this was with respect to the duration, which is disclosing explicitly a five-second duration.

But if you -- Smil also discloses video elements. But the point here is to show how each of the sequences has an identical duration of five seconds -- sorry, not each of the sequences, but the elements within each sequence has a duration of five seconds.

Q. Okay. These elements that you're showing here, these aren't videos, correct?

A. They're not using the video tag. There's a separate video tag. But this is an example showing that elements can have durations in that these elements in each of these sequences have an equal playback duration of five seconds, both within the set and between the sets, so that the

1 corresponding first element of each
2 sequence is five seconds. The
3 corresponding second element of each
4 sequence is also five seconds. But they
5 all happen to be five seconds.
6 Q. Got it.
7 And in this example you've shown
8 below, there are two alternative sequences,
9 correct -- or I should say there's two
10 possible sequences, one is an alternative
11 sequence to the other, is that right?
12 A. Yes, that's fair.
13 Q. Okay. Does Smil ever disclose
14 the possibility that you could switch
15 between those two alternative sequences?
16 MR. PAQUETTE: Object to the
17 form.
18 A. So if you look at page 61, that's
19 the page at the bottom and the PDF page, it
20 talks about the switch element and it says:
21 The switch element allows an author to
22 specify a set of alternative elements from
23 which only the first acceptable element is
24 chosen.
25 And it gives an example of a

1 switch, within the switch are three
2 elements. And they're audio, each audio
3 elements. And each add a different
4 bitrate. The first bitrate of the first
5 one is 56,000. The bitrate of the second
6 one is 28,800. And so when they say the
7 first acceptable element is chosen, that
8 means, you know, if a system can handle a
9 56 kilobit bitrate, then it would choose
10 the first one. But if it can't, it might
11 go down to the next one. And if it can't
12 handle 28,8 either, it might go to the next
13 one. And so it's choosing the first
14 acceptable element.
15 Q. Right.
16 A. And it gives a choice to the
17 client to choose between the ones that are
18 there based on it whether it can handle --
19 based on whatever the decision-making
20 process of the client is here.
21 In this case, you know, what it's
22 saying here and what's described in the
23 paragraph under, under the XML fragment, it
24 can choose the right one for its purposes
25 based on the system bitrate in this case.

Q. Right.

So as I understand what's described in this example is there would be some video object being presented to the user, which I guess has this name anchor.mpg, and then the client would, while displaying that video, pick which of these audio files to play alongside the video, is that right?

A. In this case that seems fair for this example, but yeah.

Q. So just focusing on that audio portion for a second then, once the client decides to pick one of these bitrates, let's say it picked the 56K -- yeah, 56K bitrate, it can't then switch to 28,8, correct?

A. Well, I mean, I think Ogdon teaches the dynamic switching. So I'm not relying on the small element for the dynamic switching. But in this case the system would pick the first alternative element that is acceptable, that it would work for it.

And so this is ordered in -- order -- well, the least the first two, of

1 decreasing bitrates.
2 So, you know, if you can pick the
3 first one, pick the first one, the best
4 quality. And if you can't, if your system
5 doesn't support that bitrate, you know, or you
6 don't have a good enough connection, you
7 should then pick the first one that's
8 acceptable.
9 MR. WILLIAMS: I forget how long
10 we've been going. Is this a good time
11 for a break?
12 THE WITNESS: Sure.
13 MR. WILLIAMS: Okay. Let's just
14 take a quick break then.
15 THE VIDEOGRAPHER: The time is
16 3:41 p.m. and we're going off the
17 record.
18 (Recess taken from 3:41 p.m. to 3:55
19 p.m. EDT)
20 THE VIDEOGRAPHER: The time is
21 3:55 p.m. and we are back on the
22 record.
23 BY MR. WILLIAMS:
24 Q. Okay. Let me ask you to turn to
25 paragraph 83 of your declaration in the

1 '138 Patent.
2 A. Okay.
3 Q. Do you see that paragraph?
4 A. Yes.
5 Q. Okay. And here you're analyzing
6 Element 6B, correct?
7 A. Yes.
8 Q. All right. And this element
9 talks about receiving streamlet, a
10 streamlet request from an end user station,
11 right?
12 A. It does use that language as part
13 of the claim element.
14 Q. And in your analysis, is the
15 element -- is that request disclosed in
16 Ogdon or does it come from Allen?
17 A. I do show how Ogdon is disclosing
18 this. But then also, I talk about how
19 Ogdon, in view of Allen, teaches that at
20 least the one streamlet requests from the
21 one or more end user stations includes
22 requests currently selected one of the low
23 quality stream, medium quality stream and
24 the high quality stream, based upon a
25 determination by the client to select a

1 higher or lower bitrate version of the
2 stream.
3 So I think in this case, I think
4 both would be the answer -- or at least
5 different portions. But I think that's what I
6 say in the first part of 83 as well for Ogdon.
7 Q. So -- well, let me ask, let me
8 just get a little more detail into this
9 Claim Element 6B.
10 I'm just going back to the claim
11 text here. Kind of the end of that element
12 says that this request is based upon a
13 determination by the respective one of the one
14 or more end user stations to select a higher
15 or lower bitrate version.
16 Do you see that?
17 A. I think so, yeah. I wasn't
18 looking where you were reading from, but I
19 think it says pretty much what you read.
20 Q. Yeah. And I guess I'm trying to
21 figure out how you interpret this claim
22 language a little bit.
23 Is it your understanding that to
24 practice this element, the client would need
25 to make a change in bitrates?

1 MR. PAQUETTE: Objection. Form.
2 A. Well, at least one streamlet
3 requests, as included requests for
4 currently selected first streamlet from one
5 of the different three, based on a
6 determination of the respective one or more
7 end user stations to select a higher or
8 lower bitrate version of a respective one
9 of the plurality.
10 And what I'm saying is that what
11 Ogdon is teaching, and Ogdon and Allen are
12 teaching together to disclose this, teach this
13 element, I talk about how Ogdon describes
14 how -- this is later down in the paragraph:
15 While the present invention employs a client
16 request technology for each presentation
17 member's browser requests information from a
18 communication network server.
19 And I think I describe above how in,
20 I think it must be 1B, how Ogdon is teaching a
21 dynamic -- I forget the exact words -- but
22 dynamically requesting the different levels
23 based on the network characteristics current.
24 I can look back at 1B to
25 double-check that. I don't remember the exact

1 wording, but let me find that.
2 Yeah, Ogdon talks about, on page 33
3 near the bottom, how if a low transmission
4 data rate is detected, presentation elements
5 of a reduced size can be retrieved, or if a
6 higher transmission is detected, presentation
7 elements of a greater size in corresponding
8 enhanced quality presentation can be
9 retrieved.
10 So I think that's covering the part
11 where it -- I think there's a dynamic quote
12 here somewhere. Let me find that.
13 Q. Sorry, where in Ogdon were you
14 just reading from? I apologize.
15 A. I'm sorry, let me -- it was up in
16 Element 1B. At the bottom of page --
17 middle of page 34, I think. It may be the
18 bottom of page 33 -- yeah, 33, page 33,
19 paragraph 64. So that's what I was
20 referring to.
21 And then the preamble, I've already
22 discussed how there's dynamically -- so this
23 is on page 32, in the first partial paragraph
24 on that page, the bolded words: Dynamically
25 and adaptively switched between investigations

1 of the presentation according to network
2 transmission characteristics at each client
3 network node.
4 So that's adapting to network
5 transmission characteristics, which changed.
6 And so I think that covers -- certainly this
7 disclosure in Ogdon discloses the streamlet
8 requests for different higher or lower bitrate
9 version.
10 And then I think I'm talking here in
11 Ogdon about how the requests are actually
12 received in a little more detail because I
13 don't think that part of this claim was in the
14 corresponding 1B. Double-check that, too.
15 Yeah, 1B doesn't talk about requests
16 from receiving end user nodes or anything like
17 that. So I think that's why I address that
18 portion of element for 6B, was it. I talk
19 about Ogdon first, and then Ogdon and Allen.
20 And so I think Ogdon is already
21 teaching that there is a dynamic switching
22 that occurs, and so I think it certainly meets
23 the part of 6B that talks about the -- based
24 upon a determination and the different various
25 streamlets that are discussed in 6B.

Q. Okay. So that section that you were reading from on page, was it 32 -- the dynamically and adaptively, in Ogdon that's in column 3 around line 38, is that right?

A. I think that's where I'm citing to, yes. I see it at line 38 or 39.

Q. Okay, yeah.

Okay. An example it gives at the end of that is, starting at line 44, is a first client can experience the presentation as an ordered series of presentations in full animation.

And then in line 51, there is a second client that may experience the presentation in slideshow format.

So those are two different ways of viewing the same presentation for two different users, correct?

A. I mean, that's the example that's given. But elsewhere it does give examples how videos can be -- the segments can consist of a single video element, for example, and that the presentation can be video.

So the example given here is with

1 respect to full animation and then a slideshow
2 format. This part of the discussion, it was
3 talking about how the content may be on one
4 server, multiple servers, and that -- so this
5 is going through a few examples of some of the
6 different combinations of where the data is
7 coming from, which server.
8 Yeah, because the dynamically and
9 adaptively switchings between content web
10 servers and/or versions of that presentation.
11 So it's giving some with, you know -- it's
12 teaching a variety of things here and some
13 flexibility. And examples are going through a
14 few of the examples, not going to go through
15 all possible examples.
16 Q. Okay, so, but I mean, this is the
17 sentence that you bolded in your
18 declaration about dynamically and
19 adaptively switching. So I just wanted to
20 make sure I understood what you thought
21 Ogdon was teaching here.
22 One example is these two different
23 clients that are receiving two different
24 versions of the presentation, correct?
25 A. Right. I mean, so one thing it's

1 teaching, it's teaching that there's a
2 dynamically and adaptively switching
3 between versions of the presentation
4 according to network transmission
5 characteristics and also is teaching that
6 it's dynamically and adaptively switching
7 between content web servers according to
8 network transmission characteristics.
9 So it's teaching both those things
10 with what it's saying. And then in the
11 example it's giving some combinations where
12 it's switching web servers and switching
13 content presentations at the same time.
14 But it doesn't have to go through
15 every example. For example, you might not
16 switch between content web servers. You just
17 might, you know, get different versions from
18 the same web server. I don't think it
19 specifically lists that example, but that
20 could have been an example. That's certainly
21 covered by what Ogdon is teaching, you know,
22 on line 39 down to the next couple of lines.
23 And then it is enumerating a few of
24 the examples. And they appear to be somewhat
25 more complex than the simple thing we just

1 went over where you're just going to request a
2 different content version based on the network
3 characteristics from the same server.
4 You know, so the examples are more
5 complex because it's switching between both
6 content web servers and media presentation
7 versions in some of the examples.
8 Q. Okay, yeah, that's fine.
9 Just going back to Element 6B, which
10 again, it's around paragraph 83 of your
11 declaration.
12 So the modification that you've been
13 proposing to Ogdon, in view of Allen, as we've
14 talked about, involves encoding a single video
15 presentation into multiple bitrates, correct?
16 A. I think that's already disclosed
17 in Ogdon. But Allen -- did you say Allen,
18 the combination?
19 Q. I think so. That's what he meant
20 to say, yes.
21 A. As close as explicit bitrates for
22 low, medium and high quality levels. And
23 so I think that's -- you know, to the
24 extent Ogdon isn't teaching three different
25 quality levels, low, medium and high, Allen

1 is teaching that.
2 But I think it does because, you
3 know, network characteristics can vary. It
4 could be that maybe you need the low. Maybe
5 it's really congested, you have severe
6 congestion on your network. Maybe you have
7 moderate congestion where a medium would do.
8 Or maybe you're free and clear and you could
9 go with high.
10 And so I think Ogdon does teach that
11 to a POSITA. But to the extent it doesn't,
12 Allen explicitly teaches low, medium, high,
13 three quality levels at very specific
14 bitrates.
15 Q. Okay. So in that modification
16 with Allen, would there be any change
17 needed to Ogdon's approach of transmitting
18 streamlet requests from the end user
19 station?
20 A. So in this analysis for 6B, I
21 don't see a citation to Allen. I think the
22 reason Allen is there is because, you know,
23 to the extent it requires the three
24 encoding levels, you know, Allen is
25 disclosing the three different coding

1 levels, which would be understood as low,
2 medium and high. But I think, again, Ogdon
3 is already talking about making a request.
4 You know, I talk about how, in
5 paragraph 84, the first block citation to
6 Ogdon is the client node provides the identity
7 of the selective web server and next segments
8 to the client node's browser and the browser,
9 in turn, sends a network request to the
10 selected web server for the selected
11 subversion of segments.
12 Then the client presentation
13 software monitors the time elapsed before the
14 transmission of the selected collection of
15 segments is completed and determines whether
16 these segments or provided with an appropriate
17 window of time that allows them to be
18 presented during the performance of the
19 presentation.
20 So it's really Ogdon that I'm citing
21 to for the requests made by the client to the
22 server and receiving a request from end user
23 station.
24 And I think Allen is here because,
25 you know, this claim element requires three

1 different quality levels, and Allen is
2 explicitly teaching three different quality
3 levels, which would be understood to be low,
4 medium and high for the different bitrates
5 that Allen provides.
6 Q. The way Ogdon's requests work,
7 are those for single segments of the
8 presentation?
9 A. Well, Ogdon at 759 and 63 talks
10 about how the client presentation software
11 system 88, that coordinates with the
12 browser 78, for requesting, receiving and
13 displaying presentation segments from the
14 network, and that's the software at line
15 1954 to 59, client presentation software
16 ADA is instructed by the presentation
17 controlling host to retrieve and cache by
18 network one or more initial presentation
19 segments -- certainly teaching that it
20 would retrieve one presentation segment
21 from the web server. And then it could
22 request more as needed, which would be a
23 different request for one more.
24 Q. What was that section you were
25 just reading from in Ogdon?

1 A. I think that was 1954 to 59 that
2 I've cited on page 47.
3 Q. So this discussion at 1954, this
4 is the host transmitting to the client the
5 identification of potential segments it
6 could request, right?
7 A. Well, it is talking about how
8 there's a presentation controlling host,
9 instructs the presentation software on the
10 client to retrieve and cache one or more
11 initial segment, presentation segments.
12 So that means one or more. It means
13 that it could be requested to get just one.
14 And so it is disclosing that the segments can
15 be individually requested by the host here.
16 Q. Right. But, I mean, this claim
17 is talking about the client actually making
18 a request. And I guess my point was, seems
19 like you're citing to the section of Ogdon
20 that talks about the host identifying to
21 the client a list of segments that it could
22 request.
23 MR. PAQUETTE: Object to form.
24 Q. Is there a section of Ogdon you
25 think shows the client making the actual

1 request for a segment?
2 A. I mean, that's what it's saying
3 here. It's instructing the client host to
4 then make one or more initial presentation
5 segments, request one or more -- to
6 retrieve one presentation segment. So it's
7 going to be making a request for a
8 presentation segment here, and that's what
9 it's instructing the host to do.
10 You know, this is the kind of
11 flexible language in patents that most patents
12 have in it. And it is talking about how it's
13 the host that is now going to retrieve one
14 presentation segment.
15 Q. So, okay, maybe I'm confused. I
16 thought you said Ogdon was teaching a pull
17 system where the client makes a request for
18 a segment. Are you saying that this is a
19 push system where the host actually decides
20 what segments to push?
21 A. No. The client decides based on
22 dynamic networking, what version, and it
23 may request them one segment at a time for
24 the appropriate version.
25 Q. Okay.

1 A. That's what Ogdon is teaching as
2 a whole.
3 I know you're focusing me on this
4 one little section here, but, you know, it's
5 Ogdon as a whole teaching it, and I describe
6 why in my declaration in more detail than just
7 look at this one section.
8 Q. Sorry, I'm not trying to force
9 you to analyze this section. This is a
10 section that you pointed me to. So I'm
11 just trying to understand how it informs
12 your opinion because it seems to me this is
13 about the host deciding what presentation
14 segments to present, not -- it's not
15 showing the client, which is 56 making a
16 request.
17 So is there a different section
18 of Ogdon that you think better discloses
19 the client making a request for segments?
20 MR. PAQUETTE: Objection to form.
21 A. This was not the only section
22 that I cited in that paragraph. There were
23 numerous sections. And taken as a whole,
24 the hosts are making requests to retrieve
25 segments. That's what this is teaching

1 here. And it can make a request for just
2 one segment. That's what this is teaching
3 here.
4 And, you know, Ogdon is teaching
5 that the -- sorry, not the host, I meant the
6 client in this last answer. It's the hosts
7 that are instructing the client to retrieve
8 one or more segments, which means a client can
9 retrieve one segment.
10 And we know that the host can adapt
11 dynamically to the changing network conditions
12 and so it can -- and I think there was also a
13 portion where it talked about measuring the
14 time and things like that.
15 So it's teaching about how the
16 client can request one segment at a time here
17 as instructed to -- you know, the host may say
18 here's a list of all the segments, you can
19 request one to get started. I think that is
20 part of what it's talking about here.
21 But ultimately, it's the client that
22 is making a request for -- and a determination
23 as to which version, you know, it may want to
24 get based on, of the next segment, based on
25 changing network conditions and dynamically

1 and adaptively is, I think, the phrase that
2 Ogdon actually uses.
3 Q. So one section you also cite is
4 column 22, line 12 of Ogdon in this
5 paragraph, correct?
6 A. Yes, I see that.
7 Q. Okay. And that section of Ogdon
8 talks about an appropriate version of the
9 next collection of segments to be
10 retrieved.
11 Do you see that? That's at the end
12 of your paragraph there.
13 A. Yes, I see that.
14 Q. So what is the collection of
15 segments that's being referred to there?
16 A. Well, it talks about later in 22,
17 down at line 30, the time for each network
18 70 transfer of a collection of segments
19 (e.g., one or more presentation elements,
20 each such element having one or more
21 segments therein).
22 So again, it could just be one
23 presentation element with one segment. So a
24 presentation segment, a selection of segments
25 could just be the next video segment that's in

1 sequence. That's what it's teaching. Because
2 it's saying the collection of segments is
3 really just one or more of presentation
4 elements, each element having one or more
5 segments. So it could just be one video
6 presentation element having a video segment.
7 And so that's what it's talking about there.
8 And that's what it's referring to.
9 So if the next video segment is not
10 small already, then the client software uses
11 its connection and its most recent
12 characteristics that it's, you know, data
13 transmission characteristics, together with a
14 list, a close transmitted list of network
15 addresses for content web servers, and
16 appropriate version of the next collection of
17 segments to be retrieved.
18 So collection of segments could just
19 be the next video segment. And so it's saying
20 that -- and remember the examples where the
21 segments that one quality level could be
22 stored on one server and a different quality
23 level could be stored on a different server.
24 So, using the list that the host
25 provided, the client node can make a request

1 from the appropriate server, could be the same
2 server it's always made, therefore an
3 appropriate next segment based on its
4 currently measured or currently measured
5 network characteristics.
6 So that's what this is saying and
7 teaching. I mean, it's teaching something
8 that's broader and more flexible. But if you
9 look at what Ogdon is saying about what a
10 collection of segments is, it could just be
11 the next video portion to play. So that's
12 kind of why I cited this section here.
13 Q. Turn to paragraph 113 of your
14 declaration.
15 A. Okay.
16 Q. This is analyzing Element 14D,
17 correct?
18 A. Yes.
19 Q. Okay. And this element requires
20 a determination by the end user station to
21 select a higher or lower bitrate version of
22 the streams, correct?
23 A. Yes.
24 Q. Okay. And so where -- and you're
25 relying on Ogdon to disclose this

1 determination, is that right?
2 A. Yes.
3 Q. Okay. Is there something new in
4 this analysis that wasn't present in the
5 analysis we just looked at?
6 A. There may be. This is a lot
7 longer, but it's a lot of the same -- I see
8 some of the same citations. But, I mean, I
9 think what I just read to you from I think
10 column 22 was, I think that must be in
11 here, too. I thought it's, you know, if
12 the next statement is not cache, it looks
13 at what the network characteristics are and
14 then determines what next version to
15 select. And that's part of it here, too, I
16 think, along with all of the other
17 citations that are part of this.
18 Q. Okay. Are you familiar with the
19 term "objective evidence of
20 non-obviousness" or sometimes called
21 "secondary considerations"?
22 A. I've heard of them, yes.
23 Q. Have you applied those ideas in
24 other cases where you've given testimony?
25 A. I'd say on occasion I may have,

1 but it's not that --
2 Q. Sorry, go ahead.
3 A. I'd say occasionally I have, but
4 it's not that frequent.
5 Q. Okay. Did you apply those ideas
6 in this case?
7 A. I don't think I have a section in
8 my declarations here that address directly
9 secondary considerations.
10 Q. Do you recall why not?
11 A. Well, I mean, I think -- I don't
12 understand that -- I mean, it's hard to
13 know what arguments the patent owner is
14 going to come back with. But, I mean, I
15 reviewed the documents I cite, including
16 the ITC case. But you know, if they're
17 raised in this matter, then I hope I'll
18 have a chance to respond to them.
19 Well, actually, I understand --
20 Mr. DeFay has raised that issue, but I have
21 not responded to them, and I hope I will have
22 a chance to respond to them.
23 Q. I see. So before submitting your
24 declaration, you had reviewed the ITC
25 determination, correct?

1 A. Yes.
2 Q. And do you recall there being a
3 discussion of objective indicia of
4 non-obviousness in that determination?
5 A. Yes, I remember part of it.
6 Q. Is there a reason you didn't
7 address it in your declaration?
8 A. Well, I don't agree with -- I
9 didn't agree with some of the rulings in
10 the ITC case, especially with respect to
11 the nexus. And so I didn't see how some of
12 the evidence that was presented actually
13 were based on the nexus for what was the
14 reason that these patents were granted over
15 everything that already existed beforehand.
16 So, I mean, other than that, I don't
17 have -- I -- you know, I hope now that it's
18 been raised by patent owner that I will have a
19 chance to respond.
20 Q. Thinking back to the work you
21 were doing at MIT during your Ph.D., during
22 your graduate work I should say, you said
23 that I think at the time MIT wasn't
24 attempting to commercialize the technology
25 that you were developing, is that right?

1 A. Yeah, I'm not aware of any
2 efforts by MIT to take what we did and
3 commercialize it.
4 Q. Do you have any idea why they
5 weren't trying to commercialize that
6 technology at the time?
7 MR. PAQUETTE: Objection. Form.
8 A. Well, I mean, we never went to
9 the Patent Office at MIT, which some
10 students do, and, you know, get MIT to file
11 a patent on any of our work. So I think
12 that would have been step number one. Our
13 advisors never mentioned it. Actually
14 didn't realize it was an option until years
15 later, that MIT did own a substantial
16 number of patents.
17 Q. In your view, when was adaptive
18 bitrate streaming sort of commonplace in
19 the market?
20 MR. PAQUETTE: Objection. Form.
21 A. Well, I mean, I think with
22 respect to these declarations, I certainly
23 think that Ogdon, and Ogdon in combination
24 with other references, disclose it. And I
25 think Ogdon is describing, you know,

1 adaptivity based on network conditions for
2 video presentations.
3 I would have to think about it and
4 perform some type of analysis, but that's not
5 part of what I did for this calculation.
6 Q. In terms of this notion of Ogdon
7 teaching adaptive streaming, you talked --
8 we talked earlier about your paragraph 77
9 that mentions the undesirable viewing
10 experience of having a presentation that
11 was not seamless.
12 Is it your view that Ogdon had a
13 seamless presentation?
14 A. I mean, Ogdon has -- issued and I
15 think it has a presumption of validity and
16 enablement in that someone could make and
17 use what Ogdon is presenting, and I don't
18 see how, why one would assume that it's not
19 working the way it talks about.
20 Q. Well, does Ogdon ever say it's a
21 seamless presentation?
22 A. It talks about how presentations
23 are made. You know, patents often don't
24 describe what they're not.
25 So I don't see why -- you know,

1 Ogdon is describing a system for making
2 presentations, presentations could include
3 video, and that they're adaptive, it adapts to
4 changing network conditions. And this is all
5 in my report, I'm kind of paraphrasing what's
6 already there.
7 And Ogdon, and Ogdon in combination
8 with other references, actually render obvious
9 the challenged claims for the reasons I
10 provide in my declarations.
11 So there are reasons why
12 motivations, why you wouldn't do certain
13 things a certain way and you wouldn't do them
14 another way. And I've laid those out with
15 respect to the segments being the same
16 duration and encoding the same content, I
17 think.
18 At least in 77, it's about the
19 quality level segments each having equal
20 playback duration and why a person of ordinary
21 skill would have been motivated to make them
22 to be exactly the same length across the
23 different segments of different quality
24 levels.
25 And so I give those reasons there.

1 And I still stand behind these reasons.
2 They're still good reasons why a POSITA would
3 do it this way.
4 Q. Right, okay. But I just want to
5 make sure -- so two questions about that.
6 So first, is it your opinion that a
7 person of ordinary skill in the art reading
8 Ogdon would understand that the end user would
9 experience a seamless presentation as you
10 describe it here in paragraph 77?
11 A. I mean, I don't think Ogdon uses
12 the word "seamless," right, in the
13 specification because it's describing a
14 system that allows a presentation to be
15 made, that includes video presentations
16 that may be made up of different video
17 segments encoded to meet different network
18 characteristics of different users.
19 And so it works the way it's
20 designed and laid out to work, and it's an
21 issued U.S. Patent is my understanding.
22 So my point about it not being
23 seamless is a person of ordinary skill, to the
24 extent -- would do it to make all the
25 different segments across different qualities

1 include the same content for the same length
2 of time so that the presentations at the, you
3 know, switching between segments -- it's
4 simpler, I think we talked about that earlier,
5 the system would be simpler if all the
6 different segments, different quality levels
7 encoded the same duration of content because
8 you wouldn't have to keep a separate index and
9 mapping where the content you want to play
10 next is in which segment of alternative, you
11 know, encoded stream collections.
12 So it would be simpler to do it that
13 way. And furthermore, if you did encode them
14 at different durations of content in each
15 segment between the streams, if you simply
16 picked the next segment, there would be a jump
17 in the video because the segment doesn't
18 encode the same video.
19 And that's why a reason to not -- a
20 person of ordinary skill wouldn't do it that
21 way, but would choose to do it the way that's
22 simpler and would not produce jumps in video
23 if you simply just requested the next segment
24 to play of a different quality, even
25 knowing -- they wouldn't even do that because

1 they would know that that's not the right next
2 segment to play anyway.
3 So that's all part of the thing why
4 doing it in a different way -- why doing it
5 the way that I've laid out would have been
6 obvious to a POSITA and it they would be
7 motivated to make all of the corresponding
8 segments in each version in equal duration of
9 time to simplify the question, and so that
10 each corresponding streamlet in each version
11 could serve as a replacement for that portion
12 of presentation content.
13 And that's what Ogdon teaches, that
14 the alternative segments are replacements for
15 the segment.
16 So that when switching to a
17 different version, using the next segment in
18 the sequence, there would be a seamless
19 presentation. That wouldn't jump because --
20 that there were different durations of content
21 between segments of different quality
22 encoding.
23 Q. So one thing you don't discuss in
24 your declaration is the latency issue
25 associated with switching to a new stream.

I mean, isn't it true that when you switch to a new stream, the client would need to buffer the content before it could begin playing that new stream?

MR. PAQUETTE: Objection. Form.

A. I don't think the claims, the challenged claims across all five challenged patents use the word "latency" in the claim elements. So I'm not -- I don't know. If you point -- if there's someplace I have discussed this, I mean if you point me to it, we can look at it.

Q. Yeah, 77 where you talk about the seamless presentation experience. I'm trying to figure out how that would work in practice. Because when this occurs, when you make the switch that you're talking about between a low bitrate and a high bitrate, isn't it true that the client is going to have to buffer that new stream until it could begin playing it back?

A. Well, I mean, with respect to audio functions, Ogdon does actually teach buffering IP packets received from the audio server. That's in column 13, line 32

1 or so. And also in column 27 around line
2 17 it talks about how -- I think this is a
3 characteristic of the data, a resource type
4 where live streaming of an audio file by a
5 network requires dynamic realtime buffering
6 at the client nodes. I think a person of
7 ordinary skill would understand that would
8 apply to it as well. So I think it is
9 discussing some buffering here.
10 Q. Sorry, what was the first cite,
11 13, column what?
12 A. 13, around line 32. And I think
13 that it was just well known at that time.
14 I meant a voice over IP live conversational
15 system in the late '90s and we had buffers
16 to buffer the realtime content.
17 Q. Right. So the buffer in column
18 13, line 32 is an audio player -- this is
19 discussion in -- this is discussion in
20 Ogdon of previously recorded audio, right?
21 Is that what you're referring to here?
22 A. I think it is talking about
23 preloaded audio presentation data at the
24 content manager. But, I think, you know,
25 if you're making a presentation and the

1 presenter is speaking, this is like the
2 system that we built in '98-'99 timeframe.
3 You know, it's known how to do this live
4 audio and live media using buffers.
5 I'm not sure why that's an issue
6 you seem to not think is present in Ogdon.
7 It talks about how it uses buffers. You
8 know, that's how you -- even with live back
9 and forth conversational audio, you
10 would -- we need to use buffers. We
11 weren't doing anything even in '98-'99.
12 Q. So I just want to make -- let's
13 finish this discussion about this section
14 you pointed me to at column 13.
15 So this has to do with a phone
16 bridge, right? This situation is when the
17 user is receiving audio separately from the
18 visual material they're getting from the
19 presentation, right?
20 A. Well, it is talking about
21 receiving IP packets with audio. This is
22 kind of over a network, not a separate
23 phone bridge that uses a phone system.
24 This is talking about bridging IP audio --
25 and that could be because, you know, people

in the presentation might want to talk back. I mean, I'll look to see what it's describing here, but I mean, that's just -- it's talking about IP packets of media content received over the network and how they would be buffered.

And then the other citation actually talks about realtime, you know, live streaming and real, dynamic realtime buffering.

Q. Okay. Which section? Where is that citation?

A. That's 27, line 17. And that's live streaming of an audio file.

Q. Okay, great. So for that one, this requires dynamic realtime buffering at the client nodes, correct? That's what it says?

A. It says that.

Q. Okay.

A. Live streaming of an audio file requires, you know, via the network, requires dynamic realtime buffering at the client nodes.

Q. Okay. So is that dynamic realtime buffering discussed there mean

1 that the bitrate of the audio can change?
2 A. I mean, I certainly think that
3 the audio file could be encoded in
4 different quality levels as well and that,
5 you know, depending on network
6 characteristics, it could. And Ogdon is
7 teaching that these media elements can be
8 audio. It's teaching that the audio can
9 come from over the network live. It says
10 here from an audio file, but there's no
11 reason it can't be applied to actual live
12 data collected from the presenter. And
13 then it certainly could be encoded at
14 different quality levels.
15 I mean, overall that is what Ogdon
16 is teaching. It's teaching a dynamic system
17 where the next segment, you know, of overall
18 video presentation -- in this case it could
19 just be an audio presentation is, you know,
20 the next step is determined based on the last
21 measured network conditions. That may affect
22 what next segment you choose.
23 So that applies to video, as I've
24 laid out in my declarations, and it could be
25 the case for audio as well, but we're focusing

on the video part for the challenged claims.

Q. So do you still have Chou available, which is Exhibit 1013?

A. I closed it, but I can bring it up again.

Q. Okay, if you can do that for me.

A. Okay. I can do it now.

Q. All right. Turn to column 7.

A. Okay.

Q. And then starting at line 9, there is a paragraph that talks about in operation, for example.

Do you see that?

A. Yes.

Q. Okay. So it says: In operation, a user clicks on a link to a video clip or other video source such as a camera used for videoconferencing or other purposes and an application program for viewing streamed multimedia files launches from a hard disk to the video client.

Do you see that?

A. Yes.

Q. Okay. This is describing a pretty typical system for on-demand video

1 streaming, correct?
2 A. I mean, it's describing the
3 system that it's claiming in its invention.
4 Q. Right. And this is a reference
5 that you point to in your declaration as
6 being kind of evidence of what a person of
7 ordinary skill in the art would have known
8 at the time, correct?
9 A. It is. And I think I cited to
10 specifically the paragraph before the one
11 we're looking at.
12 Q. Uh-huh, yep.
13 Do you have reason to believe this
14 paragraph is not describing something a person
15 of ordinary skill in the art would have been
16 familiar with at the time?
17 MR. PAQUETTE: Objection to form.
18 A. Sorry, I'm not sure if it's the
19 same previous paragraph. But the previous
20 paragraph talks about QuickTime, Real -- I
21 think it actually may be slightly a
22 different paragraph, but it's describing
23 the same things that a person knew.
24 I'm sorry, could you repeat the
25 question, please?

1 Q. I think you answered it. So let
2 me just go on.
3 It says -- this is now line 15:
4 Rather than waiting for the entire video
5 download, the video starts playing after
6 initial portion of the video has come across
7 the network, continues downloading the rest of
8 the video while it plays.
9 Do you see that?
10 A. Yes.
11 Q. Okay. The user does not have to
12 wait for the entire video to download
13 before the user can start viewing.
14 However, in existing systems, there is a
15 delay for such on-demand interactive
16 applications before the user can start
17 viewing the initial portion of the video.
18 The delay, referred to herein as a startup
19 delay, or a seek delay, is experienced by
20 the user between the time when the user
21 signals the video server to start
22 transmitting data and the time when the
23 data can be decoded by the video client and
24 presented to the user.
25 Do you see that?

A. Yes.
Q. So, so far this has been describing a prior art as it existed at the time of this Chou patent, correct?
A. This is some of what's existing. It's not the entirety of all human knowledge about this topic.
Q. Okay. And then it says: However, the present invention as described below achieved low latency responses from video server 204 and thus reduces the start of delay in the seek delay, correct?
A. I see that.
Q. Okay. So my question to you is, what's described here is the start-up delay going to be a feature of the Ogdon system as you've modified it. So when a user makes a change to the stream that they're requesting, are they going to experience the start-up or seek delay that's described here in Chou? And if not, can you explain why not?
A. Well, every system that doesn't do pre-caching would be subject to delay because, you know, if a server is somewhere

1 else, you have to make a request, the
2 request has to be processed and retrieved
3 and you have to cede to the appropriate, it
4 could be the beginning of the file, but you
5 still have to access the appropriate
6 portion of the file. Then you have to
7 transmit that data back to the user.
8 What this is saying, remember in
9 Chou is that the amount of data that
10 represents the first few frames is of lower
11 quality. And so it only reduces this delay in
12 the sense that because the first frames are
13 lower quality, they take up fewer bits to be
14 transmitted and therefore, you know, if --
15 therefore you'll receive them -- you'll
16 receive the full amount of the first frame at
17 a slightly earlier time than receiving a full
18 frame of data.
19 And so that's what it's talking
20 about as one way to minimize in delay. You
21 can't change the speed of light, right.
22 Now, you can do things like content
23 delivery networks, you can move the data
24 closer to the end user that the data is
25 retrieved from, and that speeds it up because

1 the distance required to make a round-trip is
2 less and therefore it can be -- you can get it
3 faster that way, too.
4 And then you can get it faster if
5 you, like, make the first couple of frames
6 much smaller. So you get it slightly faster.
7 And the faster the network is, the less fast
8 the difference will make. Because, you know,
9 if a frame is four times larger at, you know,
10 26 or 28.8 kilobits, that's a bottleneck, then
11 you might actually have substantial savings.
12 But on a gigabit or 100 megabit
13 network or faster where the difference in
14 delay actually goes down and then begins to be
15 dominated by things like a round-trip delay,
16 which is why content delivery networks, people
17 with -- are -- they're worth paying for that
18 some clients, many clients use them, to cache,
19 to pre-cache content closer to the user.
20 And so, you know, every system has
21 the same bottlenecks that you can't get
22 around. This round-trip transmission play is
23 one of them.
24 You can make it better by pushing
25 content closer to the users in advance. But,

1 you know, you can't -- you know, it's
2 expensive to replicate all the content, you
3 know, next door to everyone's house, right.
4 So there's a limit to the cost benefit on
5 that.
6 But pushing it into, you know, head
7 ends near neighborhoods, regional head ends
8 may make sense, and certainly that's a lot of
9 content delivery networks do.
10 So the delay that you're seeking to
11 reduce here and the way, one of the ways Chou
12 talks about it is simply by reducing the
13 amount of data needed to display the first few
14 frames. And that saves a little bit, but it
15 saves less time when the data network core
16 speeds are faster.
17 Q. So in the combination you're
18 proposing between Ogdon and Allen, would
19 that combined system do pre-caching of all
20 of the potential bitrate contents that the
21 system may want?
22 A. I mean, Ogdon does mention
23 caching. For example, on column 19 around
24 line 50, 52, it talks about how -- and
25 remember, these are just examples of what's

1 in Ogdon. But Ogdon is teaching what it's
2 teaching, which is there are, you know, the
3 next segments, segment to get, can be done
4 dynamically based upon the network
5 characteristics.
6 And it mentions that, you know,
7 if the next one is not cached, you know,
8 you can't preload everything that a user,
9 you think a user is going to get to. But
10 if they've logged into a particular
11 presentation server for a particular
12 presentation before it starts, maybe they
13 could pre-load already, you know, pre --
14 some pre-done segments if possible.
15 But this is only part of what
16 Ogdon is teaching. But it's teaching that:
17 Following the activation of a connection
18 between the client node and a presentation
19 controlling host, in Step 448 a client
20 presentation software is instructed by the
21 presentation controlling host, hereinafter
22 for simplicity referred to as the host, to
23 retrieve and cache via network one or more
24 initial presentation segments from
25 identified content web servers where the

1 presentation segments have been prestored.
2 Note that the initial presentation
3 segments, as well as subsequent
4 presentation segments, may be different for
5 clients at different client sites. In
6 particular, the segments provided may
7 depend on network 70 transmission rates,
8 client natural language preferences, unique
9 organizational displays and/or data,
10 corporate logos and/or confidential
11 financial data in configuration of client
12 nodes 56, the software and/or hardware.
13 So, I mean, there is some teaching
14 of caching in Ogdon, but the teaching about
15 the dynamic is if the next segment is not
16 cached. We looked at this earlier. If it's
17 not in a cache, then you use the existing
18 network characteristics that you just -- that
19 you have, the most recent one, to determine
20 what next segment you want to request. And
21 that's also what Ogdon is teaching.
22 So Ogdon's other teachings aren't
23 conflicting with what, you know, the teachings
24 that I am specifically using in my
25 declaration.

Q. Okay.

MR. WILLIAMS: Let's go off the record and let me just take a look through my outline and see if there is anything left here.

THE VIDEOGRAPHER: The time is 4:05 p.m. and we are going off the record -- or rather 5:04 p.m. and we are going off the record.

(Recess taken from 5:04 p.m. to 5:10 p.m. EDT)

THE VIDEOGRAPHER: The time is 5:10 p.m. and we are back on the record.

MR. WILLIAMS: I pass the witness.

EXAMINATION BY
MR. PAQUETTE:

Q. Dr. Houh, do you remember you testified earlier that Ogdon teaches video and a POSITA would understand that video has audio as part of it?

Do you generally recall that?

A. Yes.

Q. From a perspective of a POSITA at

1 the time of the invention, would video
2 always have to include audio?
3 A. No, no, it wouldn't.
4 MR. PAQUETTE: Pass the witness.
5 MR. WILLIAMS: No further
6 questions. We can go off the record.
7 THE VIDEOGRAPHER: All right.
8 The time is 5:11 p.m. and this
9 concludes today's deposition.
10 MR. WILLIAMS: I don't know if we
11 got an order, but we would like a
12 rough and then what's your quickest
13 delivery?
14 THE COURT REPORTER: How soon do
15 you need it?
16 MR. WILLIAMS: Well, I mean is
17 tomorrow possible?
18 THE COURT REPORTER: I can get it
19 to you Thursday.
20 (Continued on next page to
21 include signature and jurat.)
22
23
24
25

1 MR. WILLIAMS: Okay, that's fine.
2 Thursday is doable, but we would like
3 the rough tonight if you can get it.
4 (Time noted: 5:11 p.m. EDT)
5
6
7 _____
8 DR. HENRY HOUH
9
10 Subscribed and sworn to before me
11 this ___ day of _____, 2024.
12
13 _____
14
15
16
17
18
19
20
21
22
23
24
25

REPORTER CERTIFICATE

I, JOAN FERRARA, do hereby certify:

That said proceedings were taken at the time and place herein named; and that the transcript is a true record of the testimony as reported by me, a disinterested person, and was thereafter transcribed.

I further certify that I am not interested in the outcome of the said action, nor connected with, nor related to any of the parties in said action, nor to their respective counsel.

IN WITNESS WHEREOF, I have hereunto set my hand this 10th day of July, 2024.

Joan Ferrara

JOAN FERRARA, RMR, FCRR

1 DEPOSITION ERRATA SHEET
2 Case Caption: AYLO v. DISH
3
4 DECLARATION UNDER PENALTY OF PERJURY
5 I declare under penalty of perjury
6 that I have read the entire transcript of my
7 Deposition taken in the captioned matter or
8 the same has been read to me, and the same is
9 true and accurate, save and except for
10 changes and/or corrections, if any, as
11 indicated by me on the DEPOSITION ERRATA
12 SHEET hereof, with the understanding that I
13 offer these changes as if still under oath.
14
15
16 _____
17 DR. HENRY HOUH
18
19 Subscribed and sworn to on the _____ day of
20 _____, 2024, before me,
21 _____
22 Notary Public,in and for the State of
23 _____
24
25

1 DEPOSITION ERRATA SHEET

2 Page No. ___ Line No. ____ Change to: ____

3 _____

4 Reason for change: _____

5 Page No. ___ Line No. ____ Change to: ____

6 _____

7 Reason for change: _____

8 Page No. ___ Line No. ____ Change to: ____

9 _____

10 Reason for change: _____

11 Page No. ___ Line No. ____ Change to: ____

12 _____

13 Reason for change: _____

14 Page No. ___ Line No. ____ Change to: ____

15 _____

16 Reason for change: _____

17 Page No. ___ Line No. ____ Change to: ____

18 _____

19 Reason for change: _____

20 Page No. ___ Line No. ____ Change to: ____

21 _____

22 Reason for change: _____

23

24 SIGNATURE:_____DATE:_____

25 DR. HENRY HOUH

1 DEPOSITION ERRATA SHEET

2 Page No. ___ Line No. ____ Change to: ____

3 _____

4 Reason for change: _____

5 Page No. ___ Line No. ____ Change to: ____

6 _____

7 Reason for change: _____

8 Page No. ___ Line No. ____ Change to: ____

9 _____

10 Reason for change: _____

11 Page No. ___ Line No. ____ Change to: ____

12 _____

13 Reason for change: _____

14 Page No. ___ Line No. ____ Change to: ____

15 _____

16 Reason for change: _____

17 Page No. ___ Line No. ____ Change to: ____

18 _____

19 Reason for change: _____

20 Page No. ___ Line No. ____ Change to: ____

21 _____

22 Reason for change: _____

23

24 SIGNATURE:_____DATE:_____

25 DR. HENRY HOUH

0

00044 79:2
044 65:5

1

1 80:1,10 99:14 113:9,14 129:15 143:12 167:18 169:20
1,007 80:10
1,0469,555 35:12
10 65:2 113:25 114:15 159:12 161:3,7
10,951,680 35:25
10-minute 44:13
100 65:1 224:12
1002 114:2
1003 9:14 34:14,17
1004 70:7 89:19 168:11
1005 112:16,24 154:23,25 155:5 168:10
1006 179:21
1007 79:21,23 111:1,3,15
1013 155:11,18 219:3
1014 166:18
10:02 5:3
10:55 44:16,18
11 114:13
11,470,138 9:23
11,677,798 36:12
113 204:13
11:03 44:19,21
12 113:9,14 114:20 202:4
12:07 88:22,24
12:11 88:25 89:2
12:38 107:18,20
13 214:25 215:11,12,18 216:14
138 9:19,21,25 34:14 46:8,19,24 47:18,22 65:5,13,15 66:6 71:6,11 99:10,14 108:6 141:14 176:24 179:24 186:1
147 177:4,6 180:1
148 177:22 180:19
149 177:23
14D 204:16
15 155:6 221:3
17 215:2 217:12
183 141:13
19 225:23
1954 197:15 198:1,3
1990s 115:17
1993 13:2
1994 13:1 22:9
1:26 107:21,23
1:28 109:8
1B 108:13,19 111:5 162:6,9,10,23 163:23 164:15 188:20,24 189:16 190:14,15
1C 137:2 142:24 143:2 162:6,10,23
1E 161:21 162:5,16,21 164:11,14

2

2 84:13 123:10 124:25 145:3,20 157:9
20 114:14
200 83:11 129:6
2004 54:2,8 56:5 57:4,11 58:18,24 60:18 98:15 138:5 139:2
2006 27:20
2023 10:21 37:18
2024 5:2
2024-000146 35:15
2024-00043 36:14
2024-00044 9:20 46:15
2024-00045 34:21
2024-00046 36:2
204 222:11
21 46:11,18 50:8 77:14 155:6
22 202:4,16 205:10
24 47:15
25 167:5,7 168:3,13,17
26 50:16 224:10
27 215:1 217:12
28,8 183:12 184:15
28,800 183:6
28.8 224:10
2:25 146:14,16
2:31 146:16,19
2C 77:18 80:22 82:19 83:10 122:25 123:7 128:23
2D 129:4 130:5

3

3 89:23 94:20 95:7 106:8 125:12 126:13 131:3 174:17,21 191:4
30 131:4 202:17
300 135:22
310 157:24
32 51:8 189:23 191:2 214:25 215:12, 18
33 189:2,18
34 79:1 167:4 189:17
35 159:12 167:1
356 135:18
36 84:17 123:11 125:16 145:20
37 82:18 89:25
38 82:19 191:4,6
39 78:25 125:16 160:18,23 161:17 191:6 193:22
3:41 185:16,18
3:55 185:18,21

4

41 52:24 53:5
42 156:20
43 106:8 145:4
44 92:8 191:9
448 226:19
45 53:9,25 58:20 92:8 125:7
46 65:7 161:3
47 65:11,17 198:2
48 66:2

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 236
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

4:05 228:7

5

5 44:13 94:21 95:19,20 155:6 171:7

50 6:13,15 225:24

508 77:18 82:20 83:6,9,10 84:2 122:25 123:6

51 191:13

52 225:24

524 128:24

53-4-ish 94:2

54 69:19

548 130:5,8,12

55 85:5

554 34:18 35:2

555 35:8

56 135:24 183:9 200:15 227:12

56,000 183:5

56K 184:14

59 197:15 198:1

5:04 228:8,10

5:10 228:10,13

5:11 229:8

6

60 70:24 157:20

600 135:17,20 148:2

61 182:18

62 90:7 99:12 161:3

63 159:12 197:9

64 78:3,21 79:17 108:9 109:13 122:7 157:9 189:19

66 112:4 113:7,14 118:11

67 120:24 121:7 122:7,8,15 126:18 131:21 153:25

68 74:14 122:7,19 142:24 149:2

680 35:21

69 77:1 82:16 147:2 149:2

6B 186:6 187:9 190:18,23,25 194:9 195:20

7

7 157:20 174:18 219:8

70 148:16 152:1,9 155:19 202:18 227:7

73 75:4 161:25 164:2

74 34:24 75:5

75 164:16,19 166:25

759 197:9

77 10:12 35:18 36:6 170:7 176:12,16 209:8 210:18 211:10 214:13

78 197:12

798 36:9 179:12,16

8

8 95:7,19,21

80 29:7

82 36:18

83 185:25 187:6 194:10

84 196:5

88 197:11

9

9 5:2 156:16 160:18,23 219:10

90 29:7

90s 12:11 14:19 60:20 215:15

94 13:1

98-'99 216:2,11

A

a.m. 5:3 44:16,18,19,21

ABC 30:6

acceptable 177:16,17 182:23 183:7, 14 184:22 185:8

access 14:6 24:2 50:11,12 223:5

accredited 56:11

accuracy 29:6

accurate 11:5

accurately 6:17

achieve 58:5

achieved 222:10

acquired 28:5 59:22 60:19

acronym 114:9

action 43:15,16

activation 226:17

actual 198:25 218:11

ADA 197:16

adapt 105:2,16 201:10

adaptation 129:19

adapting 190:4

adaptive 99:19,24 100:21,25 101:2 102:4 103:20 105:3,12 208:17 209:7 210:3

adaptively 100:16 104:15 106:11 189:25 191:3 192:9,19 193:2,6 202:1

adaptivity 209:1

adapts 210:3

add 126:12 183:3

Added 64:19

additional 59:15 75:6,19 131:17 132:8 135:11 150:18,24 162:23 164:7

additionally 75:6 148:19,22 149:5

address 10:25 62:8,17,22,23 63:5 64:5 153:22 190:17 206:8 207:7

addressed 79:11

addresses 64:7 203:15

addressing 164:7,12

administrative 66:7

advance 224:25

adverse 42:11,25 43:8

advertisements 30:2

advertising 26:9

advisors 208:13

affect 218:21

agree 111:5 161:1 207:8,9

ahead 206:2

AI 28:24

albeit 163:18

algorithms 19:3

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 237
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

ALJ 66:8

ALJ's 67:22 68:9

Allen 82:7,9 87:15 108:19 112:2,7,9, 11,13,22,24 113:3,7,10,14,17,19 117:18 118:13,19,24 119:3,13,20,23 120:6,9,14,18 121:18 124:4,9,13 135:15 143:4 144:9 148:1 149:5,6,8, 15,19 150:22 154:23,25 155:1 162:5, 20 163:2 164:3 165:7 177:8 179:11 186:16,19 188:11 190:19 194:13,17, 25 195:12,16,21,22,24 196:24 197:1, 5 225:18

allowed 14:5 50:11 115:14

alluding 38:22 162:19

alongside 184:8

already's 119:5

alternative 69:15 74:23 78:9 85:8,11 90:24 92:2 94:6,9 97:25 103:8 122:9 162:14 163:5 172:8 176:10,20 177:14,20,24 178:13 179:6 182:8,10, 15,22 184:21 212:10 213:14

alternatively 54:13

alternatives 56:2 91:14 110:23 111:13

amortized 63:21

amount 59:1 62:21 101:20 102:23 103:9 158:8 223:9,16 225:13

analog 20:23,24 21:3

analogous 70:25 71:5,10,15 73:18

analysis 47:10 54:6 59:8,18 60:12, 22 64:19 65:19 67:14 69:10,15 76:8 108:12 140:3 142:4 149:7 156:3 161:24 162:9 163:6 164:1 167:15 186:14 195:20 205:4,5 209:4

analyze 69:14 200:9

analyzed 38:9,10 64:23 69:3 70:14

analyzing 35:24 61:9 99:13 100:4 149:11 186:5 204:16

anchor.mpg 184:5

and/or 91:16 106:12 156:8 192:10 227:9,10,12

Andrew 5:21 47:23

animate 97:8

animated 85:23 88:6,18 96:6,8,10, 16,20 97:11 110:4

animating 97:16

animation 92:9 93:5,20,24 94:9,12, 17,24 95:3,20,21 96:3,19 97:2,7,11 98:8 101:13 103:5,8 104:2 110:17 191:12 192:1

animations 94:14,19 96:9 98:3,11, 12

answers 45:5 48:23 83:1,5

apologize 89:6 189:14

appearing 36:6

appears 6:12 10:17 70:24 86:19 92:13 116:5 137:8

Apple 114:24 115:7,24 116:13 117:5, 7,21

applicant's 138:6

application 18:25 21:21 75:20 137:20 164:20 165:1,14,18,19 166:21 168:23 169:15 219:19

applications 221:16

applied 51:5 65:12 66:13,15,22,25 67:8,21 113:4 137:21 140:3,4 205:23 218:11

applies 218:23

apply 28:23 65:22 137:18 206:5 215:8

applying 51:1 164:2

approach 60:2 195:17

approximately 5:3 37:5,10 41:2 75:10,14,18 105:23

April 54:2

arbitrary 157:13

archived 29:22

areas 56:1 57:24

argue 107:10

argument 69:7

arguments 206:13

arise 69:13

arrive 118:19

arrives 99:5

art 8:18 13:18 33:1,2,7 47:11 53:1,12 54:2,8 55:8,20 57:6,14 66:23 67:7 68:8,15 69:3 70:19,25 71:4,5,11,15 73:18 111:12 137:20 138:10,25 139:2,5 165:9,24 168:24 169:2 176:5, 9,18 178:11,16 179:5,10 211:7 220:7, 15 222:3

aspect 85:6 90:2

asserting 66:6

assessing 55:7

assets 28:12 29:18

Assignee 89:13

assume 209:18

assumed 54:5

assumes 114:22

assuming 79:20

assumption 14:14

attached 9:8 15:4

attempting 26:3 207:24

attendee 5:20

attending 5:23,25

attorney 39:6

attorneys 7:19,22 32:25 45:16 52:16

audience 117:18

audio 28:12 29:11 30:20 61:14,20 63:22 73:1,2,3,19,22 94:19,24 136:8, 11,13,16,20,25 152:23 165:4 167:11 168:6 169:22 170:3 183:2 184:7,11 214:23,25 215:4,18,20,23 216:4,9,17, 21,24 217:13,20 218:1,3,8,10,19,25 228:22 229:2

author 177:13 182:21

automatically 16:11 17:1 97:13

avoid 51:22

aware 67:21 208:1

Aylo 5:5,16 39:21,23 70:7

B

bachelor's 59:22

back 12:21 14:18 15:21 17:14 19:16 21:8 22:20 28:8 32:4 41:14 44:21 50:7 59:21 64:20 65:4 80:18 82:14 89:2 99:9 106:9 107:23 111:4 114:20 117:17 118:10 124:18 131:21 142:7, 23 146:19 151:3 158:4,25 159:3 162:6 175:11 178:8 179:23 185:21 187:10 188:24 194:9 206:14 207:20 214:21 216:8 217:2 223:7 228:13

background 166:16

backwards 24:7,19 174:22

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 238
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

Baker 5:14,23

band 154:6

bandwidth 78:1,7 82:9 87:8 88:5,8 101:8,25 102:25 104:2 110:20 111:19 134:20,21 135:8,9 136:9,11 154:3,17

bandwidths 81:16,18 86:11 87:13 101:15

bar 157:14

base 142:12

based 19:9 45:5 58:6 63:4 64:3,4 78:7 81:15 105:12 110:20 126:17 166:10 176:13 183:18,19,25 186:24 187:12 188:5,23 190:23 194:2 199:21 201:24 204:3 207:13 209:1 218:20 226:4

basically 15:15 18:7 21:3 24:7 25:3 29:2 30:19 134:9

basis 73:14

beat 118:1

began 70:1

begin 99:13 214:3,21

beginning 84:16 108:11 137:17 156:19 173:13 175:11,15 180:1 223:4

begins 50:16 65:8 90:1,19 113:23 114:14 148:18 161:25 224:14

behalf 5:9 43:18 45:19,21,23,24

believed 50:4

benefit 51:15 225:4

Beus-gilbert 42:22

bigger 61:13

Bill 16:16,19

bit 51:11 54:21 61:23 65:6 74:3 137:9,16 173:14 187:22 225:14

bit-by-bit 133:24

bit-for-bit 132:19

bitrate 116:14 121:17 135:22,23 143:9 144:8,23 152:7 154:7 159:18, 20,21 163:18 183:4,5,9,25 184:15 185:5 187:1,15 188:8 190:8 204:21 208:18 214:18,19 218:1 225:20

bitrates 82:10 109:21 110:23 111:9, 14 115:1 116:7 119:7,8,14,16,17 124:6 134:14 143:12,22,25 144:2 149:17,19 160:11 169:8 184:13 185:1 187:25 194:15,21 195:14 197:4

bits 62:17 223:13

blank 109:5

block 196:5

blue 141:3,7,8

blurb 29:21

boards 44:6

body 47:4

bolded 79:3 80:20 189:24 192:17

bottleneck 224:10

bottlenecks 224:21

bottom 34:24 70:7 79:1 82:18 157:9, 19 161:20 167:4 177:22 182:19 189:3,16,18

Botts 5:14,23

boundaries 18:8 67:9 174:4

boundary 18:12

box 20:25 122:25 123:6 128:23 129:5 130:5,8,12

branch 130:15

break 19:8 44:13 45:1 99:6 108:3 146:24 185:11,14

bridge 216:16,23

bridging 216:24

bring 18:18 219:4

broad 62:12

broadcast 22:17

broader 204:8

broken 16:25 127:9

browser 16:10,21 18:5,18,25 19:1 22:21 23:1,20 100:10 188:17 196:8 197:12

browsers 117:1,2 118:9

browsing 22:15

Brueck 47:22

brush 177:25

BS 54:9 56:5

buffer 157:6,19,20 158:1,5,18 159:3 214:3,20 215:16,17

buffered 217:6

buffering 214:24 215:5,9 217:9,15, 22,25

buffers 215:15 216:4,7,10

built 13:14,16 21:3 216:2

bunch 13:21

business 29:15 30:3,12

buttons 18:21

buy 25:11

BVN 28:17

C

cache 197:17 198:10 205:12 224:18 226:23 227:17

cached 226:7 227:16

caching 225:23 227:14

calculate 174:19

calculation 209:5

call 9:21,25 24:19 31:16 86:25 155:22 165:20

called 6:6 16:10,20 17:12 19:1 27:9 29:5 61:4 64:21 69:22 79:24 117:25 163:4 177:13 205:20

calls 95:12 113:23

camera 14:20 15:13 21:23 156:6 219:17

cameras 14:12 15:14 21:19

capability 154:14

capable 115:21

capacity 63:24 103:10

capital 28:20

caption 17:24

captions 16:15

capture 13:15

captured 16:15 20:8 54:19

card 13:19

career 26:16

careers 12:10

careful 33:11

Carter 5:21

case 7:2,10 8:16 9:19 32:14 33:6,9, 11,14 39:14 40:12 42:13 44:1,2 47:6 55:9 59:19 66:17 67:13,23 79:2 88:6 89:7 97:4 145:22,25 158:16 167:15 183:21,25 184:9,20 187:3 206:6,16

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 239
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

207:10 218:18,25

cases 7:9,11 32:21 40:1,23 43:7 45:18 51:23 95:19 205:24

CBS 30:6

CDN 114:9,18

cede 223:3

challenged 39:2,8 41:20 210:9 214:7,8 219:1

challenging 45:23,25

chance 206:18,22 207:19

Chang 79:24 80:4

change 90:22 105:14,16 187:25 195:16 218:1 222:18 223:21

changed 27:10 190:5

changing 119:24 201:11,25 210:4

channel 22:18

characteristic 215:3

characteristics 53:14 100:18 101:5, 8,12,20,24 104:17 106:14 111:21 124:17 129:21 133:13 134:20 141:4 154:7,16 188:23 190:2,5 193:5,8 194:3 195:3 203:12,13 204:5 205:13 211:18 218:6 226:5 227:18

characters 72:24

chat 33:24 34:4,8 80:5 155:15 166:19 179:19

cheaper 52:10 63:20

check 5:19

chief 66:7

choice 117:21 118:6 183:16

choose 119:4 183:9,17,24 212:21 218:22

choosing 183:13

chosen 177:17 182:24 183:7

Chou 155:23,24 156:2,4,11,16 157:4 158:2 160:2 161:2,6,13 219:2 222:4, 21 223:9 225:11

citation 78:4 79:19,21 83:22 113:6,8, 13 145:2 154:22 155:10 166:25 195:21 196:5 217:7,11

citations 82:25 143:13 205:8,17

cite 47:3 74:13 77:14 84:25 87:6 100:7 161:13 162:5 176:5,9,15,23 202:3 206:15 215:10

cited 80:22 82:15 84:21 85:16 178:18 179:13 198:2 200:22 204:12 220:9

cites 84:24

citing 80:7 111:1,14 155:19 191:5 196:20 198:19

claim 65:6 68:2 77:14 99:14 120:5,6 137:7,10 139:21 140:1,13,23 144:18, 25 164:10 167:5,6,7,18 168:3,13,17 169:20 186:13 187:9,10,21 190:13 196:25 198:16 214:9

claimed 71:5,11 144:15

claiming 220:3

claims 65:13 140:19 210:9 214:6,7 219:1

clarified 50:5

Clarke 5:8

classifying 63:11

clear 19:22 26:13 33:16,18,21 34:1 53:23 64:12,25 169:25 195:8

Clearplay 42:23 43:1

click 31:5

clicks 219:16

client 14:6 24:8,10,17 32:1,11 39:21 40:15,25 45:3 71:20,21,24 83:8,12, 13,16,20 84:4,6 90:13,15,18,21,24 91:7,10,23 92:7,25 93:4,23 94:3 100:9 102:16,17,20 103:24 104:17 106:3,14,15 120:10 129:1,16,23 134:24 154:2 158:3,15 183:17,20 184:6,12 186:25 187:24 188:15 190:2 191:10,14 196:6,8,12,21 197:10,15 198:4,10,17,21,25 199:3,17,21 200:15,19 201:6,7,8,16,21 203:10,25 214:2,19 215:6 217:16,23 219:21 221:23 226:18,19 227:5,8,11

clients 78:7 106:15 110:20 154:15 192:23 224:18 227:5

Clinton 16:17,19

clip 219:16

close 75:16 194:21 203:14

closed 16:15 219:4

closer 223:24 224:19,25

closing 143:20

CNN 15:23 16:3,23

co-counsel 5:18

code 18:19

coded 80:25 135:16

coder 158:18

codes 156:8

coding 147:25 171:4 195:25

collected 218:12

collecting 30:20

collection 77:19,23 82:21 83:14,21 84:7 123:1 128:25 129:2,8 130:20 145:12 146:7 165:4 167:10,18,19,20 196:14 202:9,14,18 203:2,16,18 204:10

collections 78:9 122:9 143:10 167:9,17 168:5 171:17 212:11

collectively 14:3

colleges 56:11

color 141:3,4

column 84:10,11,12,13 89:23 94:20 95:7 106:8 113:8,9 123:10 124:25 125:12 126:13 129:14 131:3 145:3,19 155:5 156:16 157:9,19 159:12 160:18,23 161:2,7 191:4 202:4 205:10 214:25 215:1,11,17 216:14 219:8 225:23

combination 52:1 64:6,16 94:23 121:1 149:9,10 152:19 154:19 160:8 162:15 194:18 208:23 210:7 225:17

combinations 92:20 93:9,11,15 192:6 193:11

combine 52:5,13,20 112:13 118:19

combined 87:15 144:9 149:6 225:19

combining 112:2 118:24 120:15

comma 11:22

commands 83:11 84:6

commercial 24:14 31:21

commercialize 26:3,6,11 207:24 208:3,5

commercialized 25:20

commercializing 26:17,21 27:3

commercially 26:23 28:5

commonly 139:2

commonplace 208:18

communication 85:14 188:18

communications 7:18 129:17

companies 12:20 29:17 30:5,6,9

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 240
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

48:18

company 11:12 26:8 27:4,15,19,21 28:10 30:3 39:22 42:23 89:14,16

comparable 54:11

compare 49:13,19

comparing 142:10

compatible 151:8

complete 47:2

completed 196:15

completely 41:8

complex 94:23 174:20 193:25 194:5

complicated 174:16

complying 148:1

component 157:21

components 13:14

compressed 20:4,14 31:18,22

compresses 156:8

compression 20:5,8 134:5 156:13

comprise 127:17,18 146:9 148:10

comprised 127:15 144:20 146:7

comprises 77:3 143:9,18 144:12 147:7 148:3 156:5

comprising 137:13

computer 9:2 13:22 14:6 21:24 54:9 56:6,12

computers 13:6 15:4,17

concept 128:22

concepts 56:22

concerned 20:5 32:9

concludes 229:9

conclusion 73:14

conclusions 169:17

condition 158:16

conditions 105:13,14,16 119:9 201:11,25 209:1 210:4 218:21

conference 156:15

confidential 227:10

configuration 227:11

conflicting 227:23

confused 45:17 199:15

confusing 34:2

congested 195:5

congestion 195:6,7

connected 102:21

connection 43:14 103:25 104:12,21 156:2 164:4 185:6 203:11 226:17

consideration 61:19

considerations 205:21 206:9

considered 46:19,24 51:17 67:8

consist 191:22

consolidated 116:24

construction 65:7 67:4,12,19,22 68:2,14,16,24 69:4

construed 66:8,18 69:9,16

consultant 11:15 12:6

Consulting 11:9

contact 47:16

contacted 32:16

contemplates 152:11

content 30:16 66:9 74:7,8 78:6 79:5 82:11 91:12,15,19,20,24 92:3,10,14, 15 94:1 99:20 100:22 101:10 105:23 106:11,17 110:19 114:1,2,6,10 115:15,22 116:9 152:25 157:14 159:19 160:5 168:9 169:9 171:5 172:4,18,25 174:7 178:19,22 192:3,9 193:7,13,16 194:2,6 203:15 210:16 212:1,7,9,14 213:12,20 214:3 215:16, 24 217:5 223:22 224:16,19,25 225:2, 9 226:25

contents 179:9 225:20

contested 7:7

context 12:7 19:7 38:16 98:6 107:12 137:24 138:15,17,18,22 139:18 165:17 166:6 171:19

contexts 138:14

continue 41:9 102:6

continued 229:20

continues 221:7

control 22:19 131:9

controlling 131:5 197:17 198:8 226:19,21

conversation 63:23

conversational 215:14 216:9

converse 175:3

convert 21:1

coordinates 100:10 197:11

copies 150:19

copy 10:17 28:1 132:7,14,19,22 133:18,22,24,25 135:3,6,11 136:1

core 225:15

corporate 227:10

correct 6:14 7:3 9:23 10:7 11:6 12:2 19:10 23:6 24:12 34:15,21,25 35:5, 12,18,25 36:6,12,15 43:2 45:5,25 46:15,20 49:6,10 50:21 54:3 70:8 71:12 72:23 76:5 84:20 95:12 97:1 99:14 111:7 112:25 114:3 116:15 118:14 120:12 133:1 139:24 140:3 143:4 164:5 167:2 168:14,19 170:13 172:10 181:18 182:9 184:16 186:6 191:18 192:24 194:15 202:5 204:17, 22 206:25 217:16 220:1,8 222:4,12

correctly 30:10 39:10 40:8 115:17

correspond 77:7 124:22

corresponds 139:23

cost 225:4

counsel 5:11,24 7:18 51:2,12

couple 12:15 99:23 102:8 135:13 193:22 224:5

couple-second 176:2

coupled 156:6

court 6:3 42:13 229:14,18

covered 193:21

covering 189:10

covers 190:6

create 135:21

created 116:8,15 150:25

creating 109:23 150:18 152:6

current 10:18 129:8 130:19,20 173:22 188:23

curriculums 56:10

customary 65:12

CV 6:12 10:18,24 11:4

D

data 13:21 61:7,18,19,25 62:4 64:16

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

71:22 95:12,15 101:23 103:1,6,17 105:8 156:9 157:12,15,22,23 158:1,3, 13,14 189:4 192:6 203:12 215:3,23 218:12 221:22,23 223:7,9,18,23,24 225:13,15 227:9,11

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.

Dr. Henry Houh

date 54:4 58:22,24 60:19,24 110:22

dated 37:3

David 15:22 16:12 47:22

days 16:4 118:6

decides 184:13 199:19,21

deciding 134:22 200:13

decision 130:12

decision-making 183:19

deck 97:19

declaration 6:25 7:10 9:18 32:22 33:4 35:5,8,21 36:9 38:5 46:8 47:4 65:4 66:20 69:19 70:18 74:13 75:23 76:8,14,18 78:18 79:14,16 82:12 84:22,24 85:17 87:12 99:2,9 108:6 109:11 118:12 119:10 131:21 137:3 147:2 155:20 161:18 166:22 170:7 176:18,24 177:6 178:17 179:24 185:25 192:18 194:11 200:6 204:14 206:24 207:7 213:24 220:5 227:25

declarations 7:6 8:16,19 10:6 33:24,25 36:21 37:2,13 38:7,11,19 41:20 48:25 50:4,13 66:14 70:14 113:3 179:1,3 206:8 208:22 210:10 218:24

decoded 157:16,24 221:23

decoder 157:6,18,20,24 158:1,5

decoding 134:4

decreasing 185:1

Defay 206:20

defined 141:1

definition 15:2 66:12 68:9 139:9 141:12,19,23

degree 54:9,11 56:5 58:7

delay 157:5,6,7,10,17 158:10,13,17, 18,24 160:19 161:9 221:15,18,19 222:12,15,20,24 223:11,20 224:14,15 225:10

delays 158:21 159:9

delivered 72:15

delivery 114:6,10 223:23 224:16 225:9 229:13

demo 14:15 16:10,23 23:10 28:13 30:23 31:17

demonstration 30:18

demonstrations 12:13 16:6

demos 12:17,25 13:3,25 14:4 15:8, 18,25 16:22 17:4 22:5 24:23 25:18

denoted 123:15 131:7

depend 227:7

depending 63:14 85:13 119:8 130:15 218:5

deposed 6:13 7:2

deposition 5:4 7:14,17,23 8:12,22 9:10 49:9 50:3 70:1 137:17 229:9

derived 51:16

describe 7:16 10:16 12:4 19:25 21:15 28:9 51:21 74:6 175:3 188:19 200:5 209:24 211:10

describes 76:21 122:24 124:10 128:14 188:13

describing 23:14 53:13 88:4 114:5 121:11,22,25 126:19,21 130:11,16 132:24 133:16 150:24 152:9,10 159:17,24 161:7 180:7,8,9 208:25 210:1 211:13 217:3 219:24 220:2,14, 22 222:3

description 122:22

design 13:18,19,20

designed 173:15 211:20

Designing 61:4

desktop 9:10 14:12

destination 62:8,17,22 64:4,5,7

detail 67:9 161:8 187:8 190:12 200:6

detailed 62:14 67:14

detect 134:22

detected 110:7,9 129:7 189:4,6

determination 63:19 186:25 187:13 188:6 190:24 201:22 204:20 205:1 206:25 207:4

determine 51:15 166:1 227:19

determined 218:20

determines 196:15 205:14

determining 64:15 129:22

developing 207:25

device 103:24

devices 13:15,16

diagram 96:23

dial-in 104:20

dialed 102:22

diamond 130:14

differ 67:3 140:9

difference 67:16 95:22,25 96:2 224:8,13

differences 49:13,20,23 142:5,9,11, 15,16,18

difficult 45:8

digital 13:13 20:3,8,18 21:1,4 143:22 169:9

digitally 109:20

digitizing 108:23

direct 134:6

directed 27:5 76:3

directions 22:1

directly 15:4 30:13 74:20 206:8

disagree 54:17

disagreement 53:20

disclose 172:1 178:12 179:5 182:13 188:12 204:25 208:24

disclosed 120:14 186:15 194:16

discloses 77:2,8 99:19 109:15 111:16 112:5,8 119:24 143:8 147:7 162:12 165:2 176:19 181:11 190:7 200:18

disclosing 118:13 120:4 163:7 172:1 179:14 181:9 186:17 195:25 198:14

disclosure 123:6 124:19 138:6 168:23 190:7

disclosures 74:5 165:11 166:3

discrete 116:9

discuss 44:24 75:22 79:15 108:1 112:13 120:23 122:6 146:22 180:2 213:23

discussed 56:22 75:20 78:15 92:25 122:13 163:1 189:22 190:25 214:11 217:25

discussing 35:4 78:11 130:9 149:1 162:25 215:9

discussion 32:25 65:21 131:17 180:1 192:2 198:3 207:3 215:19 216:13

discussions 33:3

dish 5:7,25 21:19 38:9,17 40:9 41:19 42:12 43:1,8,19 44:10 45:14 47:17 168:24

disk 219:20

disks 23:8

Disney 30:7

display 225:13

displaying 184:6 197:13

displays 227:9

dispute 67:21,25 68:4,5 69:2,14

disputed 68:7

disputing 68:13

distance 224:1

distributions 25:1

divided 126:19,23 128:17

division 19:9

doctrines 165:22

document 10:12 70:5 155:15 167:1, 3 176:23,25 180:6,15

documents 7:20 8:13,14 206:15

dominated 224:15

door 225:3

double-check 80:2 188:25 190:14

download 34:5 80:5 104:2,23 112:16 155:16 221:5,12

downloaded 34:10,11 69:25

downloading 32:10 221:7

draft 33:3

dragged 157:14

drawing 96:23

duly 6:6

duration 75:11,14 163:11 169:10 170:12 174:7 176:7,11,21 177:11,21 178:7,13,22 179:6 181:8,10,14,16,24 210:16,20 212:7 213:8

durations 75:10,15,17 175:6,22 178:3 181:22 212:14 213:20

dynamic 105:2,3,11 184:18,20 188:21 189:11 190:21 199:22 215:5 217:9,15,22,24 218:16 227:15

dynamically 100:15 104:15 106:10 188:22 189:22,24 191:3 192:8,18 193:2,6 201:11,25 226:4

E

e-mail 22:4

e.g 202:19

e.g. 110:15

earlier 23:18 158:11 209:8 212:4 223:17 227:16 228:20

early 12:11,12,25 13:1 14:19 25:8 118:6

easier-to-use 52:9

Eastern 5:3

Echelon 44:4

Echostar 48:6,8

Edell 5:24

edge 63:2,12

EDT 44:19 88:25 107:21 109:8 146:17 185:19 228:11

education 54:14 55:24 56:19

educational 56:7 57:3,11,24

effectively 16:18 63:18 64:23 132:20

efficient 61:6,7,17 63:13 64:2,17,25 65:1

efficiently 62:1

effort 26:10

efforts 208:2

elapsed 196:13

electrical 54:10 56:6,13

element 56:11 94:21 95:16 108:19 111:5 120:7,16 122:20 126:2,7,9 127:19 128:10 129:3 137:2,4,10 139:21 140:1 142:23 143:2,4 161:21, 25 162:5,15 163:3 164:10,14 177:16, 17,25 178:5 182:1,3,20,21,23 183:7, 14 184:19,22 186:6,8,13,15 187:9,11, 24 188:13 189:16 190:18 191:22 194:9 196:25 202:20,23 203:4,6 204:16,19

elements 77:10 78:19 94:18 95:13, 16 96:16 110:7,10,15 111:23 125:3, 19 126:14 143:10 145:5 162:6,10 164:5,15 177:14 180:16,24 181:2,5, 12,15,17,21,22 182:22 183:2,3 189:4, 7 202:19 203:4 214:9 218:7

Eliot 5:13 41:6

else's 22:22 24:7

embedded 23:20 96:8

employs 188:15

enable 154:1

enablement 209:16

encode 119:4,19 121:3,13 133:3 150:1 151:17 152:15 153:2,4 156:14 171:23 172:4 173:21 174:25 212:13, 18

encoded 19:19 20:2,20,21 81:15,23 98:4 103:1 105:8 109:20 114:1,22,25 116:1,6 124:16 133:12 135:20 143:11,24 148:7 153:10 157:22,23 169:8 177:15 211:17 212:7,11 218:3, 13

encoder 20:10,13 156:6 159:18

encoders 133:6

encodes 163:11,17 164:9

encoding 20:16 21:6 82:10 87:14, 16,18 109:16 116:4 119:3 124:2 132:7 133:11 135:5,7,10 136:1 139:6 143:22 147:15,17,22 148:6 149:16,20 153:14 158:12 160:4,10 161:15 163:18 164:9 168:9 171:6,15 172:17 174:6,7,17,18 175:22 194:14 195:24 210:16 213:22

encodings 82:9 86:14

encompass 75:17

end 23:15 30:13 47:5 75:24 78:21 90:6,13 186:10,21 187:11,14 188:7 190:16 191:9 195:18 196:22 202:11 204:20 211:8 223:24

endeavor 71:19

ended 30:4 31:20

ending 139:14

ends 225:7

engaged 37:11,18 42:3,19 43:13

engineering 54:10 56:6,13

enhanced 110:11,16 189:8

entire 145:13 221:4,12

entirety 222:6

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 243
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

entity 45:24

entries 62:13,14,15,19

enumerate 93:12

enumerating 93:4 91:23

environment 18:19 159:25

equal 170:12 176:6,11,21 177:11 181:23 210:19 213:8

ESPN 30:7

essence 28:10

essentially 96:11 125:14

estimate 37:15

evaluation 46:19,24

eventually 16:8 117:14

everyone's 103:15 106:20 225:3

Everyzing 27:11

evidence 75:19 205:19 207:12 220:6

evokes 139:9

exact 25:15 31:9 32:17 102:2 177:21 188:21,25

EXAMINATION 6:9 228:17

examined 6:7

examples 91:17 93:8,12,21 95:11 124:4 130:4 176:6,9 191:20 192:5,13, 14,15 193:24 194:4,7 203:20 225:25

exceeds 60:9

exception 80:21

exhibit 9:14 10:15 34:14,17 70:6,7 79:19,21 89:19 111:1,3,14 112:16,24 154:22 155:5,11,18 166:18 179:19,21 219:3

exhibits 8:16 9:10,14 34:4

existed 133:7 207:15 222:3

existing 113:25 221:14 222:5 227:17

expand 26:13 61:22

expanding 148:25

expect 175:19 176:14

expectation 132:3

expense 160:20

expensive 62:9,24 225:2

experience 11:9 21:13 54:12,24 55:6,7,12,14,18,21,25 56:7,20 57:3, 12,16 58:6,9,19 59:1,12,21,23 60:5,9, 16,20 92:7 94:3 173:3 175:8,18,25 191:10,14 209:10 211:9 214:14 222:19

experienced 221:19

experiences 92:1 157:11

experiencing 92:5,21

expertise 59:16,17

explain 11:10 17:18 23:25 38:21,24 54:21 61:23 68:4 91:5 101:2 115:10 118:23 127:1 133:8 149:10 153:18,20 222:21

explained 48:22

explicit 82:10 87:15 88:15 119:3,6 149:19 178:18 194:21

explicitly 73:7 81:9 83:4 112:5,7,10 120:17 121:2 149:19 172:2 181:9 195:12 197:2

exported 97:23

express 141:12,19,23

extends 152:24

extent 47:6 69:8,13,15 82:6,8 111:25 112:10 171:25 177:7 194:24 195:11, 23 211:24

external 9:8 28:20 176:15

extra 32:10

F

fact 59:11 61:24 73:12

fair 49:1,17 51:1 165:24 182:12 184:9

fairly 47:2 133:6

falls 107:1

familiar 38:8 41:18 42:2 84:20 85:2 89:8,14 114:17 205:18 220:16

familiarity 38:16

family 66:6

fast 104:21 224:7

faster 65:2 102:20 159:25 224:3,4,6, 7,13 225:16

feature 31:16 98:9 222:16

features 44:5 52:4,6 98:17,19

feed 14:20 134:1

feeds 20:24 21:18 22:8

feel 85:2

fewer 223:13

field 71:19 129:14

figure 76:19 77:18 80:22 82:15,19 83:10 113:25 114:14 122:25 123:7 128:23 129:4 130:4 177:23 187:21 214:15

file 66:9 73:3 75:20 78:25 96:6 116:4 166:7,11 208:10 215:4 217:13,20 218:3,10 223:4,6

filed 165:18

files 19:13 73:1 184:7 219:20

final 33:4

finance 16:25

finances 17:2

financial 17:3 227:11

find 16:16 77:25 78:22 79:20 99:5 106:6 113:20 125:10,14 168:15,18,20 189:1,12

fine 11:25 40:3 80:13 85:1 107:15 163:19 165:21 179:17 194:8

finish 87:23,24 178:10 216:13

finished 37:12

firm 39:18,19 40:15,17,25 42:22

five-second 176:2 181:10

Flash 32:7,8

flexibility 192:13

flexible 199:11 204:8

flow 63:19,20,22 174:12

flows 61:9,10 62:4 64:10

fly 153:3,6,8,12 160:5

focus 13:12 62:3

focusing 123:5 184:11 200:3 218:25

folder 9:9

follow 14:10 102:11 107:3

follow-up 49:18

force 200:8

forcing 174:5

forget 142:3 165:20 185:9 188:21

form 19:20 20:3 21:5,6 38:1,12 49:21 54:16,25 71:16 73:16 74:10 76:6,24 81:4 91:4 97:24 98:10 107:8 109:18 115:23 120:2 128:19 133:10,21

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 244
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

140:11 149:3 151:23 161:5 162:17 165:12 169:1 178:15 179:7 182:17 188:1 198:23 200:20 208:7,20 214:5 220:17

format 20:19 21:1 93:25 94:4 114:25 115:19,25 116:6 124:2,7 191:15 192:2

formats 114:24 115:8,12 116:4,20, 22,25 117:14,20 118:7 124:11,13

forms 127:12

formulate 127:3

found 72:22 73:1 76:17 112:1,11 156:2 165:25 172:1

foundation 56:22

foundational 56:14

fragment 183:23

frame 14:23 25:12 38:4 133:14 158:4 223:16,18 224:9

frames 160:21 161:10 223:10,12 224:5 225:14

Frank 89:9

free 85:3 195:8

Freesites 5:6,16

frequent 206:4

friends 48:11

front 9:2 49:25 109:11 113:19

full 9:22 15:2 17:6 30:8 47:7 67:9 92:9 93:5,19,24 94:9,12,14 95:3 101:13 103:5,7 104:2,3 110:17 159:18 191:11 192:1 223:16,17

fully 96:24,25

functions 214:23

future 61:13,19

G

G-I-F 96:6

gave 21:11,12 82:25 130:4

gears 41:12

general 33:12 36:25 62:13

generally 12:5,9 32:20,23 76:12 98:7 139:17 228:23

generate 29:9,12

generated 29:4 33:4

generating 29:12

get all 18:9

GIF 96:6

GIFS 96:10,20

gigabit 224:12

give 8:6 53:6,8 113:6 126:11 166:25 177:5 191:20 210:25

giving 80:19 86:10 91:14,17 192:11 193:11

good 6:11 29:9 49:24 102:7 185:6,10 211:2

Google 30:25

grad 12:10 13:11 26:7

graduate 26:14 207:22

granted 207:14

graphic 86:1,7,19,20,22 87:10 88:9, 16 110:5

graphical 25:6

graphics 86:23

great 10:4 14:18 29:6,7,8 39:1 147:5 217:14

greater 110:10 189:7

group 7:1 13:5 14:2,3 15:1 17:10,12, 13 21:24 24:12 25:19 77:3 137:13 139:22 143:9,18 144:12 147:7,12,15, 16,17,21 148:3,8,9,13

group's 13:6,12,20 26:11

groups 12:16 163:8

guess 11:22 12:8 33:10 56:25 68:11 87:1 92:5 113:10 126:16 135:2 140:20 165:23 169:13 170:19 184:5 187:20 198:18

GUI 25:6

H

half 15:24 37:4 176:1

halt 129:7 130:18

Hancock 5:22

handle 183:8,12,18

Hang 147:19

happen 161:4 182:5

happened 109:5

happening 60:18

hard 37:15 89:12,21 206:12 219:20

hardware 21:2 157:21 227:12

head 40:12 57:22 97:22 141:22 142:21 170:1 225:6,7

heading 52:25 53:11 70:24

headline 15:24 16:24

hear 31:8

heard 48:2 89:20 205:22

helpful 165:25

Henry 5:5 6:5

hereinafter 123:15 131:7 226:21

hierarchal 62:14

high 111:10,19 120:18 121:17 135:6, 8,12,16 137:12 144:4 149:17,21 153:11 186:24 194:22,25 195:9,12 196:2 197:4 214:18

high-level 18:20

high-speed 13:13,17 15:3,5 24:3

higher 55:24 56:18 101:11 110:8 136:6,9,11 159:21 187:1,14 188:7 189:6 190:8 204:21

hindsight 51:16,22

history 65:14 75:20 137:25 138:20 139:13 140:6 166:7,11

Hold 109:1

home 153:8

hope 206:17,21 207:17

host 83:11,19 84:3,5 129:6 130:18,25 131:7,8,9,13 197:17 198:4,8,15,20 199:3,9,13,19 200:13 201:5,10,17 203:24 226:19,21,22

hosts 200:24 201:6

Houh 5:5 6:5,11 11:9 34:6 44:24 108:1 146:24 228:19

hour 15:24

hours 8:4,8 29:22

hours-ish 8:6

house 104:23 225:3

HTML 95:14

huge 104:23

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.

Dr. Henry Houh

human 222:6

hundred 12:15

Hurst 47:22

hypothetical 57:20 58:1,11 62:4

I

i.e. 77:9 145:5

Ian 5:15

idea 21:9 176:20 178:12 179:5 208:4

ideas 205:23 206:5

identical 165:4 167:10 168:6,16,17 169:22 170:3 178:13 179:5 181:13

identification 198:5

identified 46:25 161:21 226:25

identifier 108:13

identify 49:20

identifying 198:20

identity 196:6

ifit 66:6

image 86:23 94:19,23 105:9

images 94:24 96:8,21

imagine 96:13

immediately 87:22

impact 61:25

impacted 66:21

imply 20:13

important 51:14

improve 159:7,8

improving 159:11

in-house 5:24

include 23:4 73:25 86:22 94:18 117:16 154:15 170:2 180:13,16 210:2 212:1 229:2,21

included 56:7 61:9,18 73:20 86:15 100:21 188:3

includes 71:9 72:9 100:3 123:14 126:15 169:21 186:21 211:15

including 13:15 30:5 59:25 71:22 121:14 122:11 160:15 172:14 206:15

incorporated 11:10 118:8

incorrect 50:5

independent 29:1

independently 22:22

index 29:13 72:23 168:19 212:8

indexed 27:1 28:16

indexing 27:23,25

indicating 19:24 83:13,20 84:7

indicia 207:3

individual 16:13 19:12 22:21 116:8, 10 125:21

individualized 22:14

individually 22:19 198:15

individuals 47:25 48:3,7

information 51:3 84:3 100:6 101:18 136:19 171:8,11 173:21 175:1 188:17

informed 51:13

informing 83:19

informs 200:11

initial 94:4 160:16 197:18 198:11 199:4 221:6,17 226:24 227:2

initially 27:9 117:15

initiated 12:13

inputs 21:23

inside 29:14

installed 118:1

installing 32:10

institute 36:22 179:4

instructed 197:16 201:17 226:20

instructing 199:3,9 201:7

instructions 14:11

instructs 198:9

insufficient 88:8

intellectual 50:21

intended 125:20 174:12

intending 80:15

intention 12:3

interact 31:15

interaction 47:24

interactions 48:7

interactive 180:10,12 221:15

interested 101:1

interesting 142:2

interface 13:17,18 44:6

internal 24:3

internet 23:9 129:17

interpret 187:21

interpreting 137:19 140:15

interrupt 87:19 129:7 131:13

interview 119:1

introduce 5:11

invalidity 164:1

invented 64:24

invention 52:4,18 58:10 60:24 63:9 65:16,24 71:6,11 85:7 106:10 129:14 137:24 138:19 140:7 158:20 159:8 188:15 220:3 222:9 229:1

inventor 70:10 114:21

inventors 47:17,21 89:8

investigation 66:5

investigations 189:25

invoke 148:23

involved 26:3,17,19 27:15,19,22 44:10 45:13 59:5 84:5

involvement 6:24

involves 194:14

IP 11:19 12:2 62:8,17,22 63:5 64:4,5 214:24 215:14 216:21,24 217:4

IPR 9:19 34:20 35:14 36:2,14 41:24 42:6,7 46:9,15

IPRS 6:24 7:1,7 10:6 32:16 33:13 36:22 37:20,22 38:2,5,7 40:18 41:5, 17 42:4 43:8,17 44:11 47:11 49:1 179:4

isolate 59:15

issuance 166:17

issue 75:22 206:20 213:24 216:5

issued 209:14 211:21

issues 56:15

ITC 43:15,16 66:5,17 67:12,23 206:16,24 207:10

item 11:8

items 17:6 52:13

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 246
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

iterations 171:23

J

Jamie 5:22

Jay 15:22 16:12

Johnson 89:10

joining 22:16

joke 16:10,20 17:16 18:5,11,15,25 19:1

jokes 16:13,16,20 17:22 18:10,12 19:2

Joseph 5:24

Joshua 5:8

judge 66:7,18

July 5:2

jump 17:2 31:5,8 158:22 173:24 174:5,10 175:18,25 212:16 213:19

jump-to 31:16

jumped 173:9,12 174:22

jumping 176:2

jumps 173:17 212:22

jurat 229:21

K

kicked 37:21 41:24

kilobit 135:20 183:9

kilobits 135:17,18,23,24 148:2 224:10

kind 23:1,16 25:6 26:6 30:2 32:13 41:15 49:19 57:19 59:15 61:10 62:9, 24 65:2 75:5 97:23 128:24 142:4 173:16 187:11 199:10 204:12 210:5 216:22 220:6

kinds 17:4 98:23

knew 89:15 220:23

knowing 212:25

knowledge 57:21 139:13 176:13 222:7

L

L-E-T 139:20

label 63:4,14,20 64:2,3,4,15,22

label-switching 64:12

labeled 9:14 137:2

labeling 63:2,17

lack 55:25 57:16

lacking 57:8

lacks 177:8

laid 76:14 210:14 211:20 213:5 218:24

LAN 13:13

language 18:20 21:22 28:25 79:4 80:20 99:25 139:25 168:12 169:14 180:9 186:12 187:22 199:11 227:8

laptop 9:8,9

large 28:25 64:18 157:5 158:17

larger 224:9

late 13:2 15:21 115:17 215:15

latency 157:11 213:24 214:8 222:10

latest 16:2

laughed 18:11

laughter 17:24,25 18:8,11

launched 16:7

launches 219:20

law 39:18,19 40:15,17,25 42:21 50:21,23 51:5 66:7

lawnmower 126:11

lawyer 165:16

lay 58:15,19

laying 57:23 67:10

led 166:16

left 158:8 228:5

legal 50:15,20,25 51:6 165:22

legalisms 165:14

legally 165:20

length 210:22 212:1

Leno 15:22 16:12

Letterman 15:22 16:12 17:21

level 52:25 53:11,18 55:8,23,24 56:4, 18 58:5,21,23 59:13,16,25 60:9,10,19 74:7,9 76:4,22,23 81:1,23 119:25 121:5,17 132:8,17 133:19 135:11,16 150:3 152:16 153:15 162:24 163:10 171:4,6,15 173:9,20 203:21,23 210:19

levels 59:25 81:15 108:24 109:17,23 110:24 111:6,16,24 112:6,9,10,13,14, 18 120:6,11,17,20 121:2 149:22 150:21 151:18 152:13 154:1 163:10, 13 172:5 174:9 175:22 177:15 178:24 188:22 194:22,25 195:13,24 196:1 197:1,3 210:24 212:6 218:4,14

leveraged 26:22

lexicographer 140:25

Lexington 11:1

Lexitas 5:9

licensing 117:16

light 65:13 139:12 140:5 223:21

limit 178:4 225:4

limitation 108:12,17 118:20

limitations 177:9

limited 75:15 110:17

lines 128:24 193:22

link 219:16

Linux 24:25

list 5:20 30:8,25 47:5,7,9 198:21 201:18 203:14,24

listed 11:18 56:21 120:17 121:2 167:4

listing 18:22

lists 46:18 115:2 193:19

literal 133:23

literally 132:19

live 14:16,17,20 15:3,9,12,14,16 21:18,23 22:7 23:5 72:13 73:2 115:14 152:11,21 154:13 156:9,15 160:4,11 161:15 215:4,14 216:3,4,8 217:8,13, 20 218:9,11

LLC 5:7

load 110:6

local 115:21

locate 47:12

location 31:6

locations 75:23

logged 226:10

logos 227:10

DISH TECHNOLOGIES L.L.C. Exhibit 2009 p. 247
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

long 16:4 19:13 41:5 55:20 158:14 175:21 185:9

longer 63:18 64:10 205:7

longest 62:7 10:16,21 63:6

look-ups 63:3

looked 34:13 41:21 47:9 61:6 62:2 63:1 64:7 124:4,8 142:15 165:10 205:5 227:16

lookup 62:7

lost 108:25 151:24

lot 12:9 23:11 25:9 28:21 32:4 39:12, 25 56:20,22 57:20 60:21 63:24,25 72:9 91:14 92:20 93:10 116:22 128:21 172:13 205:6,7 225:8

lots 55:22

low 87:8,10 102:25 111:9 120:18 121:16 137:11 144:3 149:16,21 154:5 186:22 189:3 194:22,25 195:4,12 196:1 197:3 214:18 222:10

lower 101:8,9,23,25 103:1 105:7 132:21 133:4 134:13,14,15,20,21 135:9,13,17,22,23 136:7 154:7,15,17 159:20 160:11,15 172:19 187:1,15 188:8 190:8 204:21 223:10,13

lunch 99:5 102:6 107:4,15

luncheon 107:20

Lynn 5:22

M

Mac 25:2

made 14:14,21 26:10 57:16 125:25 128:3,8 129:11 131:18 151:5 154:10 196:21 204:2 209:23 211:15,16

main 25:1

major 30:5 47:23

make 23:12 26:13 29:25 40:4 45:10 46:13 55:25 56:19 58:9 61:11 75:25 88:1 99:16 105:19 120:25 133:18 134:6,13 154:19 170:11 174:20 178:9 187:25 192:20 199:4 201:1 203:25 209:16 210:21 211:5,24 213:7 214:17 216:12 223:1 224:1,5,8,24 225:8

makes 23:2 145:24 164:19 199:17 222:18

making 58:3 72:13 75:6 97:18 102:18 133:24 196:3 198:17,25 199:7 200:15,19,24 201:22 210:1 215:25

manager 215:24

manner 69:17

mapping 144:25 212:9

mark 33:23,24 47:22

marker 19:13

markers 18:16

market 115:16 116:23 208:19

Massachusetts 11:1

match 62:7,11 63:6

matched 62:17

matches 62:16,21

material 97:18 107:6 216:18

materials 46:18,22 47:12 50:10 129:23

matter 5:5 43:21 48:4 66:25 67:15 134:12 206:17

matters 37:12 58:17 67:6

meaning 65:12,22 66:16 67:1,3,24 69:13 100:21 104:1 107:4 137:18,22 138:2,8 140:2,5,9 148:14,23

meanings 138:14

means 12:8 19:7 71:15,17 100:2,3 107:7 116:11 169:18 183:8 198:12 201:8

meant 25:19 194:19 201:5 215:14

measured 204:4 218:21

measuring 201:13

media 11:19,23 12:1,6,7,18 21:9,13, 16 22:8 23:4 26:4,17 29:16 46:3,5 54:13,23 55:7,11,14,17,18 56:8 57:4, 12 58:8 59:6 61:2,9,25 71:20 72:1,4, 8,9 73:18,20 82:11 87:18 110:23 111:14 114:23 115:7,11,18,20 117:2, 12,24 118:4 153:7 156:5,15 159:4 180:16,24 181:5 194:6 216:4 217:4 218:7

medium 111:9 120:18 121:16 137:11 144:3 149:16,21 186:23 194:22,25 195:7,12 196:2 197:4

meet 8:5 55:23 67:22 68:8,9,15 211:17

meetings 7:21 8:11

meets 72:11 190:22

megabit 224:12

member's 188:17

members 66:6

memorized 80:3 141:22

memory 38:25 39:10 142:19

mention 91:9 114:9 225:22

mentioned 12:22 16:17 52:23 60:25 83:4 97:16 179:22 208:13

mentioning 86:20 121:11 124:13

mentions 73:13 209:9 226:6

met 7:19

meter 44:6

methodology 139:6

methods 64:2,12

Microsoft 114:23 115:7,18,19 117:11 124:8

mid 12:11 60:20

mid-level 62:13

middle 66:3 84:14 149:24 157:13 170:16 173:13 175:17,24 189:17

miles 64:11

minimize 223:20

minimized 160:20

minimizing 161:8

minute 109:3 178:9

mis 24:6

misidentified 72:25

misremembering 141:24

missing 11:22 80:1

MIT 13:10 207:21,23 208:2,9,10,15

mixing 40:1,22

mobile 30:5

model 29:15 30:3,13 113:25

modem 102:22 104:11,20 105:5

moderate 195:7

modern 117:2

modification 132:10 133:1,15,16 151:21 152:8 194:12 195:15

modified 163:22 164:3 222:17

moment 8:24 43:10 51:8 72:19 79:18 118:11 126:11 156:17 179:1

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

moments 21:11 124:4

monetize 29:25

monitor 9:8

monitors 198:13

monologue 19:8

monologues 15:20 16:5,11 17:20

month 37:24

months 37:24

morning 6:11

motivated 52:12,20 112:12 118:18 119:16 120:25 121:13 150:1 152:15 153:20,21 154:14,19 170:11 210:21 213:7

motivation 52:15

motivations 52:2,7 210:12

move 97:18 98:8 223:23

movie 173:12

moving 149:7

MPEG 139:4

MSHOW 89:13

multi-protocol 64:22

multimedia 94:18,21 95:12,13,14, 15,16 152:25 180:10,11,13 219:20

multiple 62:19 167:25 192:4 194:15

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.

Dr. Henry Houh

N

named 42:22 47:17,21 70:10

names 27:12

narrows 168:3

natively 117:1

natural 174:12 227:8

navigating 46:5

NBC 30:6

neatly 129:18

necessarily 96:25 98:9 174:3

needed 50:5 195:17 197:22 225:13

neighborhoods 225:7

network 13:17,19 15:3,6 17:13 24:3 54:12 61:7,10 62:5 63:2,12 64:11 78:1 85:9,14,22 86:17 87:13 90:22 100:17 101:5,6,7,11,19,24 102:21 103:10,25 104:12,16,25 105:12,14,16 106:13 110:1,2 111:19,20 114:6,10 119:9 124:16 129:17,21 134:19 154:3,6,16 157:22 158:7 188:18,23 190:1,3,4 193:4,8 194:2 195:3,6 196:9 197:14,18 201:11,25 202:17 203:14 204:5 205:13 209:1 210:4 211:17 215:5 216:22 217:5,21 218:5, 9,21 221:7 224:7,13 225:15 226:4,23 227:7,18

network-based 115:22

network-connected 131:6

networking 13:22 54:22 55:6,11,13, 21 59:6 199:22

networks 28:23 30:6 61:5,14 117:11 223:23 224:16 225:9

neural 28:23

news 15:23,24 16:25 17:3 29:20

nexus 207:11,13

night 15:21

node 83:13,16 104:18 106:14 129:1, 23 131:7,9 190:3 196:6 203:25 226:18

node's 196:8

nodes 106:15 129:16 131:6 154:2 190:16 215:6 217:16,23 227:12

non-obviousness 205:20 207:4

Notary 6:7

Note 123:12 227:2

notice 50:3 174:10

notion 168:8 169:19 170:1 209:6

number 7:20 8:13 9:22 12:18 15:14, 19 16:22 26:24 35:14 36:2,14 52:10 53:4 63:16 64:6 70:6 74:11 79:19 122:22 131:16 143:25 208:12,16

numbers 84:10,11,12,14 113:11 171:16

numerous 200:23

O

object 180:16 182:16 184:4 198:23

Objection 19:20 38:1,12 43:23 49:21 54:16,25 71:16 73:16 74:10 76:6,24 81:4 91:4 107:8 109:18 120:2 128:19 133:21 140:11 149:3 151:23 161:5 162:17 165:12 169:1 178:15 179:7 188:1 200:20 208:7,20 214:5 220:17

objective 205:19 207:3

obtained 92:10 93:6,7

obvious 52:2 60:4,5 160:8 172:3 174:24 178:21 210:8 213:6

obviousness 51:14,15,23 60:8,14 148:24 149:1

occasion 205:25

occasionally 206:3

occurs 190:22 214:16

October 10:20 37:18

offhand 120:13

office 15:13 21:2 31:21 45:19 208:9

offices 15:15

Ogdon 69:22 70:11,17 71:9,10 72:10,12,18,19,21 73:8,13,14,20,24 74:2,6,11,16,20 75:4,7 76:13,17,19, 20 77:2,5,8,21,24 78:2,5,8 80:21 81:3,6,8,12,25 82:3,8 84:1,9,20 86:6, 9,20 87:3,4,11 89:9,16,18,22 90:18 93:9,18 95:4,11,24 96:3 98:25 99:19 100:5,8,15,25 101:6,22 102:3 103:18 104:14 105:10,15 106:2 108:17,18,22 109:15,19,24 110:13 111:15,22 112:10,13 118:19,24 119:5,11,19 120:15,19 121:3,14 122:8,14,17,21, 24 123:6,12 124:1,10,18,21,25 126:18 127:6,22,24 128:9,13 133:17 134:21 136:2,12,14,21 143:3,7,20 144:8,9,15,17,21,24 145:3,7 147:6 149:6 150:2,9,10,21 151:6 152:11,16, 20 153:14 154:4 162:4,12,20 163:2,7, 21,22 164:3,20 165:1,6,7,11,18 166:1,3 168:10,14 169:3,4,6,10,23 171:24 172:6,7,11,13 177:8 178:20 179:10 184:17 186:16,17,19 187:6 188:11,13,20 189:2,13 190:7,11,19, 20 191:3 192:21 193:21 194:13,17,24 195:10 196:2,6,20 197:9,25 198:19, 24 199:16 200:1,5,18 201:4 202:2,4,7 204:9,25 208:23,25 209:6,12,14,17, 20 210:1,7 211:8,11 213:13 214:23 215:20 216:6 218:6,15 222:16 225:18,22 226:1,16 227:14,21 228:20

Ogdon's 74:5 75:19 82:5 119:5 120:4 131:1 134:17 195:17 197:6 227:22

on-demand 219:25 221:15

online 12:17,19 13:3 14:4 26:9 30:1

open 9:10,12,16 34:19 35:10,20 36:8 70:3 80:6 112:17,18 118:3 155:16,17 166:19 179:19

opened 70:6

operation 62:10,25 219:12,15

opinion 53:17 56:3 57:25 68:22 143:3,15,24 200:12 211:6

opinions 49:6 59:11 60:8,14

opposed 60:19 164:13

option 208:14

order 24:2 77:17 82:3 92:9 127:16 146:7 173:16 184:25 229:11

ordered 77:5,10,12,24 78:14 125:3 127:10,12 129:2,13 135:4 144:20 148:5,10 171:3 184:24 191:11

ordinary 52:19,23 53:1,11,14,18 54:1,8 55:8,19 56:4 57:5,13 59:17 65:12,22 66:16 67:1,3,23 69:12 88:14 100:19 111:12 119:15 120:22 137:22 138:2,8,21 140:5,9 152:14 154:18 165:9,24 172:3,16 210:20 211:7,23 212:20 215:7 220:7,15

organization 29:20

organizational 227:9

original 41:15 163:15

origination 114:3

OS 25:2

outline 228:4

output 21:4

overlap 96:24

owner 5:14 39:24 40:13,23 41:8 45:16,20 66:5 68:7 206:13 207:18

owner's 44:3

P

p.m. 88:22,24,25 89:2 107:18,21,23 109:8 146:14,16,17,19 185:16,18,19, 21 228:7,8,10,11,13 229:8

packages 134:10

packet 63:1,2,5 64:17

packets 63:11,15,17,19,21,22,25 214:24 216:21 217:4

panel 18:22

Paquette 5:15 19:20 38:1,12 41:6 43:23 49:21 54:16,25 71:16 73:16 74:10 76:6,24 81:4 91:4 102:5 107:8 109:18 120:2 128:19 133:21 140:11 149:3 151:23 161:5 162:17 165:12 169:1 178:15 179:7 182:16 188:1 198:23 200:20 208:7,20 214:5 220:17 228:18 229:4

paragraph 46:11,18 47:15 50:8,16 51:8,9 52:24,25 53:3,9,13,24,25 58:20 65:7,11 66:2,4 68:18 69:19 70:24 71:4,18 74:14,15 75:3 77:1 78:3,21,24 79:17 82:16 84:16 90:7 96:4 99:9,12 108:8 109:13 112:4 113:7,14,24 114:12,14 118:11,17 120:24 121:7,10 122:7,15,19 126:18 131:21 132:1 142:24 143:7 145:21 147:2 148:16 149:2,11 151:24,25 152:1,9 153:18,25 155:19 156:3,19, 24 161:25 163:21 164:2,16,19 166:25 170:7,17 176:12,16 177:4,22,23 180:1,4,19 183:23 185:25 186:3 188:14 189:19,23 194:10 196:5 200:22 202:5,12 204:13 209:8 211:10 219:11 220:10,14,19,20,22

parallel 150:3 152:17 153:15

parameters 90:23

paraphrasing 210:5

paren 144:13

parenthesized 123:2 131:6

Parker 5:22

part 17:3 20:9 27:25 28:3 31:11 43:25 44:2 46:21 56:15 60:1 61:13 62:3 64:1,18 73:22 78:3,12 81:11 83:18 86:14,23 97:24 103:20 105:2 106:9 108:22 121:24 125:8 130:22,23 136:16 139:18,19 140:1 149:6 152:23 160:2,16 162:18 163:5 164:13 165:16 166:15 167:7 186:12 187:6 189:10 190:13,23 192:2 201:20 205:15,17 207:5 209:5 213:3 219:1 226:15 228:22

parte 39:8 40:19

partial 189:23

parts 73:6 81:11 122:11 126:5 163:1

party 42:19

pass 228:15 229:4

past 60:1

patent 5:14 9:19,22 10:1 34:14,15,18 35:3,4,9,11,21,24 36:11 39:24 40:13, 23 41:8 44:3 45:16,19,20 46:9,20,25 47:18,22 51:16 52:6,14,16 62:9 65:5, 6,14,15 66:5,7 68:7 71:6,12 89:9 99:10,14 108:6 137:8 138:18 141:2, 13 166:3,16 168:14 169:3,5,10,16,17 179:24 186:1 206:13 207:18 208:9,11 211:21 222:4

patentee 140:25 141:9

patentees 169:25

patents 7:6 8:19 19:23 32:24 38:8, 10,17,20 39:1,3,7 40:9,13 41:19,23 42:2 43:20,22 44:1,3 45:14,24,25 46:2 56:23 58:3 72:7 141:18,20 142:6 168:25 199:11 207:14 208:16 209:23 214:8

pause 22:20 90:9 143:19 157:1 176:22

paying 224:17

PC 25:16

PCS 25:11,14

PDF 78:25 167:3 182:19

Peleton 43:14,18

Peleton's 44:1

people 13:23 18:10 22:3,5,14,19 24:16 25:5,9 32:6,9 48:15 59:24 60:5, 15 63:9 86:24 96:22 97:5,9 117:20, 21,23 118:6 134:9 136:15 138:11 139:1,5,14 177:2,3 216:25 224:16

percentage 29:7

perform 209:4

performance 85:13 86:17 125:22 129:24 196:18

performed 17:17 63:3 83:8

performing 62:6

period 7:25 12:23 19:16 27:14,18 37:2 91:1 94:22 95:17

person 45:23 52:18,22 53:14,23 54:1,8 55:19 57:5,13,20,21 58:1,14 59:17 88:14 100:19 105:5 111:12 112:12 119:15 120:22 138:21 139:10 152:14 153:19 154:18 165:8,23 172:3,15 210:20 211:7,23 212:20 215:6 220:6,14,23

person's 119:6

personally 58:18

perspective 60:13 228:25

petitioner 5:6,16 45:21

petitioner's 51:12

petitions 68:15

Ph.d. 59:3,5 60:25 61:2,3 207:21

Philip 5:17

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 250
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

phone 216:15,23

phrase 94:16 107:13 202:1

pick 184:7 13,21 185:2,3,7

picked 184:10 212:16

piece 116:9

pieces 19:9

place 83:2 86:20 99:17 122:23 123:4 128:13 137:7 157:13

places 24:11 128:21

plain 65:22 66:15,25 67:2,23 69:12 137:21 138:1,7 140:4,8

platform 117:23

platforms 117:22

play 18:23 19:2 103:15 128:6 158:25 159:3 160:1 184:7 204:11 212:9,24 213:2 224:22

playback 181:23 210:20

played 97:24 151:3 158:4 175:15

player 23:20 31:2 115:20 117:12,25 118:1 158:2 215:18

players 118:8

playing 18:22 115:21 175:10 214:4, 21 221:5

playlist 16:18

plays 72:1 94:21 95:16 97:14 221:8

plug-in 32:5 117:6

plug-ins 14:9 23:23 32:11 117:5

plural 77:5 148:5

plurality 71:23 109:21,23 129:16 148:4,9 188:9

podcast 29:11 30:20

podcasts 28:14,15 29:3

Podzinger 27:8,10 28:16

point 20:5 25:24 26:2,16,20 29:23 31:9 40:14 58:2 59:8,13 60:7,23 66:16 75:7 76:9,21 82:12 83:7,17 102:8 114:2 131:14 157:15 160:17 164:7 166:12 181:7,12 198:18 211:22 214:10,12 220:5

pointed 168:12 200:10 216:14

pointing 118:12 131:14

points 29:7

port 64:5

portion 51:18 66:8 76:20 77:7 84:19 90:6 125:6,21 128:4 139:16,23 159:1, 19,21 161:6,12 163:12,17 164:9 172:25 174:25 175:13 184:12 190:18 201:13 204:11 213:11 221:6,17 223:6

portions 76:17 87:6 123:16,19 145:15 150:11,13 165:4 167:11 168:6 179:14 187:5

ports 64:7

POSITA 52:3,12 55:23 57:19 58:1,16 59:9,13 60:10,13 65:15,23 75:13 86:22 95:23 98:14 103:3 105:20 110:21 116:16 118:16,18,25 121:13 132:2,10 136:15,24 137:23 138:2,8 140:7,21 149:25 166:2,6,9 170:10 176:13 178:21 195:11 211:2 213:6 228:21,25

POSITA's 60:23

position 158:12,22 159:4 171:18 174:5,6,11

possibility 88:4 120:10 182:14

potential 198:5 225:20

Powerpoint 87:2 97:9,13,17,23 98:1,7,18

practical 54:12 55:18 57:3,11

practice 187:24 214:16

pre 226:13

pre-cache 224:19

pre-caching 222:24 225:19

pre-done 226:14

pre-load 226:13

pre-processed 114:22

pre-recorded 15:10,18 23:5 115:15 150:12,14 152:20,23

pre-segmented 19:3

preamble 99:15 100:1,22 103:21 189:21

precisely 125:17

precursor 39:22

predictions 61:11

preferences 227:8

prefix 62:7,10,16,22 63:6

preload 226:8

preloaded 215:23

preparation 8:21

prepare 7:17,22 8:12 32:21 37:1

prepared 10:6

prepares 159:19

preparing 38:4 39:4 47:10 49:8 50:2, 12

present 85:7 98:24 102:23 130:19 188:15 200:14 205:4 216:6 222:9

presentation 72:16,17 73:23 75:2, 11 77:10,11,12,13,17 78:6,13 79:5 81:18,21 83:12,15,25 85:21,24 86:11, 16,24,25 87:5 90:20 91:13 92:7 94:3 96:14 100:6,9,11,13,17 106:5,12,18 109:20,25 110:7,9,11,14,16,19 115:12 119:10 121:5,14 122:11,12,17 123:13,14,17,20,22 124:15,23 125:1, 3,4,19,21,25 126:1,5,6,13,22,25 127:7,8,9,10,12,15,20,25 128:8,17,25 129:8,11,15,20,22,24 130:19 131:5, 10,13,15,18,19 134:18,23 136:24 143:21 144:1,5,7,8,19,22 145:5,13, 16,24 146:10 147:24 148:2,11 150:11,14 151:2,4 152:12,23 154:10 165:3 167:9,11,23 168:6 172:25 173:2,3,7,11 176:10,20 178:14 179:6 188:16 189:4,6,8 190:1 191:10,15,17, 23 192:10,24 193:3 194:6,15 196:12, 19 197:8,10,13,15,16,18,20 198:8,9, 11 199:4,6,8,14 200:13 202:19,23,24 203:3,6 209:10,13,21 211:9,14 213:12,19 214:14 215:23,25 216:19 217:1 218:18,19 226:11,12,18,20,21, 24 227:1,2,4

presentations 72:14 73:10,21,25 74:1 98:20 102:18 120:21 143:24 148:7 152:21 154:8 180:10,11,13 191:11 193:13 209:2,22 210:2 211:15 212:2

presented 12:12 73:5 74:25 81:19 90:19 92:8 93:23 94:5,7 96:21 101:17 102:14 103:16 104:5,8 106:15 129:23 138:16 151:9,13 153:3 157:16 173:16 184:4 196:18 207:12 221:24

presenter 107:5 216:1 218:12

presenting 72:10,13 83:16 97:25 103:13 107:6 167:22 209:17

prestored 227:1

presumption 209:15

pretty 64:11 79:14 85:16 187:19 219:25

previous 83:4 114:11 220:19

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 251
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

previously 34:13 38:18 40:24 112:16 149:13 215:20

prior 8:18 29:19 33:1,2,7 38:6 41:17 42:3,4,5,13,17,21 48:3,23 66:23 67:7,8,10,19,20,21 71:4 121:22 137:20 138:5 150:3 152:17 168:24 169:2 176:5,9,18 178:11,16 179:4 222:3

priority 54:4 58:22 60:18,24 110:22

prize 18:3

problem 28:24

procedure 6:20

proceedings 42:11

process 28:1 29:17 32:19,21 36:25 37:21 39:7 41:24 59:14 161:8 183:20

processed 223:2

processing 13:23

produce 212:22

produced 52:8 114:1

producer 114:2

professional 48:20

program 26:15 219:19

programs 22:13

progressing 104:10

Progressive 117:10

project 19:17 20:20 21:15 38:22

projects 45:13

property 50:21

proposals 61:15

propose 64:14

proposing 69:11 194:13 225:18

prosecution 65:14 137:25 138:19 139:12 140:6

provide 51:2 52:15 56:21 69:14 85:7 110:22 111:13 123:16 134:24 145:15 210:10

provided 6:25 26:23 33:2 78:6 110:19 125:18 131:16 196:16 203:25 227:6

providers 30:16

providing 30:1 123:19 129:15 131:12 153:25 170:9

provisional 75:19 164:20 165:1,10, 14,19 166:1,8,20 168:13,23 169:15

pseudo 29:5,10

Public 6:7

published 169:16,17

pull 46:7 199:16

pulled 14:17

pure 58:6

purely 25:20,22 52:18

purpose 83:22

purposes 25:21,23 48:19 54:5 58:17 66:19 67:7 183:24 219:18

push 199:19,20

pushed 117:7

pushing 224:24 225:6

put 12:16 13:3 14:3 17:24 18:20 21:20,22 22:2 24:8,23 33:24 34:3 77:21 80:4 81:24 115:13,24 155:14 166:18 179:18

Q

qualifications 58:16 72:12

qualify 22:8 55:19 57:5,13 58:15 136:5

qualities 118:14 211:25

quality 14:18,23 74:7,8 76:4,22,23 80:25 86:14,15 108:24 109:17,22 110:11,14,16,24 111:6,9,10,16,23 112:6,8 119:14,18,25 120:5,11,17,20 121:2,4,16,17 132:8,17 133:19 135:11,16 136:6,7,18 137:11,12 144:3,4 149:21 150:2,21 151:18 152:13,16 153:15 154:1 160:15,20 161:9 162:25 163:9,10,12 171:4,17 172:5,19 173:9,20 174:8 177:15 178:24 185:4 186:23,24 189:8 194:22,25 195:13 197:1,2 203:21,22 210:19,23 212:6,24 213:21 218:4,14 223:11,13

question 19:5 26:1 41:15 49:18 54:1 55:2,4 57:9 76:16,25 78:17 79:13 86:4 113:12 119:22 123:25 127:4 130:14,17,23 140:10 142:3 145:8 152:3 164:24 169:13 176:8 213:9 220:25 222:14

questioning 41:16

questions 6:19 10:24 32:13 99:23 102:9 211:5 229:6

queued 16:19

quick 179:8 185:14

quickest 229:12

quickly 49:14

Quicktime 114:24 115:8,23 117:6,22 118:4 124:9 220:20

quotations 163:21

quote 74:22 75:4 76:18 82:20 100:14 189:11

quoted 67:4 74:20 80:19

quotes 83:24

R

raised 28:19 206:17,20 207:18

ran 25:6

random 58:14

rate 14:24 85:22,25 87:9 99:19,25 100:22 101:2,9,14,21,23 102:4 103:2, 12,18,20 110:3,5,6,9 147:15,18 148:6,7,11,12 189:4

rates 87:16,18 103:9 119:4 147:22, 25 149:16 161:15 164:9 227:7

rating 105:7

re-encoding 134:5 136:5

re-examination 38:19,23,24 39:2,9 40:2 45:13

re-examinations 40:7

re-exams 40:19,24 45:3,12

reach 117:18

read 51:11,19 54:18 65:13 67:5,14 72:3 85:3 88:10 90:5 95:6 116:17 121:12 132:11 137:9 140:12 141:9 156:24 159:13,17 187:19 205:9

reader 141:6

reading 78:20 82:16,17 93:17 95:24 130:5 140:18 187:18 189:14 191:2 197:25 211:7

reads 85:6,19 143:7 147:6 167:6,7

real 57:21 106:22 114:23 115:6,12 116:12 117:7,24 118:1,2 217:9 220:20

realize 208:14

realized 18:5 61:12

Realnetworks 115:13 117:9,25 124:8

AYLO FREESITES LTD. v DISH TECHNOLOGIES L.L.C. Dr. Henry Houh

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 252
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

realtime 73:3 85:23 88:6,17 106:17, 23 107:4 110:3 150:3 151:1,5,10,13, 18 152:17 153:16 156:7,15 215:5,16 217:8,9,15,22,25 218:7 221:17,19

reason 6:16 31:12 68:1 104:22 109:1 133:5 153:24 154:18 174:13,23 195:22 207:6,14 212:19 218:11 220:13

reasonable 14:23 52:3 132:2

reasoning 170:10

reasons 24:18 117:15 210:9,11,25 211:1,2

recall 8:21 20:19 30:9 31:17 32:15 33:6,8 37:9 41:2 42:1 43:13,22 47:9, 23 68:12 115:17 119:20,23 120:9,13 124:12 141:13,23,25 142:20 144:19 168:20 179:2 206:10 207:2 228:23

receive 16:17 102:24 103:14 223:15, 16

received 100:6 158:3 190:12 214:24 217:5

receives 157:21

receiving 88:16,17 90:21 93:1,4 100:11 186:9 190:16 192:23 196:22 197:12 216:17,21 223:17

recent 203:11 227:19

recess 44:18 88:24 107:20 109:8 146:16 185:18 228:10

recited 140:23

recites 139:22

recognition 28:22 29:1

recognize 9:17 104:13 120:23

recognized 17:23 100:20

recognizes 103:19

recognizing 64:9 101:22 119:12

recollection 41:18 47:16 142:8

record 5:2 9:22 21:20 34:2 44:14,17, 22 88:20,23 89:3 107:16,19,24 109:3, 7 146:12,15,20 185:17,22 228:3,8,9, 14 229:6

recorded 15:19,20,23 16:3 17:5,7 22:13 72:14 150:1,8,12,15,17,20 151:7,10,14,17 152:6,7,15 153:2,14 215:20

recruiting 26:9

red 141:5

redefine 141:7

redefined 141:10

reduce 158:20,24 160:14 225:11

reduced 110:8 189:5

reduces 222:11 223:11

reducing 159:8 161:9 225:12

refer 69:21 99:2 123:23 146:4 180:16

reference 69:21 70:13,19 71:5 79:24 80:7,8 82:7,19 90:14 111:2 112:22 113:4 115:8 122:19 128:21 160:6,7 164:19 168:10 178:12 179:12,22 220:4

references 8:18 51:25 52:20,21 69:25 72:7 80:3 176:15 208:24 210:8

referred 53:24 202:15 221:18 226:22

referring 8:15 17:11 27:7 35:3 53:15 70:18 79:6,10 86:6 112:25 122:5 125:11 127:14 131:8 132:15,18,22 133:23 143:17 145:7 146:1 147:14 149:18 155:2,24 157:4,17 162:8,23 166:21 167:17 179:25 181:6,7 189:20 203:8 215:21

refers 115:19 122:10 143:23 157:10

reflect 49:5

regional 225:7

reindex 171:16

reinforce 169:16

reinforcing 166:9,12 169:4,19

reinterpreting 140:14

relate 46:2

related 34:14 39:3 40:9 44:5

relates 34:20 36:11

relating 34:17 35:8,11,21

relation 72:20

relevance 164:23 167:14

relevant 167:7 168:7

relied 179:4

rely 80:15 108:23

relying 51:2 120:3 184:19 204:25

remember 16:4 17:6 18:15,17 20:6 25:14 27:12 30:8 31:20 32:3,17 37:16,17,20 38:3,14,15 39:12,14,15, 24 40:11,21 41:22 42:5,9,14,18 43:3, 7,12,16,17 44:8 49:23 89:5 98:17,21 136:2 141:19 142:13,18,21 165:13 188:25 203:20 207:5 223:8 225:25 228:19

remembering 40:8 45:8

reminded 45:2,9

remote 5:4

render 52:1 59:11 210:8

repeat 27:16 57:7 152:2 220:24

replaceable 167:22 169:21

replacement 74:25 75:9 81:22 136:6 168:1 172:24 213:11

replacements 80:24 136:4,22 169:11,24 170:2,5 172:15 213:14

replacing 74:7 76:3,21

replicate 225:2

report 17:3 39:4 210:5

reporter 6:3 229:14,18

represent 5:12 41:7 119:17 163:16 171:1

represented 135:8

represents 130:14 132:20 223:10

request 134:25 171:19 186:10,15 187:12 188:16 194:1 196:3,9,22 197:22,23 198:6,18,22 199:1,5,7,17, 23 200:16,19 201:1,16,19,22 203:25 223:1,2 227:20

requested 173:18 174:21 175:14 198:13,15 212:23

requesting 100:11 129:7 188:22 197:12 222:19

requests 71:25 186:20,22 188:3,17 190:8,11,15 195:18 196:21 197:6 200:24

require 68:23 85:21,25 101:9,16 154:7

required 23:17 131:12 224:1

requirement 56:16 110:2 164:14

requirements 57:24 85:10 110:1 154:3 172:10

requires 103:1,9 111:6 164:11 195:23 196:25 204:19 215:5 217:15, 21,22

requiring 86:16 110:4

requisite 57:15 58:19 59:1

www.LexitasLegal.com/Premier Lexitas 888-267-1200 Index: realtime–requisite

DISH TECHNOLOGIES L.L.C. Exhibit 2009, p. 253
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

research 13:12 25:21,22 26:11 28:21 61:1

reside 11:2

resolution 15:2 105:9 132:21 134:1,3,7,15 135:7,12,14 153:11 171:20 173:23

resolutions 133:4,14 134:14 156:14

resource 215:3

respect 38:2 40:2 41:23 59:9 60:22 67:10 73:8 88:2 100:4 149:2 178:16 181:8 192:1 207:10 208:22 210:15 214:22

respective 143:12 187:13 188:6,8

respond 206:18,22 207:19

responded 206:21

responses 222:10

rest 221:7

restart 113:11

restriction 154:9

result 31:3,10 134:14 175:7

results 26:25 27:5 28:3,6 30:21 31:1

retained 39:16

retrieve 197:17,20 198:10 199:6,13 200:24 201:7,9 226:23

retrieved 110:8,12,15 189:5,9 202:10 203:17 223:2,25

returned 62:19

revealing 7:18

review 32:24 33:2 50:2 138:20,21 142:10 165:25 166:4

reviewed 7:20 8:13 46:22 49:2,9,12 142:12 164:25 206:15,24

reviewing 8:21 72:16

rightmost 129:5

road 41:11

roadmap 52:16

Robert 70:11 89:9

rough 229:12

round-trip 224:1,15,22

route 61:6 62:1,15

router 62:8 63:7

routers 62:25

routing 61:18 62:6,12,20 63:3,24

rulings 207:9

run 84:14 153:7

running 13:5 24:4

S

S-M-I-L 177:1

satellite 20:24 21:19

satisfies 162:15

save 18:14 63:13,24

saved 18:15 19:12

saves 225:14,15

savings 224:11

scenario 150:23 159:17

scene 174:2

school 12:11 13:11 26:8 50:23

science 54:10 56:6,12

Scope 43:23

scraped 28:13

scratch 117:9

screen 9:2 18:21 22:2 24:24 108:25

screens 9:4,6

scrub 22:20

seamless 173:3,6 174:10 176:4 209:11,13,21 211:9,12,23 213:18 214:14

search 16:14 26:20,25 27:5 28:3 29:12,13 30:24,25 31:1,2,10 33:1 168:16,19

searchability 29:19

searchable 29:24

searched 28:14

searches 30:14

searching 33:7 47:11 72:21

secondary 160:7 205:21 206:9

seconds 175:21 181:14,16,24 182:2,4,5

section 50:15 73:19 76:15 90:13 94:22 95:6 113:19 118:12 150:5 159:13,16 160:6,12,13 191:1 197:24 198:19,24 200:4,7,9,10,17,21 202:3,7 204:12 206:7 216:13 217:10

sections 16:24 51:6 69:7 76:2 80:20 153:23 162:9 200:23

seek 157:5,8,10,14,16 158:10,16,21 159:9 160:15,16 221:19 222:13,20

seeking 158:20 159:5 225:10

seeks 158:19

sees 91:10

segment 16:11 19:22 75:1,8 78:9,12 90:20,24,25 91:24 92:3,6,21,23,24 93:2 101:17,24 102:14,19,23,24,25 103:14 104:4 121:15 122:4,9,16,21 123:8 125:5,24 126:3,9,24 131:24 132:17 133:18,19 134:1,13,18,24 135:13,22 136:7,9,12 144:15,17 145:11,18,19,23 146:1,3,5 150:2,9,17,20 152:16 153:2,14 163:8,16 171:2,3,5,7,9,12,14,16,19 172:5,9 173:19,20,22 174:4,17,18 175:11,14,17,20,25 177:25 197:20 198:11 199:1,6,8,14,18,23 201:2,9,16,24 202:23,24,25 203:6,9,19 204:3 212:10,15,16,17,23 213:2,15,17 218:17,22 226:3 227:15,20

segmented 16:23 17:1,19 71:23 152:12,21

segmenting 17:17 19:7

segments 18:22 74:17,23 77:4,6,7,9,13,16,20,22,23,24 78:14 80:23 81:23 82:21 83:14,18,21,23 84:8 85:8,11,20,23 86:16 92:10 93:5,23 94:5,6,7 95:1,5 99:1 100:12 101:10 103:13 104:7 106:17,21 121:5 122:13,17,24 123:2,3,14,15,18,21,23 124:16,19,21,22,23 125:2,18 126:1,20,23 127:10,11,16,17 128:3,8,18 129:1,2,9,12 130:20 131:16,17,19 135:14 136:3,22 143:11 144:13,24 145:5,10,12,14,17,25 146:8,9 147:9,17,21 148:3,4,5,9,10 153:5 164:8 165:3 167:10,19,20,21,24,25 168:4,8 169:20,23 170:4,12 171:1,10,23 172:14,19,20 174:24 175:12 176:6,10,20 177:20 178:14,23 179:6 191:21 196:7,11,15,16 197:7,13,19 198:5,11,14,21 199:5,20 200:14,19,25 201:8,18 202:9,15,18,21,24 203:2,5,17,18,21 204:10 210:15,19,23 211:17,25 212:3,6 213:8,14,21 226:3,14,24 227:1,3,4,6

select 15:13 23:16 186:25 187:14 188:7 204:21 205:15

selected 186:22 188:4 196:10,14

selection 202:24

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 254
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

selective 196:7

sell 29:16 30:15

selling 29:23 30:4

send 158:21

sending 23:5 84:3,6 158:12

sends 83:11 159:22 196:9

sense 23:2,7 106:20 223:12 225:8

sentence 51:19 67:4 71:3 72:5 80:16 81:10 82:1 85:19 88:2,3,10 90:1 99:18 107:10 109:14 114:5 122:2 132:1 143:6 147:6,13 149:24,25 150:6 154:22 155:1,4,6 162:3,11 163:20 164:18 172:22 175:2 192:17

separate 12:1 115:11 181:20 212:8 216:22

separately 216:17

SEQ 178:4

sequence 77:11 78:14 90:19 125:1,4 127:11 128:4,6 131:18 177:18 178:1,4 181:2,3,16 182:2,4,11 203:1 213:18

sequences 177:24 181:1,13,15,23 182:8,10,15

sequential 121:15 126:5 180:24

series 17:22 77:13 82:25 96:21 145:24 191:11

serve 28:6 75:8 172:24 213:11

server 17:16 24:5,6,15,19 31:20,23 71:20,22,24,25 91:21,24 92:1,4,11,14,16,17,19,23 93:6,8 94:1 153:7 157:12 188:18 192:4,7 193:18 194:3 196:7,10,22 197:21 203:22,23 204:1,2 214:25 221:21 222:11,25 226:11

servers 26:24 91:12,16,19 106:4,12 150:4 152:18 153:17 192:4,10 193:7,12,16 194:6 203:15 226:25

serves 39:10

session 152:8

set 12:16 13:2 14:1 17:6 26:24 56:16 61:15 144:20 177:14 181:25 182:22

sets 181:25

setting 53:16

setup 9:1

severe 195:5

Sheng 5:17

short 29:21 44:13 96:8

short-form 96:20,21 97:7

shortly 13:4 14:1

show 101:9,12 104:9 108:23 181:12 186:17

showed 131:15

showing 181:18,21 200:15

shown 36:20 113:25 123:6 182:7

shows 17:8 177:24 198:25

shrunk 14:24

side 6:1 32:1 71:24 129:6

signal 21:4

signals 157:11 221:21

signature 10:11,15 34:23 35:17 36:5,17 229:21

signed 49:1,3 108:12

similar 38:20 49:11,16

similarities 46:4

simple 94:22 132:9,25 133:6 193:25

simpler 171:22 212:4,5,12,22

simplicity 226:22

simplifies 171:9

simplify 170:17,20,23 213:9

simply 16:23 99:1 119:2,12 123:15 132:7 135:12,25 136:7 160:9 174:21 212:15,23 225:12

simultaneous 154:11

simultaneously 106:16

single 63:1,6 94:23 120:10 191:22 194:14 197:7

sit 44:8 48:10

site 28:13 30:18 31:14,21

sites 227:5

sitting 15:16 96:15 142:1

situation 58:12 69:5 91:11 158:19 170:3 216:16

size 66:8 110:8,10 189:5,7

skill 52:19,23 53:1,11,15,18 54:1,8 55:8,20,24 56:4,19 57:5,13 59:17 88:15 100:20 111:12 119:15 120:23 138:21 152:14 154:18 165:9,24 172:3,16 210:21 211:7,23 212:20 215:7 220:7,15

slide 86:22,24 87:2,10 88:16 96:14,17 97:9,13,14,15,17,18,19 98:3 103:4,6,8 174:1,2

slides 86:1,7,19,20 87:1,8,9,94:15 97:24 98:24 110:6 174:1

slideshow 92:2 93:25 94:4,10,13,15 105:8 191:15 192:1

slight 49:22

slightly 55:3 145:9 220:21 223:17 224:6

Sling 47:17

slow 103:25 104:20 105:4

slowed 15:6 105:1

slower 104:11,12

small 14:21,25 28:1 31:12 130:12 134:6 139:15 184:19 203:10

smaller 78:10 105:8,9 122:10 224:6

Smil 176:23 177:11,18,19 178:8 179:11,22 180:2,6,15,19,23 181:11 182:13

Smile 177:1

snipped 18:7

software 13:21,23 14:10 18:19 21:25 23:18 24:1,11,22 25:10 28:4 32:2 83:12 100:9 134:10 157:21 196:13 197:10,14,15 198:9 203:10 226:20 227:12

sold 30:10

solution 30:16

someplace 214:11

sooner 160:1

sort 33:25 80:13 121:10 124:9 208:18

sound 6:14 7:3

source 64:6 134:1 156:15 219:17

sources 156:8 161:16

space 134:6

speaker 29:1

speaking 216:1

special 23:17 32:2

specific 18:24,25 55:24 56:19 119:13 124:3,6,13 142:8 144:8 149:16,17 195:13

specifically 38:14 71:21 193:19

220:10 227:24

specification 137:25 139:12 140:6 166:7 211:13

specifications 142:6 166:11

speech 28:21 29:1

speed 223:21

speeds 223:25 225:16

spend 23:11 130:1

spent 37:10 59:4

spinout 30:3

splices 159:20

spoken 29:11,14 31:7,9 128:13

sports 16:25 17:8

spun 28:19

stand 142:19 211:1

standard 15:2 19:18 31:23 50:15 51:6 118:9 139:6

standardized 116:24

standards 51:1 118:3

start 12:17 42:7 46:11 64:13 84:16 108:16 149:8 157:12 158:12 159:4 160:1 171:25 173:14,16 181:4 221:13,16,21 222:11

start-up 26:20 28:18 158:20 159:9, 25 160:14,19 222:15,20

started 23:9 26:8 28:15,18 38:4 117:8 142:14 201:19

starting 5:10 12:10 89:25 191:9 219:10

starts 104:23,24 132:2 156:20 221:5 226:12

startup 221:18

stated 60:11

statement 205:12

states 75:7 111:18

static 94:15

station 186:10 195:19 196:23 204:20

stations 186:21 187:14 188:7

Stein 179:12,14

step 77:18 82:20 83:6,7,9,10,19 84:2, 5 130:10 208:12 218:20 226:19

stopping 102:7

stored 27:23 28:1 31:14 152:12 153:5 158:6 203:22,23

stores 157:22

storing 150:4 152:17 153:16

straightforward 132:9,25 133:5 134:11

stream 11:23 22:12 26:25 28:2 30:13 63:23 71:22 72:20,22 73:2,3,7 111:9, 10 118:14 121:17 137:11,12 139:19 143:8,17,18,23 144:3,4,7,18,23 162:25 186:23,24 187:2 212:11 213:25 214:2,4,20 222:18

streamed 73:22,23,25 153:9 219:19

streaming 11:19 12:1,6,7,19 14:16 21:10,14,16 22:9,25 23:4 26:4,18 27:22 28:4 30:21 46:3,5 54:13,23 55:7,11,14,17,18 56:8 57:4,12 58:8 59:6 61:2,9,20,24 71:20,21 72:4 73:2, 15,18 99:20 100:22 115:14 117:2 156:5 180:7,13 208:18 209:7 215:4 217:8,13,20 220:1

streamlet 66:8,13,19 67:12 68:10 77:6 137:8,19 138:7 139:1,7,10,23 140:22 141:1,12,20,24 163:8 172:23 177:10 186:9,10,20 188:2,4 190:7 195:18 213:10

streamlets 71:23,25 77:4 137:13 139:22 143:11 144:12,16,20,25 147:8 163:9 175:5 190:25

streams 109:23 116:8,10,15 204:22 212:15

street 58:14

struggling 127:3

students 208:10

subcollection 75:1 77:9,16 125:9, 20,24 126:2 145:4,10,16,23 146:1,3,4

subcollections 74:18,23 77:15 85:8,12,20 125:2,17 143:10

subject 43:21 61:3 222:24

submitted 7:10 9:19 10:9,19 33:5 36:21 38:18 46:8 179:3

submitting 206:23

subportion 126:24

subsequent 141:8 159:21 227:3

substance 44:25 108:2 146:23

substantial 208:15 224:11

substantially 85:9

substitute 54:23 55:6

substituted 85:12

subversion 196:11

sucked 29:3

suddenly 173:9,12

sufficient 57:17 58:8 85:22,25 88:5 110:3,5

summarizes 129:18

summarizing 75:5

Super 6:22 7:15 46:17

support 117:1 160:3,9 161:13 165:5 178:17 185:5

supported 117:13

supporting 118:2 168:8 176:15

suppose 57:18 58:10 60:6 149:18 170:25

supposed 79:20,22 175:16

swear 6:3

switch 41:11 91:15 175:24 177:13 178:5 182:14,20,21 183:1 184:15 193:16 214:2,17

switched 189:25

switches 91:25 100:16 104:15 106:11

switching 63:14 64:22 91:18,19,20 105:12 120:10 173:1 184:18,20 190:21 192:19 193:2,6,12 194:5 212:3 213:16,25

switchings 192:9

sworn 6:7

synchronized 180:9

synchronous 102:18 103:16 105:21 106:5

synchronously 92:6,22 105:19 106:1,16,20

system 17:1 20:11 25:1,3,4,7 29:16 30:10 31:24 52:9 74:4 99:19 100:9 104:15 114:23 115:7,13 116:13 117:5,7,8 118:2 119:24 121:3 129:20 156:5 170:18,20,24 174:20 183:8,25 184:21 185:4 197:11 199:17,19 210:1 211:14 212:5 215:15 216:2,23 218:16 219:25 220:3 222:16,23 224:20 225:19,21

systems 17:13 25:6,17 32:4 115:12 116:2 117:24 156:12 221:14

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

T

table 62:12,20 179:9

tackle 33:20

tag 181:19,20

takes 158:11 159:18

taking 119:2 135:6 150:17 152:5 177:12

talk 33:11,13 52:11,22 65:6 68:18 72:10 73:4 74:3 76:3,10 77:2 78:3,18 83:3 94:17,25 99:3 112:3 122:8 123:1 128:24 149:8 162:24 169:11 172:12 179:13 186:18 188:13 190:15,18 196:4 214:13 217:1

talked 48:16 137:16 194:14 201:13 209:7,8 212:4

talking 8:1,15 10:1 12:24 14:15 15:9 17:16 25:18 33:12,21 48:13 53:22 63:9 72:5,6,8 74:16 81:14 83:10 84:3, 13 91:11 93:18,19 94:13 97:17,20 98:6,13 102:15 106:2,4 107:1 109:24 112:23 114:8,15 122:16,18 123:3,8 124:21 127:6 129:1,4,10,15 131:23 139:16 141:14,18 144:6 147:12 149:4,13,20 151:22 152:5 153:13 155:7 156:4 158:2 159:6,7 160:14 163:6 164:4 167:16 169:20 178:6 180:3,23 190:10 192:3 196:3 198:7, 17 199:12 201:20 203:7 214:17 215:22 216:20,24 217:4 223:19

talks 72:12 73:1 74:16 75:3 81:20 86:6 95:14 101:4 110:13 119:20 122:8 123:11 124:25 126:13 129:12 131:4 148:5 150:10 152:22 157:8,18 159:10 169:23 177:7 182:20 186:9 189:2 190:23 197:9 198:20 202:8,16 209:19,22 215:2 216:7 217:8 219:11 220:20 225:12,24

targeted 26:8

taught 121:17 150:22 168:10

teach 56:14 77:25 82:3 93:13 108:17 177:12,20 188:12 195:10 214:23

teaches 73:15 77:5 108:19 109:19 119:7 128:10 134:22 143:4 162:5,20 172:8,13 177:18 184:18 186:19 195:12 213:13 228:20

teaching 73:9,21,24 75:14 76:13 77:22 81:3,6,11,13,25 82:4,5,8 84:1 87:3,5,12,15 92:16,19 93:9,15 95:4 98:25 102:2,3 103:19 104:14 105:10, 11,15 111:23 119:5,11,13 120:4,9,19, 20 123:20 124:14 126:4,8 127:18 134:17 135:15 136:2,14,21 144:9,10, 21 149:15 150:13 151:6 152:20 154:5 156:11 163:3 165:6,7 169:5,6 171:24 178:20 180:23 188:11,12,20 190:21 192:12,21 193:1,5,9,21 194:24 195:1 197:2,19 199:16 200:1,5,25 201:2,4, 15 203:1 204:7 209:7 218:7,8,16 226:1,2,16 227:13,14,21

teachings 127:21,24 131:2 161:2 227:22,23

technical 11:14 24:18

techniques 114:6

technologies 5:7 11:15 26:18 38:10

technology 26:4,22 27:4 180:7 188:16 207:24 208:6

Telemedia 17:13

tells 17:21

temporarily 134:21

term 68:18,23 70:21 72:8 87:2 105:20 138:7,9,25 139:1,5 205:19

terminology 34:1 114:18

terms 23:3 50:25 60:8 95:23 102:4 166:5 209:6

test 165:22

testified 6:8 43:8 228:20

testify 6:17 43:14

testifying 45:19,22

testimony 7:1 42:11 44:25 108:2 146:23 205:24

text 29:10 129:4 130:13 187:11

theory 97:1 148:24,25 162:14,19

thereabouts 112:4

thereof 74:18,24 85:8,12

thesis 18:2,3 62:4 64:1,8,19,23

thing 33:22 46:14 64:21 65:2 107:4 117:11 129:19 132:6 170:19 172:12, 21 177:12 192:25 193:25 213:3,23

things 11:22,25 17:5 23:13 52:8,11 60:4 64:8 72:6,9,10 74:12 79:9,15 81:8 86:10 88:13 96:5,7 97:21 98:23 104:25 117:16 122:2 139:15,16 142:10 151:8 170:15 172:13 192:12 193:9 201:14 210:13 220:23 223:22 224:15

thinking 19:16 97:22 207:20

Thomas 5:21

thought 20:7 140:21 192:20 199:16 205:11

thumbnail-sized 31:13

Thursday 229:19

time 5:3 8:1 10:18 11:5 12:23 13:6 19:16 22:9 23:12,20 24:12 25:12,17 26:5 27:14,18 29:2 32:9 37:2,5,7,8,10 38:4 42:7 43:5 44:15,20 45:8 52:3 57:6 59:3 60:10,23 61:8 65:16,23 85:3 88:21 89:1 91:21 94:22 95:17 99:6 101:20 102:24 103:9 104:3 105:15,16,24 106:22 107:5,15,17,22 110:21 114:7 116:19 123:24 128:25 130:1 137:23 138:5,18 140:7 146:13, 18 153:4 157:15,23 158:11 170:12 173:10 174:22 185:10,15,20 193:13 196:13,17 199:23 201:14,16 202:17 207:23 208:6 212:2 213:9 215:13 220:8,16 221:20,22 222:4 223:17 225:15 228:6,12 229:1,8

timeframe 25:15 60:18 216:2

timeline 68:19,23 69:5,6,8,16

times 6:13,15 42:10 65:1,2 72:22 87:24 104:8 122:22 224:9

timing 32:18 41:24 163:16

today 6:17 9:1 24:17 25:2 28:24 33:13 64:21 116:25 117:3 128:14 141:18 142:1

today's 6:20 7:17,23 8:12,21 50:2 229:9

tolerate 159:1

tomorrow 229:17

tomorrow's 61:5

top 15:23 25:7 40:12 46:14 70:11 78:24 82:18 84:11 91:15 95:7 100:7 125:11 126:12 141:22 142:20

topic 18:4 222:7

total 7:5 8:5

totally 47:14 100:24

track 17:25 18:9 171:7 174:14

traditionally 97:5

traffic 61:5,13 62:1

training 50:20,22

transcode 133:3,18 134:2,4 135:12

transcoded 163:15

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.
Dr. Henry Houh

transcoding 133:7,9,10,25 136:17 153:12 160:10

transcript 29:4,5,10 31:16

transfer 202:18

transitions 173:25

transmission 85:10,22,25 87:9 90:23 100:18 101:5,7,11,19 104:17 106:13 110:3,5,6,9 111:20 129:21 156:9 157:6 158:13,17 172:10 189:3, 6 190:2,5 193:4,8 196:14 203:13 224:22 227:7

transmit 101:23 103:7 223:7

transmitted 101:18 103:7,17 203:14 223:14

transmitting 157:12 195:17 198:4 221:22

trial 42:16,20 43:1

true 48:24 55:5 113:2 214:1,19

truthfully 6:17

tuning 22:18

turn 69:18 84:9,13 89:22 99:8,12 108:8 131:20 156:16 161:17 170:6 185:24 196:9 204:13 219:8

turning 72:18 147:1 179:23

TV 15:21 21:3

type 26:4 124:1 209:4 215:3

types 97:2 98:12 116:4 117:2 124:7 152:25

typical 219:25

typically 24:25 51:4 52:11 136:20

typo 80:9,11 110:25

U

U.S. 56:10 211:21

Uh-huh 220:12

ultimate 158:25 166:17

ultimately 201:21

uncompressed 20:18 156:7

undergrad 18:2

undergraduate 16:9 18:1

underlying 26:21 27:3 168:9

understand 19:15 24:9,21 41:1 51:24 52:11,16 53:19 56:25 60:3 66:4,17 67:11,18 68:1 74:4 88:3 90:17 103:4 105:20 116:11 124:20 131:1 135:3 136:15,25 138:12,15 139:14,18 140:24 142:9,16 147:20 151:12 165:11 166:10 172:16 180:5 184:2 200:11 206:12,19 211:8 215:7 228:21

understanding 7:4,12 71:14 86:5 94:12 100:2 115:6 123:7 145:2,6 157:3 187:23 211:21

understood 19:6,25 22:7 23:13 40:4 45:11 65:15,23 75:13 86:13,21 87:9, 25 88:14 95:23 100:24 103:22 111:17 120:8 127:4 137:23 139:8,11 140:5 166:2,14 192:20 196:1 197:3

undesirable 175:7 209:9

unique 30:22 227:8

Unix 24:25 25:4,5,7,16

unlock 29:18,24

upper 130:7

user 22:15 23:9 24:1 30:14 44:6 88:5, 7,16,17 119:24,25 154:2 157:11 159:5,22 160:1 173:2 174:10 184:4 186:10,21 187:14 188:7 190:16 195:18 196:22 204:20 211:8 216:17 219:16 221:11,13,16,20,24 222:17 223:7,24 224:19 226:8,9

user's 22:2

users 14:6 23:15 28:7 72:15,16 81:17 92:22 105:22 191:18 211:18 224:25

utilized 129:22

V

validity 209:15

variations 78:5,12 79:4 93:14 110:18

variety 118:7 154:2 192:12

vary 111:21 195:3

Venn 96:23

verify 10:11 48:24 80:14 166:20

version 85:21,24 86:25 91:20,25 92:14,15,18 93:20 94:14 110:2,4 125:25 132:16 133:11,12 134:6,12,18 135:6,7,8,20,21 136:9,12 172:23 173:1 187:1,15 188:8 190:9 194:2 199:22,24 201:23 202:8 203:16 204:21 205:14 213:8,10,17

versions 24:14 25:13 74:19,24 75:8 81:20 83:15 91:13,16,18 92:17 93:18 100:16 104:16 106:12 109:22,25 116:14 121:4 123:13 134:13,23 136:3 150:18,19,25 152:7 153:6 154:12 169:8 172:8 192:10,24 193:3,17 194:7

versus 5:6 67:23

video 5:4 12:10,12,16,19,23 13:3,13, 15,24 14:4,11,16,17,24 15:2 17:18 18:7,16 19:14 20:3,8,9,14,19,20,23, 24 22:7,21 23:5,6,19 26:19,25 27:22, 23 28:2,4,12,14 29:4,11,14,17,21 30:20 31:2,6,8,13,15,18 32:2 61:10, 12,14,21 63:23 72:11,17,20 73:4,8, 10,13,15,21 74:1,7,8 76:4,21,22 77:8, 20,23 78:12,14 80:25 81:20,21 82:9, 21 83:3,14,18,21,23,25 84:8 85:23 86:13 87:6,14,15 88:7,18 94:19,24,25 95:5,20,21 96:3,17,22 97:6,10,14,24 98:4,5,10 99:20 100:5 104:3,24 105:9 108:23 109:16,19,20 110:4 111:7 115:25 119:4,6,19 120:1,12,19 121:4, 14,15 122:4,11,24 123:2,3,8,21,23 124:2,11,15,20,22 126:7,15,19,22,25 127:2,19,20 128:1,3,5,7,9,11,16 131:24 132:20 133:12,17 135:7,13, 14,21 136:5,8,11,14,16,18,19,24 139:24 143:21 145:18 146:6 148:12 149:20,21 150:2,9,20 151:3 152:11, 15,21 153:2,5,9,10,14 154:13 156:7 158:6,8,23,24 159:3 160:4,11,15,16 161:10,16 163:12,15,18 164:10 168:8 172:19 173:15,21 174:11,25 175:14 176:2 180:14,17 181:12,19,20 184:3, 6,8 191:22,24 194:14 202:25 203:5,6, 9,19 204:11 209:2 210:3 211:15,16 212:17,18,22 218:18,23 219:1,16,17, 21,25 221:4,5,6,8,12,17,21,23 222:11 228:20,21 229:1

video/audio 27:6

videoconferencing 156:10 219:18

videos 14:7 15:9,11 19:19 22:15 23:16 24:2 29:23 46:6 96:11,20 97:3 99:1 133:3 181:18 191:21

view 23:17 32:2 54:7,22 55:5,16 57:2,14 58:2 59:8,13 60:7,23 61:25 67:2 71:10 72:2 74:4,6 81:2 108:18 126:16 127:23 138:19 140:21 143:3 160:21 161:10 162:4,20 163:2 164:3 165:7,8,23 168:22 177:8 186:19 194:13 208:17 209:12

viewing 175:7 191:17 209:9 219:19 221:13,17

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 258
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

viewpoint 59:10

virtual 68:19,23 69:5,6,8,16

visual 216:18

voice 211:12 215:14

AYLO FREESITES LTD. v
DISH TECHNOLOGIES L.L.C.

Dr. Henry Houh

W

wait 221:12

waiting 87:23 126:10 221:4

wanted 11:24 23:16 40:3 45:5 50:11 63:10 99:16 117:17 192:19

wanting 175:24

watch 15:15 16:1,2 17:2 28:8

watched 22:5 97:19

watching 81:17 103:15 104:24 119:25 120:11 153:9 173:8,11

ways 55:22 60:21 61:6,18 63:17 159:10 191:16 225:11

web 21:25 25:8 26:7 92:3,10,15 93:6,7 94:1 96:9 106:11 150:4 152:18 153:16 192:9 193:7,12,16,18 194:6 196:7,10 197:21 203:15 226:25

website 12:14,22 13:2 14:2

websites 12:15

week 8:2,3 37:4

week's 16:5

weird 173:17,23 175:18

well-known 110:21 160:3

whatnot 46:6 117:16 118:9

wide 117:18 118:7 154:2

widely 111:21

Wild 17:8

Williams 5:10,13,19 6:10 34:3 41:13 44:12,23 88:19 89:4 102:10 107:14,25 109:6,9 146:11,21 185:9,13,23 228:2,15 229:5,10,16

Wilson 5:21

window 9:7,11 14:25 21:21,23 22:2 25:1 115:7 196:17

windowing 25:3

Windows 24:5,6,8,10,14,15,23 25:2,8,10,14 114:23 115:18,19 116:13 117:23,24 118:3

withdraw 26:1 55:1 119:21 123:25 145:8 164:24 176:8

won 18:2

wondering 124:9

word 19:22 20:12 21:9 24:6 31:5,7,9,10 70:17 72:19,22 73:17 105:18 106:1,22 122:21 133:22 135:3,4 137:8,19 138:6,25 139:10 140:22 141:3,7,8,12 145:4 148:18,22 150:19 168:15,18 211:12 214:8

wording 189:1

words 29:11,13 31:4 96:19 99:24 102:3 128:15 138:11,12 140:12,19 188:21 189:24

work 11:12 12:18 26:6 32:13 38:6 39:17 40:6 41:3 42:3,25 45:11 48:3,12,17,20 58:7 63:12 184:23 197:6 207:20,22 208:11 211:20 214:15

worked 32:4 39:4,11 40:14,18,24 43:17 44:11 48:11,17 59:3,24 60:15

working 19:17 37:6,7 39:16 40:17 41:4 59:4 89:7 209:19

works 74:4 211:19

world 17:8 22:4

worse 101:25

worth 16:5 224:17

write 29:20 141:2

written 7:5 20:9 21:21 53:21

wrong 14:14 53:5

wrote 13:16,20,23 16:9 18:24

X

XML 183:23

Y

year 18:3,4 42:16,17

years 44:9 54:11 55:12,14,21 59:4,23 60:16 208:14

Z

Zoom 9:7 151:12,16 152:5,8

DISH TECHNOLOGIES L.L.C., Exhibit 2009, p. 259
Aylo Freesites Ltd. v. Dish Technologies L.L.C., IPR2024-00043

1 DEPOSITION ERRATA SHEET

2 Page No. 146 Line No. 2 Change to: ____

3 "someone" to "one"

4 Reason for change: Mistranscription.

5 Page No. 198 Line No. 15 Change to: ____

6 "host" to "client"

7 Reason for change: Clarification. As I testified on the record I misspoke when I said "host" instead of "client." 201:4-9.

8 Page No. 199 Line No. 3 Change to: ____

9 "client host" to "client"

10 Reason for change: Clarification. As I testified on the record I misspoke when I said "host" instead of "client." 201:4-9.

11 Page No. 199 Line No. 9 Change to: ____

12 "host" to "client"

13 Reason for change: Clarification. As I testified on the record I misspoke when I said "host" instead of "client." 201:4-9.

14 Page No. 199 Line No. 13 Change to: ____

15 "host" to "client"

16 Reason for change: Clarification. As I testified on the record I misspoke when I said "host" instead of "client." 201:4-9.

17 Page No. 200 Line No. 24 Change to: ____

18 "hosts" to "clients"

19 Reason for change: Clarification. As I testified on the record I misspoke when I said "host" instead of "client." 201:4-9.

20 Page No. 201 Line No. 10 Change to: ____

21 "host" to "client"

22 Reason for change: Clarification. As I testified on the record I misspoke when I said "host" instead of "client." 201:4-9.

23

24 SIGNATURE: Henry H. Houh. DATE: 7/19/24

25 DR. HENRY HOUH

1 DEPOSITION ERRATA SHEET

2 Page No. 118 Line No. 1 Change to: ____

3 "beat" to "be."

4 Reason for change: Mistranscription.

5 Page No. 135 Line No. 4 Change to: ____

6 "ordered" to"in order."

7 Reason for change: Mistranscription.

8 Page No. 146 Line No. 7 Change to: ____

9 "order" to "ordered."

10 Reason for change: Mistranscription.

11 Page No. 206 Line No. 20 Change to: ____

12 "Mr. DeFay" to "Dr. Jeffay."

13 Reason for change: Mistranscription.

14 Page No. ___ Line No. ____ Change to: ____

15 _____

16 Reason for change: _____

17 Page No. ___ Line No. ____ Change to: ____

18 _____

19 Reason for change: _____

20 Page No. ___ Line No. ____ Change to: ____

21 _____

22 Reason for change: _____

23

24 SIGNATURE: Henry H. Houh. DATE: 7/19/24

25 DR. HENRY HOUH