

UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

APPLE, INC.,

Petitioner,

v.

MASIMO CORPORATION,

Patent Owner.

Case Nos. IPR2024-00290

U.S. Patent 8,190,223

DEPOSITION OF DR. ANDREW COCKBURN

January 24, 2025

2:00 p.m. (Eastern Standard Time)

Reported by: Eileen Mulvenna, CSR/RMR/CRR

DIGITAL EVIDENCE GROUP

1730 M Street, NW, Suite 812

Washington, D.C. 20036

(202) 232-0646

1 REMOTE DEPOSITION of DR. ANDREW COCKBURN,
2 Declarant for Petitioner in the above-titled action,
3 held on Friday, January 24, 2025, commencing at
4 approximately 2:00 p.m., before Eileen Mulvenna,
5 CSR/RMR/CRR/RDR, Certified Shorthand Reporter,
6 Registered Merit Reporter, Certified Realtime
7 Reporter, Registered Diplomate Reporter, and Notary
8 Public of the State of New York.

9
10
11
12
13
14
15
16
17
18
19
20
21
22

1 A P P E A R A N C E S:

2

3 FOR PATENT OWNER, MASIMO CORPORATION:

4

5 KNOBBE MARTENS OLSON & BEAR, LLP

6 BY: DANIEL KIANG, ESQUIRE

7 2040 Main Street, 14th Floor

8 Irvine, California 92614

9 949.760.0404

10 daniel.kiang@knobbe.com

11

12

13 FOR PATENT OWNER, APPLE INC.:

14 FISH & RICHARDSON P.C.

15 BY: GRACE KIM, ESQUIRE

16 60 South 6th Street, Suite 3200

17 Minneapolis, Minnesota 55402

18 612.335.5070

19

AND

20

21

22

1 A P P E A R A N C E S (Continued):

2

3 GRETCHEN DEVRIES, Ph.D., ESQUIRE

4 7 Times Square, 20th Floor

5 New York, New York 10036

6 212.765.5070

7

8 Also Present:

9

10 Billy Fahnert, Document technician

11

12

13

14

15

16

17

18

19

20

21

22

1 I N D E X

2 WITNESS EXAMINATION BY PAGE

3 DR. ANDREW J.G. COCKBURN

4 MR. KIANG 6

5

6

7 E X H I B I T S

8 PAGE

9 Exhibit 1003 Previously marked 21

10 Exhibit 1004 Previously marked 12

11 Exhibit 1022 Previously marked 11

12

13

14

15

16

17

18

19

20

21

22

1 THE REPORTER: Counsel, before I swear
2 in the witness, do all counsel stip to the
3 witness being sworn in remotely?

4 MR. KIANG: Yes.

5 MS. KIM: Yes.

6 THE REPORTER: Counsel, please state
7 your appearance and whom you represent for
8 the record.

9 MR. KIANG: This is Daniel Kiang from
10 Knobbe Martens Olson & Bear representing the
11 Patent Owner, Masimo Corporation.

12 MS. KIM: This is Grace Kim at Fish &
13 Richardson representing Apple, Petitioner.

14 DR. ANDREW J.G. COCKBURN,
15 having been duly sworn by Eileen Mulvenna,
16 a Notary Public of the State of New York,
17 was examined and testified as follows:

18 EXAMINATION

19 BY MR. KIANG:

20 Q. Good morning, Dr. Cockburn.

21 A. Good morning.

22

1 Q. Am I pronouncing your name correctly?

2 A. You are.

3 Q. Could you please state your full name
4 for the record?

5 A. Andrew Jeremy Gavin Cockburn.

6 Q. Dr. Cockburn, do you understand that
7 you're being deposed today in connection with IPR
8 2024-00290 which involves U.S. Patent No. 8,190,223;
9 is that correct?

10 A. I haven't got the numbers in front of
11 me, but that sounds correct. It's certainly the
12 '223 patent that I'll be testifying to with respect
13 to today.

14 Q. You mentioned the '223 patent. I'll
15 refer to that patent as well as the '223. Is that
16 fine?

17 A. Yes.

18 Q. I'll be asking you some questions
19 today. I ask that you please wait until I've
20 completed my question before answering. If you
21 don't understand a question, please let me know and
22 I will try to rephrase or explain the question. If

1 you don't ask me to clarify, I'll assume that you
2 understand the question.

3 Is that fair?

4 A. Yes.

5 Q. Please do your best to speak clearly
6 and respond verbally to the questions so that the
7 answers can be recorded. Things like a head nod or
8 head shake won't show up in the transcript.

9 Is that fair?

10 A. Understood, yes.

11 Q. And your attorney might be objecting
12 to some of my questions but unless she instructs you
13 not to answer you must still answer my question.

14 Is that fair?

15 A. Yes.

16 Q. Where are you located today?

17 A. I'm in my house in Littleton, New
18 Zealand.

19 Q. Is anyone in the room with you?

20 A. No.

21 Q. Do you have any documents with you?

22 A. No.

1 Q. Do you have any notes or any other --
2 let me rephrase that.

3 Do have any notes about this IPR with
4 you at the moment?

5 A. No.

6 Can I clarify that? I'm speaking to
7 you through a computer. This computer has extensive
8 notes and materials on it, but I have none of those
9 open at the moment.

10 Q. No problem.

11 What is open on your computer screen
12 right now?

13 A. The Zoom window that I'm speaking to
14 you through and a Chrome window that is linked to
15 Cockburn marked exhibits on Box that I believe you
16 will be using to upload documents. Nothing else is
17 physical on my display.

18 Q. Do you have a phone with you?

19 A. Yes, it's to my side, and I just
20 turned it on to airplane mode.

21 Q. Are you in communication with anybody
22 else outside of this Zoom application?

1 A. No.

2 Q. Have you spoken with anybody at Apple,
3 Inc. in relationship to this IPR?

4 A. No.

5 Q. How did you prepare for today's
6 deposition?

7 A. I reread my second declaration. I
8 inspected the '223 patent again. I reviewed various
9 documents including those that are cited in my
10 second declaration. Excuse me.

11 Q. You said that you reviewed various
12 documents. Do you recall what other documents you
13 read in preparation for today's deposition?

14 A. Yes, a prior art reference that I
15 referred to in my second declaration as Naghavi.
16 Another that I referred to as Hochstedler. And
17 another that I referred to as Burnes. Another that
18 I referred to as Malmborg. And two dictionary
19 entries. And segments of my first declaration and
20 segments of Dr. Duckworth's declaration. And
21 possibly a few others that I don't recall right now.

22 Q. How long did you prepare for today's

1 deposition?

2 A. I think it's around about six hours so
3 far.

4 Q. You submitted a second declaration in
5 this IPR; is that correct?

6 A. Yes.

7 Q. And that second declaration is marked
8 as Exhibit 1022; is that correct?

9 A. I haven't memorized the numbers, and I
10 currently don't have access to any of those numbers.

11 MR. KIANG: Can I please have the hot
12 seater pull up Exhibit 1022.

13 (Exhibit 1022, Previously marked.)

14 BY MR. KIANG:

15 Q. Dr. Cockburn, you can download this
16 from the Box link, I believe.

17 A. I have it now. Thanks.

18 Q. I'm showing you what was previously
19 marked as Exhibit 1022 on the screen. Dr. Cockburn,
20 is this your second declaration?

21 A. It is, yes.

22 Q. Have you read this declaration?

1 A. Yes.

2 Q. Let's turn to page 10 of this
3 declaration. And starting at paragraph 19 of your
4 declaration, you address Claim 4 of the '223 patent;
5 is that correct?

6 A. Yes.

7 Q. And in paragraph 20 of your
8 declaration, you rely on the quote default selection
9 button unquote of Figure 8 in Hochstedler; is that
10 correct?

11 A. That's correct.

12 Q. And the default selection button that
13 you relied on here is numeral 163 in Hochstedler; is
14 that correct?

15 A. I haven't memorized the number of that
16 element and I don't see it the number referred to
17 right here.

18 MR. KIANG: Before we begin, can I
19 have the hot seater please bring up
20 Exhibit 1004, then, and let's turn to
21 Column 8 of lines 36 to 38.

22 (Exhibit 1004, Previously marked.)

1 BY MR. KIANG:

2 Q. Dr. Cockburn, I believe a copy of this
3 is also available in the Box for you.

4 THE HOT SEATER: I'm sorry, which
5 paragraph or which --

6 MR. KIANG: Column 8, lines 36 to 38.
7 Just pull up that whole paragraph where it
8 is.

9 THE WITNESS: Is there a pending
10 question? If so, can you repeat it?

11 BY MR. KIANG:

12 Q. Sure.

13 Have you had a chance to take a look
14 at Hochstedler's disclosure Column 8, lines 36 to 38
15 now?

16 A. Yes.

17 Q. Okay. So the default selection button
18 that you're referring to in paragraph 20 of your
19 declaration was reference number 163 in Hochstedler;
20 is that correct?

21 A. Not entirely. There are two things
22 that I refer to in paragraph 20. There is the

1 default selection button. There's also the weighted
2 algorithm for layout selection that Hochstedler
3 describes at Column 7, lines 6 to 14.

4 Q. The default selection button that you
5 referenced here in paragraph 20, that's reference
6 number 163; is that correct?

7 A. Sorry, I missed a couple of words in
8 the question.

9 Q. Sure.

10 The default selection button that you
11 referenced here in paragraph 20, that's referred to
12 as reference number 163 in Hochstedler; is that
13 correct?

14 A. Yes, the button is actually labeled
15 "show defaults" and it is identified as button -- as
16 Element 163 in Figure 8.

17 Q. Let's go to Figure 8, then, in
18 Hochstedler.

19 A. I'm there.

20 MR. KIANG: Hot seater, please pull up
21 that Figure 8. Yeah. Great. Thank you.

22

1 BY MR. KIANG:

2 Q. So you said this button says "show
3 defaults"; is that correct?

4 A. Yes, it does.

5 Q. And defaults is plural?

6 A. Yes.

7 Q. So in your opinion, Hochstedler
8 discloses multiple default layouts; is that correct?

9 A. Yes, there are at least two ways in
10 which defaults are revealed by Hochstedler. One is
11 the selection layout window that presents a default
12 bedlayout 1 to the user. And another element, which
13 is the show defaults button, that the user can
14 select to show defaults.

15 Q. When the user selects the show
16 defaults button, there would be multiple defaults
17 shown; is that correct?

18 A. One or more defaults -- default
19 layouts would be shown if the user selects the show
20 defaults button.

21 Q. I want to point your attention to
22 these three buttons that are shown here in Figure 8.

1 Those are 162, 163 and 164.

2 Do you see those on Figure 8?

3 A. I do.

4 Q. Do you agree that Hochstedler calls
5 those buttons as, quote-unquote, selections?

6 MS. KIM: Objection, scope.

7 THE WITNESS: I haven't memorized the
8 passage of text that describes those buttons.

9 MR. KIANG: Let's go back to
10 Hochstedler then at Column 8, lines 33 to 36.
11 I'll ask the hot seater to blow it up a
12 little bit more.

13 THE HOT SEATER: I'm sorry, more of
14 the selection? So you want 33 through 36 you
15 said?

16 MR. KIANG: Yes, can we just show that
17 whole paragraph, please?

18 THE HOT SEATER: Absolutely.

19 BY MR. KIANG:

20 Q. Let me repeat the question,
21 Dr. Cockburn.

22 Hochstedler refers to these buttons as

1 selections; is that correct?

2 A. Could you show me exactly where it
3 refers to those three buttons as selections? I can
4 see the word "selection." There is a default
5 selection button 163, and there are other buttons
6 that allow the user to cancel a layout switch. The
7 user can select the OK button to confirm a default
8 layout recommendation provided by the system such as
9 bedlayout 1.

10 Q. I think Hochstedler here uses the word
11 "selections" in lines 35 to 36.

12 Do you see that?

13 A. I see. So if you return to Figure 8,
14 Figure 8 shows a dialog box 160 that includes a set
15 of choices in the list including in this case only
16 bedlayout 1 which is the default layout that has
17 been selected by the weighted algorithm. That
18 default layout that is being selected by the
19 weighted algorithm can be confirmed by the user
20 pressing the OK button labeled 162.

21 Hochstedler describes this process in
22 Column 8 as the user selects the OK button 162, the

1 layout is switched to a layout 170 shown in
2 Figure 9. And the dialog box 160 includes an accept
3 mechanism in the form of an OK button to confirm the
4 switch.

5 So the use of the OK button 162 is
6 used to confirm the default layout selection,
7 bedlayout 1, that is being presented by the weighted
8 algorithm that Hochstedler discloses.

9 Q. So if Hochstedler's algorithm
10 recommends a layout, then a user still must select
11 the OK button in Figure 8 to display that
12 recommended layout; is that correct?

13 A. In this particular example, that is
14 correct. The choice of the layout has been made by
15 the automatic weighted algorithm and in this case,
16 the user must confirm the selection by pressing the
17 OK button.

18 Q. In your declaration --

19 MR. KIANG: I'll note to the hot
20 seater, we can take down his Exhibit 1004.

21 BY MR. KIANG:

22 Q. So returning now to your second

1 declaration, Dr. Cockburn, in your second
2 declaration, you cited a new reference called
3 "Malmborg"; is that correct?

4 A. That's correct.

5 Q. And Malmborg was not one of the
6 references that was included with Apple's petition;
7 is that correct?

8 A. My focus of attention in -- was my
9 first declaration, not the petition itself. So I
10 wrote a first declaration and a second declaration.
11 The contents of the petition itself, I don't know if
12 that referred to Malmborg or not, but my
13 declaration -- my first declaration did not, to my
14 memory.

15 Q. Just to clarify, Malmborg was not one
16 of the references that you cited or relied upon in
17 your first declaration; is that right?

18 A. That's correct.

19 MS. KIM: Objection, scope.

20 BY MR. KIANG:

21 Q. When did you first become aware of the
22 Malmborg reference?

1 A. I believe it was early last year.

2 Q. Early last year meaning in early 2024?

3 A. Relatively shortly after I first
4 became engaged in this case. I don't remember the
5 date.

6 Q. When did you first become engaged in
7 this case?

8 A. I can't remember but I'd need to --
9 I'd need to look at my letter to be able to tell you
10 that.

11 MS. KIM: Objection. Relevance.

12 BY MR. KIANG:

13 Q. Dr. Cockburn, you provided a first
14 declaration in December 2023 in this IPR; is that
15 correct?

16 A. I don't have access to my first
17 declaration. I don't recall the date. I'd need to
18 look it up.

19 Q. I'll just represent to you that I'm
20 looking at Exhibit 1003 at the moment and it has
21 your signature next to a date, 12th of
22 December 2023.

1 (Exhibit 1003, Previously marked.)

2 BY MR. KIANG:

3 Q. So, Dr. Cockburn, you would have been
4 engaged in this case sometime before December 2023;
5 is that right?

6 A. That's correct. My memory regarding
7 the date that I was first aware of Malmberg is,
8 obviously, later than it factually was.

9 MS. KIM: Objection; relevance.

10 BY MR. KIANG:

11 Q. Dr. Cockburn, were you aware of
12 Malmberg sometime around the first half of 2024
13 would you say?

14 A. Yes, I must have been aware of it at
15 that time.

16 Q. How did you become aware of it at that
17 time?

18 MS. KIM: Objection.

19 I'm going to instruct the witness not
20 to answer the question that calls for
21 disclosure of privileged information.

22 MR. KIANG: I disagree, Counsel. I

1 will direct you to Federal Rule of Civil
2 Procedure 26(b)(4)(c), that information is
3 clearly discoverable. I'll ask that you
4 recall that objection.

5 MS. KIM: I maintain my objection.

6 BY MR. KIANG:

7 Q. Dr. Cockburn, did counsel provide you
8 with Malmborg?

9 MS. KIM: Objection.

10 THE WITNESS: I don't recall. I know
11 that -- in the cases that I work on,
12 sometimes references are provided to me by
13 counsel. Sometimes I provide references to
14 counsel when I do my prior art search.

15 Regarding Malmborg, I don't recall
16 whether it was presented to me or whether I
17 found it.

18 BY MR. KIANG:

19 Q. Go to paragraph 18 of your
20 declaration. Your second declaration, that is.

21 A. I'm there. Thanks.

22 Q. You say here that you've not relied on

1 Malmborg in reaching your conclusion that the claims
2 are obvious over the Naghavi-Hochstedler-Burnes
3 combination; is that correct?

4 A. Yes. It goes on to say that I'm
5 simply relying on it here to provide some context
6 that the idea of removing a parameter from display
7 and in exchange displaying another parameter was not
8 new.

9 Q. So you're not relying on the Malmborg
10 reference to disclose any claim limitation that was
11 missing from the Naghavi-Hochstedler-Burnes
12 combination; is that correct?

13 A. I'm relying on it as corroborating
14 evidence that the idea of replacing one parameter
15 with another where the parameter that is now
16 displayed was not previously displayed is not a new
17 concept.

18 Q. I don't think that quite answers my
19 question.

20 You're not relying on Malmborg to
21 disclose a claim limitation for the purposes of this
22 IPR; is that correct?

1 A. That's correct. My analysis relies on
2 the combination of Naghavi-Hochstedler-Burnes, and I
3 use Malmborg for corroborating evidence.

4 Q. Are you aware that Masimo has asserted
5 the '223 patent in a related litigation between
6 Apple and Masimo pending in district court for the
7 District of Delaware?

8 MS. KIM: Objection, relevance.

9 THE WITNESS: I know that there was a
10 related litigation. I did not recall that it
11 was specifically in the District of Delaware.
12 I'm sure I have been informed of that in the
13 past.

14 BY MR. KIANG:

15 Q. Are you under a protective order in
16 that case, that related litigation?

17 A. Not that I'm aware of.

18 Q. Have you reviewed any documents from
19 that related litigation in connection with your
20 opinions in this IPR?

21 A. Can you repeat the question, please?

22 Q. Sure.

1 Have you reviewed any documents from
2 that related litigation in connection with your
3 opinions provided in this IPR?

4 MS. KIM: Objection, relevance.

5 THE WITNESS: I'm sure there are
6 documents that I have reviewed that are
7 included in that litigation. For instance,
8 the '223 patent I've reviewed many times, it
9 would be included in that litigation; but I
10 have not reviewed documents that are -- well,
11 that have been retrieved from that
12 litigation.

13 Hopefully you understand my point.
14 I'm sure that the '223 patent, for instance,
15 is included in the documents in that
16 litigation. I've certainly reviewed the '223
17 patent many times, but my access to that
18 document comes from my engagement in the IPR,
19 not from any engagement in the Delaware
20 litigation.

21 BY MR. KIANG:

22 Q. Okay.

1 MS. KIM: Objection, scope.

2 BY MR. KIANG:

3 Q. In connection with forming the
4 opinions that you provided in this IPR, did you
5 speak with any of Apple's expert witnesses from that
6 related litigation?

7 A. No -- well, I don't know who those
8 expert witnesses are. I collaborate with members of
9 the human-computer interaction community. I've not
10 discussed any aspects of the '223 with any members
11 of the community, but I may have had discussions
12 coincidentally with people who are engaged in the
13 Delaware litigation.

14 Q. Are you aware that there's a
15 Dr. Steven Warren who's an expert engaged by Apple
16 in that related litigation?

17 MS. KIM: Objection, scope.

18 THE WITNESS: I don't know Steven
19 Warren. And I've never had any discussions
20 to my knowledge with anyone named Steven
21 Warren.

22

1 BY MR. KIANG:

2 Q. Have you ever reviewed any documents
3 by Steven Warren?

4 MS. KIM: Objection, relevance, scope.

5 THE WITNESS: Not that I'm aware of.

6 BY MR. KIANG:

7 Q. Are you aware that in the related
8 litigation, Apple relied on Malmborg as a prior art
9 reference to allege that the claims of the '223
10 patent are invalid?

11 MS. KIM: Objection, relevance, scope.

12 THE WITNESS: Yes, I have been
13 informed by counsel that Malmborg is used in
14 that case. Had no influence on my opinions
15 here.

16 BY MR. KIANG:

17 Q. Let's turn to page 9 of your second
18 declaration.

19 A. I'm there. Thanks.

20 Q. On page 9, there's an annotated
21 figure, Figure 6 from Malmborg; is that right?

22 A. Yes.

1 Q. You did not prepare the annotations of
2 Figure 6 yourself; is that correct?

3 A. That's correct.

4 Q. You received these annotations from
5 elsewhere; is that correct?

6 A. Yes.

7 Q. Do you know who prepared these
8 annotations?

9 A. No.

10 Q. I will represent to you that this same
11 exact figure with the same exact annotations
12 appeared in a November 23, 2023, expert report of
13 Dr. Steven Warren regarding invalidity of the '223
14 patent. I'll further represent to you that that
15 report was designated as confidential/attorneys'
16 eyes only limited for use only in that Delaware
17 litigation.

18 Were you aware of that fact?

19 A. No.

20 MS. KIM: Objection, scope, relevance.

21 BY MR. KIANG:

22 Q. Dr. Cockburn, did you rely on any

1 confidential information subject to protective order
2 in the related litigation to provide opinions in
3 this IPR?

4 A. No. The contents of my declaration
5 represents my opinions and my understanding.

6 Q. How did your report end up including
7 the same annotated figure from a confidential report
8 in another litigation?

9 MS. KIM: Objection, scope.

10 THE WITNESS: What Figure 6 shows is
11 clear. The annotations are useful in
12 clarifying a position and an opinion that I
13 hold regarding Malmborg.

14 If I had been -- if I had not been
15 presented with the image shown in Figure 6, I
16 would have essentially created something
17 equivalent to Figure 6 myself.

18 BY MR. KIANG:

19 Q. But you don't know how your report
20 ended up with the same figure that originated from a
21 confidential document; is that correct?

22 MS. KIM: Objection, scope,

1 foundation.

2 THE WITNESS: That's completely
3 incorrect. I know exactly how that image is
4 included in my declaration. It's included
5 because I found it a useful figure for
6 clarifying the position and arguments that I
7 was making in my second declaration.

8 BY MR. KIANG:

9 Q. So if you --

10 A. If I had not found it useful in
11 clarifying the position I was taking regarding
12 Malmborg, I would not have included it in my
13 declaration.

14 Q. How would you have found this figure
15 useful when this figure came from a confidential
16 document?

17 MS. KIM: Objection, scope, relevance.

18 THE WITNESS: The paragraphs
19 associated with the figure provide a
20 relatively fulsome description of why I find
21 the figure useful. It clearly discloses that
22 the change in the display order involves

1 removing some parameter values from the
2 display entirely. This figure is included in
3 my second declaration because it helps
4 clarify that removal.

5 BY MR. KIANG:

6 Q. Dr. Cockburn, have you been in contact
7 with anyone from the law firm Desmarais during the
8 course of this IPR?

9 MS. KIM: Objection, scope.

10 MR. KIANG: That's D-E-S-M-A-R-A-I-S.

11 THE WITNESS: I have worked with
12 attorneys from Desmarais on different
13 litigation matters. I can't recall the dates
14 of that work and whether those dates
15 overlapped at all with this matter; however,
16 I've not discussed any matters related to the
17 '223 patent and its IPR with any attorneys
18 from Desmarais.

19 BY MR. KIANG:

20 Q. Thank you, Dr. Cockburn. I have no
21 further questions at this time.

22 THE REPORTER: Ms. Kim, do you have

1 any questions?

2 MS. KIM: Yes. Can we take a
3 15-minute break?

4 THE REPORTER: Sure. We're off the
5 record.

6 (Recess taken at 2:33 p.m.)

7 THE REPORTER: We're back on the
8 record at 2:45.

9 MS. KIM: Dr. Cockburn, Counsel, I
10 have no further questions.

11 MR. KIANG: Thank you.

12 THE WITNESS: Thank you.

13 (Examination concluded. The time is
14 2:46 p.m.)

15

16

17

18

19

20

21

22

1 STATE OF NEW YORK)
2)
3 COUNTY OF WESTCHESTER)
4)

I, EILEEN MULVENNA, CSR/RMR/CRR, a
5 Certified Court Reporter, Registered Merit Reporter,
6 Certified Realtime Reporter, and Notary Public in
and for the State of New York, do hereby certify:

That I reported the taking of the
7 deposition of the witness, DR. ANDREW COCKBURN,
8 commencing on the 24th day of January 2025, at the
hour of 2:00 p.m. (Eastern Standard Time).


That prior to being examined, the witness
9 was duly sworn by me to testify to the truth, the
whole truth, and nothing but the truth.

10 That I thereafter transcribed my said
shorthand notes into typewriting and that the
11 typewritten transcript of said deposition is a
complete, true and accurate transcription of my
12 said shorthand notes taken down at said time, and
that a request has been made to review the
13 transcript.

I further certify that I am not a relative
14 or employee of an attorney or counsel of any of the
15 parties, nor a relative or employee of any attorney
or counsel involved in said action, nor a person
16 financially interested in the action.

17 IN WITNESS WHEREOF, I have hereunto
18 set my signature this 26th day of August, 2024.

19
20
21
22


EILEEN MULVENNA, CSR/RMR/CRR/RDR

1 Dr. Andrew Cockburn, c/o
FISH & RICHARDSON P.C.
2 60 South 6th Street, Suite 3200
Minneapolis, Minnesota 55402

3
Case: Apple, Inc. v. Masimo Corp.
4 Date of deposition: January 24, 2025
Deponent: Dr. Andrew Cockburn

5
6 Please be advised that the transcript in the above
referenced matter is now complete and ready for signature.
7 The deponent may come to this office to sign the transcript,
8 a copy may be purchased for the witness to review and sign,
9 or the deponent and/or counsel may waive the option of
10 signing. Please advise us of the option selected.
11 Please forward the errata sheet and the original signed
12 signature page to counsel noticing the deposition, noting the
13 applicable time period allowed for such by the governing
14 Rules of Procedure. If you have any questions, please do
15 not hesitate to call our office at (202)-232-0646.

16

17

18 Sincerely,
19 Digital Evidence Group
20 Copyright 2025 Digital Evidence Group
21 Copying is forbidden, including electronically, absent
22 express written consent.

1 Digital Evidence Group, L.L.C.
1730 M Street, NW, Suite 812
2 Washington, D.C. 20036
(202) 232-0646

3
4 SIGNATURE PAGE
Case: Apple, Inc. v. Masimo Corp.
5 Witness Name: Dr. Andrew Cockburn
Deposition Date: January 24, 2025

6
I do hereby acknowledge that I have read
7 and examined the foregoing pages
of the transcript of my deposition and that:

8
9 (Check appropriate box):
() The same is a true, correct and
10 complete transcription of the answers given by
me to the questions therein recorded.
11 () Except for the changes noted in the
attached Errata Sheet, the same is a true,
12 correct and complete transcription of the
13 answers given by me to the questions therein
14 recorded.

15		
16	_____	_____
17	DATE	WITNESS SIGNATURE
18		
19		
20		
21	_____	_____
22	DATE	NOTARY

1 Digital Evidence Group, LLC
2 1730 M Street, NW, Suite 812
3 Washington, D.C. 20036
4 (202)232-0646

5

6 ERRATA SHEET

7

8 Case: Apple, Inc. v. Masimo Corp.

9 Witness Name: Dr. Andrew Cockburn

10 Deposition Date: January 24, 2025

11 Page No. Line No. Change

12

13

14

15

16

17

18

19

20

21

22 Signature

Date

A	35:4 36:8 Apple's 19:6 26:5 applicable 34:13 application 9:22 appropriate 35:9 approximately 2:4 arguments 30:6 art 10:14 22:14 27:8 asking 7:18 aspects 26:10 asserted 24:4 associated 30:19 assume 8:1 attached 35:11 attention 15:21 19:8 attorney 8:11 33:14,15 attorneys 31:12 31:17 August 33:18 automatic 18:15 available 13:3 aware 19:21 21:7 21:11,14,16 24:4,17 26:14 27:5,7 28:18	bring 12:19 Burnes 10:17 button 12:9,12 13:17 14:1,4,10 14:14,15 15:2 15:13,16,20 17:5,7,20,22 18:3,5,11,17 buttons 15:22 16:5,8,22 17:3 17:5	clarifying 29:12 30:6,11 clear 29:11 clearly 8:5 22:3 30:21 Cockburn 1:14 2:1 5:3 6:14,20 7:5,6 9:15 11:15,19 13:2 16:21 19:1 20:13 21:3,11 22:7 28:22 31:6 31:20 32:9 33:7 34:1,4 35:5 36:9 coincidentally 26:12 collaborate 26:8 Column 12:21 13:6,14 14:3 16:10 17:22 combination 23:3,12 24:2 come 34:7 comes 25:18 commencing 2:3 33:7 communication 9:21 community 26:9 26:11 complete 33:11 34:6 35:10,12 completed 7:20 completely 30:2 computer 9:7,7 9:11 concept 23:17 concluded 32:13 conclusion 23:1 confidential 29:1 29:7,21 30:15 confidential/att... 28:15 confirm 17:7 18:3,6,16 confirmed 17:19	connection 7:7 24:19 25:2 26:3 consent 34:22 contact 31:6 contents 19:11 29:4 context 23:5 Continued 4:1 copy 13:2 34:8 Copying 34:21 Copyright 34:20 Corp 34:3 35:4 36:8 Corporation 1:8 3:3 6:11 correct 7:9,11 11:5,8 12:5,10 12:11,14 13:20 14:6,13 15:3,8 15:17 17:1 18:12,14 19:3,4 19:7,18 20:15 21:6 23:3,12,22 24:1 28:2,3,5 29:21 35:9,12 correctly 7:1 corroborating 23:13 24:3 counsel 6:1,2,6 21:22 22:7,13 22:14 27:13 32:9 33:14,15 34:9,12 COUNTY 33:3 couple 14:7 course 31:8 court 24:6 33:5 created 29:16 CSR/RMR/CRR 1:17 33:4 CSR/RMR/CR... 2:5 33:22 currently 11:10
B	B 5:7 back 16:9 32:7 Bear 3:5 6:10 bedlayout 15:12 17:9,16 18:7 believe 9:15 11:16 13:2 20:1 best 8:5 Billy 4:10 bit 16:12 blow 16:11 BOARD 1:3 box 9:15 11:16 13:3 17:14 18:2 35:9 break 32:3	C C 3:1 4:1 c/o 34:1 California 3:8 call 34:15 called 19:2 calls 16:4 21:20 cancel 17:6 case 1:11 17:15 18:15 20:4,7 21:4 24:16 27:14 34:3 35:4 36:8 cases 22:11 certainly 7:11 25:16 Certified 2:5,6 33:5,5 certify 33:6,13 chance 13:13 change 30:22 36:11 changes 35:11 Check 35:9 choice 18:14 choices 17:15 Chrome 9:14 cited 10:9 19:2 19:16 Civil 22:1 claim 12:4 23:10 23:21 claims 23:1 27:9 clarify 8:1 9:6 19:15 31:4	D D 5:1 D-E-S-M-A-R...	

31:10 D.C 1:21 35:2 36:3 Daniel 3:6 6:9 daniel.kiang@... 3:10 date 20:5,17,21 21:7 34:4 35:5 35:17,22 36:10 36:22 dates 31:13,14 day 33:7,18 December 20:14 20:22 21:4 Declarant 2:2 declaration 10:7 10:10,15,19,20 11:4,7,20,22 12:3,4,8 13:19 18:18 19:1,2,9 19:10,10,13,13 19:17 20:14,17 22:20,20 27:18 29:4 30:4,7,13 31:3 default 12:8,12 13:17 14:1,4,10 15:8,11,18 17:4 17:7,16,18 18:6 defaults 14:15 15:3,5,10,13,14 15:16,16,18,20 Delaware 24:7,11 25:19 26:13 28:16 deponent 34:4,7 34:9 deposed 7:7 deposition 1:14 2:1 10:6,13 11:1 33:7,11 34:4,12 35:5,7 36:10 describes 14:3 16:8 17:21 description 30:20 designated 28:15	Desmarais 31:7 31:12,18 DEVRIES 4:3 dialog 17:14 18:2 dictionary 10:18 different 31:12 Digital 1:20 34:19,20 35:1 36:1 Diplomate 2:7 direct 22:1 disagree 21:22 disclose 23:10,21 discloses 15:8 18:8 30:21 disclosure 13:14 21:21 discoverable 22:3 discussed 26:10 31:16 discussions 26:11 26:19 display 9:17 18:11 23:6 30:22 31:2 displayed 23:16 23:16 displaying 23:7 district 24:6,7,11 document 4:10 25:18 29:21 30:16 documents 8:21 9:16 10:9,12,12 24:18 25:1,6,10 25:15 27:2 download 11:15 Dr 1:14 2:1 5:3 6:14,20 7:6 10:20 11:15,19 13:2 16:21 19:1 20:13 21:3,11 22:7 26:15 28:13,22 31:6 31:20 32:9 33:7 34:1,4 35:5	36:9 Duckworth's 10:20 duly 6:15 33:9 E E 3:1,1 4:1,1 5:1 5:7 early 20:1,2,2 Eastern 1:16 33:8 Eileen 1:17 2:4 6:15 33:4,22 electronically 34:21 element 12:16 14:16 15:12 employee 33:14 33:15 ended 29:20 engaged 20:4,6 21:4 26:12,15 engagement 25:18,19 entirely 13:21 31:2 entries 10:19 equivalent 29:17 errata 34:11 35:11 36:6 ESQUIRE 3:6,15 4:3 essentially 29:16 evidence 1:20 23:14 24:3 34:19,20 35:1 36:1 exact 28:11,11 exactly 17:2 30:3 Examination 5:2 6:18 32:13 examined 6:17 33:8 35:7 example 18:13 exchange 23:7 Excuse 10:10 Exhibit 5:9,10,11	11:8,12,13,19 12:20,22 18:20 20:20 21:1 exhibits 9:15 expert 26:5,8,15 28:12 explain 7:22 express 34:22 extensive 9:7 eyes 28:16 F fact 28:18 factually 21:8 Fahnert 4:10 fair 8:3,9,14 far 11:3 Federal 22:1 figure 12:9 14:16 14:17,21 15:22 16:2 17:13,14 18:2,11 27:21 27:21 28:2,11 29:7,10,15,17 29:20 30:5,14 30:15,19,21 31:2 financially 33:16 find 30:20 fine 7:16 firm 31:7 first 10:19 19:9 19:10,13,17,21 20:3,6,13,16 21:7,12 Fish 3:14 6:12 34:1 Floor 3:7 4:4 focus 19:8 follows 6:17 forbidden 34:21 foregoing 35:7 form 18:3 forming 26:3 forward 34:11 found 22:17 30:5 30:10,14	foundation 30:1 Friday 2:3 front 7:10 full 7:3 fulsome 30:20 further 28:14 31:21 32:10 33:13 G Gavin 7:5 given 35:10,13 go 14:17 16:9 22:19 goes 23:4 going 21:19 Good 6:20,21 governing 34:13 Grace 3:15 6:12 Great 14:21 GRETCHEN 4:3 Group 1:20 34:19,20 35:1 36:1 H H 5:7 half 21:12 head 8:7,8 held 2:3 helps 31:3 hereunto 33:17 hesitate 34:15 Hochstedler 10:16 12:9,13 13:19 14:2,12 14:18 15:7,10 16:4,10,22 17:10,21 18:8 Hochstedler's 13:14 18:9 hold 29:13 Hopefully 25:13 hot 11:11 12:19 13:4 14:20 16:11,13,18 18:19
---	--	--	---	--

hours 11:2 house 8:17 human-compu... 26:9 I idea 23:6,14 identified 14:15 image 29:15 30:3 included 19:6 25:7,9,15 30:4 30:4,12 31:2 includes 17:14 18:2 including 10:9 17:15 29:6 34:21 incorrect 30:3 influence 27:14 information 21:21 22:2 29:1 informed 24:12 27:13 inspected 10:8 instance 25:7,14 instruct 21:19 instructs 8:12 interaction 26:9 interested 33:16 invalid 27:10 invalidity 28:13 involved 33:15 involves 7:8 30:22 IPR 7:7 9:3 10:3 11:5 20:14 23:22 24:20 25:3,18 26:4 29:3 31:8,17 IPR2024-00290 1:11 Irvine 3:8 J J.G 5:3 6:14 January 1:15 2:3 33:7 34:4 35:5	36:10 Jeremy 7:5 K Kiang 3:6 5:4 6:4 6:9,9,19 11:11 11:14 12:18 13:1,6,11 14:20 15:1 16:9,16,19 18:19,21 19:20 20:12 21:2,10 21:22 22:6,18 24:14 25:21 26:2 27:1,6,16 28:21 29:18 30:8 31:5,10,19 32:11 Kim 3:15 6:5,12 6:12 16:6 19:19 20:11 21:9,18 22:5,9 24:8 25:4 26:1,17 27:4,11 28:20 29:9,22 30:17 31:9,22 32:2,9 Knobbe 3:5 6:10 know 7:21 19:11 22:10 24:9 26:7 26:18 28:7 29:19 30:3 knowledge 26:20 L L.L.C 35:1 labeled 14:14 17:20 law 31:7 layout 14:2 15:11 17:6,8,16,18 18:1,1,6,10,12 18:14 layouts 15:8,19 let's 12:2,20 14:17 16:9 27:17 letter 20:9 limitation 23:10	23:21 limited 28:16 Line 36:11 lines 12:21 13:6 13:14 14:3 16:10 17:11 link 11:16 linked 9:14 list 17:15 litigation 24:5,10 24:16,19 25:2,7 25:9,12,16,20 26:6,13,16 27:8 28:17 29:2,8 31:13 little 16:12 Littleton 8:17 LLC 36:1 LLP 3:5 located 8:16 long 10:22 look 13:13 20:9 20:18 looking 20:20 M M 1:20 35:1 36:2 Main 3:7 maintain 22:5 making 30:7 Malmborg 10:18 19:3,5,12,15,22 21:7,12 22:8,15 23:1,9,20 24:3 27:8,13,21 29:13 30:12 marked 5:9,10 5:11 9:15 11:7 11:13,19 12:22 21:1 Martens 3:5 6:10 Masimo 1:8 3:3 6:11 24:4,6 34:3 35:4 36:8 materials 9:8 matter 31:15 34:6	matters 31:13,16 meaning 20:2 mechanism 18:3 members 26:8,10 memorized 11:9 12:15 16:7 memory 19:14 21:6 mentioned 7:14 Merit 2:6 33:5 Minneapolis 3:17 34:2 Minnesota 3:17 34:2 missed 14:7 missing 23:11 mode 9:20 moment 9:4,9 20:20 morning 6:20,21 multiple 15:8,16 Mulvenna 1:17 2:4 6:15 33:4 33:22 N N 3:1 4:1 5:1 Naghavi 10:15 Naghavi-Hoch... 23:2,11 24:2 name 7:1,3 35:5 36:9 named 26:20 need 20:8,9,17 never 26:19 new 2:8 4:5,5 6:16 8:17 19:2 23:8,16 33:1,6 nod 8:7 Nos 1:11 Notary 2:7 6:16 33:5 35:22 note 18:19 noted 35:11 notes 9:1,3,8 33:10,12 noticing 34:12	noting 34:12 November 28:12 number 12:15,16 13:19 14:6,12 numbers 7:10 11:9,10 numeral 12:13 NW 1:20 35:1 36:2 O objecting 8:11 objection 16:6 19:19 20:11 21:9,18 22:4,5 22:9 24:8 25:4 26:1,17 27:4,11 28:20 29:9,22 30:17 31:9 obvious 23:2 obviously 21:8 office 1:1 34:7,15 OK 17:7,20,22 18:3,5,11,17 Okay 13:17 25:22 Olson 3:5 6:10 open 9:9,11 opinion 15:7 29:12 opinions 24:20 25:3 26:4 27:14 29:2,5 option 34:9,10 order 24:15 29:1 30:22 original 34:11 originated 29:20 outside 9:22 overlapped 31:15 Owner 1:9 3:3,13 6:11 P P 3:1,1 4:1,1 P.C 3:14 34:1 p.m 1:16 2:4 32:6
--	---	---	--	---

32:14 33:8 page 5:2,8 12:2 27:17,20 34:12 35:4 36:11 pages 35:7 paragraph 12:3,7 13:5,7,18,22 14:5,11 16:17 22:19 paragraphs 30:18 parameter 23:6,7 23:14,15 31:1 particular 18:13 parties 33:15 passage 16:8 patent 1:1,3,9,12 3:3,13 6:11 7:8 7:12,14,15 10:8 12:4 24:5 25:8 25:14,17 27:10 28:14 31:17 pending 13:9 24:6 people 26:12 period 34:13 person 33:15 petition 19:6,9,11 Petitioner 1:6 2:2 6:13 Ph.D 4:3 phone 9:18 physical 9:17 please 6:6 7:3,19 7:21 8:5 11:11 12:19 14:20 16:17 24:21 34:6,10,11,14 plural 15:5 point 15:21 25:13 position 29:12 30:6,11 possibly 10:21 preparation 10:13 prepare 10:5,22 28:1	prepared 28:7 Present 4:8 presented 18:7 22:16 29:15 presents 15:11 pressing 17:20 18:16 previously 5:9,10 5:11 11:13,18 12:22 21:1 23:16 prior 10:14 22:14 27:8 33:8 privileged 21:21 problem 9:10 Procedure 22:2 34:14 process 17:21 pronouncing 7:1 protective 24:15 29:1 provide 22:7,13 23:5 29:2 30:19 provided 17:8 20:13 22:12 25:3 26:4 Public 2:8 6:16 33:5 pull 11:12 13:7 14:20 purchased 34:8 purposes 23:21	R R 3:1 4:1 reaching 23:1 read 10:13 11:22 35:6 ready 34:6 Realtime 2:6 33:5 recall 10:12,21 20:17 22:4,10 22:15 24:10 31:13 received 28:4 Recess 32:6 recommendation 17:8 recommended 18:12 recommends 18:10 record 6:8 7:4 32:5,8 recorded 8:7 35:10,14 refer 7:15 13:22 reference 10:14 13:19 14:5,12 19:2,22 23:10 27:9 referenced 14:5 14:11 34:6 references 19:6 19:16 22:12,13 referred 10:15 10:16,17,18 12:16 14:11 19:12 referring 13:18 refers 16:22 17:3 regarding 21:6 22:15 28:13 29:13 30:11 Registered 2:6,7 33:5 related 24:5,10 24:16,19 25:2 26:6,16 27:7	29:2 31:16 relationship 10:3 relative 33:13,15 relatively 20:3 30:20 relevance 20:11 21:9 24:8 25:4 27:4,11 28:20 30:17 relied 12:13 19:16 22:22 27:8 relies 24:1 rely 12:8 28:22 relying 23:5,9,13 23:20 remember 20:4,8 REMOTE 2:1 remotely 6:3 removal 31:4 removing 23:6 31:1 repeat 13:10 16:20 24:21 rephrase 7:22 9:2 replacing 23:14 report 28:12,15 29:6,7,19 reported 1:17 33:6 Reporter 2:5,6,7 2:7 6:1,6 31:22 32:4,7 33:5,5,5 represent 6:7 20:19 28:10,14 representing 6:10,13 represents 29:5 request 33:12 reread 10:7 respect 7:12 respond 8:6 retrieved 25:11 return 17:13 returning 18:22 revealed 15:10	review 33:12 34:8 reviewed 10:8,11 24:18 25:1,6,8 25:10,16 27:2 Richardson 3:14 6:13 34:1 right 9:12 10:21 12:17 19:17 21:5 27:21 room 8:19 Rule 22:1 Rules 34:14
				S S 3:1 4:1 5:7 says 15:2 scope 16:6 19:19 26:1,17 27:4,11 28:20 29:9,22 30:17 31:9 screen 9:11 11:19 search 22:14 seater 11:12 12:19 13:4 14:20 16:11,13 16:18 18:20 second 10:7,10 10:15 11:4,7,20 18:22 19:1,10 22:20 27:17 30:7 31:3 see 12:16 16:2 17:4,12,13 segments 10:19 10:20 select 15:14 17:7 18:10 selected 17:17,18 34:10 selection 12:8,12 13:17 14:1,2,4 14:10 15:11 16:14 17:4,5 18:6,16 selections 16:5 17:1,3,11

selects 15:15,19 17:22 set 17:14 33:18 shake 8:8 sheet 34:11 35:11 36:6 shorthand 2:5 33:10,12 shortly 20:3 show 8:8 14:15 15:2,13,14,15 15:19 16:16 17:2 showing 11:18 shown 15:17,19 15:22 18:1 29:15 shows 17:14 29:10 side 9:19 sign 34:7,8 signature 20:21 33:18 34:6,12 35:4,17 36:22 signed 34:11 signing 34:10 simply 23:5 Sincerely 34:18 six 11:2 sorry 13:4 14:7 16:13 sounds 7:11 South 3:16 34:2 speak 8:5 26:5 speaking 9:6,13 specifically 24:11 spoken 10:2 Square 4:4 ss 33:2 Standard 1:16 33:8 starting 12:3 state 2:8 6:6,16 7:3 33:1,6 STATES 1:1 Steven 26:15,18 26:20 27:3	28:13 stip 6:2 Street 1:20 3:7 3:16 34:2 35:1 36:2 subject 29:1 submitted 11:4 Suite 1:20 3:16 34:2 35:1 36:2 sure 13:12 14:9 24:12,22 25:5 25:14 32:4 swear 6:1 switch 17:6 18:4 switched 18:1 sworn 6:3,15 33:9 system 17:8	TRADEMARK 1:1 transcribed 33:10 transcript 8:8 33:11,13 34:6,7 35:7 transcription 33:11 35:10,12 TRIAL 1:3 true 33:11 35:9 35:11 truth 33:9,9,9 try 7:22 turn 12:2,20 27:17 turned 9:20 two 10:18 13:21 15:9 typewriting 33:10 typewritten 33:11	various 10:8,11 verbally 8:6	Z Zealand 8:18 Zoom 9:13,22
side 9:19 sign 34:7,8 signature 20:21 33:18 34:6,12 35:4,17 36:22 signed 34:11 signing 34:10 simply 23:5 Sincerely 34:18 six 11:2 sorry 13:4 14:7 16:13 sounds 7:11 South 3:16 34:2 speak 8:5 26:5 speaking 9:6,13 specifically 24:11 spoken 10:2 Square 4:4 ss 33:2 Standard 1:16 33:8 starting 12:3 state 2:8 6:6,16 7:3 33:1,6 STATES 1:1 Steven 26:15,18 26:20 27:3	T T 5:7 take 13:13 18:20 32:2 taken 32:6 33:12 technician 4:10 tell 20:9 testified 6:17 testify 33:9 testifying 7:12 text 16:8 Thank 14:21 31:20 32:11,12 Thanks 11:17 22:21 27:19 things 8:7 13:21 think 11:2 17:10 23:18 three 15:22 17:3 time 1:16 21:15 21:17 31:21 32:13 33:8,12 34:13 times 4:4 25:8,17 today 7:7,13,19 8:16 today's 10:5,13 10:22	U U.S 1:12 7:8 understand 7:6 7:21 8:2 25:13 understanding 29:5 Understood 8:10 UNITED 1:1 unquote 12:9 upload 9:16 use 18:5 24:3 28:16 useful 29:11 30:5 30:10,15,21 user 15:12,13,15 15:19 17:6,7,19 17:22 18:10,16 uses 17:10	wait 7:19 waive 34:9 want 15:21 16:14 Warren 26:15,19 26:21 27:3 28:13 Washington 1:21 35:2 36:3 ways 15:9 We're 32:4,7 weighted 14:1 17:17,19 18:7 18:15 WESTCHEST... 33:3 WHEREOF 33:17 window 9:13,14 15:11 witness 5:2 6:2,3 13:9 16:7 21:19 22:10 24:9 25:5 26:18 27:5,12 29:10 30:2,18 31:11 32:12 33:7,8,17 34:8 35:5,17 36:9 witnesses 26:5,8 word 17:4,10 words 14:7 work 22:11 31:14 worked 31:11 written 34:22 wrote 19:10	0 1 1 15:12 17:9,16 18:7 10 12:2 1003 5:9 20:20 21:1 10036 4:5 1004 5:10 12:20 12:22 18:20 1022 5:11 11:8,12 11:13,19 11 5:11 12 5:10 12th 20:21 14 14:3 14th 3:7 15-minute 32:3 160 17:14 18:2 162 16:1 17:20,22 18:5 163 12:13 13:19 14:6,12,16 16:1 17:5 164 16:1 170 18:1 1730 1:20 35:1 36:2 18 22:19 19 12:3
side 9:19 sign 34:7,8 signature 20:21 33:18 34:6,12 35:4,17 36:22 signed 34:11 signing 34:10 simply 23:5 Sincerely 34:18 six 11:2 sorry 13:4 14:7 16:13 sounds 7:11 South 3:16 34:2 speak 8:5 26:5 speaking 9:6,13 specifically 24:11 spoken 10:2 Square 4:4 ss 33:2 Standard 1:16 33:8 starting 12:3 state 2:8 6:6,16 7:3 33:1,6 STATES 1:1 Steven 26:15,18 26:20 27:3	V v 1:7 34:3 35:4 36:8 values 31:1	Y Yeah 14:21 year 20:1,2 York 2:8 4:5,5 6:16 33:1,6	2 2:00 1:16 2:4 33:8 2:33 32:6 2:45 32:8 2:46 32:14 20 12:7 13:18,22 14:5,11 20036 1:21 35:2 36:3 202 1:21 35:2	

202)-232-0646 34:15 202)232-0646 36:4 2023 20:14,22 21:4 28:12 2024 20:2 21:12 33:18 2024-00290 7:8 2025 1:15 2:3 33:7 34:4,20 35:5 36:10 2040 3:7 20th 4:4 21 5:9 212.765.5070 4:6 223 7:12,14,15 10:8 12:4 24:5 25:8,14,16 26:10 27:9 28:13 31:17 23 28:12 232-0646 1:21 35:2 24 1:15 2:3 34:4 35:5 36:10 24th 33:7 26(b)(4)(c) 22:2 26th 33:18 3 3200 3:16 34:2 33 16:10,14 35 17:11 36 12:21 13:6,14 16:10,14 17:11 38 12:21 13:6,14 4 4 12:4 5 55402 3:17 34:2 6 6 5:4 14:3 27:21 28:2 29:10,15 29:17	60 3:16 34:2 612.335.5070 3:18 6th 3:16 34:2 7 7 4:4 14:3 8 8 12:9,21 13:6,14 14:16,17,21 15:22 16:2,10 17:13,14,22 18:11 8,190,223 1:12 7:8 812 1:20 35:1 36:2 9 9 18:2 27:17,20 92614 3:8 949.760.0404 3:9			
---	---	--	--	--