

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

_____	)	
	)	
BTL INDUSTRIES, INC.,	)	
	)	
Petitioner,	)	Case No.
	)	IPR2024-00703
vs.	)	
	)	Patent No.
INMODE LTD.,	)	8,961,511
	)	
Patent Owner.	)	
_____	)	

Deposition of DR. DENISE POULOS, taken in the
above-captioned cause, at 227 West Monroe Street,
Suite 1900, before Rachel F. Gard, CSR, CCR, RPR,
CRR, Notary Public, commencing at the hour of
9:30 a.m. Central on Tuesday, April 8, 2025.

BTL EX1032 (Replacement)
BTL v. InMode
IPR2024-00703

1 APPEARANCES:

2 ON BEHALF OF THE PETITIONER (VIA ZOOM):

3 STERNE KESSLER GOLDSTEIN & FOX
4 BY: RICHARD M. BEMBEN, ESQ.
JENNIFER M. CHAGNON, ESQ.
1101 K Street, NW
10th Floor
Washington, DC 20005
202.772.8549
rbemben@sternekessler.com
jchagnon@sternekessler.com

8
9 ON BEHALF OF THE PATENT OWNER:

10 VENABLE, LLP
11 BY: WILLIAM A. HECTOR, ESQ.
101 California Street
Suite 3800
12 San Francisco, California 94111
415.653.3738
13 wahector@venable.com
14 BY: PARKER G. ZIMMERMAN, ESQ.
151 West 42nd Street
New York, New York 10036
212.218.2347
pgzimmerman@venable.com

16
17
18 ALSO PRESENT:

19 DALLIN GLENN, ESQ., BTL Industries (Via Zoom)

I N D E X

WITNESS PAGE

DR. DENISE POULOS

Cross-Examination by Mr. Bemben 4

E X H I B I T S

EXHIBIT DESCRIPTION PAGE

Exhibit 2001 Declaration of Dr. Denise Poulos 5

Exhibit 1001 US Patent No. 8,961,511 8

Exhibit 1009 Decision to Institute in ThermiGen v. Viveve case, IPR2018-00088 52

Exhibit 1 Anatomical Terminology 59

Exhibit 1015 Atlas of Human Anatomy, 4th Edition, by Frank H. Netter, Medical Doctor 62

Exhibit 2 Annotated plate 360 77

Exhibit 3 Annotated plate 357 80

Exhibit 2009 Neurourology and Urodynamics 81

Exhibit 1003 Declaration of Joseph Berenholz, M.D. 82

Exhibit 1005 U.S. Patent 6,463,331 135

(Exhibit Nos. 1, 2, and 3 attached. All others retained by counsel.)

1 (Witness sworn.)

2 WHEREUPON:

3 DR. DENISE POULOS,
4 called as a witness herein, having been first duly
5 sworn, was examined and testified as follows:

6 CROSS-EXAMINATION

7 BY MR. BEMBEN:

8 Q Please state your name for the record.

9 A Denise Poulos.

10 Q Do you understand that you're under oath
11 today?

12 A Yes.

13 Q Are you on any medication that would
14 impair your ability to give truthful testimony?

15 A No.

16 Q Is there any reason today that you cannot
17 give truthful testimony?

18 A No.

19 Q Are you represented by counsel today?

20 A I don't know how to answer that.

21 Q Okay. Let's go over some ground rules for
22 the deposition today. I ask that you provide
23 verbal answers so that the reporter can transcribe
24 our conversation, so let's try not to speak over
25 each other.

1 Is that okay?

2 **A Yes.**

3 Q You understand that I'm here today to ask
4 the questions and you're here to answer them,
5 correct?

6 **A Yes.**

7 Q If you don't understand a question that
8 I've asked, please let me know and I'll try to
9 clarify it. Okay?

10 **A Okay.**

11 Q But if you answer a question, I'll assume
12 that you understood the question.

13 Is that fair?

14 **A Yes.**

15 Q We can try to take a break every hour or
16 so. If you need a break, just let me know. The
17 only thing that I ask is that, you know, we wrap
18 up a line of questioning or the question that
19 we're on.

20 Is that okay?

21 **A Yes.**

22 (Exhibit Number 2001 previously marked
23 for identification.)

24 Q So I'll introduce your declaration now so
25 you can consult it any time you need to during

1 today's deposition.

2 If I'm asking questions during the
3 deposition and you feel the need to consult
4 another document that's in the record, just ask.
5 I'm not here to, you know, fool you or surprise
6 you. If there's something you need to reference,
7 let me know and I'll introduce it.

8 Okay?

9 **A Okay.**

10 Q With that -- I guess before we start,
11 since it's remote today, can you just generally
12 describe where you are, who you're with,
13 et cetera?

14 **A I'm at Venable law offices in Chicago, and**
15 **we're in a conference room.**

16 Q And who's with you?

17 **A I'm with Bill Hector, Parker Zimmerman,**
18 **and Raquel?**

19 THE COURT REPORTER: Rachel.

20 **A Rachel, our court reporter.**

21 Q Okay. And did you bring any materials to
22 the deposition with you?

23 **A No.**

24 Q You don't have any notes or anything along
25 those lines?

1 **A No.**

2 Q Okay. So, Mr. Hector, Bill informed me
3 before the deposition that you have paper copies
4 of the exhibits that we'll be discussing today.

5 MR. BEMBEN: Bill, can you provide the
6 Exhibit 2001, which is Dr. Poulos' declaration.

7 Q Did I pronounce your name right, Poulos?

8 **A Poulos, yeah.**

9 MR. HECTOR: Sure, yeah. Just for the
10 record, Bill Hector of the Venable law firm, along
11 with me, Parker Zimmerman, also of the Venable law
12 firm on behalf of the witness and plaintiff -- or
13 I guess defendant in this action.

14 **A Can you repeat? Did you ask for me to**
15 **open the declaration?**

16 Q Just can you confirm that the document
17 that you were just provided is a copy of your
18 declaration in this matter?

19 **A Yes, it is.**

20 Q Can you turn to the last page of your
21 declaration?

22 **A Yes.**

23 Q Do you see your signature on that last
24 page?

25 **A I do.**

1 Q Okay. And you signed the declaration?

2 **A Yes.**

3 Q Okay. So another important document we'll
4 talk about today is the '511 patent, which is
5 Exhibit 1001.

6 MR. BEMBEN: Can you guys provide a copy
7 of 1001, please?

8 (Exhibit Number 1001 previously marked
9 for identification.)

10 **A I have it in front of me.**

11 Q Have you seen this document before,
12 Dr. Poulos?

13 **A Yes, I have.**

14 Q Okay. And is it okay if I refer to this
15 document as the '511 patent?

16 **A Yes.**

17 Q Did you read the '511 patent in preparing
18 your declaration?

19 **A Yes.**

20 Q Did you read it from cover to cover?

21 **A Yes, I did.**

22 Q Did you understand the '511 patent?

23 **A I believe I understood a great deal of it.**

24 Q Is there anything that you didn't
25 understand?

1 **A Nothing specific that I could point out at**
2 **this time.**

3 Q Okay. Did you read all the claims of the
4 '511 patent?

5 **A Yes, I did.**

6 Q Do you understand what I mean when I say
7 "claim"?

8 **A I believe so.**

9 Q Tell me what your understanding is.

10 **A May I turn to my declaration?**

11 Q Yes.

12 **A Are you asking for what a claim is or**
13 **specific claims?**

14 Q No. I'm just asking, you understand that
15 the claims of the patent are at the end of the
16 patent? In this case, they're labeled 1 through
17 58. You understand that, correct?

18 **A Yes.**

19 Q Do you understand that the claims define
20 the scope of the invention?

21 **A Yes.**

22 Q So when you prepared your declaration, did
23 you read all the claims?

24 **A I did read all the claims.**

25 Q Did you understand them?

1 **A I believe I did.**

2 Q Is there anything that you did not
3 understand?

4 **A Nothing specifically.**

5 Q Okay. Can you turn to the front page of
6 the patent, the '511 patent?

7 **A Yes.**

8 Q Do you see that the '511 patent was filed
9 on February 7, 2007? It's on the left-hand side.

10 **A Yes.**

11 Q Do you see that the '511 patent claims
12 priority to what's called a provisional
13 application that was filed on February 7th, 2006?
14 Left-hand side below the filing date.

15 **A Yes.**

16 Q In preparing your declaration, did you
17 review the provisional patent application, which
18 is No. 60/743247?

19 **A I don't recall.**

20 Q Do you understand that the earliest
21 possible priority date of the '511 patent is
22 February 7th, 2006, which is the date of that
23 provisional application?

24 MR. HECTOR: Objection. Legal conclusion.

25 **A I don't understand that.**

1 Q So I guess in your analysis in this
2 proceeding, did you consider February 7, 2006, to
3 be the date of the invention?

4 **A Yes.**

5 Q Okay. And so if I refer to "date of the
6 invention" or "date of the '511 patent," will you
7 understand I'm referring to February 7, 2006?

8 **A Okay.**

9 Q Okay? Is that a yes?

10 **A Yes.**

11 Q Okay. And do you understand that you're
12 here today to be deposed in an Inter Partes Review
13 proceeding of the '511 patent that's before the
14 United States Patent Trial and Appeal Board?

15 **A I understand that I'm here to provide the**
16 **expertise that a POSA at the time this was filed**
17 **would have understood. And what the deposition is**
18 **called, I would not understand that.**

19 Q Okay. So can you go to the first page of
20 your declaration, just the cover page.

21 **A Okay.**

22 Q Do you see it says: United States Patent
23 and Trademark Office, Before the Patent Trial and
24 Appeal Board.

25 Do you see that?

1 **A Yes.**

2 Q Okay. And then it says: BTL Industries,
3 Incorporated, versus InMode, Limited.

4 Do you see that?

5 **A Yes.**

6 Q Okay. And it says InMode, Limited is the
7 owner of the '511 patent.

8 Do you see that?

9 **A Yes.**

10 Q Okay. And you're here to testify today on
11 behalf of InMode, correct?

12 **A Correct.**

13 Q Okay. Before you became involved in this
14 proceeding, were you aware of InMode?

15 **A Yes.**

16 Q And can you explain to me what your
17 relationship was with InMode before this
18 proceeding?

19 **A I had no relationship. I would say ads or
20 perhaps articles or a booth at a conference.**

21 Q Okay. When did you first become aware of
22 InMode, do you recall?

23 **A I don't recall.**

24 Q Do you have any -- before this proceeding,
25 had you had any contractual relationships with

1 InMode?

2 **A No.**

3 Q Did you use any of InMode's devices in
4 your practice?

5 **A No.**

6 Q Have you ever met with any InMode reps?

7 MR. HECTOR: Objection. Vague.

8 **A I may have.**

9 Q Do you recall when?

10 **A No.**

11 Q But you don't use any of InMode's devices
12 in your practice, correct?

13 **A I do not.**

14 Q What about BTL? Prior to this proceeding,
15 were you aware of the company BTL?

16 **A Yes.**

17 Q And how were you aware of BTL?

18 **A Excuse me. In a similar way, that I may
19 have seen ads or read articles or seen a booth at
20 a conference.**

21 Q Do you recall when you first became aware
22 of BTL?

23 **A No.**

24 Q Do you use any of BTL's devices in your
25 practice?

1 **A No.**

2 Q Have you ever tried one of BTL's devices?

3 **A I don't recall.**

4 Q Same question for InMode. Have you ever
5 tried using one of InMode's devices in your
6 practice?

7 **A I don't recall.**

8 Q How did you come to be involved in this
9 proceeding?

10 MR. HECTOR: Objection. Vague. Also,
11 objection. Privileged. Just remind you not to
12 reveal anything, discussions you've had with
13 counsel.

14 **A I was contacted a few months ago.**

15 Q Who contacted you?

16 **A It was Bill Hector.**

17 Q Do you know why they selected you to
18 participate in this proceeding?

19 MR. HECTOR: Again, same objection on
20 privileged. Be mindful not to reveal the
21 substance of communications you've had with your
22 attorneys.

23 **A I do not.**

24 Q Did you prepare for today's deposition?

25 **A I did.**

1 Q What did you do?

2 MR. HECTOR: Again, same objection.

3 Privileged.

4 A I should still answer; is that correct?

5 Q You can answer.

6 A I read over my declaration and some of the
7 materials that were in my possession, and I met
8 with the attorneys.

9 Q Okay. Which attorneys did you meet with?

10 A Bill Hector and Parker Zimmerman.

11 Q Did you meet with anyone else?

12 A Justin was on the phone as well for a
13 short while yesterday.

14 Q Did you meet with anyone else?

15 A I believe there was another attorney --
16 I'm sorry that I don't recall his name -- on a
17 previous phone call.

18 Q I'm sorry. So there were, you said, Bill,
19 Parker, Justin, and perhaps one other attorney; is
20 that correct?

21 A Well, Parker Zimmerman and perhaps one
22 other attorney.

23 Q Okay. What materials did you review?

24 MR. HECTOR: Again, objection on
25 privilege. Instruct you not to reveal any

1 specific documents that were shown to you by
2 counsel.

3 **A I read over my declaration and some of the**
4 **exhibits that were provided to me.**

5 Q The exhibits that were provided to you,
6 were those materials that you reviewed when you
7 prepared your declaration?

8 MR. HECTOR: Same instruction. Objection.
9 Privilege.

10 **A Yes.**

11 Q Okay. Let's talk about your declaration.
12 Do you have that in front of you?

13 **A I do.**

14 Q Did you prepare the declaration?

15 **A I prepared it in combination with the**
16 **attorneys.**

17 Q Okay. Can you describe the process for
18 preparing the deposition with the attorneys?

19 MR. HECTOR: Objection on privilege, not
20 to reveal any communications with counsel. But
21 you can answer to the extent you can do so without
22 revealing privilege.

23 **A A draft was provided, which I then edited,**
24 **and we went back and forth with edits for a few**
25 **different times.**

1 Q Did you actually write your declaration,
2 or was all the writing done by the attorneys?

3 MR. HECTOR: Objection. Misleading.

4 **A A draft was provided to me, and I made**
5 **substantial edits to it.**

6 Q So you actually typed those edits into the
7 document yourself?

8 **A I did.**

9 Q How long did you spend preparing the
10 declaration?

11 **A I don't recall.**

12 Q Can you give me a ballpark?

13 **A It was some hours. I don't remember how**
14 **many.**

15 Q Was it more than 5 hours?

16 **A It was more than 5 hours.**

17 Q Was it more than 10 hours?

18 **A Yes.**

19 Q Was it more than 15 hours?

20 **A Yes.**

21 Q More than 20 hours?

22 **A I don't think so.**

23 Q Okay. So between 15 and 20 hours about?

24 **A Yes.**

25 Q Okay. Why don't you open up your

1 declaration and turn to page 4, Section 2, which
2 describes your professional background.

3 **A I am there.**

4 Q Okay. And in paragraphs 11 and 12, look
5 at those, you describe your experience performing
6 incontinence surgeries and pelvic organ prolapse
7 surgeries for women; is that correct?

8 MR. HECTOR: Objection. Misstates the
9 regard.

10 **A I do describe my surgical repairs for**
11 **incontinence and prolapse.**

12 Q Is that specifically for women?

13 **A Yes.**

14 Q Do you treat men?

15 **A Yes, I do.**

16 Q Do you know whether incontinence can also
17 affect men?

18 **A Incontinence can affect men, yes.**

19 Q Do you have any experience with vaginal
20 rejuvenation?

21 MR. HECTOR: Objection. Vague.

22 **A Can you be more specific, please?**

23 Q Have you heard of the term "vaginal
24 rejuvenation"?

25 **A Yes, I have.**

1 Q What does that mean to you?

2 A Vaginal rejuvenation is a vague term that
3 can describe surgical or nonsurgical approaches to
4 a vagina that's lax.

5 Q Okay. So let's start with nonsurgical.
6 Do you have experience with nonsurgical vaginal
7 rejuvenation?

8 A Yes, I do.

9 Q Can you explain?

10 A Part of our nonsurgical rejuvenation would
11 include medication, physical therapy, injections,
12 and can include energy-based rejuvenation.

13 Q What do you mean by "energy-based
14 rejuvenation"?

15 A Such as a radio frequency treatment or CO2.

16 Q So in your practice, you perform radio
17 frequency treatment for vaginal rejuvenation?

18 MR. HECTOR: Objection.

19 A I do not perform them. Currently I have
20 tried a device in my office at one point. It's
21 not a treatment I'm currently offering.

22 Q I'm sorry. Can you repeat your answer? I
23 believe you mentioned a device in your office, but
24 I don't see that in the transcript. Can you just
25 repeat what you said?

1 **A** **Yes. I have tried a device in the office,**
2 **but I am not currently performing that.**

3 Q What device do you -- what device did you
4 try in your office?

5 **A** **It was a number of years ago, and I do not**
6 **remember which one I used.**

7 Q Okay. Was it an InMode device?

8 **A** **I don't recall which company it was.**

9 Q Do you recall how many years ago it was?

10 **A** **No.**

11 Q Do you recall -- let me rephrase that.

12 Why do you not provide treatment for --
13 strike that.

14 Why do you not provide RF vaginal
15 rejuvination treatment in your office?

16 **A** **My practice has been more focused on**
17 **incontinence, prolapse, and hemorrhoids, and I did**
18 **not find that the patients in my office were**
19 **interested in this treatment enough for me to**
20 **invest in a device.**

21 Q Do you recall when you made that decision
22 not to invest in this device and provide that
23 treatment?

24 **A** **No.**

25 Q Was it 5 years ago?

1 MR. HECTOR: Objection. Calls for
2 speculation.

3 **A I don't recall.**

4 Q You don't recall if it was more than
5 5 years ago?

6 **A Oh, I thought you asked if it was 5 years**
7 **ago.**

8 Q I'm sorry. Let me -- yeah, sorry. Fair.
9 Do you know if it was more than 5 years
10 ago?

11 **A I would be speculating, but I think it**
12 **was.**

13 Q Do you know if it was more than 10 years
14 ago?

15 **A I don't know.**

16 Q So it could have been between 5 and
17 10 years ago you made that decision?

18 MR. HECTOR: Objection. Speculation.

19 **A It could have been.**

20 Q But sitting here today, you can't recall
21 when you decided not to provide RF vaginal
22 rejuvenation in your office?

23 **A That's correct.**

24 Q What types of treatments do you provide in
25 your practice?

1 A For all types of medical conditions?

2 Q Yes.

3 A I see women for incontinence and provide
4 options, including medication, physical therapy,
5 pelvic -- excuse me, yeah, pelvic floor physical
6 therapy, posttibial nerve stimulation, as well as
7 injections of bulking agents. We also -- I also
8 perform slings, but that's not done in the office.

9 For women with prolapse, I will provide
10 pessaries, offer pelvic floor physical therapy, or
11 perform surgery in the hospital or surgicenter.

12 And I also treat women who have pelvic
13 pain, and that may be with medication, physical
14 therapy, or injections.

15 And I treat men and women for hemorrhoids,
16 as well as constipation and other pelvic-related
17 bowel disorders.

18 Q Okay. In your answer you mention you
19 perform slings. Can you explain what a sling is?

20 A A sling is a surgery to correct
21 incontinence, and there are different types of
22 slings.

23 Q You also mentioned you offer pelvic
24 physical therapy. What condition are you treating
25 for when you offer pelvic physical therapy?

1 A Pelvic floor physical therapy is
2 prescribed for a number of disorders, including
3 stress incontinence, overactive bladder, prolapse,
4 and pelvic pain.

5 Q What is prolapse?

6 A Prolapse occurs when there's a defect in
7 the fascia ligaments of the pelvis, as well as the
8 pelvic muscles, and the organs protrude through
9 into the vaginal canal or through the vaginal
10 opening.

11 Q Okay. And so in your answers that you
12 just provided, you mentioned that you treat
13 incontinence, prolapse, and hemorrhoids. Are
14 there any other conditions that you, let's say,
15 you focus on in your practice?

16 A I also talked about constipation, pelvic
17 pain, dyspareunia. And I do see women for
18 surgical labioplasty.

19 Q And can you tell me what a labioplasty is?

20 A It is surgical procedure to change the
21 shape and/or size of the labia.

22 Q And what condition does a labioplasty
23 treat?

24 MR. HECTOR: Objection. Vague.

25 A It treats a number of conditions from pain

1 with certain activities, appearance. I've seen a
2 number of women that are involved in professions
3 where they need to wear very tight clothing, such
4 as gymnasts and ballerinas. And it also could
5 simply be a patient is uncomfortable with the
6 appearance of the labia. They may cause pain
7 during sex if they become enlarged.

8 Q And how long have you been performing
9 labioplasties?

10 A Since my residency, which ended in 1992.

11 Q Are there any other treatments you provide
12 in your practice, other than the ones we just
13 discussed?

14 A There may be. I brought up the majority
15 of the common treatments.

16 Q Okay. Can you turn to paragraph 12 of
17 your declaration?

18 A I'm there.

19 Q So just the third line, I just don't know
20 what this acronym is. What is a TVT?

21 A It stands for tension-free vaginal tape.

22 Q And can you explain what that is, please?

23 A Well, the retropubic TVT -- TVT is the
24 abbreviation for tension-free vaginal tape -- is a
25 sling that was placed in the -- under the urethra

1 and through the retropubic area with two small
2 incisions just above the pubic bone on the
3 abdomen, and that was placed to treat stress
4 incontinence.

5 Q Is the TVT used to treat incontinence in
6 women?

7 A Yes.

8 Q Is it used to treat incontinence in men?

9 A No.

10 Q Can you look at paragraph 13, you
11 mentioned the SURx transvaginal system.

12 A Yes.

13 Q What types of procedures are performed
14 using the SURx transvaginal system?

15 A Stress incontinence.

16 Q You see in paragraph 15 you mention your
17 standard hourly rate?

18 A Yes.

19 Q What is your standard hourly rate?

20 A For review of cases and meetings, it is
21 750 per hour. And for depositions, 850.

22 Q You know what a vaginal speculum is,
23 right?

24 A Yes.

25 Q So if I say "speculum" during today's

1 deposition, will you understand that I mean a
2 vaginal speculum as opposed to other types of
3 speculums?

4 A Could I clarify that we'll be talking
5 about a bivalve speculum?

6 Q Explain to me what a bivalve speculum is.

7 A It is a speculum that has two blades which
8 is inserted into the vagina and then spread to
9 allow visualization of the deeper vagina.

10 Q Are there other types of speculums besides
11 bivalve speculums?

12 A Yes.

13 Q What other types?

14 A There can be a single-bladed speculum and
15 a weighted speculum.

16 Q Can you generally describe a single-bladed
17 speculum?

18 MR. HECTOR: Objection. Calls for
19 narrative.

20 A When we look at the bivalve speculum, if
21 we took the top part off and used the lower blade,
22 this is used to examine for prolapse so that the
23 speculum isn't holding the top wall of the vagina
24 up.

25 Q The top wall of the vagina, is that also

1 known as the anterior wall of the vagina?

2 A Yes.

3 Q Can you describe a weighted speculum?

4 A A weighted speculum has a single blade
5 with a weight that hangs on the outside of the
6 vagina to put extra pressure.

7 Q And what is a weighted speculum used for?

8 A It may be used for surgery on the anterior
9 vaginal wall or the cervix.

10 Q Which portion of the anterior vaginal
11 wall?

12 A The part that you couldn't see without
13 pressing down on it with a speculum to reach it.
14 Typically it's for something that involves the
15 proximal vagina, the cervix, such as a procedure
16 on the cervix or a hysterectomy.

17 Q And you mentioned that the weighted
18 speculum has a single blade; is that correct?

19 A Yes.

20 Q Where is that blade when inserted in the
21 vagina?

22 MR. HECTOR: Objection. Vague.

23 A I'm sorry. I didn't hear. You cut out a
24 little. I didn't hear the whole thing.

25 Q No problem.

1 Where is that blade when the speculum is
2 inserted into the vagina?

3 **A It's the part that is inserted into the**
4 **vagina against the posterior wall.**

5 Q Okay. So we mentioned bivalve speculums,
6 single-bladed speculums, and weighted speculums.
7 Are there any other types of speculums that you're
8 familiar with?

9 MR. HECTOR: Objection. Foundation.

10 **A No.**

11 Q Okay. Let me just go back to my original
12 question.

13 If I say "speculum," will you understand
14 I'm referring to a vaginal speculum?

15 **A Yes.**

16 Q And were speculums known to persons of
17 skill in the art before February 2006?

18 **A Yes.**

19 Q And why do persons of skill in the art use
20 speculums?

21 **A They use speculums to help us see or reach**
22 **the upper vagina and/or cervix.**

23 Q When you say "upper vagina," what are you
24 referring to?

25 **A The proximal vagina.**

1 Q The proximal vagina is the portion that is
2 furthest from the entrance; is that correct?

3 MR. HECTOR: Objection. Vague.

4 **A Yes.**

5 Q Do persons of skill in the art exercise
6 their judgment on whether and how to use a
7 speculum?

8 MR. HECTOR: Objection. Vague.

9 **A It depends on the situation.**

10 Q Explain to me a situation in which you
11 would use a speculum?

12 **A When performing a Pap smear.**

13 Q Okay. Explain to me a situation when you
14 would not be using a speculum while treating the
15 vagina?

16 **A When treating the vagina in general?**

17 Q Yes.

18 **A That's quite vague. Can you be more**
19 **specific?**

20 Q Do you ever treat the vagina without using
21 a speculum?

22 MR. HECTOR: Objection. Vague.

23 **A Yes.**

24 Q Can you explain to me a situation in which
25 you do that?

1 **A** If I'm placing a pessary for prolapse, I
2 would not use a speculum to place the pessary.

3 Q What's a pessary?

4 **A** It's a device that women wear in the
5 vagina to hold their prolapse up in a nonsurgical
6 manner.

7 Q Okay. Do you ever inspect or treat a
8 vagina without using a tool such as a speculum?

9 MR. HECTOR: Objection. Vague.

10 **A** Yes. If I'm assessing pelvic muscle tone
11 or strength or feeling the size of the uterus,
12 such as a bimanual exam, then I'm not using a
13 speculum during that portion of the exam.

14 Q So depending on what you're doing, you're
15 exercising your judgment as to whether you use a
16 speculum or not; is that correct?

17 MR. HECTOR: Objection. Calls for
18 speculation.

19 **A** In that situation, yes.

20 Q And you use speculums in your practice,
21 right?

22 **A** Yes, I do.

23 Q Can you explain how a speculum is used in
24 your practice?

25 MR. HECTOR: Objection. Scope.

1 **A** I don't understand exactly what you're
2 asking, how do I insert the speculum?

3 Q Just generally, yes, how is it inserted
4 and used?

5 MR. HECTOR: Same objection. Scope.

6 **A** A speculum is inserted usually with some
7 gel on the posterior blade, and then it's closed.
8 Both blades are touching. It's inserted into the
9 vagina. And then there's a valve that lets you
10 put pressure to open the blades that are deeper in
11 the vagina to let you spread the anterior from
12 posterior wall and visualize the upper vagina
13 and/or cervix.

14 Q You mentioned "blade" several times during
15 this discussion. Is that the portion that's
16 inserted into the vagina?

17 MR. HECTOR: Objection. Vague.

18 **A** Yes, we insert the blades of the speculum.

19 Q And the portion of the speculum that is
20 not inserted in the vagina, what is that part
21 called?

22 **A** The handle. The handle.

23 Q And the handle is the portion that the
24 physician would manipulate to open the speculum;
25 is that correct?

1 **A Yes.**

2 Q Are there different size speculums?

3 **A Yes, there are.**

4 Q And was that true before February of 2006?

5 **A Yes.**

6 Q Why are there different size speculums?

7 **A There are different sizes so if a young**
8 **lady is coming into the office who needs, say, a**
9 **Pap smear and she's not had intercourse and/or not**
10 **delivered a child, she may need a narrow speculum.**

11 **There are times when children need to be**
12 **examined for a GYN exam and may need a pediatric**
13 **speculum, which is very small.**

14 **And women who have prolapse and the organs**
15 **are falling into the vagina may need the larger**
16 **speculum to be able to visualize the upper vagina.**

17 Q So you mentioned a pediatric speculum. Is
18 that only used on children?

19 MR. HECTOR: Objection. Misstates
20 testimony.

21 **A No, rarely would we use it on an adult.**

22 Q But just answer my question.

23 It's not -- a pediatric speculum is not
24 only used on children, correct?

25 MR. HECTOR: Objection. Misstates

1 testimony.

2 **A Yes.**

3 Q And are the blades of a pediatric speculum
4 shorter than other types of speculums?

5 MR. HECTOR: Objection. Misstates
6 testimony.

7 **A Yes.**

8 Q How long are the blades of a pediatric
9 speculum in general?

10 **A I don't know the measurement.**

11 Q Do the different size speculums allow
12 physicians to view different portions of the
13 patient's vaginal canal?

14 MR. HECTOR: Objection. Vague.

15 **A Can you rephrase that, please?**

16 Q There are different size speculums,
17 correct?

18 **A Yes.**

19 Q And do those different size speculums
20 allow a physician to view different parts of the
21 vaginal canal?

22 **A Not really.**

23 Q What do you mean by "not really"?

24 **A I -- when evaluating a patient, we use a**
25 **standard speculum unless there is some reason that**

1 I decided she needed a more narrow speculum. It's
2 not that I bring multiple speculums to examine the
3 same patient to look at different parts of the
4 vagina.

5 Q If you wanted to view a portion of a
6 patient's vagina that was on the proximal end,
7 would you use a larger speculum?

8 MR. HECTOR: Objection. Vague.

9 A Can you clarify what you're referring to
10 as "proximal end"?

11 Q I believe we mentioned that earlier. It's
12 the end that's opposite of the entrance.

13 MR. HECTOR: Objection. Vague.

14 A Can you repeat the question, please?

15 Q If you wanted to view the proximal end of
16 a patient's vagina, what type of speculum would
17 you use?

18 A A standard speculum.

19 Q What is a standard speculum?

20 A The regular bivalve speculum.

21 Q How long are the blades on a standard
22 speculum?

23 A I haven't measured them in a long time.
24 But since the vagina is on average 9 centimeters,
25 I believe they're around 9 centimeters.

1 Q When you use a speculum, do you insert the
2 entire blade into the vaginal canal?

3 A Until I hit the patient's top of the
4 vagina or cervix.

5 Q I'm sorry. Can you clarify your answer?
6 Is that yes, you do insert the entire blade into
7 the vaginal canal?

8 A We insert the blades into the end of the
9 vaginal canal.

10 Q Okay. So you just testified that a
11 standard speculum, the blade is around
12 9 centimeters, correct?

13 A I said I didn't recall the measurement,
14 but the average vagina is 9 centimeters. So it
15 would be around there. Maybe a little shorter.
16 Maybe a little longer.

17 Q Okay. And so would you insert all
18 9 centimeters of the blade of the speculum into
19 the vaginal canal?

20 MR. HECTOR: Objection. Vague.

21 A I would insert it until I reached the top
22 of that lady's vagina.

23 Q And what do you mean by "the top of that
24 lady's vagina"?

25 A To either the vaginal cuff or the

1 **posterior -- the posterior cul-de-sac, the sulcus.**

2 Q Is your answer because you treat
3 incontinence?

4 MR. HECTOR: Objection. Vague. Misstates
5 the record.

6 A **No. Typically I'm not inserting a**
7 **speculum in the office for patients with**
8 **incontinence.**

9 Q Okay. So going back to your answer that
10 you would insert the blades until you reached the
11 top of that lady's vagina, why are you inserting
12 the blades to that location within the vagina?

13 A **So that I can open the blades to visualize**
14 **the cervix or the top of the vagina.**

15 Q Okay. And if you wanted to view a portion
16 of the vagina that was closer to the entrance,
17 would you insert the blades of the speculum to a
18 shallower portion?

19 MR. HECTOR: Objection. Vague.

20 A **It really depends what the patient's**
21 **anatomy is.**

22 Q But you could do that?

23 MR. HECTOR: Objection. Calls for
24 speculation.

25 A **I could do that.**

1 Q And do physicians view different portions
2 of the female vagina using a speculum at different
3 locations?

4 MR. HECTOR: Objection. Speculation.

5 A I can't speak to all physicians. But as a
6 gynecologist, I could inspect -- insert the
7 speculum partway in the vagina to look at a
8 different portion of the vagina, depending on the
9 patient's anatomy.

10 Q What is the smallest speculum that you're
11 familiar with?

12 MR. HECTOR: Objection. Vague.

13 A A pediatric speculum.

14 Q If a person skilled in the art wanted to
15 treat the opening of the vagina, which I believe
16 is called the introitus, would they use a
17 speculum?

18 MR. HECTOR: Objection. Vague.

19 A Again, it depends on the patient's
20 anatomy, but usually no.

21 Q If a person of skill in the art wanted to
22 treat the first inch of the vaginal canal,
23 starting at the introitus, would they typically
24 use a speculum?

25 MR. HECTOR: Objection. Vague.

1 A It depends what the treatment is.

2 Q Can you elaborate on that answer?

3 MR. HECTOR: Objection. Same objection.

4 A I don't know what you want me to
5 elaborate.

6 Q Well, you said it depends on what the
7 treatment is. So under what treatments would you
8 not use a speculum to treat the first inch of the
9 vagina starting at the introitus?

10 MR. HECTOR: Same objection. Vague.

11 A I'm going to repeat it to make sure I
12 understood you. So in what condition would I not
13 use a speculum to treat the first inch of the
14 vagina?

15 Q Yes.

16 MR. HECTOR: Same objection.

17 A And we're talking about the proximal end,
18 to be clear, closest of the opening? Am I
19 correct?

20 Q So my understanding is the proximal end
21 was furthest from the opening.

22 A Okay. So you would want to know when I
23 would not use a speculum to treat the proximal end
24 of the vagina?

25 Q No. I want to know -- so the opposite end

1 of the proximal end is the distal end, correct?

2 **A Yes.**

3 Q Okay. And the distal end is where the
4 introitus is, correct?

5 **A Yes.**

6 Q Okay. And so I want to know when you
7 would not use a speculum to treat the introitus?

8 MR. HECTOR: Objection. Vague.

9 **A Since many of the women in my office have**
10 **had children and have a lax vaginal opening and**
11 **have some prolapse, I may be able to see it or**
12 **reach it without needing a blade, the blades of a**
13 **speculum to visualize that.**

14 Q And I'm assuming this is true, but I'd ask
15 a question. Is a speculum a tool a person of
16 skill in the art would typically own and use in
17 their practice?

18 **A They would use it in their practice. I**
19 **don't know if they would own it.**

20 Q Do you own speculums?

21 **A I own speculums, yes.**

22 Q Are speculums a common tool in your
23 practice?

24 **A Yes.**

25 Q Are you aware of any commercial vaginal

1 treatment devices that are shipped with a
2 speculum?

3 MR. HECTOR: Objection. Vague.

4 **A I don't -- I can't think of any right now.**

5 Q Okay. Since we were just talking about
6 the distal and proximal end of the vaginal canal,
7 can you turn to paragraph 41 of your declaration?
8 You can take a minute to review that, if you'd
9 like.

10 MR. HECTOR: You said 41?

11 MR. BEMBEN: 41.

12 MR. HECTOR: Four one.

13 **A Page or paragraph?**

14 Q Paragraph 41, page 17.

15 **A Yes.**

16 Q Okay. So you see about halfway into that
17 paragraph, you state: The introitus is the
18 entrance to the vagina, open paren, or the
19 distal-most portion of the vagina, close end --
20 I'm sorry, close paren.

21 Do you see that?

22 **A Yes.**

23 Q And then in two sentences later you say:
24 In other words, the introitus is around
25 9 centimeters on average from the distal end of

1 the vaginal canal.

2 Do you see that?

3 **A And that should say "proximal end."**

4 Q Okay. So that's a mistake. It should say
5 "proximal end."

6 Did you write that sentence? In other
7 words, the introitus is around 9 centimeters on
8 average from the distal end of the vaginal canal?

9 **A I don't remember if I was the first one to**
10 **write that or not.**

11 Q Okay. Can you go to paragraph 5 of your
12 declaration, on page 4?

13 **A Yes.**

14 Q Okay. You see in paragraph 5, the second
15 sentence, it says: My understanding of the
16 relevant law as discussed below is based on my
17 discussions with counsel for Signify.

18 **A Yes.**

19 Q Who is Signify?

20 **A I have not met with anyone from Signify.**

21 Q Do you know who Signify is?

22 **A I did look it up, but I don't want to**
23 **answer from memory. I need to look it up again.**

24 Q When did you look up who Signify is?

25 **A When I was first looking at this**

1 **declaration.**

2 Q Have you had discussions with counsel for
3 a company called Signify?

4 A I have been working directly with
5 physicians -- excuse me, attorneys from Venable,
6 and I don't recall the relationship to Signify at
7 the moment.

8 Q Did you write this sentence that includes
9 Signify?

10 A I did not write that sentence.

11 Q Do you think that that sentence is a
12 mistake?

13 A No.

14 Q Okay. Can you turn to paragraph 51 of
15 your declaration? And this spans pages 21 through
16 22.

17 A I'm there.

18 Q Okay. Go ahead and review it, if you'd
19 like.

20 A Okay.

21 Q On page 22, kind of the second line, do
22 you see where you say: Treatment of the using
23 treatment temperatures below 80 degrees Celsius,
24 and you go on. Is that missing a word?

25 A It is missing a word.

1 Q What should the word be?

2 A I believe it would be "vulvovaginal
3 tissue."

4 Q Did you write that sentence that omitted
5 the word "vulvovaginal tissue"?

6 A I don't recall.

7 Q Are you aware of any other mistakes in
8 your declaration you'd like to correct?

9 A I know there was one place where there was
10 an exclamation point instead of an L, I believe,
11 but I don't know what page it's on.

12 Q Okay. Other than the exclamation point,
13 were there any other mistakes you'd like to
14 correct?

15 A I may point them out as we read them, but
16 I don't know of any offhand right now.

17 Q Okay. So can we just go back to our
18 discussion of speculums briefly? During our
19 discussion, I believe you mentioned a narrow
20 speculum. Am I recalling correctly?

21 MR. HECTOR: Objection. Misstates the
22 record.

23 A We have a regular speculum and a -- it
24 would be a narrow speculum or a pediatric.

25 Q So in your answer just now, you said

1 narrow speculum or a pediatric. Is a narrow
2 speculum the same as a pediatric speculum?

3 **A No.**

4 Q Okay. Can you explain to me what a narrow
5 speculum is?

6 **A It is a speculum where the blades are not**
7 **as wide as the regular speculum.**

8 Q Okay. So speculums -- let me rephrase
9 that.

10 The blades of speculums can vary in both
11 length and width; is that correct?

12 MR. HECTOR: Objection. Misstates the
13 record.

14 **A They can.**

15 Q Let's turn back to your declaration. And
16 if you go to page 2 in the table that you have
17 there --

18 **A Yes.**

19 Q -- okay, this table lists the materials
20 that you considered in forming the opinions of
21 your declaration; is that correct?

22 **A Yes.**

23 Q All right. And I notice that the board's
24 decision on institution is not included in this
25 table. Do you know what I'm referring to when I

1 say the decision on institution?

2 **A Yes.**

3 Q Did you review the board's decision on
4 institution when you performed the analysis in
5 your declaration?

6 **A I've reviewed a portion of it.**

7 Q Which portion did you review?

8 **A Give me a moment.**

9 Q Sorry. Let me just clarify.

10 When I say "the board's decision on
11 institution," I mean the decision on institution
12 in this proceeding.

13 **A Oh, thank you for clarifying.**

14 It is mentioned in the declaration, I
15 believe, and I discussed it with the attorneys,
16 but I did not review that document.

17 Q Okay. All right. And the first entry in
18 the table on page 2 of your declaration is BTL's
19 petition.

20 Do you see that?

21 **A Yes.**

22 Q Okay. Can you get out a copy of BTL's
23 petition, please?

24 **A I believe it says NA here. Let me look in**
25 **my list. Okay. I have it.**

1 Q Can you turn to Roman numeral (iv),
2 smaller numeral. This is the exhibit list in the
3 petition.

4 Before I do that, can you just take a look
5 at the petition and let me know that you have a
6 copy of the petition that you reviewed when
7 preparing your declaration?

8 **A Yes, I have it.**

9 Q And you reviewed this when you prepared
10 your declaration, correct?

11 **A I did.**

12 Q So now can you turn to Roman (iv), small
13 Roman. It's the exhibit list.

14 **A I'm on that page.**

15 Q Okay. And looking at that page which has
16 the exhibits that were provided with the petition
17 and looking at the table on page 2, starting at
18 page 2 of your declaration, your list does not
19 include Exhibits 1009 through 1013, 1023, 1027
20 through 1031?

21 Do you see that?

22 MR. HECTOR: Objection to the extent it
23 misstates the record.

24 **A I got lost in all those numbers. Would**
25 **you repeat that for me?**

1 Q Okay. No problem.

2 Okay. If you look at your list on page 2,
3 it says that you reviewed Exhibits 1001 through
4 1008.

5 Do you see that?

6 **A Yes.**

7 Q Then it goes to 1014, correct?

8 **A Yes.**

9 Q So in the petition, there were
10 Exhibits 1009 through 1013 that were also
11 provided, and that's in the exhibit list on Roman
12 numeral (iv) of the petition.

13 Do you see that?

14 **A Yes.**

15 Q Okay. Is there a reason you didn't
16 include Exhibits 1009 through 1013 in your
17 materials considered?

18 **A No.**

19 Q Do you know whether you reviewed 1009
20 through 1013?

21 **A I did not.**

22 Q Okay. If we look at your list, go to
23 page 3, so you see it goes 121, 122, and then
24 skips to 124 -- I'm sorry, 1021, 1022, and skips
25 to 1024.

1 Do you see that in your list on your
2 page 3?

3 **A Yes.**

4 Q So it skips Exhibit 1023?

5 **A Yes.**

6 Q Do you know if you reviewed Exhibit 1023?

7 **A I did not.**

8 Q And then on page 3 of yours, it ends 1026
9 and then skips over to 2001.

10 Do you see that?

11 **A Yes.**

12 Q Okay. But with the petition, there are
13 also Exhibits 1027 through 1031.

14 Do you see those?

15 **A Yes.**

16 Q Did you review Exhibits 1027 through 1031?

17 **A No.**

18 Q Can you turn to page 12 of your
19 declaration?

20 **A I am here.**

21 Q Okay. And Roman numeral (IV), you have a
22 section of Person of Ordinary Skill in the Art.

23 Do you see that?

24 **A Yes.**

25 Q Okay. And then paragraph 33, you list the

1 factors that should be considered when determining
2 the level of skill in the art. Do you see those
3 factors 1 through 6?

4 **A Yes.**

5 Q And then going down to paragraph 36 of
6 your declaration, do you see that?

7 **A Yes.**

8 Q Review that. Let me know when you've
9 finished.

10 **A Okay.**

11 Q To paraphrase, in paragraph 36, you're
12 disagreeing with Dr. Berenholz's definition of a
13 person of skill in the art because not many
14 individuals would fit under his definition.

15 Do you see that?

16 MR. HECTOR: Objection. Foundation.

17 **A I see that.**

18 Q You say: Indeed, similar to
19 Dr. Berenholz, I was only aware of a handful of
20 doctors practicing in the field of cosmetic
21 gynecology in 2006.

22 Do you see that?

23 **A Yes.**

24 Q And so my question is: Why does the
25 number of people who are practicing in a certain

1 field affect the level of ordinary skill in the
2 art, in your opinion?

3 MR. HECTOR: Objection. Vague.

4 **A Yeah, I don't know if I can answer that.**

5 Q Well, did the number of physicians who
6 were practicing in the field influence your
7 opinion as to the level of skill in the art here?

8 **A No.**

9 Q Okay. So it doesn't matter the number of
10 physicians practicing in the field for the
11 purposes of the level of skill in the art; is that
12 correct?

13 MR. HECTOR: Objection. Misstates.

14 **A I think the experience of that physician**
15 **and physicians at that time would affect the skill**
16 **in the art.**

17 Q But does the number of physicians
18 practicing in a certain field affect the level of
19 skill in the art?

20 **A A person of ordinary skill in the art at**
21 **the time was a gynecologist treating female**
22 **genital tissues but not necessarily a cosmetic**
23 **gynecologist who was specializing in that field.**

24 Q Go back up to paragraph 33 of your
25 declaration. Does the number of people practicing

1 in the field, is that one of the factors that are
2 considered when evaluating the level of skill in
3 the art?

4 MR. HECTOR: Objection. Foundation.

5 **A No.**

6 Q All right. Can you turn to paragraph 54
7 of your declaration, which is on page 21?

8 **A Okay.**

9 Q Do you see the second-to-last sentence in
10 that paragraph says: A POSA would understand that
11 the constructions from the ThermiGen IPR are
12 proper constructions?

13 **A Yes.**

14 Q Which constructions from the ThermiGen IPR
15 are you referring to?

16 **A I would need to take a look at that.**

17 Q Okay. Well, did you mean the board's
18 constructions from ThermiGen IPR? I'm just trying
19 to clarify what you meant there. I'm not trying
20 to trick you.

21 **A Oh, I would have to -- I would have to**
22 **take a look at that, this report or document, to**
23 **confirm that's what I was referring to.**

24 Q Okay. Why don't we do that.

25 MR. HECTOR: Are you okay? We've been

1 going an hour. Are you good to keep going,
2 Dr. Poulos?

3 **THE WITNESS: Yeah, I'm okay.**

4 MR. BEMBEN: We can wrap up -- we can take
5 a break after this line of question.

6 Bill, can you introduce Exhibit 1009?

7 MR. HECTOR: Introduce or just make sure
8 she has it in front of her.

9 MR. BEMBEN: I'm sorry. Can you give her
10 a copy of Exhibit 1009.

11 MR. HECTOR: We may have to go in your box
12 for that. It will just be a second. Is it okay
13 to open your box up?

14 MR. BEMBEN: Yes.

15 (Exhibit Number 1009 previously marked
16 for identification.)

17 **A It's wrapped up really well.**

18 Q Dr. Poulos, would you like to take a break
19 while we get that exhibit out?

20 **A That's probably perfect. Thank you.**

21 Q Okay. Would you like to take a 15-minute
22 break?

23 **A Sure. I think 10 would be fine, unless**
24 **you want 15.**

25 MR. BEMBEN: 10 is fine.

1 MR. HECTOR: Take us off the record.

2 MR. BEMBEN: Off the record.

3 (A short break was taken.)

4 Q Okay. Welcome back.

5 So I believe Mr. Hector was providing you
6 a copy of Exhibit 1009. Do you have a copy of
7 that, Dr. Poulos?

8 **A Yes, I do.**

9 Q Okay. And so this is the Decision to
10 Institute in ThermiGen v. Viveve case,
11 IPR2018-00088.

12 Do you see that on the front cover?

13 **A I was looking for the word "Decision to**
14 **Institute," so I found it, and then I didn't pay**
15 **attention to the numbers you read.**

16 Q That's okay.

17 Do you see above Decision to Institute, a
18 few lines, it says Case IPR2018-00088.

19 **A Yes.**

20 Q Okay. At the very top right corner, it
21 says Paper 7?

22 **A Yes.**

23 Q Can you take a look at this document and
24 let me know if this is the document -- or if this
25 is a document that you reviewed when preparing

1 your declaration?

2 **A Yes, it looks familiar.**

3 Q Going back to your declaration in
4 paragraph 54 that we were discussing --

5 **A Yes.**

6 Q -- do you recall that you stated: A POSA
7 would understand that the constructions from the
8 ThermiGen IPR are proper constructions.

9 Do you see that?

10 **A Yes.**

11 Q Okay. When you say "ThermiGen IPR" -- I'm
12 sorry. Let me rephrase that.

13 When you say "the constructions of the
14 ThermiGen IPR," are you talking about the board's
15 constructions in the Decision to Institute in
16 Exhibit 1009?

17 **A I believe so.**

18 Q Okay. You can take a look at Exhibit 1009
19 starting on page 10. That's where the board
20 outlines construction of certain terms.

21 **A Okay.**

22 Q Okay. And so just to confirm, in
23 paragraph 54, when you refer to the constructions
24 from the ThermiGen IPR, you are referring to the
25 board's claim constructions in Exhibit 1009,

1 correct?

2 MR. HECTOR: Objection. Asked and
3 answered.

4 A Yes, I believe so.

5 Q If you look at page 9 of the board's
6 decision in Exhibit 1009, under the subsection
7 (B), Claim Construction, let me know when you're
8 there.

9 A Yes.

10 Q Okay. Do you see that: In an Inter
11 Partes Review, claim terms in an unexpired patent
12 are in accordance with the broadest reasonable
13 construction in light of the specification of the
14 patent in which they appear?

15 Do you see that?

16 A Yes.

17 Q Do you understand that in the ThermiGen
18 IPR, the board analyzed the claims under the
19 broadest reasonable claim construction standard?

20 A I don't think I can answer that.

21 Q Do you know what the broadest reasonable
22 construction, claim construction standard is?

23 A No.

24 Q Did you apply the broadest reasonable
25 construction standard when reviewing the claims in

1 this proceeding?

2 **A I can't answer that.**

3 Q Why can't you answer that?

4 **A It's not a term that I know what the**
5 **definition is.**

6 Q Okay. Let's go to page 24 of your
7 declaration. Do you see that you provided
8 constructions on page 24 of target tissue? Go to
9 page 26, remodeling; page 29, heating.

10 Do you see those claim constructions that
11 you provided?

12 **A Yes.**

13 Q What claim construction standard did you
14 apply when you analyzed the claim terms of the
15 '511 patent?

16 **A I was following the terms as they were**
17 **defined.**

18 THE COURT REPORTER: I'm sorry, Doctor. I
19 couldn't hear you.

20 **A I was following -- I was using the terms**
21 **as they were defined.**

22 Q That they were defined where?

23 **A In this document that we're looking at.**

24 Q When you say "this document that we're
25 looking at," are you referring to your

1 declaration?

2 **A Yes.**

3 Q Okay. So were you provided the claim
4 constructions that are in your declaration?

5 MR. HECTOR: Objection. Vague.

6 **A These claim constructions that you just**
7 **mentioned?**

8 Q Yes. All of the claim constructions that
9 you have in Section 6 of your declaration,
10 starting on page 24.

11 **A Yes.**

12 Q They were provided to you by counsel?

13 **A Yes.**

14 Q Okay.

15 MR. BEMBEN: Bill, did you receive the
16 email of the document?

17 MR. HECTOR: Yeah.

18 MR. BEMBEN: Okay. Do you guys want to
19 take a minute to print it out?

20 MR. HECTOR: We've got it.

21 MR. BEMBEN: Okay. Can you provide a copy
22 to Dr. Poulos?

23 MR. HECTOR: Should be 4 pages, right?
24 Oh, 2, 2 pages.

25 MR. BEMBEN: Yeah, it should just be

1 2 pages.

2 MR. HECTOR: She has it.

3 MR. BEMBEN: Okay.

4 MR. HECTOR: Take your time to read it, of
5 course.

6 Q I'm going to introduce a document that the
7 top of the document says Anatomical Terminology.
8 It's the very top of the document. I'll represent
9 to you this is a document that I printed off
10 personally from a government website on the 6th of
11 April.

12 Do you have a copy of that document in
13 front of you?

14 **A Yes.**

15 Q Okay. And so this is probably something
16 that I should have done at the beginning of the
17 deposition, so forgive me. But this document has
18 anatomical terminology in it.

19 Do you see that?

20 **A Yes.**

21 Q Can you take a minute to review the
22 document? It's only 2 pages. And all I want to
23 do is just establish that when you and I talk
24 about anatomical terminology, direction, things of
25 that nature, that we're on the same page, and that

1 I'm not a physician, that I'm understanding the
2 terminology that you're using. Okay?

3 **A Yes.**

4 Q So go ahead and take a minute to review
5 that. Make sure it's accurate. It's from
6 the National Cancer Institute.

7 **A While I start reviewing that --**

8 MR. HECTOR: Objection. Foundation.

9 **A -- can I say that the exclamation point**
10 **that should be L is right there at the top of**
11 **page 24.**

12 Q Sure.

13 **A I think you'll see what I mean.**

14 MR. HECTOR: Just a general objection to
15 this document as outside the scope. And
16 foundation.

17 **A Okay.**

18 MR. BEMBEN: And for the court reporter,
19 can we mark this as Deposition Exhibit 1, please?

20 (Deposition Exhibit Number 1 marked
21 for identification.)

22 Q Okay. And so, Dr. Poulos, upon reviewing
23 this document, are there any terms or claims of
24 the body that you disagree with as they're stated
25 in this document?

1 A Well, I think we already talked about what
2 proximal and distal vagina would be, so I may not
3 exactly agree with this document the way it's
4 worded. But it's the way, as gynecologists, we
5 use those terms.

6 Q Okay. Well, let me start by just in
7 general, outside of gynecological use of the
8 terms, if we look at directional terms, the term
9 "superior," does that mean toward the head end of
10 the body?

11 A Yes.

12 Q Okay. And "inferior" means away from the
13 head?

14 A Yes.

15 Q "Anterior" means front?

16 A Yes.

17 Q "Posterior" means back?

18 A Yes.

19 Q "Medial" means toward the midline of the
20 body?

21 A Yes.

22 Q "Lateral" means away from the midline of
23 the body?

24 A Yes.

25 Q "Proximal" means toward or nearest the

1 trunk of a point of origin?

2 **A Yes.**

3 Q Okay. And "distal" means away from or
4 furthest from the trunk?

5 **A Yes.**

6 Q And so the distal end, for example, would
7 be the opposite end of the proximal end; is that
8 correct?

9 MR. HECTOR: Objection. Vague.

10 **A Yes.**

11 Q Okay. And so just to clarify what you
12 said earlier about use of this proximal and distal
13 in the terms of gynecological, can you explain
14 what you meant by that?

15 **A Because some people, especially if they're**
16 **not medical, would think of the vaginal opening as**
17 **the start of the vagina. We already discussed**
18 **that we're calling the introitus the distal vagina**
19 **and the upper vagina at the apex or near the**
20 **cervix as proximal.**

21 Q Okay. And is that the accurate way to
22 describe those locations from a gynecological
23 perspective?

24 **A Yes.**

25 Q And that's how a person of ordinary skill

1 in the art would have understood those terms,
2 correct?

3 **A Yes.**

4 Q Okay. So just wanted to establish a level
5 set with respect to the terms.

6 Now I'd like to --

7 MR. BEMBEN: Bill, can you provide
8 Exhibit 115? I'd like to introduce exhibit what
9 was previously marked as Exhibit 115.

10 (Exhibit Number 1015 previously marked
11 for identification.)

12 **A 1015?**

13 Q Yes, correct. I'm sorry. 1015, correct.

14 **A Okay.**

15 Q And I'm going to ask you to make some
16 markings on some of the figures on this document.

17 MR. BEMBEN: Bill, I believe we provided
18 some Sharpies in the box. Can you grab one of the
19 Sharpies for Dr. Poulos?

20 MR. HECTOR: Objection to the form of the
21 question.

22 Q Okay. While we're getting that out, I'd
23 like to introduce Exhibit 1015. It's Atlas of
24 Human Anatomy, 4th Edition, by Frank H. Netter,
25 Medical Doctor.

1 Have you seen this document before?

2 **A Yes.**

3 Q Are you familiar with Netter's textbooks?

4 **A Anatomy books, yes.**

5 Q Yes, anatomy books, sorry.

6 Prior to this proceeding, were you
7 familiar with Netter's anatomy books?

8 **A Yes, I was.**

9 Q Are they considered authoritative books?

10 **A They're an excellent resource. I've never**
11 **considered it authoritative.**

12 Q Okay.

13 MR. HECTOR: Just a blanket objection to
14 having the witness make markings on the document,
15 introducing things that aren't in the record.

16 Q Can you explain why you don't consider the
17 Netter textbooks authoritative?

18 **A The Netter textbooks, I think the copy I**
19 **have is from my high school friend's father who**
20 **was a physician, and I don't remember what year it**
21 **was published, but it was well before I was born.**

22 Q I see.

23 **A So some things have changed the way we**
24 **describe anatomy since then.**

25 Q The exhibit that I provided to you, turn

1 to page 6, the copyright page --

2 **A I don't have page numbers.**

3 Q If you go to the copyright page, it's the
4 sixth page of the PDF you have.

5 **A Okay.**

6 Q Do you see it's copyright 2006?

7 **A Yes.**

8 Q And I think we established before, the
9 time of the invention or the time of the '511
10 patent was February 2006, correct?

11 **A Yes.**

12 Q So would a person of skill in the art have
13 understood that Netter, Netter's anatomy book from
14 2006, would be authoritative at that time?

15 **A No.**

16 Q And why not?

17 **A As I said, it's a good reference for**
18 **anatomy. I don't know that it's authoritative,**
19 **and I don't know if these are the original**
20 **drawings or if they changed in any way since he**
21 **first drew them.**

22 Q Do you have any reason to believe that
23 these drawings changed since he first drew them?

24 **A No, I don't.**

25 Q Okay. Can you turn to plate 360, please?

1 **A Three six zero?**

2 Q Three six zero, yes.

3 **A Okay.**

4 Q Okay. And in the bottom figure, it's
5 labeled Median Sagittal Section.

6 Do you see that?

7 **A Yes.**

8 Q Okay. So here's where I want you to
9 provide some labels.

10 MR. HECTOR: Instruct you not to --

11 Q For the vagina on the vaginal canal, can
12 you label the proximal end with a P?

13 MR. HECTOR: Instruct the witness not to
14 annotate the drawings.

15 MR. BEMBEN: On what basis?

16 MR. HECTOR: As introducing testimony into
17 the record based on privilege.

18 MR. BEMBEN: How is this based on
19 privilege?

20 MR. HECTOR: I mean, the document speaks
21 for itself.

22 MR. BEMBEN: No, there is absolutely no
23 basis for you to say that there's privilege here
24 when all I'm asking her to do is annotate a
25 document that she's reviewed.

1 MR. HECTOR: Yeah, I misspoke on
2 privilege. The document speaks for itself.

3 MR. BEMBEN: That's fine. That's an
4 objection, but you cannot instruct her not to
5 annotate the drawings.

6 Q So please annotate the proximal end of the
7 vaginal canal with a P.

8 **THE WITNESS: I have to go ahead?**

9 MR. HECTOR: Whether you follow my
10 instructions or not is up to you.

11 **A I think I have to follow Mr. Hector's**
12 **instructions.**

13 MR. BEMBEN: Okay. Mr. Hector, what basis
14 are you saying that she cannot annotate the
15 drawings?

16 MR. HECTOR: That the document speaks for
17 itself.

18 MR. BEMBEN: That's not a basis. That's a
19 basis for objection. That's not a basis for
20 instructing the witness not to answer.

21 MR. HECTOR: We disagree on that point.

22 MR. BEMBEN: Okay. Well, then I think we
23 need to shut this down and go to the board and get
24 a ruling on this. Is that what you want to do?

25 MR. HECTOR: Could we go off the record

1 for a moment?

2 MR. BEMBEN: Yes.

3 (A short break was taken.)

4 MR. HECTOR: Okay. Yeah, so withdraw the
5 objection to the witness writing on the document.
6 But I just want to say to the extent that she's
7 writing things that you tell her to write, I just
8 want to make clear that that's counsel's
9 statements and not necessarily hers. But go ahead
10 and proceed.

11 Q Okay. So we were looking at plate 360.

12 A Yes.

13 Q Okay. And what I had asked you to do is
14 with that Sharpie that I gave you, if you could
15 mark the proximal end of the vaginal canal.

16 A (Complied).

17 Q Let me know when you've done that.

18 A Okay.

19 Q And just before we move on any further,
20 did you discuss the content of the deposition with
21 counsel during our break?

22 A Not at all. I went to the bathroom.

23 Q Okay. Can you label the distal end of the
24 vaginal canal with a D?

25 A (Complied.)

1 Q Are you finished?

2 A Yes.

3 Q Can you label the midvaginal canal?

4 MR. HECTOR: Objection. Vague.

5 A (Complied).

6 Q I believe we discussed this before, but
7 can you just confirm that the distal end of the
8 vaginal canal is also known as the introitus?

9 A Yes.

10 Q And the distal end -- let me rephrase
11 that.

12 The distance between the proximal end and
13 the distal end of the vaginal canal is about
14 9 centimeters; is that correct?

15 A Yes.

16 Q Okay. And you see in plate 360, the one
17 that we're referring to, the one on the bottom,
18 there is a label: Posterior part of vaginal
19 fornix.

20 Do you see that?

21 A Yes.

22 Q Okay. And there right below that, there
23 is a label: Anterior part of the vaginal fornix.

24 Do you see that?

25 A Yes.

1 Q And do you see where that's pointing to in
2 the vaginal canal?

3 A Yes.

4 Q Okay. Can you confirm that the distance
5 between the distal end of the vaginal canal and
6 the anterior part of the vaginal fornix is about
7 7 and a half centimeters?

8 MR. HECTOR: Objection. Foundation.

9 A The average vaginal length is
10 9 centimeters. The anterior wall is a bit shorter
11 when the woman has a uterus.

12 Q Okay. And so what is the distance between
13 the distal end of the vaginal canal and the
14 anterior part of the vaginal fornix?

15 A Will you say it again?

16 Q Sure. What is the distance between the
17 distal end of the vaginal canal and the anterior
18 part of the vaginal fornix?

19 MR. HECTOR: Objection. Vague.

20 A It depends on the length of the cervix.

21 Q So you said it depends on the length of
22 the cervix; is that correct?

23 A Yes.

24 Q And so does that mean that the distance
25 from the distal end of the vaginal canal to the

1 anterior part of the vaginal fornix is a range?

2 MR. HECTOR: Objection. Vague.

3 A Yes.

4 Q And what is that range?

5 A The cervix is typically going to be 1 to
6 2 centimeters, so from 7 to 8 on average.

7 Q And so just to confirm, you said the
8 distance between the distal end of the vaginal
9 canal and the anterior part of the vaginal fornix
10 is from 7 to 8 centimeters on average, correct?

11 A Yes.

12 MR. HECTOR: Objection. Foundation.

13 A Sorry. Yes.

14 Q In plate 360, can you label the midpoint
15 between the distal end of the vaginal canal and
16 the anterior part of the vaginal fornix?

17 MR. HECTOR: Objection. Vague.

18 A (Complied.)

19 Q When you're finished, can you hold those
20 annotations up so I can see them on the camera?

21 A (Complied.)

22 MR. HECTOR: I just want to make the
23 objection that these are counsel's instructions to
24 draw and not necessarily the witness' testimony.

25 Q Okay. Thank you. You can put it down.

1 Now, do you see the urethra in plate 360?

2 **A Yes.**

3 Q Okay. Can you label the proximal end of
4 the urethra with a P?

5 **A (Complied.)**

6 Q Can you label the distal end of the
7 urethra with a D?

8 **A (Complied.) Okay.**

9 Q The distance between the proximal end of
10 the urethra and the distal end of the urethra is
11 about 4 centimeters, correct?

12 **A Yes.**

13 Q Have you heard of the term "midurethra"?

14 **A Yes.**

15 Q What does that term mean?

16 **A In what context?**

17 Q Well, what context have you heard that
18 term used?

19 **A In a few different contexts. That's why**
20 **I'm asking.**

21 Q Okay. Well, let's start with the first
22 context you've heard it. What does it mean in the
23 first context?

24 MR. HECTOR: Objection. Vague.

25 **A It could be the middle of the urethra when**

1 we're measuring pressures and measuring the
2 functional length of the urethra strictly dealing
3 with incontinence, or -- oh, you said start with
4 the first one.

5 Q Okay. Since you said "or," what other
6 context?

7 A Or if describing where a lesion is when
8 we're performing urethroscopy and evaluating the
9 urethra visually with a telescope.

10 Q Is midurethra typically used to describe a
11 region of the urethra?

12 MR. HECTOR: Objection. Vague.

13 A Yes.

14 Q Can you label the midurethra region on
15 plate 360?

16 MR. HECTOR: Further objection on these
17 instructions to the witness. Your -- these are
18 not questions. These are instructions to the
19 witness, so the witness can choose whether she
20 wants to follow your instructions or not.

21 MR. BEMBEN: No, that's not accurate.

22 Q So you have to answer the question. I'm
23 asking you to just label the midurethra region on
24 the plate 360.

25 MR. HECTOR: Again, that's not a question.

1 That's instruction to the witness which she can
2 follow or not follow.

3 MR. BEMBEN: That is not correct. The
4 only one you cannot answer one of my questions is
5 if you assert privilege or very certain reasons.
6 This is not one of those reasons. You're
7 objecting, Bill.

8 Q You still need to answer my question.

9 MR. HECTOR: Again, they're not questions.
10 They're instructions. And the witness can choose
11 whether she wants to follow your instructions or
12 not.

13 Q Can you label -- can you show me where the
14 midurethra region is on plate 360 by labeling it?

15 A I need to know which context we're
16 specifically talking about. Is the middle of the
17 midurethra when I'm looking internally to describe
18 a lesion to a colleague? Is it to place a sling?
19 Is it for some other reason? Because I need to
20 know what context I'm using.

21 Q Does the midurethra region change
22 depending on the context in which you're using
23 that term?

24 MR. HECTOR: Objection. Vague.

25 A Can you be more specific?

1 Q Does the midurethra region change
2 depending on the context in which you use the term
3 "midurethra"?

4 **A Yes.**

5 Q Does the location of the midurethra change
6 depending on the context in which you're using
7 that term?

8 MR. HECTOR: Objection. Vague.

9 **A The description changes. I don't think**
10 **the urethra is changing, so I'm not sure how to**
11 **answer you.**

12 Q Right. So the midurethra, I believe we
13 just agreed, that it is a region of the urethra,
14 correct?

15 **A Correct.**

16 Q Okay. Can you label that on plate 360?

17 MR. HECTOR: Again, same objection. She
18 can answer your questions. To the extent you're
19 providing her instructions to do something, the
20 witness can choose to follow or not follow those
21 instructions.

22 **A Then I will choose not to.**

23 Q Okay. You cannot choose not to follow.
24 I'm not providing you instructions. I'm asking
25 you to answer my question.

1 Can you show me on plate 360 where the
2 midurethra region is by labeling it?

3 MR. HECTOR: Same objection.

4 **A My attorney is recommending that I don't**
5 **label that portion of the urethra.**

6 MR. BEMBEN: Bill, okay, we're going to go
7 to the board on this. Off the record again.

8 MR. HECTOR: I mean, she can answer your
9 question but --

10 MR. BEMBEN: Bill, this -- no, she has
11 labeled this point in her declaration --

12 THE COURT REPORTER: Hang on. Do you guys
13 want to stay on the record or off the record?

14 MR. BEMBEN: Let's go off the record.

15 THE COURT REPORTER: Do you agree?

16 MR. HECTOR: Sure.

17 THE COURT REPORTER: Okay.

18 MR. BEMBEN: You don't have to agree to go
19 off the record.

20 THE COURT REPORTER: Yes, I need both in
21 agreement to go off because if one person wants to
22 be on, I have to, by my license in Illinois, stay
23 on.

24 MR. BEMBEN: I believe we agree to go off.

25 (A short break was taken.)

1 Q So before we took a break, we were
2 annotating plate 360, and I had asked you to
3 annotate or to show me where the midurethral
4 region is by labeling it on plate 360.

5 Can you please do that for me?

6 MR. HECTOR: Objection. Vague.

7 A (Complied). I would like to say, the
8 drawing is not to scale so neither is my Sharpie
9 on here.

10 Q Can you show me the annotation?

11 A (Complied).

12 Q Thank you. And relative to the vaginal
13 canal, about how many centimeters from the
14 introitus is the midurethral region that you just
15 labeled?

16 MR. HECTOR: Objection. Vague. Misstates
17 the record.

18 A I was listening to Bill. Could you repeat
19 the question?

20 Q Relative to the vaginal canal, about how
21 many centimeters from the introitus is the
22 midurethral region that you just labeled?

23 A From the introitus, it's around the 2 and
24 a half region, 2 and a half centimeters.

25 MR. BEMBEN: Can we please mark plate 360

1 that you annotated as Deposition Exhibit No. 2?

2 **THE WITNESS: Should I hand it off to**
3 **somebody?**

4 MR. BEMBEN: To her, just to annotate for
5 now.

6 (Deposition Exhibit Number 2 marked
7 for identification.)

8 Q Do you have the exhibit back, Dr. Poulos?

9 **A I do.**

10 Q Okay. Can you please go to plate 357, and
11 I'm looking at the top inferior view.

12 **A Okay. I'm there.**

13 Q Do you see the vagina?

14 MR. HECTOR: Objection. Vague.

15 **A I see the vagina.**

16 Q Can you show me where the anterior wall of
17 the vagina is by labeling it with an A?

18 MR. HECTOR: Objection. Instructing.

19 **A (Complied.)**

20 Q Can you show me where the posterior wall
21 of the vagina is by labeling it with a P?

22 MR. HECTOR: Objection. Instructing.

23 **A I'm not able to do that on this picture.**

24 Q Are you not able to show the posterior
25 wall in this picture?

1 **A Correct.**

2 MR. HECTOR: Objection. Vague.

3 Q Are you looking at the anterior -- sorry,
4 let me rephrase that.

5 Are you looking at plate 357?

6 **A Yes.**

7 Q The inferior view?

8 **A Yes.**

9 Q Okay. And you do not see -- in the
10 vagina, you do not see the posterior wall of the
11 vagina?

12 **A It's a cut section, and so I see a wall of**
13 **the anterior wall of the vagina. I see the cut**
14 **portion of the posterior. So I was not**
15 **understanding what you were asking for, so can you**
16 **be more specific for me?**

17 Q Okay. Can you show me what you labeled
18 for the anterior wall?

19 MR. HECTOR: Objection. Instructing the
20 witness.

21 MR. BEMBEN: Bill, I'm not there in person
22 so I can't see what she labeled.

23 **A (Complied.)**

24 Q Okay. And just ... thank you, Dr. Poulos.
25 And just to -- sorry. Let me rephrase that.

1 So what you labeled on the vagina as the
2 anterior wall is the top portion of the vagina in
3 this picture; is that correct?

4 MR. HECTOR: Objection. Vague.

5 **A It is.**

6 Q Okay. And is the bottom portion of the
7 vagina in this picture a portion of the posterior
8 wall?

9 MR. HECTOR: Objection. Vague.

10 **A It's a cut edge. I could label it edge of**
11 **the posterior of the vagina, but I don't see the**
12 **wall there.**

13 Q Okay. Let me rephrase that question.

14 Can you label the posterior of the vagina
15 in plate 357?

16 **A Yes.**

17 MR. HECTOR: Objection. Instruction.

18 Q Can you label the lateral portions of the
19 vagina in plate 357 using an L?

20 MR. HECTOR: Objection. Instructing.

21 **A (Complied.)**

22 Q Can I see your annotations?

23 **A (Complied.)**

24 MR. HECTOR: Objection. Instructing.

25 Q Okay. Thank you, Dr. Poulos.

1 MR. BEMBEN: Can we mark that as
2 Deposition Exhibit 3, please?

3 (Deposition Exhibit Number 3 marked
4 for identification.)

5 Q So, Dr. Poulos, the vagina is oval shaped,
6 correct?

7 MR. HECTOR: Objection. Vague.

8 **A It can be.**

9 Q Okay. As shown in plate 357 of Netter,
10 what shape would you describe the vagina?

11 **A In this picture, it looks more**
12 **rectangular.**

13 Q Okay. And in this picture, the anterior
14 and posterior walls are longer than the lateral
15 walls, correct?

16 MR. HECTOR: Objection. Vague.

17 **A In this picture, they are.**

18 Q In your experience, are the anterior and
19 posterior walls of the vagina longer than the
20 lateral walls?

21 MR. HECTOR: Objection. Vague.

22 **A It really depends.**

23 Q What does it depend on?

24 **A The condition of the patient.**

25 Q Okay. So under what conditions of the

1 patient would the anterior and posterior walls be
2 longer than the lateral walls?

3 MR. HECTOR: Objection. Vague.

4 **A In a patient who has not delivered a child**
5 **and does not have vaginal laxity and prolapse.**

6 Q Okay. And in the -- well, strike that.

7 (Exhibit Number 2009 previously marked
8 for identification.)

9 Q All right. Can we pull out Exhibit 2009,
10 please.

11 **A I'm on 2009.**

12 Q Okay. I'd like to introduce Exhibit 2009,
13 which is titled Neurourology and Urodynamics.

14 Have you seen this document before, Dr.
15 Poulos?

16 **A Yes, I have.**

17 Q Did you review this document when you
18 prepared your declaration?

19 **A Yes.**

20 Q Before this IPR, were you familiar with
21 Neurology and Urodynamics?

22 **A Neurourology and Urodynamics, yes.**

23 Q Sorry, just to clarify your answer, you
24 were familiar with this periodical?

25 **A Yes.**

1 Q Is the image of the vagina that's shown
2 here in Exhibit 2009 anatomically accurate?

3 MR. HECTOR: Objection. Vague.

4 **A I would say yes, it's relatively accurate.**

5 Q You say "relatively accurate." What parts
6 are not accurate?

7 **A This is an average vagina without**
8 **prolapse.**

9 Q So is it your opinion that this image on
10 the front of Exhibit 2009 is accurate for an
11 average vagina without prolapse?

12 **A Yeah, obviously there are ranges. But**
13 **yes, it's a good demonstration of a vaginal**
14 **anatomy.**

15 Q Okay. You can put that away.

16 (Exhibit Number 1003 previously marked
17 for identification.)

18 Q And now I would like to -- can you get a
19 copy of Dr. Berenholz's declaration, which is
20 Exhibit 1003? Actually, we've been going for
21 about an hour and 15 minutes, Dr. Poulos, and it's
22 about noon.

23 Would you like to take a break and have
24 lunch now?

25 **A If you want to break now, we were thinking**

1 **lunch at 1:00 o'clock, I believe.**

2 Q That's fine. Yeah, just let me know.

3 Would you like to take a short break now, though,
4 since we've been going for about an hour, hour and
5 15 minutes?

6 A I was able to leave the room while you
7 guys were having a discussion, so I'm okay.

8 Q You're okay?

9 A But if you want a break, we can take a
10 break.

11 Q No, I'm fine as well. I just wanted to
12 check with you.

13 So let me know when you have a copy of
14 Exhibit 1005 -- sorry, 1003.

15 A I have it.

16 Q All right. So on the front page, this
17 document is titled Declaration of Joseph
18 Berenholz, M.D.

19 Do you see that?

20 A Yes.

21 Q It's been previously marked as
22 Exhibit 1003 in this proceeding.

23 Are you familiar with this document,
24 Dr. Poulos?

25 A Yes.

1 Q Did you review this document in preparing
2 your declaration in this proceeding?

3 **A Yes, I did.**

4 Q Can you turn to Section 4 of the
5 declaration, Dr. Berenholz's declaration, which
6 begins on page 13? And can you review Section 4,
7 which is titled Basic Concepts and Technologies?
8 Feel free to take your time.

9 **A I want to clarify. I think you said 4,**
10 **and I'm seeing a 6.**

11 Q I'm sorry, yes. Let me rephrase the
12 question.

13 Can you please turn to Section 6 of
14 Dr. Berenholz's declaration and review that
15 section.

16 **A How far did you want me to review it?**

17 Q The entire section, which ends on page 23.

18 **A Okay.**

19 **Okay. I've read it.**

20 Q Okay. Can you go back up to paragraph 32
21 of Dr. Berenholz's declaration, which is the
22 subsection Anatomy of Female Urogenital System.

23 Do you see that?

24 **A I see that.**

25 Q Do you agree with Dr. Berenholz's

1 testimony in paragraph 32?

2 **A Yes.**

3 Q Do you agree with Dr. Berenholz's
4 testimony in paragraph 33?

5 MR. HECTOR: Objection. Foundation.

6 **A Yes.**

7 Q Do you agree with Dr. Berenholz's
8 testimony in paragraph 34 of his declaration?

9 **A Yes.**

10 Q Do you agree with Dr. Berenholz in
11 paragraph 35 of his declaration?

12 **A Yes.**

13 Q Do you agree with Dr. Berenholz in
14 paragraph 36 of his declaration?

15 **A Yes.**

16 Q Do you agree with Dr. Berenholz in
17 paragraph 37 of his declaration?

18 **A Yes.**

19 MR. HECTOR: Objection. Foundation.

20 Q I'm sorry. Can you repeat your answer,
21 Doctor?

22 **A Yes.**

23 MR. HECTOR: Same objection.

24 **THE WITNESS: Sorry.**

25 Q Sorry. Your answer was yes, Dr. Poulos?

1 **A Yes, it was yes.**

2 Q Do you agree with Dr. Berenholz in
3 paragraph 38 of his declaration?

4 **A Yes.**

5 Q Do you see paragraph 39 starts a new
6 subsection called Collagen Remodeling?

7 **A I see it.**

8 Q Okay. Do you agree -- do you agree with
9 Dr. Berenholz in paragraph 39 of his declaration?

10 MR. HECTOR: Objection. Foundation.

11 **A Yes.**

12 Q Do you agree with Dr. Berenholz in
13 paragraph 40 of his declaration?

14 **A Yes.**

15 MR. HECTOR: Objection. Foundation.

16 **THE WITNESS: I was trying to wait for**
17 **you. I'm sorry.**

18 Q Dr. Poulos, your answer was yes?

19 **A My answer was yes.**

20 Q Do you agree with Dr. Berenholz in
21 paragraph 41 of his declaration?

22 MR. HECTOR: Objection. Foundation.

23 **A No.**

24 Q What do you disagree with in paragraph 41
25 of Dr. Berenholz's deposition?

1 **A I'm not sure if it triggers a normal**
2 **healing response. It triggers a response.**

3 Q I see. Other than Dr. Berenholz's use of
4 the word "normal" in the first paragraph -- I'm
5 going to ask that again.

6 Other than Dr. Berenholz's use of the word
7 "normal" in the first sentence of paragraph 41, do
8 you agree with Dr. Berenholz in paragraph 41 of
9 his declaration?

10 MR. HECTOR: Objection. Vague.

11 **A I also would question if it results in**
12 **long-term tightening, and I don't know that it**
13 **does.**

14 Q Is there anything else in paragraph 41 of
15 Dr. Berenholz's declaration that you disagree
16 with?

17 MR. HECTOR: Objection. Vague. Calls for
18 narrative.

19 **A No.**

20 Q Do you agree with Dr. Berenholz in
21 paragraph 42 of his declaration?

22 MR. HECTOR: Objection. Foundation.

23 **A Yes.**

24 Q Do you agree with Dr. Berenholz in
25 paragraph 43 of his declaration?

1 MR. HECTOR: Objection. Foundation.

2 A Yes.

3 Q Okay. Do you see paragraph 44 of
4 Dr. Berenholz's declaration begins a new
5 subsection titled: Prior Art Treatments of Female
6 Urogenital Tissue Using Radiant Energy?

7 A Yes.

8 Q Do you agree with Dr. Berenholz in
9 paragraph 44 of his declaration?

10 MR. HECTOR: Objection. Foundation.

11 A Yes.

12 Q Do you agree with Dr. Berenholz in
13 paragraph 45 of his declaration?

14 MR. HECTOR: Objection. Foundation.

15 A Yes.

16 Q Do you agree with Dr. Berenholz in
17 paragraph 46 of his declaration?

18 MR. HECTOR: Objection. Foundation.

19 A I can't agree. I'm not familiar with the
20 Titan.

21 THE COURT REPORTER: Familiar with the
22 what?

23 A Titan, T I T A N.

24 Q Do you agree with Dr. Berenholz in
25 paragraph 47 of his declaration?

1 MR. HECTOR: Objection. Foundation.

2 **A Yes.**

3 Q Do you agree with Dr. Berenholz in
4 paragraph 48 of his declaration?

5 MR. HECTOR: Objection. Foundation.

6 **A I can't agree with this one.**

7 Q Why not?

8 **A I don't know what parts of the body**
9 **Thermicol was used to treat.**

10 Q Are you familiar with Thermicol?

11 **A No. I've heard of it, but I'm not**
12 **familiar with it.**

13 Q Do you agree with Dr. Berenholz in
14 paragraph 49 of his declaration?

15 MR. HECTOR: Objection. Foundation.

16 **A I would want explanation of what the use**
17 **of these technologies is.**

18 Q Other than an explanation of use of these
19 technologies in the first sentence of
20 paragraph 49, do you agree with Dr. Berenholz in
21 paragraph 49 of his declaration?

22 MR. HECTOR: Objection. Foundation.

23 **A I cannot agree that these technologies**
24 **were well known in the field of urogynecology**
25 **without knowing which technologies.**

1 Q Do you agree that by February 2006, based
2 on the modification of a decades-old traditional
3 gynecological surgery for stress urinary
4 incontinence, clinicians also performed laser
5 vaginal rejuvenation to tighten vaginal support
6 tissue in the outer third of the vagina, including
7 the introitus, vulva, and the perineal body, both
8 for cosmetic purposes and to improve sexual
9 function?

10 MR. HECTOR: Objection. Foundation. The
11 document speaks for itself.

12 **A Yes.**

13 Q Do you agree with the second-to-last
14 sentence that begins: And even as early as
15 November 2000?

16 MR. HECTOR: Objection. Foundation.

17 **A No.**

18 Q What do you disagree with in the
19 second-to-last sentence of paragraph 49 of
20 Dr. Berenholz's declaration?

21 **A I don't know which laser was being used in**
22 **a laser vaginal rejuvenation or do I know what**
23 **more sophisticated tools were being compared**
24 **because I don't know what it's being compared to.**

25 Q Okay. Do you agree with the last sentence

1 in paragraph 49 of Dr. Berenholz's declaration?

2 MR. HECTOR: Objection. Foundation.
3 Vague.

4 A I could agree that other sources,
5 including RF-based technologies were being
6 considered at that time.

7 Q Can you turn to paragraph 138 of
8 Dr. Berenholz's declaration?

9 A One three eight?

10 Q Yes, one three eight. It's on page 63.

11 A Thank you. Okay.

12 Q Go ahead and read that paragraph.

13 Do you agree with the second sentence that
14 reads: Like the tissue of the vaginal wall, the
15 epithelium that covers the vulva vestibule is a
16 non-keratinized mucosal type?

17 MR. HECTOR: Objection. Foundation.

18 A No.

19 Q Okay. Can you explain why you disagree?

20 A It's a transition zone from one to the
21 other, so it has some of each element, keratinized
22 and non-keratinized.

23 Q I see. Do you agree with the third
24 sentence in paragraph of 138 of Dr. Berenholz's
25 declaration that begins "Is beneath"?

1 MR. HECTOR: Objection. Foundation.

2 **A Yes.**

3 Q Can you please turn to paragraph 145 of
4 Dr. Berenholz's declaration? And it's on page 66.

5 **A Okay. I'm there.**

6 Q Do you agree with Dr. Berenholz in
7 paragraph 145 of his declaration? Please take a
8 minute to review it, if you need to.

9 MR. HECTOR: Objection. Foundation.

10 **A I've read it.**

11 Q Do you agree with Dr. Berenholz in
12 paragraph 145 of his declaration?

13 MR. HECTOR: Objection. Foundation.

14 **A I need to look at what element 51.3 is**
15 **referring to.**

16 Q Okay. Element 51.3 is referring to the
17 '511 patent itself, claim 51. Go ahead and read
18 that and paragraph 144, the preceding paragraph of
19 Dr. Berenholz's declaration.

20 **A Okay.**

21 Q Okay. And so Dr. Berenholz is comparing
22 claim 1 to claim 51.

23 Do you understand that?

24 MR. HECTOR: Objection. Vague.

25 **A I did not understand that. Let me read it**

1 **again.**

2 Q Okay.

3 **A I've read it.**

4 Q Okay. Do you understand that in
5 paragraphs 144 and 145, Dr. Berenholz is comparing
6 claim 1 and claim 51?

7 **A Yes.**

8 MR. HECTOR: Objection. Vague.

9 Q Let me rephrase that.

10 Do you understand that paragraphs 144 and
11 145 of Dr. Berenholz's declaration is comparing
12 claims 1 and 51 of the '511 patent?

13 **A Yes.**

14 Q Did you hear my question?

15 **A I think I answered it. Maybe you didn't**
16 **hear me. Can we repeat it?**

17 Q Sure. Do you understand that paragraphs
18 144 and 145 of Dr. Berenholz's declaration is
19 comparing claims 1 and 51 of the '511 patent?

20 **A Yes.**

21 Q And in the first sentence of paragraph 145
22 of Dr. Berenholz's declaration, he is explaining
23 that the only difference between claims 1 and 51
24 is this element 51.3.

25 Do you see that?

1 MR. HECTOR: Objection. Vague.

2 A I see it.

3 Q Okay. And so with that understanding, do
4 you agree with Dr. Berenholz in paragraph 145 of
5 his declaration?

6 MR. HECTOR: Objection. Foundation.

7 A I'd like a moment to look at claim 1 for a
8 second.

9 Q Take your time.

10 A Bear with me. If someone wants to point
11 me to a page, it might make this go smoother.

12 Q So you're looking for claim 1 of the '511
13 patent?

14 A Yes.

15 Q So if you go to the back of the '511
16 patent, the second-to-last page should have
17 claim 1.

18 A It's Section 1001, okay. And what page
19 was that?

20 Q So claim 1 of the '511 patent is on the
21 second-to-last page of the patent.

22 A Okay. I'm there reading claim 1. And I
23 lost my page in Dr. Berenholz's document. Can you
24 refresh that? We're at 145, I think, paragraph?

25 Q Paragraph 145, page 66.

1 **A Okay. Claim 1 and -- did you say page 66?**

2 Q Of Dr. Berenholz's declaration, yes.

3 MR. HECTOR: You may be in a different --

4 **A Yeah, wrong document. Berenholz 66,**
5 **almost there.**

6 **I've read them both. Could you repeat**
7 **your question for me?**

8 Q Sure. So do you agree with Dr. Berenholz
9 in paragraph 145 of his declaration?

10 MR. HECTOR: Objection. Foundation.

11 **A No.**

12 Q What don't you agree with?

13 MR. HECTOR: Same objection.

14 **A The claim 1 talks about heating a portion**
15 **of the vagina extending from the introitus**
16 **inwardly to a location from 1 centimeter to**
17 **3.5 centimeters in from the introitus.**

18 Q Correct. And paragraph 145 is saying that
19 the difference between 51 and 1 is that 51 recites
20 the heating includes heating a mucosal surface of
21 the labia minora, correct?

22 **A Yes.**

23 Q Okay. And so then do you agree with
24 Dr. Berenholz in paragraph 145 of his declaration?

25 MR. HECTOR: Objection. Vague. Misstates

1 the record.

2 **A No.**

3 Q What do you disagree with?

4 **A Well, 51 talks specifically about mucosal**
5 **surface of the labia minora but does not talk**
6 **about the vagina inward from 1 to 3.5 centimeters.**

7 Q Okay. I see. So in the second sentence
8 of paragraph 145 of Dr. Berenholz's declaration,
9 he states the '511 patent discloses that, and then
10 has a block quote to the patent.

11 Do you see that?

12 **A I see that.**

13 Q Okay. And then he goes on to say: Based
14 on the understanding of the female reproductive
15 system, a POSA would have understood, quote, a
16 mucosal surface of the labia minora, end quote, as
17 claimed to refer only possibly to inner surfaces
18 or a lining of the labia minora adjacent to the
19 vulva vestibule in that it requires the treated
20 surface to be mucosal epithelium.

21 Do you see that?

22 **A I see that.**

23 Q Do you agree with that statement?

24 MR. HECTOR: Objection. Vague.

25 **A No.**

1 Q What don't you agree with?

2 A That this was treating only -- that a POSA
3 would understand it referred only possibly to
4 inner surfaces of the labia minora.

5 Q Okay. What would you -- let me rephrase
6 that.

7 In your opinion, how would a POSA have
8 understood the term "a mucosal surface of the
9 labia minora" in the '511 patent, claim 51?

10 A Heating the portion of the labia minora
11 that appeared to be mucosal.

12 Q And when you say "mucosal," what does that
13 mean?

14 A Mucosal is more like the lining of the
15 vagina as it transitions to the external
16 stratified squamous of the skin, and it's an
17 imaginary line based on the coloration of the
18 area.

19 Q Does the labia minora have non-mucosal
20 surfaces?

21 A It has stratified squamous surface on part
22 of it.

23 Q And is that not mucosal?

24 A Yes.

25 Q Do you see the sentence in paragraph 145

1 of Dr. Berenholz's declaration that starts: The
2 transition from non-characterized -- sorry, let me
3 start that over.

4 In paragraph 145 of Dr. Berenholz's
5 declaration, do you see the sentence that follows
6 what we're discussing that begins: The transition
7 from non-keratinized epithelium?

8 **A Yes, I see it.**

9 Q Do you agree with that sentence?

10 **A Yes.**

11 Q In the next sentence, do you agree with
12 Dr. Berenholz that Hart's line is the demarcation
13 between the labia minora and vulva vestibule, but
14 this line is defined based on the transition
15 between the smooth, non-keratinized epithelium of
16 the vestibule and the keratinized skin of the
17 labia minora?

18 MR. HECTOR: Objection. Foundation.

19 **A Yes.**

20 Q Then do you agree with the last sentence
21 of Dr. Berenholz's testimony in paragraph 145
22 beginning: Thus, a POSA reading the '511 patent
23 would have understood a mucosal surface of the
24 labia minora to mean the outermost edge of the
25 vulva vestibule and/or inner surfaces of the labia

1 minora prior to this transition because the claim
2 requires heating a mucosal surface?

3 MR. HECTOR: Objection. Misstates the
4 document.

5 **A No.**

6 Q What do you disagree with in the last
7 sentence of paragraph 145 of Dr. Berenholz's
8 declaration?

9 **A Mucosal surface of labia minora, being the**
10 **outermost edge of the vulva or vestibule and/or**
11 **inner surfaces of the labia minora because the**
12 **claim requires heating a mucosal surface. The**
13 **claim includes heating a mucosal surface. It does**
14 **not require it.**

15 Q Is there anything else that you disagree
16 with in the last sentence of paragraph 145 of
17 Dr. Berenholz's declaration?

18 MR. HECTOR: Objection. Vague.

19 **A No.**

20 Q Okay. You can put Dr. Berenholz's
21 declaration aside, please, and take out the '511
22 patent, which is --

23 **A I'm there.**

24 Q If you open to the first page of text
25 after the figures, there's a background section.

1 Let me know when you're there.

2 **A I'm there.**

3 Q Do you understand that the background
4 section of a patent describes what was known prior
5 to the invention?

6 MR. HECTOR: Objection. Misstates the
7 record. Foundation.

8 **A I don't know exactly what it's made up of.**

9 Q What is your understanding of the
10 background section of a patent?

11 **A It is a description of some technologies**
12 **that were known but may not be all the**
13 **technologies that were known. I don't know what's**
14 **missing.**

15 Q But it does describe some of what was
16 known before the invention, correct?

17 **A Yes.**

18 Q Have you read the background section of
19 the '511 patent?

20 **A I have.**

21 Q Do you agree with the statements in the
22 background section of the '511 patent?

23 MR. HECTOR: Objection. Vague.

24 **A I would need to read it again.**

25 Q Okay. Please do.

1 **A All right. I've read it.**

2 Q Okay. So do you agree with the statements
3 in the background of the '511 patent?

4 MR. HECTOR: Objection. Vague.

5 **A For the most part, although I have not**
6 **read all of the patents and articles that are**
7 **being referred to.**

8 Q Let's take a look at the second paragraph
9 that discusses collagen denaturation. Do you see
10 that? Begins column 1, line 35.

11 **A Yes.**

12 Q Are you familiar with collagen
13 denaturation?

14 **A Yes.**

15 Q Can you explain to me how collagen
16 denaturation works?

17 **A When collagen is heated to an adequate**
18 **temperature, the collagen crosslinking bonds**
19 **break, the collagen becomes more gel-like, and**
20 **then new crosslinks are formed making a less loose**
21 **tissue.**

22 Q And at what temperature does collagen
23 denaturation begin?

24 MR. HECTOR: Objection. Vague.

25 **A I don't know when it begins.**

1 Q Can you take a look further down in column
2 1, starting around line 1 -- I'm sorry, starting
3 around line 62 where it starts: The vaginal
4 tissue.

5 Do you see that?

6 **A I see that.**

7 Q It states: The vaginal tissue of women is
8 stretched during vaginal childbirth.

9 Do you see that?

10 **A Yes, I see that.**

11 Q Okay. Do you agree with that statement?

12 **A Yes.**

13 Q Okay. If we go to column 2, it explains
14 the first sentence at the end of the first line:
15 Some consequences may include sexual aspects, as
16 may following from loosening of the vagina and its
17 opening, the introitus.

18 Do you see that?

19 **A Yes.**

20 Q Do you agree with that?

21 **A Yes.**

22 Q It goes on to state that: Such loosening
23 typically occurs with the first vaginal delivery,
24 and the loosening tends to increase with
25 subsequent vaginal deliveries.

1 Do you see that?

2 **A Yes.**

3 Q Do you agree with that?

4 **A Yes.**

5 Q It goes on to state: This effective of
6 looseness in this region may include decreased
7 pressure and friction during intercourse and, as a
8 consequence, decreased sexual pleasure for women
9 and their conjugal partners.

10 Do you see that?

11 **A I see that.**

12 Q Do you agree with that?

13 **A I think the word should be "this effect,"**
14 **but otherwise I agree with it.**

15 Q And so it was known to a person of skill
16 in the art that a loose vagina introitus may lead
17 to decreased sexual pleasure, correct?

18 MR. HECTOR: Objection. Foundation.

19 **A Correct.**

20 Q Is it fair to say that the person of skill
21 in the art would have understood that tightening a
22 vagina introitus may lead to enhanced sexual
23 pleasure?

24 MR. HECTOR: Objection. Foundation.

25 **A Yes.**

1 Q Let's turn to -- I'm sorry. Stay in
2 column 2, and if you look at line 53, beginning at
3 line 53, do you see the paragraph that begins:
4 The energy delivery element? It's at the bottom
5 right.

6 **A Yes.**

7 Q Can you review that paragraph that goes on
8 to column 3 of the next page.

9 **A I've read it.**

10 Q Okay. So the portion that you just read
11 which begins at column 2, line 53 of the '511
12 patent, it describes the energy delivery element
13 of the '511 patent, correct?

14 **A Yes.**

15 Q Okay. And do you see in line 63 a
16 sentence that begins: These adaptive
17 configurations?

18 **A Yes, I see it.**

19 Q Okay. So it states: These adaptive
20 configurations include a side-mounted
21 configuration of the energy delivery element, the
22 face of the energy delivery element being
23 substantially parallel with respect to the linear
24 axis of the treatment tip.

25 Did I read that correctly?

1 **A Yes.**

2 Q So the '511 patent, the energy delivery
3 element that is used must be parallel with respect
4 to the linear axis of the treatment tip, correct?

5 MR. HECTOR: Objection. Foundation.
6 Misstates the document.

7 **A I don't know that it must be parallel.**

8 Q Why do you say that?

9 MR. HECTOR: Same objection.

10 **A Reading the summary of the invention, I**
11 **don't know that this means it must be parallel.**

12 Q Well, that sentence that I just read does
13 say "parallel," correct?

14 **A It does say "substantially parallel."**

15 Q Okay. Is substantially parallel different
16 than parallel?

17 **A Yes.**

18 Q Can you explain how so?

19 **A I mean, I would -- if it had to be**
20 **parallel, they'd say "actually parallel." This**
21 **says "substantially," which means partially or**
22 **mostly parallel.**

23 Q I see. And so "substantially" means
24 partly or mostly, correct?

25 **A That's what it means.**

1 MR. HECTOR: Objection. Calls for a legal
2 conclusion.

3 Q Okay. Can you please turn to column 3,
4 beginning in line 41, and can you review that
5 paragraph? It begins: Embodiments of the
6 invention.

7 **A I've read it.**

8 Q Okay. Do you see the last sentence that
9 states: The target zone of embodiments of the
10 invention does not include deeper tissue, such as
11 endopelvic fascia?

12 **A I see that.**

13 Q Does the '511 patent say whether deeper
14 tissue, such as the endopelvic fascia, is heated
15 during remodeling of the target tissue?

16 MR. HECTOR: Objection. Vague.

17 **A This states that endopelvic fascia is not**
18 **target tissue.**

19 Q Correct. But does the patent state
20 whether the endopelvic fascia is heated during
21 remodeling of the target tissue?

22 MR. HECTOR: Objection. Vague.

23 **A Not in this sentence.**

24 Q Does the '511 patent state that endopelvic
25 fascia is heated during remodeling of the target

1 tissue anywhere in the '511 patent?

2 MR. HECTOR: Objection. Vague.

3 A I have not memorized the entire thing, so
4 I would need to review it and look for that.

5 Q Okay. Well, does it -- let me rephrase
6 that question before you do that.

7 Does the '511 patent say that the
8 endopelvic fascia cannot be heated during
9 treatment of the target tissue?

10 MR. HECTOR: Objection. Foundation.

11 A May I take a moment?

12 Q Go ahead. Please.

13 A I wanted to refer to my declaration for a
14 second. Is that okay?

15 Q Absolutely. Refer to the documents that
16 you have.

17 A Could I have you repeat the question?

18 Q Does the '511 patent say that the
19 endopelvic fascia cannot be heated during
20 remodeling of the target tissue?

21 MR. HECTOR: Objection. The document
22 speaks for itself.

23 A And by "cannot," do you mean it's
24 prohibited or by "cannot" meaning it can't deliver
25 heat, it just doesn't technically deliver heat to

1 that area?

2 Q I mean prohibited.

3 MR. HECTOR: Same objection.

4 A The endopelvic fascia is not the target
5 tissue.

6 Are you ready for me to answer?

7 Q Yes.

8 A I believe by the monitoring of
9 temperatures, we understand it does not heat other
10 layers to a substantial -- to a degree to
11 substantially change them, but I would need a few
12 more minutes to find what I'm looking for.

13 Q Go ahead, please.

14 A Okay. If I could refer to column 13 in
15 the last paragraph --

16 Q Yes.

17 A -- more specifically within the target
18 tissue of the invention, a treatment zone may be
19 defined where the heat is particularly focused
20 where the heat reaches a threshold temperature
21 sufficient to cause remodeling. Such a treatment
22 zone may be centered at a particular depth below
23 the endothelium. So to go on, it may, for
24 example, be broadly distributed across the full
25 range of the lamina propria and muscularis and may

1 occupy -- or it may occupy a relatively flat zone.

2 So my understanding is that it's being
3 targeted to the lamina propria and muscularis so
4 that significant heat is not extending deeper than
5 that.

6 Q But the '511 patent doesn't prohibit heat
7 from reaching the endopelvic fascia, correct?

8 MR. HECTOR: Objection. Vague. Leading.

9 A It doesn't describe heating the endopelvic
10 fascia, and it's not the target tissue.

11 Q That wasn't my question, though.

12 My question was: Does the '511 patent
13 prohibit heating the endopelvic fascia?

14 MR. HECTOR: Objection. Asked and
15 answered.

16 A It does not describe heating endopelvic
17 fascia or treating that layer.

18 Q It still doesn't answer my question.

19 My question is: Does the '511 patent
20 prohibit heating the endopelvic fascia?

21 MR. HECTOR: Objection. Asked and
22 answered. Argumentative.

23 A I don't know how to answer any
24 differently. I don't know if you're asking me
25 like a legal definition. I'm unaware of with the

1 word "prohibited." It's targeting the layers that
2 it needs to treat through this focusing the depth
3 of where it wants to remodel or intends to
4 remodel.

5 Q Okay. So earlier when we started this
6 line of questioning, you asked if I was asking
7 whether it was prohibited when I was saying
8 "cannot."

9 Do you remember that?

10 MR. HECTOR: Objection. Misstates the
11 record.

12 A I roughly asked you if you meant it cannot
13 like it's not possible or you cannot because it's
14 prohibited.

15 Q Yes. So you brought up the word
16 "prohibited." What do you understand prohibited
17 to mean?

18 A That you would not want to use the device
19 to treat that layer for concerns of poor outcome.

20 Q That's your understanding of the
21 definition of prohibited?

22 MR. HECTOR: Objection. Argumentative.

23 A In relation to a medical device.

24 Q Let me ask again.

25 What is your understanding of the word

1 "prohibited"?

2 MR. HECTOR: Objection. Asked and
3 answered.

4 A Prohibited in general terms, in a general
5 word, would mean not allowed.

6 Q Okay. So let me ask the question that
7 way.

8 Does the '511 patent say that it's not
9 allowed to treat or heat the endopelvic fascia?

10 MR. HECTOR: Objection. Foundation.
11 Asked and answered.

12 A Again, it's intending to focus energy to
13 treat the depth of lamina propria and muscularis,
14 and I don't -- I did not see them saying it's
15 prohibited or forbidden to treat other regions.

16 Q Okay. Let's stay in column 13 of the '511
17 patent, and please review lines 11 through 26.

18 A I've read it.

19 Q Do you see starting at line 16 of column
20 13, it states: A purpose of cooling the
21 epithelial surface is to protect it from
22 potentially damaging effects of excess heat that
23 would accumulate in the absence of cooling.

24 A Yes, I see that.

25 Q So do you agree that a person skilled in

1 the art would have understood that the '511 patent
2 uses cooling to protect the epithelial surface
3 from potentially damaging effects of excess heat?

4 MR. HECTOR: Objection. Foundation.

5 **A Yes.**

6 Q In the absence of cooling of the
7 epithelial surface, at what temperature would
8 damage to that surface begin?

9 MR. HECTOR: Objection. Vague.

10 **A It would depend on the concentration of**
11 **heat being applied and how long it's being held.**

12 Q Can you explain what you mean by the
13 concentration of heat being applied?

14 **A If heat is being applied at a very small**
15 **pinpoint surface versus a larger surface, the**
16 **tissue is going to react differently.**

17 Q Okay. And if it is applied at a very
18 small pinpoint surface versus a large surface --
19 let me ask that question again.

20 So if it's applied at a very small
21 pinpoint surface how would it react differently
22 than at a large surface?

23 MR. HECTOR: Objection. Vague.

24 **A How would what react? The tissue?**

25 Q Yes, the tissue that we're referring to,

1 the epithelial surface.

2 MR. HECTOR: Same objection.

3 A I was listening to the objections. Can
4 you say the question again for me?

5 Q So if heat is being applied to the
6 epithelial surface at a very small pinpoint, how
7 is the surface going to react differently than if
8 it was applied at a larger surface?

9 A The heat --

10 MR. HECTOR: Objection. Vague.

11 A The heat would have a more concentrated
12 effect in that pinpoint area.

13 Q Even if the same temperature is applied?

14 MR. HECTOR: Objection. Vague.

15 A I believe so.

16 Before you ask the question ...

17 MR. HECTOR: Should we take a break?

18 A Yeah, I drink a lot of water. Or do you
19 want to finish up this part of questioning? It's
20 1:00 o'clock now.

21 MR. BEMBEN: We can take a break now.

22 That's fine. Can we go off the record?

23 THE COURT REPORTER: Sure.

24 MR. BEMBEN: Do we have agreement to go
25 off the record?

1 MR. HECTOR: Yeah.

2 (A lunch break was taken.)

3 MR. BEMBEN: Okay. Back on the record.

4 Q Welcome back.

5 So we were discussing the '511 patent,
6 column 13, lines 11 through 26, if you could pull
7 that back up, please.

8 A Okay.

9 Q Okay. And we were talking about cooling
10 of the epithelial surface.

11 Do you recall that?

12 A Actually, I don't remember what we were
13 talking about but, okay, we're there now.

14 Q Okay. So do you want to take a minute
15 just to reread column 13, lines 11 through 26 of
16 the '511 patent?

17 A Okay. I've read it.

18 Q Okay. And so just to back up, you see
19 kind in the middle, starting on line 16, it
20 states, the '511 patent states: A purpose of
21 cooling the epithelial surface is to protect it
22 from potentially damage effects of excess heat
23 that would accumulate in the absence of cooling.

24 Do you see that statement?

25 A Yes.

1 Q Is it fair to say a person of skill in the
2 art would have understood in the absence of
3 cooling, the surface could potentially be damaged?

4 MR. HECTOR: Objection. Speculation.

5 **A Yes.**

6 Q And I believe I had asked you in the
7 absence of cooling, at what temperature would
8 damage to the epithelial surface begin?

9 **A I recall that.**

10 Q What was your answer?

11 MR. HECTOR: Objection. Asked and
12 answered.

13 **A I don't have an answer. I can't answer**
14 **that question.**

15 Q And remind me, why can't you answer that
16 question?

17 MR. HECTOR: Objection. Asked and
18 answered.

19 **A I'm not sure -- I don't remember what the**
20 **temperature of what we were talking about.**

21 Q I'm sorry. You don't remember know what
22 temperature we're talking about?

23 **A The temperature of what?**

24 Q We're talking about the treatment.

25 MR. HECTOR: Objection. Vague.

1 **A The temperature of the tissue or the**
2 **temperature of the device?**

3 Q So paragraph 13, the first sentence talks
4 about heating the tissue.

5 MR. HECTOR: Objection. Foundation.

6 **A I see that. I want to clarify when you're**
7 **asking me, is it the heat of the RF electrode or**
8 **the heat of the tissue?**

9 Q Okay. So let's start with the heat of the
10 tissue. At what temperature -- in the absence of
11 cooling, at what temperature would damage to the
12 epithelial surface begin?

13 MR. HECTOR: Objection. Vague.

14 **A Could you repeat that for me?**

15 Q In the absence of cooling, at what
16 temperature would damage to the epithelial surface
17 begin?

18 MR. HECTOR: Objection. Vague.

19 **A I'm still not clear if you're talking**
20 **about the temperature of the device or the**
21 **temperature of the tissue.**

22 Q With respect to the temperature of the
23 tissue.

24 **A Okay.**

25 Q In the absence of cooling, at what

1 temperature would damage to the epithelial surface
2 begin?

3 **A Give me a second.**

4 Q Sure.

5 **A It would be at some point above**
6 **80 degrees.**

7 Q With respect to the device, in the absence
8 of cooling, at what temperature would damage to
9 the epithelial surface begin?

10 MR. HECTOR: Objection. Vague.

11 **A That would depend on the device.**

12 Q So the temperature of the device and the
13 temperature of the epithelial surface are not
14 necessarily the same; is that correct?

15 **A Yes.**

16 Q Okay. Can you please turn to column 11,
17 line 19 of the '511 patent?

18 **A Do you want me to read that?**

19 Q Yeah, I'm sorry, please read line 19
20 through 35 -- I'm sorry, 26, 19 through 26.

21 **A I've read it.**

22 Q I'm sorry. I misspoke. For column 11, I
23 mean line 19 through 35. It's that paragraph that
24 starts "The heating of target tissue."

25 **A Then I will take another minute.**

1 **I've read it.**

2 Q Do you see at line 25, the sentence that
3 states: The therapeutic temperature in some cases
4 may be only as high as 45 degrees C or as high as
5 80 degrees C.

6 Do you see that?

7 **A I see that.**

8 Q Okay. And then do you see in the
9 remainder of that paragraph, the '511 patent goes
10 on to describe similar treatment ranges that are
11 smaller than 45 to 80 degrees C?

12 MR. HECTOR: Objection. Vague.

13 **A I see the target tissue temperature may be**
14 **heated to a temperature between about 50 degrees C**
15 **and about 75 degrees C.**

16 Q And then column 11, around line 33, says:
17 In still other embodiments, the target tissue is
18 heated to a temperature between 55 degrees C and
19 about 70 degrees C.

20 Do you see that?

21 **A I see that.**

22 Q Okay. And so a person of ordinary skill
23 in the art would have understood that the
24 embodiments of the '511 patent heat target tissue
25 in a range of 45 to 80 degrees, correct?

1 MR. HECTOR: Objection. Foundation.

2 A Yeah, I don't understand how these relate
3 to which embodiment we are looking at in the end;
4 but yes, this says of the embodiments, it could
5 range from 45 to 80 degrees.

6 Q Does the '511 patent describe heating
7 target tissue to anything outside of the range of
8 45 to 80 degrees?

9 MR. HECTOR: Objection. Vague.

10 A It describes other ranges within the 45 to
11 80, not outside of it.

12 Q Okay. Can you turn to your declaration
13 in paragraph 50, on page 21 of your declaration,
14 Exhibit 2001.

15 A Five zero?

16 Q Yes.

17 A Okay.

18 Q Do you see in the first sentence, you use
19 the term "ablated"?

20 A Page 50?

21 Q No, I'm sorry, paragraph 50.

22 A Paragraph 50, okay. I did not see the
23 word "ablated." That explains it.

24 Sorry. Yes, I see it.

25 Q Okay. What does "ablated" mean?

1 **A One second.**

2 I think -- I thought I had it spelled out
3 here, so I want to make sure I'm saying it the
4 same way.

5 Ablated means to cause damage to tissue
6 through an incision, heat -- incision or heat.
7 I'm not finding where I have the definition in
8 here, but I'm pretty sure I have it. I can't find
9 it right now, but ...

10 Q That's okay. Can you go back to the '511
11 patent?

12 **A Yeah.**

13 Q And let's go to the claims. So the bottom
14 of the '511 patent, the end of the '511 patent.

15 **A I'm there.**

16 Q Okay. Do you understand what an
17 independent claim is?

18 **A I believe so.**

19 Q And so, for example, claim 1 is an
20 independent claim, correct?

21 **A Yes.**

22 Q Claim 2 would be a dependent claim because
23 it depends on claim 1, correct?

24 **A Yes.**

25 Q And the '511 patent includes four

1 independent claims: claims 1, 35, 43, and 51,
2 correct?

3 **A Yes.**

4 Q And do you know what a claim preamble is?

5 **A I've not heard that term used in regard to**
6 **claims.**

7 Q So a claim preamble, so if you look at
8 claim 1, for example, if you look at claim 1 --

9 **A I am.**

10 Q -- okay, do you see the word "comprising"
11 and then a colon?

12 **A Yes.**

13 Q Like I said, the preamble is everything
14 before that. So a method for remodeling a
15 therapeutic zone within a target tissue, target
16 tissue comprising tissue underlying the epithelium
17 of female genital tissue comprising at least one
18 of vulva, introitus, and vaginal tissue.

19 Do you see that?

20 **A Yes, I see that.**

21 Q It says claim 1, preamble. Can you just
22 confirm for me claim 1's preamble is the same as
23 claim 35, 43, and 51?

24 **A Yes, they're the same.**

25 Q Okay. And then you see after the

1 "comprising" in claim 1, the first limitation is
2 heating the target tissue.

3 Do you see that?

4 **A What word did you use, the first ...**

5 Q The first limitation. The first step is
6 heating the target tissue.

7 **A Okay. All right. I also didn't know you**
8 **would call it a limitation, so the --**

9 Q No problem.

10 **A -- first phrase there.**

11 Q The first step is heating the target
12 tissue.

13 Do you see that?

14 **A I see that.**

15 Q And can you confirm that's the same in
16 claims 35, 43, and 51?

17 **A Yes, it's the same.**

18 Q Okay. Then the next step is remodeling
19 the therapeutic zone of the target tissue, and
20 there's a comma.

21 Do you see that?

22 **A I see that.**

23 Q Okay. And can you confirm that that's the
24 same in claims 35, 43, and 51?

25 **A Yes, it's the same.**

1 Q Okay. And then in claim 1 after the
2 remodeling step, there's a "wherein."

3 Do you see that "wherein"?

4 A I see the "wherein."

5 Q Okay. And the "wherein" in claim 1
6 explains where the heating is performed,
7 paraphrased; is that fair?

8 A Yes.

9 Q Okay. And claims 35, 43, and 51, there's
10 a wherein that describes heating a different area;
11 is that fair?

12 A Yes.

13 Q And so is it fair to say that the
14 difference between the independent claims is where
15 the heat -- where the heating is performed, the
16 location?

17 A Yes.

18 Q Okay. So let me ask you a few questions
19 about claim 1 that aren't related to the location
20 of where the heating is performed. It's related
21 to the limitations that are the same between
22 claim 1, 35, 43, and 51. Okay?

23 A Okay.

24 Q Okay. So is the epithelium part of the
25 target tissue?

1 **A No.**

2 Q Does claim 1 require cooling the
3 epithelial?

4 MR. HECTOR: Objection. Vague.

5 **A In this main part of claim 1?**

6 Q In any part of claim 1.

7 **A Yes.**

8 Q Where do you see that claim 1 requires
9 cooling the epithelium?

10 **A In the sub -- I don't know how you refer**
11 **to these, the claims. I don't call them**
12 **subclaims, right, just claim 9?**

13 Q Okay. So ...

14 **A -- claim 10, claim 11, which is part of --**
15 **it relies on claim 1.**

16 Q Okay. Just focusing on claim 1,
17 independent claim 1, does claim 1 require cooling
18 the epithelium?

19 **A Claim 1 does not mention cooling the**
20 **tissue.**

21 Q Okay. Same question for claim 35?

22 **A 35 does not mention cooling the tissue.**

23 Q And when you say "the tissue," you mean
24 the epithelium?

25 **A The epithelium.**

1 Q And claim 44 does not require cooling the
2 epithelium, correct?

3 A I think you mean 43.

4 Q I'm sorry, yes.

5 Claim 43 does not require cooling the
6 epithelium, correct?

7 A Claim 43 does not mention cooling the
8 epithelium.

9 Q And claim 51 does not require cooling the
10 epithelium, correct?

11 MR. HECTOR: Objection. Form.

12 A Claim 51 does not mention cooling the
13 epithelium.

14 Q You just answered my question that
15 claim 51 does not mention cooling the epithelium.
16 What do you mean by "mention"?

17 A It's not described in that, in 51.

18 Q Do you mean that it's not part of
19 claim 51?

20 MR. HECTOR: Objection. Vague. Calls for
21 legal conclusion.

22 A Yeah, I was reading these that claim 1 was
23 also made up of its subparts, so I don't know if
24 I'm misunderstanding what I'm reading. And I
25 obviously don't know what the rule is.

1 Q But just going back to claim 1 with
2 respect to cooling the epithelium, your position
3 is that claim 9 includes cooling the epithelium,
4 correct?

5 MR. HECTOR: Objection.

6 **A 9 and others.**

7 Q 9 and others. 9 and other dependent
8 claims, correct?

9 **A Yes.**

10 Q Okay. And if we can look back up at
11 claim 1, does claim 1 indicate the purpose of the
12 remodeling?

13 MR. HECTOR: Objection. Vague.

14 **A To remodel the therapeutic zone of target**
15 **tissue.**

16 Q Does claim 1 indicate whether the purpose
17 is clinical?

18 MR. HECTOR: Objection. Vague.

19 **A I can't answer that. Can you ask it more**
20 **clearly? I don't -- I'm not sure what you mean by**
21 **that.**

22 Q Does claim 1 identify the condition that's
23 being treated?

24 MR. HECTOR: Option. Vague.

25 **A Claim 1 does not mention a condition.**

1 Q Does claim 35 indicate which condition is
2 being treated?

3 MR. HECTOR: Objection. Vague.

4 **A Not specifically in this paragraph.**

5 Q Does claim 43 indicate a condition that's
6 being treated?

7 MR. HECTOR: Objection. Vague.

8 **A 43 does not mention a specific condition.**

9 Q Does claim 51 indicate a condition that's
10 being treated?

11 MR. HECTOR: Objection. Vague.

12 **A 51 does not mention a specific condition.**

13 Q Let's go back to claim 1. Does claim 1
14 require that the method is performed by a specific
15 device?

16 MR. HECTOR: Objection. Vague.

17 **A Can you explain that further?**

18 Q Sure. So claim 1 is a method claim,
19 correct? It states, "A method for remodeling,"
20 and then goes on.

21 Do you see that?

22 **A I see that.**

23 Q Okay. And so does claim 1 indicate what
24 device performs the method?

25 MR. HECTOR: Objection. Vague.

1 **A Can I read this for a second?**

2 Q Yes.

3 **A Please ask the question again.**

4 Q Does claim 1 indicate what device performs
5 the method?

6 MR. HECTOR: Same objection.

7 **A It does not state specifically in No. 1.**

8 Q So you'd agree that any device that
9 delivers RF energy can be used to perform claim 1?

10 MR. HECTOR: Objection. Leading. Legal
11 conclusion.

12 **A I don't agree with that.**

13 Q Why not?

14 **A As I'm reading this petition, it's**
15 **describing the technology used and the design and**
16 **then talks about the method that would be used.**

17 Q Claim 1 is just describing the method,
18 correct?

19 **A I read it to be the method that would be**
20 **using the device described by the patent.**

21 Q Okay. Claim 35, does claim 35 indicate
22 what device performs the method?

23 MR. HECTOR: Objection. Vague.

24 **A I would have the same answer.**

25 Q Is your answer the same for claims 43 and

1 51?

2 MR. HECTOR: Same objection.

3 **A Yes.**

4 Q Do you see claim 1 says: Heating the
5 target tissue?

6 **A Yes.**

7 Q Does claim 1 indicate that the target
8 tissue is the only tissue that is heated?

9 MR. HECTOR: Objection. Foundation.
10 Calls for legal conclusion.

11 **A This claim describes heating the portion**
12 **of the vagina extending from --**

13 THE COURT REPORTER: I'm sorry, Doctor.
14 You have to speak up.

15 **A The heating includes heating a portion of**
16 **the vagina extending from the introitus inwardly**
17 **to location from 1 to 3.5 in from the introitus.**
18 **It does not describe any other portion in No. 1.**

19 Q Okay. That's the portion of the vagina
20 that was treated in claim 1, correct?

21 **A Yes.**

22 Q Okay. And after comprising in claim 1,
23 the first step that we discussed was heating the
24 target tissue, correct?

25 **A Yes.**

1 Q My question is: Does claim 1 indicate
2 that the target tissue is the only tissue that's
3 heated?

4 MR. HECTOR: Objection. Asked and
5 answered.

6 **A It doesn't indicate that any other tissue**
7 **would be heated.**

8 Q Okay. And, conversely, it doesn't
9 indicate that any other tissue could not be
10 heated, correct?

11 MR. HECTOR: Objection. Foundation.
12 Calls for a legal conclusion.

13 **A It does not indicate that any other tissue**
14 **could be heated.**

15 Q And so my question was, though: It
16 doesn't indicate any other tissue could not be
17 heated, correct?

18 MR. HECTOR: Objection. Asked and
19 answered.

20 **A Can you elaborate on that a little bit?**

21 Q Sure. You just answered that claim 1
22 doesn't indicate that any other tissue could be
23 heated, right?

24 MR. HECTOR: Objection. Misstates the
25 record.

1 **A I answered that would be heated.**

2 Q Does claim 1 indicate tissue other than
3 the target tissue could not be heated?

4 MR. HECTOR: Objection. Asked and
5 answered. Foundation.

6 **A It specifically describes the tissue to be**
7 **heated using this method.**

8 Q That doesn't answer my question, though.
9 I'm asking, does it indicate that other tissue
10 would not be heated?

11 MR. HECTOR: Objection. Asked and
12 answered. Foundation.

13 **A It describes a method for a specific**
14 **portion inward of the vagina, inward from the**
15 **introitus, and not -- it does not describe to**
16 **treat anywhere else in this claim.**

17 Q I'm not talking about the location. I'm
18 talking about the target tissue.

19 MR. HECTOR: Same objection.

20 **A It describes heating the target tissue**
21 **so ...**

22 Q Correct. And my question is: Does
23 claim 1 indicate that tissue other than the target
24 tissue cannot be heated?

25 MR. HECTOR: Objection. Asked and

1 answered.

2 **A By omission because it doesn't state it**
3 **could be heated, I read that as it could not be**
4 **heated substantially.**

5 Q What do you mean that it could not be
6 heated substantially?

7 **A In a method -- in a manner or temperature**
8 **to make significant change in the tissue other**
9 **than target tissue.**

10 Q Does claim 1 indicate the temperature to
11 which the target tissue is heated?

12 MR. HECTOR: Objection. Vague.

13 **A It describes it in the subsequent**
14 **dependent claims.**

15 Q But claim 1 does not indicate the
16 temperature to which the target tissue is heated,
17 correct?

18 **A Claim 1 --**

19 MR. HECTOR: Objection. Vague.

20 **A Claim 1 does not state the temperature.**
21 **However, it is described in the subsequent**
22 **subclaims.**

23 Q By "subsequent subclaims," you're
24 referring to the dependent claims, correct?

25 **A Yes.**

1 Q So look at claim 2. It says: The method
2 of claim 1 where heating the target tissue
3 comprises heating it to a temperature between
4 45 degrees C and 80 degrees C.

5 Do you see that?

6 **A Yes.**

7 Q Would heating the target tissue to a
8 temperature between 45 degrees C and 80 degrees C
9 perform remodeling?

10 MR. HECTOR: Objection. Vague.

11 **A Collagen modeling?**

12 Q The remodeling that's required by the
13 claims?

14 MR. HECTOR: Same objection.

15 **A Yes.**

16 Q Would a POSA understand that temperatures
17 below 45 degrees C would remodel the therapeutic
18 zone of the target tissue?

19 **A No.**

20 Q Let's look at claim 35. And if we go to
21 the "wherein" we looked at before, do you see
22 that?

23 **A Yes.**

24 Q So it says: Wherein heating a portion of
25 a vagina circumferentially around its wall from

1 1:00 o'clock to 11:00 o'clock, wherein the aspect
2 closest to the urethra is at 12:00 o'clock.

3 **A Yes, I see that.**

4 Q Does claim 35 indicate a size of a
5 portion, that term that's used?

6 MR. HECTOR: Objection. Vague.

7 **A It mentions heating circumferentially.**

8 Q But it mentions -- it says its own words.
9 It says: Wherein the heating includes heating a
10 portion of the vagina circumferentially around its
11 wall from 1:00 o'clock to 11:00 o'clock. Correct?

12 **A Correct.**

13 Q So the portion is heated, correct?

14 **A Yeah, the portion between 1:00 o'clock and**
15 **11:00 o'clock.**

16 Q So you're interpreting -- you're saying
17 that a person of ordinary skill in the art would
18 understand a portion to mean the entire area from
19 1:00 o'clock to 11:00 o'clock?

20 **A Yes.**

21 Q And what are you basing that opinion on?

22 **A By using the word "circumferentially."**

23 Q Anything else?

24 **A One minute.**

25 **Yes, the word "circumferentially."**

1 Q Okay. Can we go back up to claim 1?

2 A Sure.

3 Q Okay. So after the comprising in claim 1,
4 I think we already discussed this, there's the
5 heating step, heating the target tissue, and the
6 remodeling step, correct?

7 A Yes.

8 Q Okay. Is it your opinion that only these
9 two steps can be performed in claim 1?

10 MR. HECTOR: Objection. Vague. Calls for
11 legal conclusion.

12 A I don't -- I don't know what you mean by
13 "could be performed."

14 Q I guess in order to satisfy claim 1 -- let
15 me strike that.

16 Is it your opinion that in claim 1, only
17 the heating and remodeling steps can be performed?

18 MR. HECTOR: Objection. Calls for legal
19 conclusion.

20 A And did you say "would be performed"?

21 Q Can be performed.

22 MR. HECTOR: Same objection.

23 A Yes.

24 (Exhibit Number 1005 previously marked
25 for identification.)

1 Q Let's turn to Edwards, which is
2 Exhibit 1005. Can you pull out a copy of that?

3 **A I have a copy of that.**

4 Q Okay. So I'd like to introduce U.S.
5 Patent 6,463,331, which is previously marked as
6 Exhibit 1005 in this proceeding.

7 I'll refer to this as Edwards. Is that
8 okay?

9 **A Yes.**

10 Q Are you familiar with Edwards?

11 **A With this document, yes.**

12 Q Did you read -- when I say "Edwards," I
13 mean this document, Exhibit 1005.

14 **A Yes.**

15 Q Did you read Edwards in preparing your
16 declaration in this proceedings?

17 **A Yes.**

18 Q Did you understand Edwards?

19 **A I believe so.**

20 Q Okay. If you look at the face of the
21 Edwards patent, do you see that it was filed on
22 March 30th, 2000? It's on the left-hand side.

23 **A Yes.**

24 Q Do you see that Edwards issued as a patent
25 on October 8th, 2002?

1 **A Yes.**

2 Q If we turn to paragraph 3 of Edwards,
3 which is after the figures, starting on line 5,
4 can you review lines 5 through 13?

5 **A Sorry. Which? Where do you want me at,**
6 **which column?**

7 Q Line -- column 3, line 5.

8 **A I've read it.**

9 Q Okay. And do you see that Edwards
10 describes applying RF energy to regions of the
11 vaginal wall?

12 **A Yes.**

13 Q Do you see in the last sentence, Edwards
14 states: Circumferential tightening of the vaginal
15 wall may provide physical and physiological
16 improvement in the area of sexual functioning?

17 **A I see that.**

18 Q So at least by October 8th, 2002, which
19 was the issue date of Edwards, applying RF energy
20 to regions of the vagina to improve sexual
21 function was known, correct?

22 MR. HECTOR: Objection. Speculation.

23 **A I was just going to say it was speculated.**

24 Q What does that mean, it was speculated?

25 MR. HECTOR: Same objection.

1 A Here it doesn't say it's known that this
2 will improve sexual function. Tightening may
3 provide physical and psychological improvement in
4 the area of sexual function.

5 Q So by 2002, it was known that applying RF
6 energy to regions of the vagina may improve sexual
7 function, correct?

8 MR. HECTOR: Objection. Speculation.

9 A It was purported that it may improve. It
10 wasn't known.

11 Q You understand that Edwards was published,
12 this patent, in 2002, correct?

13 A Yes.

14 Q So it was a publication available to the
15 public. Do you understand that?

16 A I never thought about whether these were
17 public, honestly. But I assume since it's
18 published, anyone could look it up.

19 Q And so do you disagree with Edwards that
20 circumferential tightening of the vaginal wall may
21 provide physical and physiological improvement in
22 the area of sexual function?

23 MR. HECTOR: Objection. Vague.

24 A Did you say "may," or do I agree or
25 disagree?

1 Q Do you disagree with the last sentence:
2 Moreover, circumferential tightening of the
3 vaginal wall may provide physical and
4 physiological improvement in the area of sexual
5 functioning?

6 **A I agree with that.**

7 Q Okay. Can you turn to Edwards, column 7,
8 line 14.

9 **A I'm there.**

10 Q Do you see a line starting at line 14,
11 Edwards states: Figure 4 of the block diagram
12 showing a fourth embodiment of a device that can
13 be used for vaginal remodeling?

14 **A Yes.**

15 Q Okay. So the fourth embodiment of Edwards
16 discloses a device for vaginal remodeling,
17 correct?

18 MR. HECTOR: Objection. Vague.

19 **A Fourth embodiment is a device, yeah, that**
20 **can be used for vaginal remodeling, I agree with**
21 **that.**

22 Q Do you understand that Edwards teaches
23 four embodiments?

24 **A Yes.**

25 Q Do you understand that the first three

1 embodiments of Edwards are for treating conditions
2 such as menorrhagia and different forms of
3 incontinence?

4 **A Yes.**

5 Q Can you turn to column 13, line 12,
6 please?

7 **A I'm there.**

8 Q Okay. Do you see that Edwards states:
9 Figure 9 is a process flow diagram showing a
10 method for using a fourth embodiment for vaginal
11 remodeling.

12 Do you see that?

13 **A Yes.**

14 Q So a person skilled in the art would have
15 understood Figure 9 shows a method of vaginal
16 remodeling using the device in Figure 4; is that
17 right?

18 MR. HECTOR: Objection. Speculation.

19 **A Yeah, it describes using this device for**
20 **vaginal remodeling. Then it goes on to say for**
21 **treating -- treat stress incontinence -- or treats**
22 **incontinence, I should say.**

23 Q Where does it go on to say "treats
24 incontinence"?

25 **A The last --**

1 Q Specifically with respect to Figure 9.

2 A Line 16.

3 Q And then it also states: Remodeling both
4 the anterior and posterior wall provide
5 circumferential vaginal wall tightening resulting
6 in physical and physiological improvement in the
7 area of sexual function, correct?

8 MR. HECTOR: Objection. Foundation.

9 A It states that, but I disagree with it.

10 Q You disagree with the plain language of
11 the Edwards patent?

12 A Yes.

13 Q On what basis do you disagree with the
14 Edwards patent?

15 A Well, it's for modeling of the anterior
16 wall, treats incontinence, targeting bladder
17 outlet hypermobility, by providing increasing
18 support for the bladder outlet. And it describes
19 treating at the bladder neck, which may provide
20 support at different parts of the vagina. And as
21 it describes remodeling anterior, posterior walls,
22 I don't believe it's causing circumferential
23 vaginal wall tightening.

24 Q That's what Edwards states, correct. If
25 you look at column 13, line 19, he states, quote:

1 Remodeling both the anterior and posterior wall
2 provides circumferential vaginal wall tightening
3 resulting in physical and physiological
4 improvement in the area sexual function, correct?

5 MR. HECTOR: Objection. The document
6 speaks for itself.

7 A Yes, that's what it says.

8 Q Let's turn back to the description of
9 Figure 4, which is on column 7, line 14.

10 A Okay.

11 Q And the description of Figure 4, if you go
12 to column 7, line 14, and it continues to 8,
13 line 5, do you see that?

14 A Yes.

15 Q Can you review that portion of Edwards,
16 please?

17 A I've read it.

18 Q Just to confirm, you just read column 7,
19 line 14 through column 8, line 5; is that correct?

20 A Yes.

21 Q With respect to Figure 4, Edwards
22 describes a treatment element that he labels 110
23 that can be inserted into the vagina using a
24 speculum, correct?

25 A I don't know where you're looking at 110.

1 Q I'm sorry. Element 410. Let me restate
2 that question.

3 With respect to Figure 4, Edwards
4 describes a treatment element 410 that can be
5 inserted into the vagina using a speculum,
6 correct?

7 **A Yes.**

8 Q Does Edwards disclose the size of the
9 speculum?

10 MR. HECTOR: Objection. The document
11 speaks for itself.

12 **A No, it states a speculum.**

13 Q So Edwards doesn't disclose any dimensions
14 of the speculum?

15 MR. HECTOR: Objection. The document
16 speaks for itself.

17 **A No. By not describing a size, I took this**
18 **to be a regular speculum.**

19 Q But Edwards doesn't say that the speculum
20 is a regular speculum, correct?

21 MR. HECTOR: Same objection.

22 **A I don't see it specifically written out.**

23 Q And Edwards doesn't describe any
24 dimensions of the speculum, correct?

25 MR. HECTOR: Objection. Asked and

1 answered.

2 **A Correct.**

3 Q Does Edwards explain that the treatment
4 element of Figure 4 cannot be used without a
5 speculum?

6 MR. HECTOR: Objection. Foundation. The
7 document speaks for itself.

8 **A It only describes using it with the**
9 **speculum and attaching it to the speculum.**

10 Q That was my question. Does it explain the
11 treatment element of Figure 4 cannot used without
12 a speculum?

13 MR. HECTOR: Same objections. Asked and
14 answered.

15 **A It only describes using it with a**
16 **speculum, not without.**

17 Q That's not answering my question, though.
18 I'm asking does Edwards explain that the treatment
19 element cannot be used without a speculum?

20 MR. HECTOR: Objection. Asked and
21 answered.

22 **A It does not. It only explains using it**
23 **with a speculum.**

24 Q Would a person of ordinary skill in the
25 art have understood the Edwards treatment element

1 could be used without a speculum?

2 MR. HECTOR: Objection. Asked and
3 answered.

4 A No. When using a device and having radio
5 frequency and applying energy to a vagina, I would
6 want to follow the description as described by the
7 manufacturer and would use it the way it's
8 indicated in the description of attaching the
9 blade to the speculum.

10 Q Who is the manufacturer?

11 MR. HECTOR: Objection. Vague.

12 A That would -- sorry, that would be once it
13 was commercially available. From reading this
14 document in the patent, I would understand that
15 it's intended to be used with a speculum as
16 described.

17 Q Does Edwards explain that the treatment
18 element of Figure 4 cannot deliver RF energy
19 without first being positioned in the speculum?

20 MR. HECTOR: Objection. Vague.

21 A It only describes applying energy once the
22 device is secured to the spectrum.

23 Q But Edwards does not explain that the
24 treatment element of Figure 4 cannot deliver RF
25 energy without first being positioned in the

1 speculum, correct?

2 MR. HECTOR: Objection. Asked and
3 answered. Leading.

4 A I could only conclude that it describes
5 the way the device is meant to be used is with
6 attachment to the speculum.

7 Q Well, can you show me where in Edwards it
8 describes that the treatment element in Figure 4
9 cannot deliver RF energy without first being
10 positioned in the speculum?

11 MR. HECTOR: Objection. Vague. Asked and
12 answered.

13 A As a person of ordinary skill looking at a
14 new device, I would not be analyzing this for what
15 it could do that's not described. I would be
16 reading the description and intending to use it as
17 such.

18 Q Okay. But that doesn't answer my
19 question.

20 Can you show me in Edwards where Edwards
21 describes the treatment element in Figure 4 cannot
22 be -- cannot deliver RF energy without first being
23 positioned in the speculum?

24 MR. HECTOR: Objection. Asked and
25 answered. Vague.

1 **A No, I can't answer that.**

2 Q Why can't you answer that?

3 **A Because --**

4 MR. HECTOR: Same objection.

5 **A I gave you my answer.**

6 Q No, you didn't answer that question, so
7 let me ask it again.

8 Can you show me where in Edwards, Edwards
9 describes that the treatment element of Figure 4
10 cannot deliver RF energy without first being
11 positioned in the speculum?

12 MR. HECTOR: Objection. Vague. Asked and
13 answered.

14 **A I cannot, but I would not -- I would not**
15 **do such.**

16 Q And you cannot because Edwards doesn't say
17 that, correct?

18 MR. HECTOR: Objection. Asked and
19 answered. Vague.

20 **A Again, I would not use it other than the**
21 **way it's described, as it's described here, and it**
22 **does not describe using it in alternate methods.**

23 Q Let's turn back to column 13, line 14 of
24 Edwards.

25 **A Line 14?**

1 Q Yes.

2 A Okay. I'm there.

3 Q Do you see, starting on line 14, Edwards
4 states: A method 900 is performed to create a
5 series of regions in either the anterior vaginal
6 wall, the posterior vaginal wall, or both.

7 Do you see that?

8 A Yes.

9 Q I believe we discussed this before, but
10 you disagree with that statement, correct?

11 MR. HECTOR: Objection. Misstates prior
12 testimony.

13 A No, I didn't disagree that it creates a
14 series of lesions.

15 Q Do you disagree that it treats both the
16 anterior and posterior vaginal walls?

17 MR. HECTOR: Objection. Misstates
18 testimony.

19 A I do not disagree that it treats anterior
20 and posterior vaginal wall.

21 Q Okay. But Edwards does not say that the
22 lesions are ablative; is that correct?

23 MR. HECTOR: Objection. Misstates the
24 record.

25 Q I'm sorry. I didn't hear your answer.

1 A No, the description indicates that they're
2 ablativ. I don't know if it describes it as
3 being ablativ.

4 Q I'm sorry. I don't understand your
5 answer. You said, "No, the description indicates
6 they're ablativ. I don't know if it describes
7 them being ablativ."

8 A Oh, I don't know if it uses the word
9 "ablativ," but the description of these -- go
10 back to 7. Plurality of relatively flat
11 electrodes, and we can see the relative size of
12 these electrodes numbered 423 in Figure 4. And
13 those electrodes are positioned against the
14 epithelium and so they would be ablativ to the
15 epithelium as the energy is being applied.

16 Q Where are you reading that?

17 A I'm looking at the picture.

18 Q You're looking at Figure 4?

19 A The Figure 4.

20 Q And so it's your position that just based
21 on looking at Figure 4, that the lesions that are
22 created would be ablativ; is that correct?

23 MR. HECTOR: Objection. Mischaracterizes
24 testimony.

25 A Well, by looking at Figure 4, and I

1 believe, as we talked about earlier, the smaller
2 electrodes are going to create more concentrated
3 heat at that position.

4 Q How large are the electrodes in Figure 4?

5 A I don't have an exact measurement of
6 those, but I'm looking at those relative to the
7 blade.

8 Q Okay. How long is -- how large is the
9 blade?

10 MR. HECTOR: Objection.

11 Q You said later, you're referring to 420?

12 A Yes.

13 Q Okay. So how long is the blade 420?

14 A I don't have an exact measurement.

15 Q Did Edwards provide a measurement for the
16 blade 420?

17 MR. HECTOR: Objection. Foundation.

18 A I don't believe so.

19 Q And what are the electrodes in Figure 4?
20 What are the labels?

21 A 423.

22 Q Does Edwards provide a measurement for the
23 electrodes 423 in Figure 4?

24 MR. HECTOR: Objection. Foundation.

25 A No, I don't see an exact measurement. I'm

1 looking at the relative scale on the size of the
2 blade.

3 Q In your opinion, how -- what is the length
4 of the blade?

5 A The speculum is going into the vagina to
6 at least mid vagina and the blade is -- needs to
7 go past -- do you see where the 431 is, the
8 locking -- the spring to lock onto the blade, the
9 electrode portion of 420 needs to be past that
10 last blade, so I'm purporting at least
11 5 centimeters in from the introitus.

12 Q That's -- in your opinion, that's the
13 length of the blade 420, 5 centimeters?

14 A That's the distance from the introitus
15 where the electrodes are.

16 Q Is it your opinion that the blade 420 is
17 5 centimeters?

18 MR. HECTOR: Objection. Foundation.

19 A I'm saying that where the electrodes would
20 be positioned has to be past the end of the
21 speculum blade so that the position where the
22 electrodes would sit would be approximately
23 5 centimeters into the vagina from the introitus.

24 Q Okay. So we're talking about the size of
25 the RF elements 423. You said based on the size

1 of the blade, you thought that they were a certain
2 size, correct?

3 **A Yes.**

4 MR. HECTOR: Objection. Misstates
5 testimony.

6 Q And so what is the size of the blade in
7 your opinion, 420?

8 MR. HECTOR: Objection. Calls for
9 speculation.

10 **A It would be purely speculation. I think**
11 **it needs to be at least 5 centimeters and have**
12 **that portion of the electrodes sitting about**
13 **5 centimeters in from the introitus.**

14 Q And so with that dimension that you just
15 gave me, how large are the heating elements 423?

16 **A I would estimate that they're in the realm**
17 **of a couple millimeters.**

18 Q Does Edwards say that the heating elements
19 423 are a couple millimeters?

20 MR. HECTOR: Objection. Foundation.

21 **A Not that I know of.**

22 Q Let's go back to column 13, line 14 of
23 Edwards, please. Okay. And if we look again on
24 14, Edwards says: A method 900 is performed to
25 create a series of lesions in either the anterior

1 vaginal wall, the posterior vaginal wall, or both.

2 Do you see that?

3 **A Yes.**

4 Q In that sentence that I just read, Edwards
5 does not describe the lesions as ablative,
6 correct?

7 MR. HECTOR: Objection. Asked and
8 answered.

9 **A Not in that sentence.**

10 Q As we discussed before, Edwards has four
11 embodiments, correct?

12 **A Yes.**

13 Q Okay. If we go to column 9, line 2, do
14 you see where it says: Figure 6 of the process
15 flow diagram showing method for using a first
16 embodiment for intrauterine ablation.

17 Do you see that?

18 **A Yes.**

19 Q If you go down to line 61 of column 9,
20 this is with respect to step 608 of Figure 6.

21 Do you see that?

22 **A Yes.**

23 Q Edwards describes creating areas of
24 ablative lesions.

25 Do you see that?

1 **A Yes.**

2 Q Okay. So in the first embodiment, Edwards
3 expressly states that the lesions are ablative,
4 correct?

5 MR. HECTOR: Objection. The document
6 speaks for itself.

7 **A Yes.**

8 Q Okay. If you look at column 10, line 15,
9 do you see it says Figure 7 is a process flow
10 diagram showing a method for using a second
11 embodiment for urethral remodeling, correct?

12 **A Yes.**

13 Q If you go to column 11 --

14 **A I'm there.**

15 Q -- line 23, do you see that column, that
16 section is referring to step 10 of Figure 7?

17 **A Step 710?**

18 Q 710 of Figure 7. Do you see that?

19 **A I see that.**

20 Q Line 23, Edwards specifically refers to
21 ablative lesions, correct?

22 MR. HECTOR: Objection. Leading.

23 MR. BEMBEN: It's a cross-examination. Of
24 course it's a leading question.

25 **A Yes.**

1 Q In Figure -- if you go to column 11,
2 line 45, do you see Edwards says Figure 8 is a
3 process flow diagram showing a method for using a
4 third embodiment for remodeling of the bladder
5 outlet?

6 **A Yes.**

7 Q In column 12, starting at line 38, Edwards
8 describes step 11.

9 Do you see that?

10 **A Which column? Which line? Sorry.**

11 Q Column 12, line -- I'm sorry, column 12,
12 line 38, describes step 811?

13 **A Yes.**

14 Q Okay. And if you skip down to line 43,
15 Edwards specifically refers to ablative lesions.

16 Do you see that?

17 **A Yes.**

18 Q So in the third embodiment, Edwards
19 specifically describes creating ablative lesions,
20 correct?

21 **A Correct.**

22 Q All right. We've been going for -- this
23 is a good place to take a break. Want to take a
24 10-minute break?

25 **A I was hoping you'd say that. Thank you.**

1 MR. BEMBEN: 10 minutes. Thank you.

2 (A short break was taken.)

3 Q Dr. Poulos, did you talk to counsel about
4 the substance of this deposition during the break?

5 A Nope.

6 Q Okay. Can you turn back to your
7 declaration and paragraph 29 of your declaration,
8 which is on page 11.

9 A Okay.

10 Q Do you see the term "nexus" in
11 paragraph 29?

12 A Yes.

13 Q What is your understanding of nexus in the
14 context of an objective indicia?

15 A My understanding is that would be the
16 focus or the center.

17 Q The focus or the center; is that correct?

18 A Yes.

19 Q Okay. Can you turn to paragraph 177 of
20 your declaration? Page 80.

21 A I'm there.

22 Q Okay. Do you see in paragraphs 177 and
23 178, 179, and 180, you provide some testimony
24 about objective indicia?

25 A Yes.

1 Q Do you provide any analysis of nexus in
2 paragraphs 177 through 180 of your declaration?

3 A I am not sure if I can answer that using
4 that as the center but being these objective
5 indicia, which refer back to the invention claimed
6 in the patent issued.

7 Q Is that your answer?

8 A It's my answer.

9 Q I don't understand what your answer is.

10 So you said you're not sure if you can
11 answer that?

12 A By using nexus as meaning the center. But
13 my understanding of that one word may not be -- I
14 mean, I remember the legal definition. But my
15 understanding is that we're talking about the
16 objective indicia, for example, in these bullet
17 points.

18 MR. BEMBEN: Okay. I have no further
19 questions at this time. I'll pass the witness.

20 MR. HECTOR: I might just take a short
21 break to look over my notes.

22 MR. BEMBEN: Sure. How long do you think
23 you want?

24 MR. HECTOR: Like 5 minutes. Is that good
25 for you?

1 MR. BEMBEN: Yes, that works.

2 (A short break was taken.)

3 MR. HECTOR: No further questions from me.

4 MR. BEMBEN: Okay. Thank you very much,
5 Dr. Poulos. Thank you, Bill, and your team for
6 adjusting for remote deposition. Appreciate it.
7 This concludes the deposition for today.

8 (Witness excused, 3:33 p.m. Central.)

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

I, RACHEL F. GARD, CSR, CCR, RPR, CRR, within
and for the States of Illinois, Michigan, and
Nevada do hereby certify:

That DR. DENISE POULOS, the witness whose
deposition is hereinbefore set forth, was duly
sworn by me and that such deposition is a true
record of the testimony given by such witness.

I further certify that I am not related to any
of the parties to this action by blood or
marriage; and that I am in no way interested in
the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto
set my hand this 14th day of April, 2025.



RACHEL F. GARD, CSR, CCR, RPR, CRR
IL-CSR No. 084-003324
MI-CSR No. 9315
NV-CCR No. 1010
Registered Professional Reporter
Certified Realtime Reporter
Indiana Notary Public
Michigan Notary Public

Exhibits	130:1,21	1024	138
	131:2,23	47:25	91:7,24
	132:10,15,	1026	14
EX 0001 Dr.	18,20 133:2	48:8	139:8,10
Denise Poulos 040825	135:1,3,9,	1027	142:9,12,19
3:12 59:19,	14,16	46:19 48:13,	147:23,25
20	1's	16	148:3
	121:22	1031	152:22,24
EX 0002 Dr.	10	46:20 48:13,	144
Denise Poulos 040825	17:17 21:13,	16	92:18 93:5,
3:15 77:1,6	17 52:23,25	11	10,18
EX 0003 Dr.	54:19 124:14	18:4 111:17	145
Denise Poulos 040825	154:8,16	114:6,15	92:3,7,12
3:16 80:2,3	156:1	117:16,22	93:5,11,18,
	10-minute	118:16	21 94:4,24,
	155:24	124:14	25 95:9,18,
	1001	154:13	24 96:8
	8:5,7,8 47:3	155:1,8	97:25 98:4,
(	94:18	156:8	21 99:7,16
	1003	110	15
(B)	82:16,20	142:22,25	17:19,23
55:7	83:14,22	115	25:16 52:24
	1005	62:8,9	82:21 83:5
1	83:14 135:24	11:00	154:8
	136:2,6,13	134:1,11,15,	15-minute
1	1008	19	52:21
9:16 49:3	47:4	12	16
59:19,20	1009	18:4 24:16	111:19
70:5 92:22	46:19 47:10,	48:18 140:5	114:19 141:2
93:6,12,19,	16,19 52:6,	155:7,11	17
23 94:7,12,	10,15 53:6	121	40:14
17,20,22	54:16,18,25	47:23	177
95:1,14,16,	55:6	122	156:19,22
19 96:6	1013	47:23	157:2
101:10 102:2	46:19 47:10,	124	178
120:19,23	16,20	47:24	156:23
121:1,8,21	1014	12:00	179
122:1 123:1,	47:7	134:2	156:23
5,19,22	1015	13	180
124:2,5,6,8,	62:10,12,13,	25:10 84:6	156:23 157:2
15,16,17,19	23	108:14	19
125:22	1021	111:16,20	117:17,19,
126:1,11,16,	47:24	114:6,15	20,23 141:25
22,25	1022	116:3 137:4	1992
127:13,18,23	47:24	140:5 141:25	24:10
128:4,7,9,17	1023	147:23	1:00
129:4,7,17,	46:19 48:4,6	152:22	83:1 113:20
18,20,22			

134:1,11,14, 19	24 56:6,8 57:10 59:11	85:14 360 64:25 67:11 68:16 70:14 71:1 72:15, 24 73:14 74:16 75:1 76:2,4,25	151:9,13,16 152:7
2	25 118:2		423 149:12 150:21,23 151:25 152:15,19
2 18:1 44:16 45:18 46:17, 18 47:2 57:24 58:1, 22 70:6 76:23,24 77:1,6 102:13 104:2,11 120:22 133:1 153:13	26 56:9 111:17 114:6,15 117:20	37 85:17	43 87:25 121:1, 23 122:16,24 123:9,22 125:3,5,7 127:5,8 128:25 155:14
20 17:21,23	29 56:9 156:7, 11	38 86:3 155:7, 12	431 151:7
2000 90:15 136:22	3	39 86:5,9	44 88:3,9 125:1
2001 5:22 7:6 48:9 119:14	3 47:23 48:2,8 80:2,3 104:8 106:3 137:2, 7	3:33 158:8	45 88:13 118:4, 11,25 119:5, 8,10 133:4, 8,17 155:2
2002 136:25 137:18 138:5,12	3.5 95:17 96:6 129:17	4	46 88:17
2006 10:13,22 11:2,7 28:17 32:4 49:21 64:6,10,14 90:1	30th 136:22	4 18:1 41:12 57:23 71:11 84:4,6,9 139:11 140:16 142:9,11,21 143:3 144:4, 11 145:18,24 146:8,21 147:9 149:12,18, 19,21,25 150:4,19,23	47 88:25
2007 10:9	32 84:20 85:1		48 89:4
2009 81:7,9,11,12 82:2,10	33 48:25 50:24 85:4 118:16		49 89:14,20,21 90:19 91:1
21 42:15 51:7 119:13	34 85:8		4th 62:24
22 42:16,21	35 85:11 101:10 117:20,23 121:1,23 122:16,24 123:9,22 124:21,22 127:1 128:21 133:20 134:4	40 86:13	5
23 84:17 154:15,20	357 77:10 78:5 79:15,19 80:9	41 40:7,10,11, 14 86:21,24 87:7,8,14 106:4	5 17:15,16 20:25 21:5, 6,9,16 41:11,14 137:3,4,7 142:13,19 151:11,13, 17,23
	36 49:5,11	410 143:1,4	
		42 87:21	
		420 150:11,13,16	

152:11,13 157:24	55 118:18	7th 10:13,22	ablated 119:19,23,25 120:5
50 118:14 119:13,20, 21,22	58 9:17	8	ablation 153:16
51 42:14 92:17, 22 93:6,12, 19,23 95:19 96:4 97:9 121:1,23 122:16,24 123:9,22 125:9,12,15, 17,19 127:9, 12 129:1	6 6 49:3 57:9 64:1 84:10, 13 153:14,20 6,463,331 136:5 60/743247 10:18 608 153:20 61 153:19 62 102:3 63 91:10 104:15 66 92:4 94:25 95:1,4 6th 58:10	8 70:6,10 142:12,19 155:2 80 42:23 117:6 118:5,11,25 119:5,8,11 133:4,8 156:20 811 155:12 850 25:21 8th 136:25 137:18	ablative 148:22 149:2,3,6,7, 9,14,22 153:5,24 154:3,21 155:15,19 able 32:16 39:11 77:23,24 83:6 above 25:2 53:17 117:5 absence 111:23 112:6 114:23 115:2,7 116:10,15,25 117:7 absolutely 65:22 107:15 accordance 55:12 accumulate 111:23 114:23 accurate 59:5 61:21 72:21 82:2, 4,5,6,10 acronym 24:20 across 108:24 action 7:13 activities 24:1 adaptive 104:16,19
51.3 92:14,16 93:24	62 102:3	9	
511 8:4,15,17,22 9:4 10:6,8, 11,21 11:6, 13 12:7 56:15 64:9 92:17 93:12, 19 94:12,15, 20 96:9 97:9 98:22 99:21 100:19,22 101:3 104:11,13 105:2 106:13,24 107:1,7,18 109:6,12,19 111:8,16 112:1 114:5, 16,20 117:17 118:9,24 119:6 120:10,14,25	63 91:10 104:15 66 92:4 94:25 95:1,4 6th 58:10	9 34:24,25 35:12,14,18 40:25 41:7 55:5 68:14 69:10 124:12 126:3,6,7 140:9,15 141:1 153:13,19 900 148:4 152:24	
53 104:2,3,11	7 7 10:9 11:2,7 53:21 69:7 70:6,10 139:7 142:9, 12,18 149:10 154:9,16,18 70 118:19 710 154:17,18 75 118:15 750 25:21	A	
54 51:6 54:4,23		abbreviation 24:24 abdomen 25:3 ability 4:14	

adequate	42:18 59:4	15:4,5 16:21	81:1 141:4,
101:17	66:8 67:9	19:22 22:18	15,21 142:1
adjacent	91:12 92:17	32:22 35:5	148:5,16,19
96:18	107:12	36:2,9 38:2	152:25
adjusting	108:13	41:23 43:25	anyone
158:6	allow	50:4 55:20	15:11,14
ads	26:9 33:11,	56:2,3 66:20	41:20 138:18
12:19 13:19	20	72:22 73:4,8	apex
adult	allowed	74:11,18,25	61:19
32:21	111:5,9	75:8 81:23	Appeal
affect	alternate	85:20,25	11:14,24
18:17,18	147:22	86:18,19	appearance
50:1,15,18	analysis	108:6	24:1,6
agents	11:1 45:4	109:18,23	appeared
22:7	157:1	115:10,13,15	97:11
ago	analyzed	126:19	application
14:14 20:5,	55:18 56:14	128:24,25	10:13,17,23
9,25 21:5,7,	analyzing	131:8 146:18	applied
10,14,17	146:14	147:1,2,5,6	112:11,13,
agree	anatomical	148:25 149:5	14,17,20
60:3 75:15,	58:7,18,24	157:3,7,8,9,	113:5,8,13
18,24 84:25	anatomically	11	149:15
85:3,7,10,	82:2	answered	apply
13,16 86:2,	anatomy	55:3 93:15	55:24 56:14
8,12,20	36:21 37:9,	109:15,22	applying
87:8,20,24	20 62:24	111:3,11	137:10,19
88:8,12,16,	63:4,5,7,24	115:12,18	138:5 145:5,
19,24 89:3,	64:13,18	125:14	21
6,13,20,23	82:14 84:22	130:5,19,21	Appreciate
90:1,13,25	and/or	131:1,5,12	158:6
91:4,13,23	23:21 28:22	132:1 144:1,	approaches
92:6,11 94:4	31:13 32:9	14,21 145:3	19:3
95:8,12,23	98:25 99:10	146:3,12,25	approximately
96:23 97:1	annotate	147:13,19	151:22
98:9,11,20	65:14,24	153:8	April
100:21 101:2	66:5,6,14	answering	58:11
102:11,20	76:3 77:4	144:17	area
103:3,12,14	annotated	answers	25:1 97:18
111:25	77:1	4:23 23:11	108:1 113:12
128:8,12	annotating	anterior	123:10
138:24	76:2	27:1,8,10	134:18
139:6,20	annotation	31:11 60:15	137:16
agreed	76:10	68:23 69:6,	138:4,22
74:13	annotations	10,14,17	139:4 141:7
agreement	70:20 79:22	70:1,9,16	142:4
75:21 113:24	answer	77:16 78:3,	areas
ahead	4:20 5:4,11	13,18 79:2	153:23
		80:13,18	

Argumentative	74:24 78:15	49:19	begin
109:22	109:24 110:6	axis	101:23 112:8
110:22	116:7 131:9	104:24 105:4	115:8
around	144:18		116:12,17
34:25 35:11,	aspect		117:2,9
15 40:24	134:1	B	beginning
41:7 76:23	aspects	back	58:16 98:22
102:2,3	102:15	16:24 28:11	104:2 106:4
118:16	assert	36:9 43:17	begins
133:25	73:5	44:15 50:24	84:6 88:4
134:10	assessing	53:4 54:3	90:14 91:25
art	30:10	60:17 77:8	98:6 101:10,
28:17,19	assume	84:20 94:15	25 104:3,11,
29:5 37:14,	5:11 138:17	114:3,4,7,18	16 106:5
21 39:16	assuming	120:10	behalf
48:22 49:2,	39:14	126:1,10	7:12 12:11
13 50:2,7,	Atlas	127:13 135:1	believe
11,16,19,20	62:23	142:8 147:23	8:23 9:8
51:3 62:1	attaching	149:10	10:1 15:15
64:12 88:5	144:9 145:8	152:22 156:6	19:23 34:11,
103:16,21	attachment	157:5	25 37:15
112:1 115:2	146:6	background	43:2,10,19
118:23	attention	18:2 99:25	45:15,24
134:17	53:15	100:3,10,18,	53:5 54:17
140:14	attorney	22 101:3	55:4 62:17
144:25	15:15,19,22	ballerinas	64:22 68:6
articles	75:4	24:4	74:12 75:24
12:20 13:19	attorneys	ballpark	83:1 108:8
101:6	14:22 15:8,9	17:12	113:15 115:6
asked	16:16,18	based	120:18
5:8 21:6	17:2 42:5	41:16 65:17,	136:19
55:2 67:13	45:15	18 90:1	141:22 148:9
76:2 109:14,	authoritative	96:13 97:17	150:1,18
21 110:6,12	63:9,11,17	98:14 149:20	below
111:2,11	64:14,18	151:25	10:14 41:16
115:6,11,17	available	Basic	42:23 68:22
130:4,18	138:14	84:7	108:22
131:4,11,25	145:13	basing	133:17
143:25	average	134:21	BEMBEN
144:13,20	34:24 35:14	basis	4:7 7:5 8:6
145:2 146:2,	40:25 41:8	65:15,23	40:11 52:4,
11,24	69:9 70:6,10	66:13,18,19	9,14,25 53:2
147:12,18	82:7,11	141:13	57:15,18,21,
153:7	aware	bathroom	25 58:3
asking	12:14,21	67:22	59:18 62:7,
6:2 9:12,14	13:15,17,21	Bear	17 65:15,18,
31:2 65:24	39:25 43:7	94:10	22 66:3,13,
71:20 72:23			18,22 67:2

72:21 73:3 75:6,10,14, 18,24 76:25 77:4 78:21 80:1 113:21, 24 114:3 154:23 156:1 157:18,22 158:1,4 beneath 91:25 Berenholz 49:19 83:18 85:10,13,16 86:2,9,12,20 87:8,20,24 88:8,12,16, 24 89:3,13, 20 92:6,11, 21 93:5 94:4 95:4,8,24 98:12 Berenholz's 49:12 82:19 84:5,14,21, 25 85:3,7 86:25 87:3, 6,15 88:4 90:20 91:1, 8,24 92:4,19 93:11,18,22 94:23 95:2 96:8 98:1,4, 21 99:7,17, 20 besides 26:10 Bill 6:17 7:2,5, 10 14:16 15:10,18 52:6 57:15 62:7,17 73:7 75:6,10 76:18 78:21 158:5 bimanual 30:12	bit 69:10 130:20 bivalve 26:5,6,11,20 28:5 34:20 bladder 23:3 141:16, 18,19 155:4 blade 26:21 27:4, 18,20 28:1 31:7,14 35:2,6,11,18 39:12 145:9 150:7,9,13, 16 151:2,4, 6,8,10,13, 16,21 152:1, 6 blades 26:7 31:8, 10,18 33:3,8 34:21 35:8 36:10,12,13, 17 39:12 44:6,10 blanket 63:13 block 96:10 139:11 board 11:14,24 54:19 55:18 66:23 75:7 board's 44:23 45:3, 10 51:17 54:14,25 55:5 body 59:24 60:10, 20,23 89:8 90:7 bonds 101:18 bone 25:2	book 64:13 books 63:4,5,7,9 booth 12:20 13:19 born 63:21 bottom 65:4 68:17 79:6 104:4 120:13 bowel 22:17 box 52:11,13 62:18 break 5:15,16 52:5,18,22 53:3 67:3,21 75:25 76:1 82:23,25 83:3,9,10 101:19 113:17,21 114:2 155:23,24 156:2,4 157:21 158:2 briefly 43:18 bring 6:21 34:2 broadest 55:12,19,21, 24 broadly 108:24 brought 24:14 110:15 BTL 12:2 13:14, 15,17,22 BTL's 13:24 14:2 45:18,22	bulking 22:7 bullet 157:16 C call 15:17 122:8 124:11 called 4:4 10:12 11:18 31:21 37:16 42:3 86:6 calling 61:18 Calls 21:1 26:18 30:17 36:23 87:17 106:1 125:20 129:10 130:12 135:10,18 152:8 camera 70:20 canal 23:9 33:13, 21 35:2,7,9, 19 37:22 40:6 41:1,8 65:11 66:7 67:15,24 68:3,8,13 69:2,5,13, 17,25 70:9, 15 76:13,20 Cancer 59:6 case 9:16 53:10, 18 cases 25:20 118:3
--	--	--	---

causing 141:22	check 83:12	127:1,5,9, 13,18,23	closed 31:7
Celsius 42:23	Chicago 6:14	128:4,9,17, 21 129:4,7, 11,20,22	closer 36:16
center 156:16,17 157:4,12	child 32:10 81:4	130:1,21 131:2,16,23	closest 38:18 134:2
centered 108:22	childbirth 102:8	132:10,15, 18,20 133:1, 2,20 134:4	clothing 24:3
centimeter 95:16	children 32:11,18,24 39:10	135:1,3,9, 14,16	CO2 19:15
centimeters 34:24,25 35:12,14,18 40:25 41:7 68:14 69:7, 10 70:6,10 71:11 76:13, 21,24 95:17 96:6 151:11, 13,17,23 152:11,13	choose 72:19 73:10 74:20,22,23	claimed 96:17 157:5	collagen 86:6 101:9, 12,15,17,18, 19,22 133:11
Central 158:8	circumferenti al 137:14 138:20 139:2 141:5,22 142:2	claims 9:3,13,15, 19,23,24 10:11 55:18, 25 59:23 93:12,19,23 120:13 121:1,6 122:16,24 123:9,14 124:11 126:8 128:25 132:14,24 133:13	colleague 73:18
certain 24:1 49:25 50:18 54:20 73:5 152:1	circumferenti ally 133:25 134:7,10,22, 25	clarify 5:9 26:4 34:9 35:5 45:9 51:19 61:11 81:23 84:9 116:6	colon 121:11
cervix 27:9,15,16 28:22 31:13 35:4 36:14 61:20 69:20, 22 70:5	claim 9:7,12 54:25 55:7,11,19, 22 56:10,13, 14 57:3,6,8 92:17,22 93:6 94:7, 12,17,20,22 95:1,14 97:9 99:1,12,13 120:17,19, 20,22,23 121:4,7,8, 21,22,23 122:1 123:1, 5,19,22 124:2,5,6,8, 12,14,15,16, 17,19,21 125:1,5,7,9, 12,15,19,22 126:1,3,11, 16,22,25	clarifying 45:13	coloration 97:17
cetera 6:13		clear 38:18 67:8 116:19	column 101:10 102:1,13 104:2,8,11 106:3 108:14 111:16,19 114:6,15 117:16,22 118:16 137:6,7 139:7 140:5 141:25 142:9,12,18, 19 147:23 152:22 153:13,19 154:8,13,15 155:1,7,10, 11
change 23:20 73:21 74:1,5 108:11 132:8		clearly 126:20	combination 16:15
changed 63:23 64:20, 23		clinical 126:17	come 14:8
changes 74:9		clinicians 90:4	comma 122:20
changing 74:10		close 40:19,20	commercial 39:25

commercially 145:13	condition 22:24 23:22	56:8,10 57:4,6,8	corner 53:20
common 24:15 39:22	38:12 80:24	consult 5:25 6:3	correct 5:5 9:17
communication s 14:21 16:20	126:22,25 127:1,5,8,9, 12	contacted 14:14,15	12:11,12 13:12 15:4, 20 18:7
company 13:15 20:8 42:3	conditions 22:1 23:14, 25 80:25 140:1	content 67:20	21:23 22:20 27:18 29:2
compared 90:23,24	conference 6:15 12:20 13:20	context 71:16,17,22, 23 72:6 73:15,20,22 74:2,6	30:16 31:25 32:24 33:17 35:12 38:19 39:1,4 43:8, 14 44:11,21
comparing 92:21 93:5, 11,19	configuration 104:21	contexts 71:19	46:10 47:7 50:12 55:1
Complied 67:16,25 68:5 70:18, 21 71:5,8 76:7,11 77:19 78:23 79:21,23	configuration s 104:17,20	continues 142:12	61:8 62:2,13 64:10 68:14 69:22 70:10
comprises 133:3	confirm 7:16 51:23 54:22 68:7 69:4 70:7 121:22 122:15,23 142:18	contractual 12:25	71:11 73:3 74:14,15 78:1 79:3 80:6,15 95:18,21 100:16
comprising 121:10,16,17 122:1 129:22 135:3	conjugal 103:9	conversation 4:24	103:17,19 104:13 105:4,13,24 106:19 109:7 117:14
concentrated 113:11 150:2	consequence 103:8	conversely 130:8	118:25 120:20,23 121:2 125:2, 6,10 126:4,8 127:19 128:18
concentration 112:10,13	consequences 102:15	cooling 111:20,23 112:2,6 114:9,21,23 115:3,7 116:11,15,25 117:8 124:2, 9,17,19,22 125:1,5,7,9, 12,15 126:2, 3	129:20,24 130:10,17 131:22 132:17,24 134:11,12,13 135:6 137:21 138:7,12 139:17 141:7,24 142:4,19,24 143:6,20,24 144:2 146:1 147:17
Concepts 84:7	consider 11:2 63:16	copies 7:3	
concerns 110:19	considered 44:20 47:17 49:1 51:2 63:9,11 91:6	copy 7:17 8:6 45:22 46:6 52:10 53:6 57:21 58:12 63:18 82:19 83:13 136:2, 3	
conclude 146:4	constipation 22:16 23:16	copyright 64:1,3,6	
concludes 158:7	construction 54:20 55:7, 13,19,22,25 56:13		
conclusion 10:24 106:2 125:21 128:11 129:10 130:12 135:11,19	constructions 51:11,12,14, 18 54:7,8, 13,15,23,25		

148:10,22	cross-	18,21 8:1,18	define
149:22 152:2	examination	9:10,22	9:19
153:6,11	4:6 154:23	10:16 11:20	defined
154:4,11,21	crosslinking	15:6 16:3,7,	56:17,21,22
155:20,21	101:18	11,14 17:1,	98:14 108:19
156:17	crosslinks	10 18:1	definition
correctly	101:20	24:17 40:7	49:12,14
43:20 104:25	cuff	41:12 42:1,	56:5 109:25
cosmetic	35:25	15 43:8	110:21 120:7
49:20 50:22	cul-de-sac	44:15,21	157:14
90:8	36:1	45:5,14,18	degree
counsel	cut	46:7,10,18	108:10
4:19 14:13	27:23 78:12,	48:19 49:6	degrees
16:2,20	13 79:10	50:25 51:7	42:23 117:6
41:17 42:2		54:1,3 56:7	118:4,5,11,
57:12 67:21		57:1,4,9	14,15,18,19,
156:3		75:11 81:18	25 119:5,8
counsel's	D	82:19 83:17	133:4,8,17
67:8 70:23	damage	84:2,5,14,21	deliver
couple	112:8 114:22	85:8,11,14,	107:24,25
152:17,19	115:8	17 86:3,9,	145:18,24
course	116:11,16	13,21 87:9,	146:9,22
58:5 154:24	117:1,8	15,21,25	147:10
court	120:5	88:4,9,13,	delivered
6:19,20	damaged	17,25 89:4,	32:10 81:4
56:18 59:18	115:3	14,21 90:20	deliveries
75:12,15,17,	damaging	91:1,8,25	102:25
20 88:21	111:22 112:3	92:4,7,12,19	delivers
113:23	date	93:11,18,22	128:9
129:13	10:14,21,22	94:5 95:2,9,	delivery
cover	11:3,5,6	24 96:8	102:23
8:20 11:20	137:19	98:1,5 99:8,	104:4,12,21,
53:12	deal	17,21 107:13	22 105:2
covers	8:23	119:12,13	demarcation
91:15	dealing	136:16	98:12
create	72:2	156:7,20	demonstration
148:4 150:2	decades-old	157:2	82:13
152:25	90:2	decreased	denaturation
created	decided	103:6,8,17	101:9,13,16,
149:22	21:21 34:1	deeper	23
creates	decision	26:9 31:10	Denise
148:13	20:21 21:17	106:10,13	4:3,9
creating	44:24 45:1,	109:4	depend
153:23	3,10,11	defect	80:23 112:10
155:19	53:9,13,17	23:6	117:11
	54:15 55:6	defendant	dependent
	declaration	7:13	120:22 126:7
	5:24 7:6,15,		

132:14,24	104:12	153:15	discussed
depending	119:10	154:10 155:3	24:13 41:16
30:14 37:8	123:10	difference	45:15 61:17
73:22 74:2,6	129:11	93:23 95:19	68:6 129:23
depends	131:6,13,20	123:14	135:4 148:9
29:9 36:20	132:13	different	153:10
37:19 38:1,6	137:10	16:25 22:21	discusses
69:20,21	140:19	32:2,6,7	101:9
80:22 120:23	141:18,21	33:11,12,16,	discussing
deposed	142:22 143:4	19,20 34:3	7:4 54:4
11:12	144:8,15	37:1,2,8	98:6 114:5
deposition	145:21	71:19 95:3	discussion
4:22 6:1,3,	146:4,8,21	105:15	31:15 43:18,
22 7:3 11:17	147:9 149:2,	123:10 140:2	19 83:7
14:24 16:18	6 153:23	141:20	discussions
26:1 58:17	155:8,12,19	differently	14:12 41:17
59:19,20	describing	109:24	42:2
67:20 77:1,6	72:7 128:15,	112:16,21	disorders
80:2,3 86:25	17 143:17	113:7	22:17 23:2
156:4 158:6,	description	dimension	distal
7	74:9 100:11	152:14	39:1,3 40:6,
depositions	142:8,11	dimensions	25 41:8 60:2
25:21	145:6,8	143:13,24	61:3,6,12,18
depth	146:16	direction	67:23 68:7,
108:22 110:2	149:1,5,9	58:24	10,13 69:5,
111:13	design	directional	13,17,25
describe	128:15	60:8	70:8,15
6:12 16:17	determining	directly	71:6,10
18:5,10 19:3	49:1	42:4	distal-most
26:16 27:3	device	disagree	40:19
61:22 63:24	19:20,23	59:24 66:21	distance
72:10 73:17	20:1,3,7,20,	86:24 87:15	68:12 69:4,
80:10 100:15	22 30:4	90:18 91:19	12,16,24
109:9,16	110:18,23	96:3 99:6,15	70:8 71:9
118:10 119:6	116:2,20	138:19,25	151:14
129:18	117:7,11,12	139:1 141:9,	distributed
131:15	127:15,24	10,13	108:24
143:23	128:4,8,20,	148:10,13,	Doctor
147:22 153:5	22 139:12,	15,19	56:18 62:25
described	16,19	disagreeing	85:21 129:13
125:17	140:16,19	49:12	doctors
128:20	145:4,22	disclose	49:20
132:21	146:5,14	143:8,13	document
145:6,16	devices	discloses	6:4 7:16
146:15	13:3,11,24	96:9 139:16	8:3,11,15
147:21	14:2,5 40:1	discuss	17:7 45:16
describes	diagram	67:20	51:22 53:23,
18:2 100:4	139:11 140:9		

24,25 56:23, 24 57:16 58:6,7,8,9, 12,17,22 59:15,23,25 60:3 62:16 63:1,14 65:20,25 66:2,16 67:5 81:14,17 83:17,23 84:1 90:11 94:23 95:4 99:4 105:6 107:21 136:11,13 142:5 143:10,15 144:7 145:14 154:5	110:5 150:1 earliest 10:20 early 90:14 edge 79:10 98:24 99:10 edited 16:23 Edition 62:24 edits 16:24 17:5,6 Edwards 136:1,7,10, 12,15,18,21, 24 137:2,9, 13,19 138:11,19 139:7,11,15, 22 140:1,8 141:11,14,24 142:15,21 143:3,8,13, 19,23 144:3, 18,25 145:17,23 146:7,20 147:8,16,24 148:3,21 150:15,22 152:18,23,24 153:4,10,23 154:2,20 155:2,7,15, 18	either 35:25 148:5 152:25 elaborate 38:2,5 130:20 electrode 116:7 151:9 electrodes 149:11,12,13 150:2,4,19, 23 151:15, 19,22 152:12 element 91:21 92:14, 16 93:24 104:4,12,21, 22 105:3 142:22 143:1,4 144:4,11,19, 25 145:18,24 146:8,21 147:9 elements 151:25 152:15,18 email 57:16 embodiment 119:3 139:12,15,19 140:10 153:16 154:2,11 155:4,18 embodiments 106:5,9 118:17,24 119:4 139:23 140:1 153:11 end 9:15 34:6, 10,12,15 35:8 38:17, 20,23,25 39:1,3 40:6, 19,25 41:3,	5,8 60:9 61:6,7 65:12 66:6 67:15, 23 68:7,10, 12,13 69:5, 13,17,25 70:8,15 71:3,6,9,10 96:16 102:14 119:3 120:14 151:20 ended 24:10 endopelvic 106:11,14, 17,20,24 107:8,19 108:4 109:7, 9,13,16,20 111:9 endothelium 108:23 ends 48:8 84:17 energy 88:6 104:4, 12,21,22 105:2 111:12 128:9 137:10,19 138:6 145:5, 18,21,25 146:9,22 147:10 149:15 energy-based 19:12,13 enhanced 103:22 enlarged 24:7 entire 35:2,6 84:17 107:3 134:18 entrance 29:2 34:12 36:16 40:18
E			
earlier 34:11 61:12	eight 91:9,10		

entry 45:17	excess 111:22 112:3 114:22	44:4 61:13 63:16 91:19 101:15	21 89:10,12 101:12 136:10
epithelial 111:21 112:2,7 113:1,6 114:10,21 115:8 116:12,16 117:1,9,13 124:3	exclamation 43:10,12 59:9	105:18 112:12 127:17	far 84:16
epithelium 91:15 96:20 98:7,15 121:16 123:24 124:9,18,24, 25 125:2,6, 8,10,13,15 126:2,3 149:14,15	excuse 13:18 22:5 42:5	144:3,10,18 145:17,23	fascia 23:7 106:11, 14,17,20,25 107:8,19 108:4 109:7, 10,13,17,20 111:9
establish 58:23 62:4	excused 158:8	explaining 93:22	father 63:19
established 64:8	exercise 29:5	explains 102:13 119:23 123:6 144:22	February 10:9,13,22 11:2,7 28:17 32:4 64:10 90:1
estimate 152:16	exercising 30:15	explanation 89:16,18	feel 6:3 84:8
et 6:13	exhibit 5:22 7:6 8:5,8 46:2, 13 47:11 48:4,6 52:6, 10,15,19 53:6 54:16, 18,25 55:6 59:19,20 62:8,9,10,23 63:25 77:1, 6,8 80:2,3 81:7,9,12 82:2,10,16, 20 83:14,22 119:14 135:24 136:2,6,13	expressly 154:3	feeling 30:11
evaluating 33:24 51:2 72:8	external 97:15	extending 95:15 109:4 129:12,16	female 37:2 50:21 84:22 88:5 96:14 121:17
exact 150:5,14,25	extra 27:6	extent 16:21 46:22 67:6 74:18	field 49:20 50:1, 6,10,18,23 51:1 89:24
exactly 31:1 60:3 100:8	exhibits 7:4 16:4,5 46:16,19 47:3,10,16 48:13,16	face 104:22 136:20	figure 65:4 139:11 140:9,15,16 141:1 142:9, 11,21 143:3 144:4,11 145:18,24 146:8,21 147:9 149:12,18, 19,21,25 150:4,19,23 153:14,20 154:9,16,18 155:1,2
exam 30:12,13 32:12	experience 18:5,19 19:6 50:14 80:18	fair 5:13 21:8 103:20 115:1 123:7,11,13	figures 62:16 99:25
examine 26:22 34:2	expertise 11:16	falling 32:15	
examined 4:5 32:12	explain 12:16 19:9 22:19 24:22 26:6 29:10, 13,24 30:23	familiar 28:8 37:11 54:2 63:3,7 81:20,24 83:23 88:19,	
excellent 63:10			

137:3	floor	51:4 59:8,16	function
filed	22:5,10 23:1	69:8 70:12	90:9 137:21
10:8,13	flow	85:5,19	138:2,4,7,22
11:16 136:21	140:9 153:15	86:10,15,22	141:7 142:4
filing	154:9 155:3	87:22 88:1,	functional
10:14	focus	10,14,18	72:2
find	23:15 111:12	89:1,5,15,22	functioning
20:18 108:12	156:16,17	90:10,16	137:16 139:5
120:8	focused	91:2,17	furthest
finding	20:16 108:19	92:1,9,13	29:2 38:21
120:7	focusing	94:6 95:10	61:4
fine	110:2 124:16	98:18 100:7	
52:23,25	follow	103:18,24	
66:3 83:2,11	66:9,11	105:5 107:10	G
113:22	72:20 73:2,	111:10 112:4	
finish	11 74:20,23	116:5 119:1	gave
113:19	145:6	129:9 130:11	67:14 147:5
finished	following	131:5,12	152:15
49:9 68:1	56:16,20	141:8 144:6	gel
70:19	102:16	150:17,24	31:7
firm	follows	151:18	gel-like
7:10,12	4:5 98:5	152:20	101:19
first	fool	four	general
4:4 11:19	6:5	40:12 120:25	29:16 33:9
12:21 13:21	forbidden	139:23	59:14 60:7
37:22 38:8,	111:15	153:10	111:4
13 41:9,25	forgive	fourth	generally
45:17 64:21,	58:17	139:12,15,19	6:11 26:16
23 71:21,23	form	140:10	31:3
72:4 87:4,7	62:20 125:11	Frank	genital
89:19 93:21	129:17	62:24	50:22 121:17
99:24	formed	free	getting
102:14,23	101:20	84:8	62:22
116:3 119:18	forming	frequency	give
122:1,4,5,	44:20	19:15,17	4:14,17
10,11 129:23	forms	145:5	17:12 45:8
139:25	140:2	friction	52:9 117:3
145:19,25	fornix	103:7	goes
146:9,22	68:19,23	friend's	47:7,23
147:10	69:6,14,18	63:19	96:13 102:22
153:15 154:2	70:1,9,16	front	103:5 104:7
fit	forth	8:10 10:5	118:9 127:20
49:14	16:24	16:12 52:8	140:20
Five	found	53:12 58:13	going
119:15	53:14	60:15 82:10	36:9 38:11
flat	foundation	83:16	49:5 52:1
109:1 149:10	28:9 49:16	full	54:3 58:6
		108:24	62:15 70:5

75:6 82:20	handful	99:2,12,13	70:2,12,17,
83:4 87:5	49:19	109:9,13,16,	22 71:24
112:16 113:7	handle	20 116:4	72:12,16,25
126:1 137:23	31:22,23	117:24 119:6	73:9,24
150:2 151:5	Hang	122:2,6,11	74:8,17
155:22	75:12	123:6,10,15,	75:3,8,16
good	hangs	20 129:4,11,	76:6,16
52:1 64:17	27:5	15,23 131:20	77:14,18,22
82:13 155:23	Hart's	133:2,3,7,24	78:2,19
157:24	98:12	134:7,9	79:4,9,17,
government	head	135:5,17	20,24 80:7,
58:10	60:9,13	152:15,18	16,21 81:3
grab	healing	Hector	82:3 85:5,
62:18	87:2	6:17 7:2,9,	19,23 86:10,
great	hear	10 10:24	15,22 87:10,
8:23	27:23,24	13:7 14:10,	17,22 88:1,
ground	56:19 93:14,	16,19 15:2,	10,14,18
4:21	16 148:25	10,24 16:8,	89:1,5,15,22
guess	heard	19 17:3	90:10,16
6:10 7:13	18:23 71:13,	18:8,21	91:2,17
11:1 135:14	17,22 89:11	19:18 21:1,	92:1,9,13,24
guys	121:5	18 23:24	93:8 94:1,6
8:6 57:18	heat	26:18 27:22	95:3,10,13,
75:12 83:7	107:25	28:9 29:3,8,	25 96:24
gymnasts	108:9,19,20	22 30:9,17,	98:18 99:3,
24:4	109:4,6	25 31:5,17	18 100:6,23
GYN	111:9,22	32:19,25	101:4,24
32:12	112:3,11,13,	33:5,14	103:18,24
gynecological	14 113:5,9,	34:8,13	105:5,9
60:7 61:13,	11 114:22	35:20 36:4,	106:1,16,22
22 90:3	116:7,8,9	19,23 37:4,	107:2,10,21
gynecologist	118:24 120:6	12,18,25	108:3 109:8,
37:6 50:21,	123:15 150:3	38:3,10,16	14,21
23	heated	39:8 40:3,	110:10,22
gynecologists	101:17	10,12 43:21	111:2,10
60:4	106:14,20,25	44:12 46:22	112:4,9,23
gynecology	107:8,19	49:16 50:3,	113:2,10,14,
49:21	118:14,18	13 51:4,25	17 114:1
	129:8 130:3,	52:7,11	115:4,11,17,
	7,10,14,17,	53:1,5 55:2	25 116:5,13,
	23 131:1,3,	57:5,17,20,	18 117:10
	7,10,24	23 58:2,4	118:12
	132:3,4,6,	59:8,14 61:9	119:1,9
	11,16 134:13	62:20 63:13	124:4
half	heating	65:10,13,16,	125:11,20
69:7 76:24	56:9 95:14,	20 66:1,9,	126:5,13,18,
halfway	20 97:10	13,16,21,25	24 127:3,7,
40:16		67:4 68:4	11,16,25
hand		69:8,19	128:6,10,23
77:2			

129:2,9	hospital	inch	127:1,5,9,23
130:4,11,18,	22:11	37:22 38:8,	128:4,21
24 131:4,11,	hour	13	129:7 130:1,
19,25	5:15 25:21	incision	6,9,13,16,22
132:12,19	52:1 82:21	120:6	131:2,9,23
133:10,14	83:4	incisions	132:10,15
134:6	hourly	25:2	134:4
135:10,18,22	25:17,19	include	indicated
137:22,25	hours	19:11,12	145:8
138:8,23	17:13,15,16,	46:19 47:16	indicates
139:18	17,19,21,23	102:15 103:6	149:1,5
140:18 141:8	Human	104:20	indicia
142:5	62:24	106:10	156:14,24
143:10,15,	hypermobility	included	157:5,16
21,25 144:6,	141:17	44:24	individuals
13,20 145:2,	hysterectomy	includes	49:14
11,20 146:2,	27:16	42:8 95:20	Industries
11,24 147:4,		99:13 120:25	12:2
12,18		126:3 129:15	inferior
148:11,17,23	I	134:9	60:12 77:11
149:23		including	78:7
150:10,17,24	identification	22:4 23:2	influence
151:18	n	90:6 91:5	50:6
152:4,8,20	5:23 8:9	incontinence	informed
153:7 154:5,	52:16 59:21	18:6,11,16,	7:2
22 157:20,24	62:11 77:7	18 20:17	injections
158:3	80:4 81:8	22:3,21	19:11 22:7,
Hector's	82:17 135:25	23:3,13	14
66:11	identify	25:4,5,8,15	Inmode
held	126:22	36:3,8 72:3	12:3,6,11,
112:11	Illinois	90:4 140:3,	14,17,22
help	75:22	21,22,24	13:1,6 14:4
28:21	image	141:16	20:7
hemorrhoids	82:1,9	Incorporated	Inmode's
20:17 22:15	imaginary	12:3	13:3,11 14:5
23:13	97:17	increase	insert
high	impair	102:24	31:2,18
63:19 118:4	4:14	increasing	35:1,6,8,17,
hit	important	141:17	21 36:10,17
35:3	8:3	Indeed	37:6
hold	improve	49:18	inserted
30:5 70:19	90:8 137:20	independent	26:8 27:20
holding	138:2,6,9	120:17,20	28:2,3 31:3,
26:23	improvement	121:1 123:14	6,8,16,20
honestly	137:16	124:17	142:23 143:5
138:17	138:3,21	indicate	inserting
hoping	139:4 141:6	126:11,16	36:6,11
155:25	142:4		

inspect 30:7 37:6	81:12 136:4	iv 46:1,12 47:12 48:21	125:23,25 135:12 142:25 149:2,6,8 152:21
Institute 53:10,14,17 54:15 59:6	63:15 65:16		
institution 44:24 45:1, 4,11	introitus 37:16,23 38:9 39:4,7 40:17,24 41:7 61:18 68:8 76:14, 21,23 90:7 95:15,17 102:17 103:16,22 121:18 129:16,17 131:15 151:11,14,23 152:13	J	knowing 89:25
instruct 15:25 65:10, 13 66:4		Joseph 83:17	known 27:1 28:16 68:8 89:24 100:4,12,13, 16 103:15 137:21 138:1,5,10
instructing 66:20 77:18, 22 78:19 79:20,24		judgment 29:6 30:15	
instruction 16:8 73:1 79:17		Justin 15:12,19	
instructions 66:10,12 70:23 72:17, 18,20 73:10, 11 74:19,21, 24	invention 9:20 11:3,6 64:9 100:5, 16 105:10 106:6,10 108:18 157:5	K	
intended 145:15	invest 20:20,22	keep 52:1	L
intending 111:12 146:16	involved 12:13 14:8 24:2	keratinized 91:21 98:16	label 65:12 67:23 68:3,18,23 70:14 71:3,6 72:14,23 73:13 74:16 75:5 79:10, 14,18
intends 110:3	involves 27:14	kind 42:21 114:19	labeled 9:16 65:5 75:11 76:15, 22 78:17,22 79:1
Inter 11:12 55:10	inward 96:6 131:14	know 4:20 5:8,16, 17 6:5,7 14:17 18:16 21:9,13,15 24:19 25:22 33:10 38:4, 22,25 39:6, 19 41:21 43:9,11,16 44:25 46:5 47:19 48:6 49:8 50:4 53:24 55:7, 21 56:4 64:18,19 67:17 73:15, 20 83:2,13 87:12 89:8 90:21,22,24 100:1,8,13 101:25 105:7,11 109:23,24 115:21 121:4 122:7 124:10	labeling 73:14 75:2 76:4 77:17, 21
intercourse 32:9 103:7	inwardly 95:16 129:16		labels 65:9 142:22 150:20
interested 20:19	IPR 51:11,14,18 54:8,11,14, 24 55:18 81:20		labia 23:21 24:6 95:21 96:5, 16,18 97:4, 9,10,19 98:13,17,24, 25 99:9,11
internally 73:17	IPR2018-00088 53:11,18		labioplasties 24:9
interpreting 134:16	issue 137:19		
intrauterine 153:16	issued 136:24 157:6		
introduce 5:24 6:7 52:6,7 58:6 62:8,23			

labioplasty 23:18,19,22	136:22	104:2,3,11, 15 106:4	locking 151:8
lady 32:8	legal 10:24 106:1 109:25	110:6 111:19 114:19	long 17:9 24:8 33:8 34:21, 23 112:11 150:8,13 157:22
lady's 35:22,24 36:11	125:21 128:10 129:10 130:12	117:17,19,23 118:2,16 137:3,7 139:8,10	long-term 87:12
lamina 108:25 109:3 111:13	135:11,18 157:14	140:5 141:2, 25 142:9,12, 13,19	longer 35:16 80:14, 19 81:2
language 141:10	length 44:11 69:9, 20,21 72:2 151:3,13	147:23,25 148:3 152:22 153:13,19 154:8,15,20 155:2,7,10, 11,12,14	look 18:4 25:10 26:20 34:3 37:7 41:22, 23,24 45:24 46:4 47:2,22 51:16,22 53:23 54:18 55:5 60:8 92:14 94:7 101:8 102:1 104:2 107:4 121:7,8 126:10 133:1,20 136:20 138:18 141:25 152:23 154:8 157:21
large 112:18,22 150:4,8 152:15	lesion 72:7 73:18	linear 104:23 105:4	looking 41:25 46:15, 17 53:13 56:23,25 67:11 73:17 77:11 78:3,5 94:12 108:12 119:3 142:25 146:13 149:17,18, 21,25 150:6 151:1
larger 32:15 34:7 112:15 113:8	lesions 148:14,22 149:21 152:25 153:5,24 154:3,21 155:15,19	lines 6:25 53:18 111:17 114:6,15 137:4	
laser 90:4,21,22	lets 31:9	lining 96:18 97:14	
lateral 60:22 79:18 80:14,20 81:2	level 49:2 50:1,7, 11,18 51:2 62:4	list 45:25 46:2, 13,18 47:2, 11,22 48:1, 25	
law 6:14 7:10,11 41:16	license 75:22	listening 76:18 113:3	
lax 19:4 39:10	ligaments 23:7	lists 44:19	
laxity 81:5	light 55:13	little 27:24 35:15, 16 130:20	
layer 109:17 110:19	limitation 122:1,5,8	location 36:12 74:5 95:16 123:16,19 129:17 131:17	
layers 108:10 110:1	limitations 123:21	locations 37:3 61:22	
lead 103:16,22	Limited 12:3,6	lock 151:8	
leading 109:8 128:10 146:3 154:22,24	line 5:18 24:19 42:21 52:5 97:17 98:12, 14 101:10 102:2,3,14		
leave 83:6			
left-hand 10:9,14			

looks	mark	21,23,25	34:11 43:19
54:2 80:11	59:19 67:15	120:5	45:14 57:7
loose	76:25 80:1	meant	mentions
101:20	marked	51:19 61:14	134:7,8
103:16	5:22 8:8	110:12 146:5	met
looseness	52:15 59:20	measured	13:6 15:7
103:6	62:9,10 77:6	34:23	41:20
loosening	80:3 81:7	measurement	method
102:16,22,24	82:16 83:21	33:10 35:13	121:14
lost	135:24 136:5	150:5,14,15,	127:14,18,
46:24 94:23	markings	22,25	19,24 128:5,
lot	62:16 63:14	measuring	16,17,19,22
113:18	materials	72:1	131:7,13
lower	6:21 15:7,23	Medial	132:7 133:1
26:21	16:6 44:19	60:19	140:10,15
lunch	47:17	Median	148:4 152:24
82:24 83:1	matter	65:5	153:15
114:2	7:18 50:9	medical	154:10 155:3
	mean	22:1 61:16	methods
	9:6 19:1,13	62:25 110:23	147:22
	26:1 33:23	medication	mid
	35:23 45:11	4:13 19:11	151:6
M.D.	51:17 59:13	22:4,13	middle
83:18	60:9 65:20	meet	71:25 73:16
made	69:24 71:15,	15:9,11,14	114:19
17:4 20:21	22 75:8	meetings	midline
21:17 100:8	97:13 98:24	25:20	60:19,22
125:23	105:19	memorized	midpoint
main	107:23 108:2	107:3	70:14
124:5	110:17 111:5	memory	midurethra
majority	112:12	41:23	71:13 72:10,
24:14	117:23	men	14,23 73:14,
make	119:25	18:14,17,18	17,21 74:1,
38:11 52:7	124:23	22:15 25:8	3,5,12 75:2
59:5 62:15	125:3,16,18	menorrhagia	midurethral
63:14 67:8	126:20 132:5	140:2	76:3,14,22
70:22 94:11	134:18	mention	midvaginal
120:3 132:8	135:12	22:18 25:16	68:3
making	136:13	124:19,22	millimeters
101:20	137:24	125:7,12,15,	152:17,19
manipulate	157:14	16 126:25	mindful
31:24	meaning	127:8,12	14:20
manner	107:24	mentioned	minora
30:6 132:7	157:12	19:23 22:23	95:21 96:5,
manufacturer	means	23:12 25:11	16,18 97:4,
145:7,10	60:12,15,17,	27:17 28:5	9,10,19
March	19,22,25	31:14 32:17	98:13,17,24
136:22	61:3 105:11,		

U.S. LEGAL SUPPORT, INC
713-653-7100

33:5,14	112:4,9,23	October	21 53:4,9,
34:8,13	113:2,10,14	136:25	16,20 54:11,
35:20 36:4,	115:4,11,17,	137:18	18,21,22
19,23 37:4,	25 116:5,13,	offer	55:10 56:6
12,18,25	18 117:10	22:10,23,25	57:3,14,18,
38:3,10,16	118:12	offering	21 58:3,15
39:8 40:3	119:1,9	19:21	59:2,17,22
43:21 44:12	124:4	offhand	60:6,12
46:22 49:16	125:11,20	43:16	61:3,11,21
50:3,13 51:4	126:5,13,18	office	62:4,14,22
55:2 57:5	127:3,7,11,	11:23 19:20,	63:12 64:5,
59:8,14 61:9	16,25 128:6,	23 20:1,4,	25 65:3,4,8
62:20 63:13	10,23 129:2,	15,18 21:22	66:13,22
66:4,19 67:5	9 130:4,11,	22:8 32:8	67:4,11,13,
68:4 69:8,19	18,24 131:4,	36:7 39:9	18,23 68:16,
70:2,12,17,	11,19,25	offices	22 69:4,12
23 71:24	132:12,19	6:14	70:25 71:3,
72:12,16	133:10,14	okay	8,21 72:5
73:24 74:8,	134:6	4:21 5:1,9,	74:16,23
17 75:3	135:10,18,22	10,20 6:8,9,	75:6,17
76:6,16	137:22,25	21 7:2 8:1,	77:10,12
77:14,18,22	138:8,23	3,14 9:3	78:9,17,24
78:2,19	139:18	10:5 11:5,8,	79:6,13,25
79:4,9,17,	140:18 141:8	9,11,19,21	80:9,13,25
20,24 80:7,	142:5	12:2,6,10,	81:6,12
16,21 81:3	143:10,15,	13,21 15:9,	82:15 83:7,8
82:3 85:5,	21,25 144:6,	23 16:11,17	84:18,19,20
19,23 86:10,	20 145:2,11,	17:23,25	86:8 88:3
15,22 87:10,	20 146:2,11,	18:4 19:5	90:25 91:11,
17,22 88:1,	24 147:4,12,	20:7 22:18	19 92:5,16,
10,14,18	18 148:11,	23:11 24:16	20,21 93:2,4
89:1,5,15,22	17,23 149:23	28:5,11	94:3,18,22
90:10,16	150:10,17,24	29:13 30:7	95:1,23
91:2,17	151:18	35:10,17	96:7,13 97:5
92:1,9,13,24	152:4,8,20	36:9,15	99:20 100:25
93:8 94:1,6	153:7 154:5,	38:22 39:3,6	101:2
95:10,13,25	22	40:5,16	102:11,13
96:24 98:18	objections	41:4,11,14	104:10,15,19
99:3,18	113:3 144:13	42:14,18,20	105:15
100:6,23	objective	43:12,17	106:3,8
101:4,24	156:14,24	44:4,8,19	107:5,14
103:18,24	157:4,16	45:17,22,25	108:14 110:5
105:5,9	obviously	46:15 47:1,	111:6,16
106:1,16,22	82:12 125:25	2,15,22	112:17
107:2,10,21	occupy	48:12,21,25	114:3,8,9,
108:3 109:8,	109:1	49:10 50:9	13,14,17,18
14,21	occurs	51:8,17,24,	116:9,24
110:10,22	23:6 102:23	25 52:3,12,	117:16
111:2,10			118:8,22

119:12,17, 22,25 120:10,16 121:10,25 122:7,18,23 123:1,5,9, 18,22,23,24 124:13,16,21 126:10 127:23 128:21 129:19,22 130:8 135:1, 3,8 136:4,8, 20 137:9 139:7,15 140:8 142:10 146:18 148:2,21 150:8,13 151:24 152:23 153:13 154:2,8 155:14 156:6,9,19, 22 157:18 158:4	ones 24:12 open 7:15 17:25 31:10,24 36:13 40:18 52:13 99:24 opening 23:10 37:15 38:18,21 39:10 61:16 102:17 opinion 50:2,7 82:9 97:7 134:21 135:8,16 151:3,12,16 152:7 opinions 44:20 opposed 26:2 opposite 34:12 38:25 61:7 Option 126:24 options 22:4 order 135:14 ordinary 48:22 50:1, 20 61:25 118:22 134:17 144:24 146:13 organ 18:6 organs 23:8 32:14 origin 61:1 original 28:11 64:19	outcome 110:19 outer 90:6 outermost 98:24 99:10 outlet 141:17,18 155:5 outlines 54:20 outside 27:5 59:15 60:7 119:7, 11 oval 80:5 overactive 23:3 owner 12:7	119:13,20 156:8,20 pages 42:15 57:23, 24 58:1,22 pain 22:13 23:4, 17,25 24:6 Pap 29:12 32:9 paper 7:3 53:21 paragraph 24:16 25:10, 16 40:7,13, 14,17 41:11, 14 42:14 48:25 49:5, 11 50:24 51:6,10 54:4,23 84:20 85:1, 4,8,11,14,17 86:3,5,9,13, 21,24 87:4, 7,8,14,21,25 88:3,9,13, 17,25 89:4, 14,20,21 90:19 91:1, 7,12,24 92:3,7,12,18 93:21 94:4, 24,25 95:9, 18,24 96:8 97:25 98:4, 21 99:7,16 101:8 104:3, 7 106:5 108:15 116:3 117:23 118:9 119:13,21,22 127:4 137:2 156:7,11,19 paragraphs 18:4 93:5, 10,17 156:22 157:2
		P	
		p.m. 158:8 page 7:20,24 10:5 11:19,20 18:1 40:13, 14 41:12 42:21 43:11 44:16 45:18 46:14,15,17, 18 47:2,23 48:2,8,18 51:7 54:19 55:5 56:6,8, 9 57:10 58:25 59:11 64:1,2,3,4 83:16 84:6, 17 91:10 92:4 94:11, 16,18,21,23, 25 95:1 99:24 104:8	

parallel 104:23 105:3,7,11, 13,14,15,16, 20,22	patent 8:4,15,17,22 9:4,15,16 10:6,8,11, 17,21 11:6, 13,14,22,23 12:7 55:11, 14 56:15 64:10 92:17 93:12,19 94:13,16,20, 21 96:9,10 97:9 98:22 99:22 100:4, 10,19,22 101:3 104:12,13 105:2 106:13,19,24 107:1,7,18 109:6,12,19 111:8,17 112:1 114:5, 16,20 117:17 118:9,24 119:6 120:11,14,25 128:20 136:5,21,24 138:12 141:11,14 145:14 157:6	PDF 64:4 pediatric 32:12,17,23 33:3,8 37:13 43:24 44:1,2 pelvic 18:6 22:5, 10,12,23,25 23:1,4,8,16 30:10 pelvic- related 22:16 pelvis 23:7 people 49:25 50:25 61:15 perfect 52:20 perform 19:16,19 22:8,11,19 128:9 133:9 performed 25:13 45:4 90:4 123:6, 15,20 127:14 135:9,13,17, 20,21 148:4 152:24 performing 18:5 20:2 24:8 29:12 72:8 performs 127:24 128:4,22 perineal 90:7 periodical 81:24 person 37:14,21 39:15 48:22 49:13 50:20	61:25 64:12 75:21 78:21 103:15,20 111:25 115:1 118:22 134:17 140:14 144:24 146:13 personally 58:10 persons 28:16,19 29:5 perspective 61:23 pessaries 22:10 pessary 30:1,2,3 petition 45:19,23 46:3,5,6,16 47:9,12 48:12 128:14 phone 15:12,17 phrase 122:10 physical 19:11 22:4, 5,10,13,24, 25 23:1 137:15 138:3,21 139:3 141:6 142:3 physician 31:24 33:20 50:14 59:1 63:20 physicians 33:12 37:1,5 42:5 50:5, 10,15,17 physiological 137:15
paraphrase 49:11			
paraphrased 123:7			
paren 40:18,20			
Parker 6:17 7:11 15:10,19,21			
part 19:10 26:21 27:12 28:3 31:20 68:18, 23 69:6,14, 18 70:1,9,16 97:21 101:5 113:19 123:24 124:5,6,14 125:18			
Partes 11:12 55:11			
partially 105:21			
participate 14:18			
particular 108:22	patents 101:6		
partly 105:24	patient 24:5 33:24 34:3 80:24 81:1,4		
partners 103:9	patient's 33:13 34:6, 16 35:3 36:20 37:9, 19		
parts 33:20 34:3 82:5 89:8 141:20	patients 20:18 36:7		
partway 37:7	pay 53:14		
pass 157:19			
past 151:7,9,20			

138:21 139:4	pleasure	151:20	preamble
141:6 142:3	103:8,17,23	possession	121:4,7,13,
picture	Plurality	15:7	21,22
77:23,25	149:10	possible	preceding
79:3,7	point	10:21 110:13	92:18
80:11,13,17	9:1 19:20	possibly	prepare
149:17	43:10,12,15	96:17 97:3	14:24 16:14
pinpoint	59:9 61:1	posterior	prepared
112:15,18,21	66:21 75:11	28:4 31:7,12	9:22 16:7,15
113:6,12	94:10 117:5	36:1 60:17	46:9 81:18
place	pointing	68:18 77:20,	preparing
30:2 43:9	69:1	24 78:10,14	8:17 10:16
73:18 155:23	points	79:7,11,14	16:18 17:9
placing	157:17	80:14,19	46:7 53:25
30:1	poor	81:1 141:4,	84:1 136:15
plain	110:19	21 142:1	prescribed
141:10	portion	148:6,16,20	23:2
plaintiff	27:10 29:1	153:1	pressing
7:12	30:13 31:15,	posttibial	27:13
plate	19,23 34:5	22:6	pressure
64:25 67:11	36:15,18	potentially	27:6 31:10
68:16 70:14	37:8 40:19	111:22 112:3	103:7
71:1 72:15,	45:6,7 75:5	114:22 115:3	pressures
24 73:14	78:14 79:2,	Poulos	72:1
74:16 75:1	6,7 95:14	4:3,9 7:7,8	pretty
76:2,4,25	97:10 104:10	8:12 52:2,18	120:8
77:10 78:5	129:11,15,	53:7 57:22	previous
79:15,19	18,19 131:14	59:22 62:19	15:17
80:9	133:24	77:8 78:24	previously
please	134:5,10,13,	79:25 80:5	5:22 8:8
4:8 5:8 8:7	14,18 142:15	81:15 82:21	52:15 62:9,
18:22 24:22	151:9 152:12	83:24 85:25	10 81:7
33:15 34:14	portions	86:18 156:3	82:16 83:21
45:23 59:19	33:12 37:1	158:5	135:24 136:5
64:25 66:6	79:18	Poulos'	print
76:5,25	POSA	7:6	57:19
77:10 80:2	11:16 51:10	practice	printed
81:10 84:13	54:6 96:15	13:4,12,25	58:9
92:3,7 99:21	97:2,7 98:22	14:6 19:16	prior
100:25 106:3	133:16	20:16 21:25	13:14 63:6
107:12	position	23:15 24:12	88:5 99:1
108:13	126:2 149:20	30:20,24	100:4 148:11
111:17 114:7	150:3 151:21	39:17,18,23	priority
117:16,19	positioned	practicing	10:12,21
128:3 140:6	145:19,25	49:20,25	privilege
142:16	146:10,23	50:6,10,18,	15:25 16:9,
152:23	147:11	25	19,22 65:17,
	149:13		

19,23 66:2	81:5 82:8,11	65:12 66:6	95:7 107:6,
73:5	pronounce	67:15 68:12	17 109:11,
privileged	7:7	71:3,9	12,18,19
14:11,20	proper	psychological	111:6 112:19
15:3	51:12 54:8	138:3	113:4,16
probably	propria	pubic	115:14,16
52:20 58:15	108:25 109:3	25:2	124:21
problem	111:13	public	125:14 128:3
27:25 47:1	protect	138:15,17	130:1,15
122:9	111:21 112:2	publication	131:8,22
procedure	114:21	138:14	143:2
23:20 27:15	protrude	published	144:10,17
procedures	23:8	63:21	146:19 147:6
25:13	provide	138:11,18	154:24
proceed	4:22 7:5 8:6	pull	questioning
67:10	11:15 20:12,	81:9 114:6	5:18 110:6
proceeding	14,22 21:21,	136:2	113:19
11:2,13	24 22:3,9	purely	questions
12:14,18,24	24:11 57:21	152:10	5:4 6:2
13:14 14:9,	62:7 65:9	purported	72:18 73:4,9
18 45:12	137:15	138:9	74:18 123:18
56:1 63:6	138:3,21	purporting	157:19 158:3
83:22 84:2	139:3 141:4,	151:10	quite
136:6	19 150:15,22	purpose	29:18
proceedings	156:23 157:1	111:20	quote
136:16	provided	114:20	96:10,15,16
process	7:17 16:4,5,	126:11,16	141:25
16:17 140:9	23 17:4	purposes	
153:14 154:9	23:12 46:16	50:11 90:8	R
155:3	47:11 56:7,	put	
professional	11 57:3,12	27:6 31:10	Rachel
18:2	62:17 63:25	70:25 82:15	6:19,20
professions	provides	99:20	Radiant
24:2	142:2		88:6
prohibit	providing		radio
109:6,13,20	53:5 74:19,	Q	19:15,16
prohibited	24 141:17		145:4
107:24 108:2	provisional	question	range
110:1,7,14,	10:12,17,23	5:7,11,12,18	70:1,4
16,21 111:1,	proximal	14:4 28:12	108:25
4,15	27:15 28:25	32:22 34:14	118:25
prolapse	29:1 34:6,	39:15 49:24	119:5,7
18:6,11	10,15 38:17,	52:5 62:21	ranges
20:17 22:9	20,23 39:1	72:22,25	82:12 118:10
23:3,5,6,13	40:6 41:3,5	73:8 74:25	119:10
26:22 30:1,5	60:2,25	75:9 76:19	Raquel
32:14 39:11	61:7,12,20	79:13 84:12	6:18
		87:11 93:14	

rarely 32:21	ready 108:6	refer 8:14 11:5	90:5,22
rate 25:17,19	realm 152:16	54:23 96:17	relate 119:2
reach 27:13 28:21 39:12	reason 4:16 33:25 47:15 64:22 73:19	107:13,15 108:14 124:10 136:7 157:5	related 123:19,20
reached 35:21 36:10	reasonable 55:12,19,21, 24	reference 6:6 64:17	relation 110:23
reaches 108:20	reasons 73:5,6	referred 97:3 101:7	relationship 12:17,19 42:6
reaching 109:7	recall 10:19 12:22, 23 13:9,21 14:3,7 15:16 17:11 20:8, 9,11,21 21:3,4,20 35:13 42:6 43:6 54:6 114:11 115:9	referring 11:7 28:14, 24 34:9 44:25 51:15, 23 54:24 56:25 68:17 92:15,16 112:25 132:24 150:11 154:16	relationships 12:25
react 112:16,21,24 113:7	recalling 43:20	refers 154:20 155:15	relative 76:12,20 149:11 150:6 151:1
read 8:17,20 9:3, 23,24 13:19 15:6 16:3 43:15 53:15 58:4 84:19 91:12 92:10, 17,25 93:3 95:6 100:18, 24 101:1,6 104:9,10,25 105:12 106:7 111:18 114:17 117:18,19,21 118:1 128:1, 19 132:3 136:12,15 137:8 142:17,18 153:4	receive 57:15	refresh 94:24	relevant 41:16
reading 94:22 98:22 105:10 125:22,24 128:14 145:13 146:16 149:16	recites 95:19	regard 18:9 121:5	relies 124:15
	recommending 75:4	region 72:11,14,23 73:14,21 74:1,13 75:2 76:4,14,22, 24 103:6	remainder 118:9
	record 4:8 6:4 7:10 36:5 43:22 44:13 46:23 53:1,2 63:15 65:17 66:25 75:7,13,14, 19 76:17 96:1 100:7 110:11 113:22,25 114:3 130:25 148:24	regions 111:15 137:10,20 138:6 148:5	remember 17:13 20:6 41:9 63:20 110:9 114:12 115:19,21 157:14
reads 91:14	rectangular 80:12	regular 34:20 43:23 44:7 143:18, 20	remind 14:11 115:15
		rejuvenation 18:20,24 19:2,7,10, 12,14,17 20:15 21:22	remodel 110:3,4 126:14 133:17
			remodeling 56:9 86:6 106:15,21,25 107:20 108:21 121:14 122:18 123:2 126:12 127:19 133:9,12 135:6,17 139:13,16,20 140:11,16,20

141:3,21	12 124:8	reviewing	satisfy
142:1 154:11	reread	55:25 59:7,	135:14
155:4	114:15	22	saying
remote	residency	RF	66:14 95:18
6:11 158:6	24:10	20:14 21:21	110:7 111:14
repairs	resource	116:7 128:9	120:3 134:16
18:10	63:10	137:10,19	151:19
repeat	respect	138:5	says
7:14 19:22,	62:5 104:23	145:18,24	11:22 12:2,6
25 34:14	105:3 116:22	146:9,22	41:15 45:24
38:11 46:25	117:7 126:2	147:10	47:3 51:10
76:18 85:20	141:1 142:21	151:25	53:18,21
93:16 95:6	143:3 153:20	RF-BASED	58:7 105:21
107:17	response	91:5	118:16 119:4
116:14	87:2	right	121:21 129:4
rephrase	restate	7:7 25:23	133:1,24
20:11 33:15	143:1	30:21 40:4	134:8,9
44:8 54:12	resulting	43:16 44:23	142:7 152:24
68:10 78:4,	141:5 142:3	45:17 51:6	153:14 154:9
25 79:13	results	53:20 57:23	155:2
84:11 93:9	87:11	59:10 68:22	scale
97:5 107:5	retropubic	74:12 81:9	76:8 151:1
report	24:23 25:1	83:16 101:1	school
51:22	reveal	104:5 120:9	63:19
reporter	14:12,20	122:7 124:12	scope
4:23 6:19,20	15:25 16:20	130:23	9:20 30:25
56:18 59:18	revealing	140:17	31:5 59:15
75:12,15,17,	16:22	155:22	second
20 88:21	review	Roman	41:14 42:21
113:23	10:17 11:12	46:1,12,13	52:12 91:13
129:13	15:23 25:20	47:11 48:21	94:8 96:7
represent	40:8 42:18	room	101:8 107:14
58:8	45:3,7,16	6:15 83:6	117:3 120:1
represented	48:16 49:8	roughly	128:1 154:10
4:19	55:11 58:21	110:12	second-to-
reproductive	59:4 81:17	rule	last
96:14	84:1,6,14,16	125:25	51:9 90:13,
reps	92:8 104:7	rules	19 94:16,21
13:6	106:4 107:4	4:21	section
require	111:17 137:4	ruling	18:1 48:22
99:14 124:2,	142:15	66:24	57:9 65:5
17 125:1,5,9	reviewed		78:12 84:4,
127:14	16:6 45:6		6,13,15,17
required	46:6,9 47:3,	S	94:18 99:25
133:12	19 48:6		100:4,10,18,
requires	53:25 65:25	Sagittal	22 154:16
96:19 99:2,		65:5	secured
			145:22

see	124:8	several	shut
7:23 10:8,11	127:21,22	31:14	66:23
11:22,25	129:4 133:5,	sex	side
12:4,8 19:24	21 134:3	24:7	10:9,14
22:3 23:17	136:21,24	sexual	136:22
25:16 27:12	137:9,13,17	90:8 102:15	side-mounted
28:21 39:11	139:10	103:8,17,22	104:20
40:16,21	140:8,12	137:16,20	signature
41:2,14	142:13	138:2,4,6,22	7:23
42:22 45:20	143:22	139:4 141:7	signed
46:21 47:5,	148:3,7	142:4	8:1
13,23 48:1,	149:11	shallower	significant
10,14,23	150:25 151:7	36:18	109:4 132:8
49:2,6,15,	153:2,14,17,	shape	Signify
17,22 51:9	21,25 154:9,	23:21 80:10	41:17,19,20,
53:12,17	15,18,19	shaped	21,24 42:3,
54:9 55:10,	155:2,9,16	80:5	6,9
15 56:7,10	156:10,22	Sharpie	similar
58:19 59:13	seeing	67:14 76:8	13:18 49:18
63:22 64:6	84:10	Sharpies	118:10
65:6 68:16,	selected	62:18,19	simply
20,24 69:1	14:17	shipped	24:5
70:20 71:1	sentence	40:1	single
77:13,15	41:6,15	short	27:4,18
78:9,10,12,	42:8,10,11	15:13 53:3	single-bladed
13,22 79:11,	43:4 51:9	67:3 75:25	26:14,16
22 83:19	87:7 89:19	83:3 156:2	28:6
84:23,24	90:14,19,25	157:20 158:2	sit
86:5,7 87:3	91:13,24	shorter	151:22
88:3 91:23	93:21 96:7	33:4 35:15	sitting
93:25 94:2	97:25 98:5,	69:10	21:20 152:12
96:7,11,12,	9,11,20	show	situation
21,22 97:25	99:7,16	73:13 75:1	29:9,10,13,
98:5,8 101:9	102:14	76:3,10	24 30:19
102:5,6,9,	104:16	77:16,20,24	sixth
10,18 103:1,	105:12	78:17 146:7,	64:4
10,11 104:3,	106:8,23	20 147:8	size
15,18 105:23	116:3 118:2	showing	23:21 30:11
106:8,12	119:18	139:12 140:9	32:2,6
111:14,19,24	137:13 139:1	153:15	33:11,16,19
114:18,24	153:4,9	154:10 155:3	134:4 143:8,
116:6 118:2,	sentences	shown	17 149:11
6,7,8,13,20,	40:23	16:1 80:9	151:1,24,25
21 119:18,	series	82:1	152:2,6
22,24	148:5,14	shows	sizes
121:10,19,	152:25	140:15	32:7
20,25 122:3,	set		
13,14,21,22	62:5		
123:3,4			

skill 28:17,19 29:5 37:21 39:16 48:22 49:2,13 50:1,7,11, 15,19,20 51:2 61:25 64:12 103:15,20 115:1 118:22 134:17 144:24 146:13	sources 91:4 spans 42:15 speak 4:24 37:5 129:14 speaks 65:20 66:2, 16 90:11 107:22 142:6 143:11,16 144:7 154:6 specializing 50:23 specific 9:1,13 16:1 18:22 29:19 73:25 78:16 127:8,12,14 131:13 specifically 10:4 18:12 73:16 96:4 108:17 127:4 128:7 131:6 141:1 143:22 154:20 155:15,19 specification 55:13 spectrum 145:22 speculated 137:23,24 speculating 21:11 speculation 21:2,18 30:18 36:24 37:4 115:4 137:22 138:8 140:18 152:9,10 speculum 25:22,25 26:2,5,6,7,	14,15,17,20, 23 27:3,4,7, 13,18 28:1, 13,14 29:7, 11,14,21 30:2,8,13, 16,23 31:2, 6,18,19,24 32:10,13,16, 17,23 33:3, 9,25 34:1,7, 16,18,19,20, 22 35:1,11, 18 36:7,17 37:2,7,10, 13,17,24 38:8,13,23 39:7,13,15 40:2 43:20, 23,24 44:1, 2,5,6,7 142:24 143:5,9,12, 14,18,19,20, 24 144:5,9, 12,16,19,23 145:1,9,15, 19 146:1,6, 10,23 147:11 151:5,21 speculums 26:3,10,11 28:5,6,7,16, 20,21 30:20 32:2,6 33:4, 11,16,19 34:2 39:20, 21,22 43:18 44:8,10 spelled 120:2 spend 17:9 spread 26:8 31:11 spring 151:8	squamous 97:16,21 standard 25:17,19 33:25 34:18, 19,21 35:11 55:19,22,25 56:13 stands 24:21 start 6:10 19:5 59:7 60:6 61:17 71:21 72:3 98:3 116:9 started 110:5 starting 37:23 38:9 46:17 54:19 57:10 102:2 111:19 114:19 137:3 139:10 148:3 155:7 starts 86:5 98:1 102:3 117:24 state 4:8 40:17 102:22 103:5 106:19,24 128:7 132:2, 20 stated 54:6 59:24 statement 96:23 102:11 114:24 148:10 statements 67:9 100:21 101:2 states 11:14,22 96:9 102:7
--	---	---	--

104:19	86:6 88:5	114:10,21	117:25
106:9,17	subsequent	115:3,8	155:23
111:20	102:25	116:12,16	157:20
114:20 118:3	132:13,21,23	117:1,9,13	taken
127:19	substance	surfaces	53:3 67:3
137:14	14:21 156:4	96:17 97:4,	75:25 114:2
139:11 140:8	substantial	20 98:25	156:2 158:2
141:3,9,24,	17:5 108:10	99:11	talk
25 143:12	substantially	surgeries	8:4 16:11
148:4 154:3	104:23	18:6,7	58:23 96:5
stay	105:14,15,	surgery	156:3
75:13,22	21,23 108:11	22:11,20	talked
104:1 111:16	132:4,6	27:8 90:3	23:16 60:1
step	sufficient	surgical	150:1
122:5,11,18	108:21	18:10 19:3	talking
123:2 129:23	sulcus	23:18,20	26:4 38:17
135:5,6	36:1	surgicenter	40:5 54:14
153:20	summary	22:11	73:16 114:9,
154:16,17	105:10	surprise	13 115:20,
155:8,12	superior	6:5	22,24 116:19
steps	60:9	SURX	131:17,18
135:9,17	support	25:11,14	151:24
stimulation	90:5 141:18,	sworn	157:15
22:6	20	4:1,5	talks
stratified	sure	system	95:14 96:4
97:16,21	7:9 38:11	25:11,14	116:3 128:16
strength	52:7,23	84:22 96:15	tape
30:11	59:5,12		24:21,24
stress	69:16 74:10		target
23:3 25:3,15	75:16 87:1		56:8 106:9,
90:3 140:21	93:17 95:8		15,18,21,25
stretched	113:23	table	107:9,20
102:8	115:19 117:4	44:16,19,25	108:4,17
strictly	120:3,8	45:18 46:17	109:10
72:2	126:20	take	117:24
strike	127:18	5:15 40:8	118:13,17,24
20:13 81:6	130:21 135:2	46:4 51:16,	119:7 121:15
135:15	157:3,10,22	22 52:4,18,	122:2,6,11,
sub	surface	21 53:1,23	19 123:25
124:10	95:20 96:5,	54:18 57:19	126:14
subclaims	16,20 97:8,	58:4,21 59:4	129:5,7,24
124:12	21 98:23	82:23 83:3,9	130:2 131:3,
132:22,23	99:2,9,12,13	84:8 92:7	18,20,23
subparts	111:21	94:9 99:21	132:9,11,16
125:23	112:2,7,8,	101:8 102:1	133:2,7,18
subsection	15,18,21,22	107:11	135:5
55:6 84:22	113:1,6,7,8	113:17,21	targeted
		114:14	109:3

targeting 110:1 141:16	terminology 58:7,18,24 59:2	11,14,24 55:17	time 5:25 9:2 11:16 34:23 50:15,21 58:4 64:9,14 84:8 91:6 94:9 157:19
teaches 139:22	terms 54:20 55:11 56:14,16,20 59:23 60:5,8 61:13 62:1,5 111:4	thing 5:17 27:24 107:3	times 16:25 31:14 32:11
team 158:5	testified 4:5 35:10	things 58:24 63:15, 23 67:7	tip 104:24 105:4
technically 107:25	testify 12:10	think 17:22 21:11 40:4 42:11 50:14 52:23 55:20 59:13 60:1 61:16 63:18 64:8 66:11,22 74:9 84:9 93:15 94:24 103:13 120:2 125:3 135:4 152:10 157:22	tissue 43:3,5 56:8 88:6 90:6 91:14 101:21 102:4,7 106:10,14, 15,18,21 107:1,9,20 108:5,18 109:10 112:16,24,25 116:1,4,8, 10,21,23 117:24 118:13,17,24 119:7 120:5 121:15,16, 17,18 122:2, 6,12,19 123:25 124:20,22,23 126:15 129:5,8,24 130:2,6,9, 13,16,22 131:2,3,6,9, 18,20,23,24 132:8,9,11, 16 133:2,7, 18 135:5
technologies 84:7 89:17, 19,23,25 91:5 100:11, 13	testimony 4:14,17 32:20 33:1,6 65:16 70:24 85:1,4,8 98:21 148:12,18 149:24 152:5 156:23	thinking 82:25	tissues 50:22
technology 128:15	text 99:24	third 24:19 90:6 91:23 155:4, 18	Titan 88:20,23
telescope 72:9	textbooks 63:3,17,18	thought 21:6 120:2 138:16 152:1	titled 81:13 83:17
tell 9:9 23:19 67:7	thank 45:13 52:20 70:25 76:12 78:24 79:25 91:11 155:25 156:1 158:4, 5	three 65:1,2 91:9, 10 139:25	
temperature 101:18,22 108:20 112:7 113:13 115:7,20,22, 23 116:1,2, 10,11,16,20, 21,22 117:1, 8,12,13 118:3,13,14, 18 132:7,10, 16,20 133:3, 8	therapeutic 118:3 121:15 122:19 126:14 133:17	threshold 108:20	
temperatures 42:23 108:9 133:16	therapy 19:11 22:4, 6,10,14,24, 25 23:1	tight 24:3	
tension-free 24:21,24	Thermicol 89:9,10	tighten 90:5	
term 18:23 19:2 56:4 60:8 71:13,15,18 73:23 74:2,7 97:8 119:19 121:5 134:5 156:10	Thermigen 51:11,14,18 53:10 54:8,	tightening 87:12 103:21 137:14 138:2,20 139:2 141:5, 23 142:2	

84:7 88:5	22 38:8,13,	trunk	
today	23 39:7 89:9	61:1,4	U
4:11,16,19,	110:2,19	truthful	
22 5:3 6:11	111:9,13,15	4:14,17	U.S.
7:4 8:4	131:16	try	136:4
11:12 12:10	140:21	4:24 5:8,15	unaware
21:20 158:7	treated	20:4	109:25
today's	96:19 126:23	trying	uncomfortable
6:1 14:24	127:2,6,10	51:18,19	24:5
25:25	129:20	86:16	underlying
tone	treating	turn	121:16
30:10	22:24 29:14,	7:20 9:10	understand
tool	16 50:21	10:5 18:1	4:10 5:3,7
30:8 39:15,	97:2 109:17	24:16 40:7	8:22,25 9:6,
22	140:1,21	42:14 44:15	14,17,19,25
tools	141:19	46:1,12	10:3,20,25
90:23	treatment	48:18 51:6	11:7,11,15,
top	19:15,17,21	63:25 64:25	18 26:1
26:21,23,25	20:12,15,19,	84:4,13 91:7	28:13 31:1
35:3,21,23	23 38:1,7	92:3 104:1	51:10 54:7
36:11,14	40:1 42:22,	106:3 117:16	55:17 92:23,
53:20 58:7,8	23 104:24	119:12 136:1	25 93:4,10,
59:10 77:11	105:4 107:9	137:2 139:7	17 97:3
79:2	108:18,21	140:5 142:8	100:3 108:9
touching	115:24	147:23	110:16 119:2
31:8	118:10	156:6,19	120:16
Trademark	142:22 143:4	TVT	133:16
11:23	144:3,11,18,	24:20,23	134:18
traditional	25 145:17,24	25:5	136:18
90:2	146:8,21	two	138:11,15
transcribe	147:9	25:1 26:7	139:22,25
4:23	treatments	40:23 135:9	145:14 149:4
transcript	21:24 24:11,	type	157:9
19:24	15 38:7 88:5	34:16 91:16	understanding
transition	treats	typed	9:9 38:20
91:20 98:2,	23:25	17:6	41:15 59:1
6,14 99:1	140:21,23	types	78:15 94:3
transitions	141:16	21:24 22:1,	96:14 100:9
97:15	148:15,19	21 25:13	109:2
transvaginal	Trial	26:2,10,13	110:20,25
25:11,14	11:14,23	28:7 33:4	156:13,15
treat	trick	typically	157:13,15
18:14 22:12,	51:20	27:14 36:6	understood
15 23:12,23	triggers	37:23 39:16	5:12 8:23
25:3,5,8	87:1,2	70:5 72:10	11:17 38:12
29:20 30:7	true	102:23	62:1 64:13
36:2 37:15,	32:4 39:14		96:15 97:8
			98:23 103:21

112:1 115:2	24 36:11,12,	121:18	132:12,19
118:23	14,16 37:2,	137:11,14	133:10 134:6
140:15	7,8,15 38:9,	138:20	135:10
144:25	14,24 40:18,	139:3,13,16,	138:23
unexpired	19 60:2	20 140:10,	139:18
55:11	61:17,18,19	15,20 141:5,	145:11,20
United	65:11 77:13,	23 142:2	146:11,25
11:14,22	15,17,21	148:5,6,16,	147:12,19
upper	78:10,11,13	20 153:1	valve
28:22,23	79:1,2,7,11,	vague	31:9
31:12 32:16	14,19 80:5,	13:7 14:10	vary
61:19	10,19 82:1,	18:21 19:2	44:10
urethra	7,11 90:6	23:24 27:22	Venable
24:25 71:1,	95:15 96:6	29:3,8,18,22	6:14 7:10,11
4,7,10,25	97:15 102:16	30:9 31:17	42:5
72:2,9,11	103:16,22	33:14 34:8,	verbal
74:10,13	129:12,16,19	13 35:20	4:23
75:5 134:2	131:14	36:4,19	versus
urethral	133:25	37:12,18,25	12:3 112:15,
154:11	134:10	38:10 39:8	18
urethroscopy	137:20 138:6	40:3 50:3	vestibule
72:8	141:20	57:5 61:9	91:15 96:19
urinary	142:23 143:5	68:4 69:19	98:13,16,25
90:3	145:5 151:5,	70:2,17	99:10
Urodynamics	6,23	71:24 72:12	view
81:13,21,22	vaginal	73:24 74:8	33:12,20
Urogenital	18:19,23	76:6,16	34:5,15
84:22 88:6	19:2,6,17	77:14 78:2	36:15 37:1
urogynecology	20:14 21:21	79:4,9 80:7,	77:11 78:7
89:24	23:9 24:21,	16,21 81:3	visualization
uterus	24 25:22	82:3 87:10,	26:9
30:11 69:11	26:2 27:9,10	17 91:3	visualize
	28:14 33:13,	92:24 93:8	31:12 32:16
	21 35:2,7,9,	94:1 95:25	36:13 39:13
	19,25 37:22	96:24 99:18	visually
	39:10,25	100:23	72:9
	40:6 41:1,8	101:4,24	Viveve
vagina	61:16 65:11	106:16,22	53:10
19:4 26:8,9,	66:7 67:15,	107:2 109:8	vulva
23,25 27:1,	24 68:8,13,	112:9,23	90:7 91:15
6,15,21	18,23 69:2,	113:10,14	96:19 98:13,
28:2,4,22,	5,6,9,13,14,	115:25	25 99:10
23,25 29:1,	17,18,25	116:13,18	121:18
15,16,20	70:1,8,9,15,	117:10	vulvovaginal
30:5,8 31:9,	16 76:12,20	118:12 119:9	43:2,5
11,12,16,20	81:5 82:13	124:4 125:20	
32:15,16	90:5,22	126:13,18,24	
34:4,6,16,24	91:14 102:3,	127:3,7,11,	
35:4,14,22,	7,8,23,25	16,25 128:23	

W	water	word	139:19
	113:18	42:24,25	140:19
wait	way	43:1,5 53:13	year
	13:18 60:3,4	87:4,6	63:20
wall	61:21 63:23	103:13	years
	26:23,25	110:1,15,25	20:5,9,25
27:1,9,11	64:20 111:7	111:5 119:23	21:5,6,9,13,
28:4 31:12	120:4 145:7	121:10 122:4	17
69:10 77:16,	146:5 147:21	134:22,25	yesterday
20,25 78:10,	wear	149:8 157:13	15:13
12,13,18	24:3 30:4	worded	young
79:2,8,12	website	60:4	32:7
91:14 133:25	58:10	words	Z
134:11	weight	40:24 41:7	
137:11,15	27:5	134:8	zero
138:20 139:3	weighted	working	65:1,2
141:4,5,16,	26:15 27:3,	42:4	119:15
23 142:1,2	4,7,17 28:6	works	Zimmerman
148:6,20	went	101:16 158:1	6:17 7:11
153:1	16:24 67:22	wrap	15:10,21
walls	wide	5:17 52:4	zone
80:14,15,19,	44:7	wrapped	91:20 106:9
20 81:1,2	width	52:17	108:18,22
141:21	44:11	write	109:1 121:15
148:16	withdraw	17:1 41:6,10	122:19
want	67:4	42:8,10 43:4	126:14
38:4,22,25	witness	67:7	133:18
39:6 41:22	4:1,4 7:12	writing	
52:24 57:18	52:3 63:14	17:2 67:5,7	
58:22 65:8	65:13 66:8,	written	
66:24 67:6,8	20 67:5	143:22	
70:22 75:13	72:17,19	wrong	
82:25 83:9	73:1,10	95:4	
84:9,16	74:20 77:2	Y	
89:16 110:18	78:20 85:24		
113:19	86:16 157:19	yeah	
114:14 116:6	158:8	7:8,9 21:8	
117:18 120:3	witness'	22:5 50:4	
137:5 145:6	70:24	52:3 57:17,	
155:23	woman	25 66:1 67:4	
157:23	69:11	82:12 83:2	
wanted	women	95:4 113:18	
34:5,15	18:7,12	114:1 117:19	
36:15 37:14,	22:3,9,12,15	119:2 120:12	
21 62:4	23:17 24:2	125:22	
83:11 107:13	25:6 30:4	134:14	
	32:14 39:9		
	102:7 103:8		


[Main Menu](#)
[Search](#)


The SEER Training Website is a training resource for oncology data specialists (ODS) and cancer registration trainees. It is not intended to provide medical advice or to guide clinical care, diagnosis, or treatment. NCI urges users to consult with a qualified physician for diagnosis and for answers to their personal medical questions.

[Section Menu](#)

Anatomical Terminology

Before we get into the following learning units, which will provide more detailed discussion of topics on different human body systems, it is necessary to learn some useful terms for describing body structure. Knowing these terms will make it much easier for us to understand the content of the following learning units. Three groups of terms are introduced here:

- [Directional Terms](#)
- [Planes of the Body](#)
- [Body Cavities](#)

Directional Terms

Directional terms describe the positions of structures relative to other structures or locations in the body.

Superior or **cranial** - toward the **head** end of the body; upper (example, the hand is part of the superior **extremity**).

Inferior or **caudal** - away from the head; lower (example, the **foot** is part of the inferior extremity).

Anterior or **ventral** - front (example, the kneecap is located on the anterior side of the leg).

Posterior or **dorsal** - back (example, the shoulder blades are located on the posterior side of the body).

Medial - toward the midline of the body (example, the middle toe is located at the medial side of the foot).

Lateral - away from the midline of the body (example, the little toe is located at the lateral side of the foot).

Proximal - toward or nearest the trunk or the point of **origin** of a part (example, the proximal end of the **femur** joins with the pelvic **bone**).

Distal - away from or farthest from the trunk or the point or origin of a part (example, the hand is located at the distal end of the forearm).


Planes of the Body

Coronal Plane (Frontal Plane) - A vertical plane running from side to side; divides the body or any of its parts into anterior and posterior portions.

Sagittal Plane (Lateral Plane) - A vertical plane running from front to back; divides the body or any of its parts into right and left sides.


Axial Plane (Transverse Plane) - A **horizontal** plane; divides the body or any of its parts into upper and lower parts.


Median plane - Sagittal plane through the midline of the body; divides the body or any of its parts into right and left halves.



Body Cavities

The cavities, or spaces, of the body contain the **internal** organs, or **viscera**. The two main cavities are called the ventral and dorsal cavities. The ventral is the larger **cavity** and is subdivided into two parts (thoracic and abdominopelvic cavities) by the diaphragm, a dome-shaped **respiratory muscle**.





Thoracic cavity

The upper ventral, thoracic, or chest cavity contains the [heart](#), lungs, [trachea](#), [esophagus](#), large [blood vessels](#), and nerves. The [thoracic cavity](#) is bound laterally by the ribs (covered by costal [pleura](#)) and the diaphragm caudally (covered by diaphragmatic [pleura](#)).

Abdominal and pelvic cavity

The lower part of the ventral (abdominopelvic) cavity can be further divided into two portions: [abdominal](#) portion and pelvic portion. The [abdominal cavity](#) contains most of the [gastrointestinal tract](#) as well as the kidneys and adrenal glands. The abdominal cavity is bound cranially by the diaphragm, laterally by the body wall, and caudally by the pelvic cavity.

The pelvic cavity contains most of the urogenital [system](#) as well as the [rectum](#). The pelvic cavity is bounded cranially by the abdominal cavity, dorsally by the [sacrum](#), and laterally by the [pelvis](#).

Dorsal cavity

The smaller of the two main cavities is called the [dorsal cavity](#). As its name implies, it contains organs lying more posterior in the body. The dorsal cavity, again, can be divided into two portions. The upper portion, or the [cranial cavity](#), houses the [brain](#), and the lower portion, or vertebral [canal](#) houses the [spinal cord](#).

Follow SEER



Contact Information

Contact SEER Training
NCI LiveHelp Online Chat

Site Links

Home
Citation
Help
SEER Home


Policies

Accessibility
Disclaimer
FOIA
HHS Vulnerability Disclosure
Privacy & Security
Reuse of Graphics and Text
Website Linking


U.S. Department of Health and Human Services | National Institutes of Health | National Cancer Institute | USA.gov


NIH... Turning Discovery Into Health®

Paramedian (sagittal) dissection




Median (sagittal) section






For urogenital diaphragm see **Plate 379**

Inferior view



Lateral view



F. Netter M.D.

See also Plates 263, 366, 370, 392

