

1 UNITED STATES PATENT AND TRADEMARK OFFICE

2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 - - -

4 LG ENERGY SOLUTION, :
LTD., :

5 :
Petitioner, :

6 -vs- : CASE NO. IPR2024-01013
: U.S. PATENT NO. 10,153,483

7 MOLECULAR REBAR DESIGN, :
LLC, :

8 :
Patent Owner. :

9

10 - - -

11 Deposition of YU ZHU, PhD, an expert witness herein,
12 taken by the Patent Owner as upon Cross-Examination and
13 pursuant to the Federal Rules of Civil Procedure and Notice
14 via Zoom videoconference on March 14, 2025 at 10:00 a.m.,
15 before Jennifer K. Starnner, RPR, a Notary Public within and
16 for the State of Ohio.

17 - - -

18

19

20

21

22

23

24

25

1 APPEARANCES:

2 On behalf of the Petitioner:

3 Hyun Jin In, Esquire
4 Fish & Richardson
5 1000 Maine Avenue SW
6 Suite 1000
7 Washington, D.C. 20024
8 202.783.5070
9 in@fr.com

10 On behalf of the Patent Owner:

11 Stephen Zinda, Esquire
12 Cabello Hall Zinda Law
13 801 Travis Street
14 Suite 1610
15 Houston, Texas 77002
16 832.631.9990
17 stephen@chzfirm.com

18

19

- - -

20

21

22

23

24

25

1 S T I P U L A T I O N S

1 It is stipulated by and between counsel for the
2
3 respective parties that the deposition of YU ZHU, PhD, an
4 expert witness herein, called as upon Cross-Examination by
5 the Patent Owner may be taken at this time and place
6 pursuant to the Federal Rules of Civil procedure and Notice
7 as to the time and place of taking said deposition; that the
8 deposition was recorded in stenotypy by the court reporter,
9 Jennifer K. Starner, RPR, and transcribed out of the
10 presence of the witness; and that said deposition is to be
11 submitted to the witness for his examination and signature,
12 and that signature may be affixed out of the presence of the
13 Notary Public.

14 - - -

1

I N D E X

2

WITNESS:

3

YU ZHU, PhD

4

Page

5

Cross-Examination By Mr. Zinda

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 YU ZHU, PhD,
2 of lawful age, as having been duly sworn, as hereinafter
3 certified, was examined and testified as follows:

4 CROSS-EXAMINATION

5 BY MR. ZINDA

6 Q Good morning, Dr. Zhu. How are you?

7 A Good morning. How are you?

8 Q I'm doing pretty well. Thank you for
9 asking. I first want to ask you have you ever been deposed
10 before?

11 A No.

12 Q Okay. So this is your first deposition.
13 So I just want to lay out some kind of -- I'll call them
14 ground rules. And your counsel can chime in if he disagrees
15 with anything I'm telling you. If I ask you a question and
16 you don't understand the question I'm asking, can you let me
17 know so that we -- I can clarify the question for you?

18 A Yes, I will.

19 Q And if I ask you a question and you
20 don't ask me to clarify it, I'm going to assume that you
21 understand it. Does that make sense?

22 A Yes.

23 Q During the deposition, I'm sure there
24 will probably be at least some objections from your counsel.
25 And he probably already told you this. You have to answer

1 the question unless he instructs you not to. Does that make
2 sense?

3 A Yes.

4 Q Are you under any sort of medication or
5 anything that would impair your ability to give truthful
6 testimony here today?

7 A No.

8 Q And I don't know how long the deposition
9 is going to last, but we will certainly take breaks. And
10 since you're the one being deposed, I'm open to taking
11 breaks whenever you would like. Typically -- since this is
12 your first deposition, typically breaks are, in my
13 experience, about 45 minutes to an hour or so. It's
14 typically when people want to take breaks, but I'm not
15 telling you you have to. I'm giving you a little bit of
16 guideline there since you've never been deposed. Does that
17 all make sense?

18 A Yes.

19 Q Okay. Great. So, Dr. Zhu, we're here
20 today -- you're here today to be deposed on the testimony
21 that you offered in the IPR challenging U.S. Patent
22 10,153,483; is that correct?

23 A Yes, that's correct.

24 Q And if I refer to that -- and I'm going
25 to refer to that patent as the '483 Patent; is that fair?

1 A That's fair.

2 Q And in your declaration, you offered
3 opinions on the validity of the claims of the '483 Patent?

4 MR. IN: Objection. Form.

5 A Can you repeat that question again?

6 Q Sure. In your declaration, you have
7 offered opinions on the validity of the claims of the '483
8 Patent?

9 A I offered a technical opinion on Patent
10 '483 in the declaration.

11 Q And as part of your opinions, did you
12 apply the language of the claims to the prior art?

13 A I studied the prior art and compared
14 with the prior art and Patent '483 and I provided my
15 evaluation.

16 Q And as part of that evaluation, did you
17 interpret the language of the claims of the patent?

18 MR. IN: Objection. Form.

19 A I tried to understand the language of
20 the '483 Patent and I studied the prior art and put my
21 understanding to arrive at my declaration.

22 Q Okay. Can I ask you, Dr. Zhu, to pull
23 out LGES-1001?

24 A So the patent?

25 Q Yes, sir.

1 A Yes.

2 Q That's the '483 Patent, correct?

3 A Yes, that's the '483 Patent.

4 Q If I could get you -- could you please
5 turn to the end of the patent to Claim 1?

6 A I am at the end of the patent.

7 Q And do you see Claim 1?

8 A Yes, I see Claim 1.

9 Q And the first phrase there at the
10 beginning of the claim says, "A composition useful for
11 lithium iron batteries comprising discrete, non-agglomerated
12 and exfoliated carbon nanotubes."

13 Did I read that properly?

14 A Yes.

15 Q What is a -- what is the meaning of
16 discrete carbon nanotubes?

17 MR. IN: Objection. Form.

18 A Yes. The discrete, non-agglomerated and
19 exfoliated carbon nanotubes are used quite often in the
20 literature. And also the use is quite common in the
21 community of nanotube research, battery research. So
22 oftentimes they are used interchangeably. I also gave some
23 descriptions in my declaration regarding the discrete,
24 non-agglomerated and exfoliated carbon nanotube. And I
25 believe from POSITA point of view, those are the words which

1 have similar meaning and can be considered as same status of
2 carbon nanotube.

3 Q Okay. But what is -- I'm looking for
4 your -- a clear definition of your -- what your
5 understanding of what -- what is a discrete carbon nanotube.
6 What is the definition -- well, let me strike that.

7 What is the definition of a discrete
8 carbon nanotube in your own words?

9 MR. IN: Objection to form.

10 A Well, I think we don't really need to
11 have a definition for this discrete because this is a common
12 understanding for people working with carbon nanotube and
13 they've referred to a carbon nanotube, that they have
14 non-agglomerated status, they could have exfoliated status
15 and it can be a uniformly distributed in the medium.

16 Q Can I get you to turn to LGES-1003,
17 which is your declaration on the '483 Patent.

18 A I opened my declaration.

19 Q Okay. Could you please turn to
20 paragraph -- or rather to Page 49.

21 A Page 49. I'm on Page 49.

22 Q If you go to Paragraph 83. Do you see
23 Paragraph 83?

24 A Yes, I see Paragraph 83.

25 Q And you said, "Indeed a POSITA would

1 have understood a carbon nanotube that is, as shown below,
2 fully isolated from each other to be discrete,
3 non-agglomerated and exfoliated."

4 Do you see that sentence?

5 A Yes, I see that sentence.

6 Q And so you're interpreting -- your
7 understanding of discrete is it has to be fully isolated
8 from another nanotube; is that fair?

9 MR. IN: Objection. Form.

10 A Did you say fully oxidized?

11 Q No. No. Sorry. Turning back to the
12 sentence where you say, "As shown below, fully isolated from
13 each other." And my question is, is your understanding of a
14 discrete carbon nanotube is that it is fully isolated from
15 another nanotube?

16 A My understanding is discrete and
17 non-agglomerated and exfoliated are similar words to use to
18 describe a very similar situation, which means that the
19 nanotubes are dispersed as individual tubes intermedium. In
20 this case, it could be a resin.

21 Q Okay. But I want to -- I'm asking about
22 the word fully isolated. So if you go to figure -- do you
23 see the annotated figure on Page 50 of your declaration?

24 A I see the figure on Page 50. Do you
25 refer to Figure 1B?

1 Q Correct. And do you see the -- you
2 added a red arrow. Do you see that?

3 A Yes. I see that.

4 Q And so you're identifying that nanotube
5 as discrete because it's fully isolated from the other
6 nanotubes?

7 A Yeah. I say it is discrete,
8 non-agglomerated and exfoliated carbon nanotubes.

9 Q Okay. Is it -- is it discrete because
10 it's fully isolated from the other nanotubes?

11 A From the figures, it was separated from
12 other nanotubes that was joining on the figure.

13 Q Okay. So I'm just trying -- I'm just --
14 you know, I'm not trying to play word games with you. I'm
15 just trying to understand how you're viewing the word
16 "discrete." And it appears to me that your understanding of
17 discrete is that the nanotube is separate from the other
18 nanotubes; is that fair?

19 MR. IN: Objection. Form.

20 A No. I already said this is actually a
21 common understanding for the POSITAs because when you use
22 the carbon nanotube in lithium battery electrode
23 preparation, nanotube act as conducting filler materials in
24 this composite. So this conducting filler material is
25 needed to have good, uniform distribution in the electrode.

1 Therefore, I think it's quite a general understanding for
2 the POSITA that all the conducting filler such as carbon
3 nanotube needed to be uniformly distributed and needs to be
4 non-agglomerated. And, therefore, all the descriptions like
5 discrete, non-agglomerated and exfoliated pointing to the
6 same status of the nanotube distribution in the electrode,
7 which means that they are uniformly distributed and they are
8 not aggregated and bundled in a specific location.

9 Q So is it your opinion that a discrete
10 nanotube has to be separate from other nanotubes?

11 MR. IN: Objection. Form.

12 A I do not think of discrete and
13 non-agglomerated and exfoliated as having a significant
14 difference in terms of describe the status of the nanotube
15 distribution in the context of Patent '483 and the use in
16 the carbon nanotube within a lithium iron battery electrode.

17 Q Okay. Well, that wasn't my question.
18 So I'm going to strike the response -- as nonresponsive. Or
19 object as nonresponsive.

20 My question is quite simple. Does a
21 discrete nanotube have to be separate from another nanotube?

22 A The discrete nanotube is a status of the
23 nanotube, which means that they are not aggregated, not
24 agglomerated and they are exfoliated as they -- they are
25 not -- they are not bundled to other carbon nanotubes. So

1 when the carbon nanotube was produced as a -- as a raw
2 material, they will grow under gas-based conditions and
3 gas-based grown carbon nanotube are bundled carbon nanotube.
4 So they are basically apparently carbon nanotube, like,
5 bundled to each other. So this is the status, I believe,
6 different from discrete, non-agglomerated and exfoliated
7 status in which there is no bundled carbon nanotubes. And
8 the nanotubes have been separated from each other and
9 forming a uniform distribution in the medium. So that's the
10 status of the discrete, non-agglomerated and exfoliated.
11 This is regarding to the status as grown carbon nanotube,
12 which is bundled, yeah, forming bundles.

13 **Q And so you testified that a discrete**
14 **nanotube is a non-bundled -- non-bundled -- or I'm sorry.**

15 **Your testimony was that discrete carbon**
16 **nanotubes are non-bundled nanotubes that are separate from**
17 **each other, correct?**

18 MR. IN: Objection. Form.

19 A Yeah. I think more accurately, the --
20 what I said in the declaration is discrete, non-agglomerated
21 and exfoliated nanotubes are nanotubes that are uniformly
22 distributed in the medium.

23 **Q Okay.**

24 A That's what I wrote in the -- in the
25 declaration.

1 Q Okay. And does that mean the nanotubes
2 have to be separate then from one another?

3 A From what I write over there, that just
4 means it's uniformly distributed.

5 Q Going back to LGES-1001, going back to
6 the Claim 1 again.

7 A Yes.

8 Q Do you see in the second line of Claim 1
9 it says "non-agglomerated"?

10 A Yes. I saw that one.

11 Q From the perspective of a person of
12 ordinary skill in the art, what is a non-agglomerated carbon
13 nanotube?

14 A That means it was uniformly distributed
15 in the medium, yeah, as compared with the initial status of
16 the carbon nanotube.

17 Q And if you turn back to Claim 1, if you
18 look at the next two words, it says "and exfoliated." Do
19 you see that?

20 A Yes.

21 Q From the perspective of a person with
22 ordinary skill in the art, what is an exfoliated carbon
23 nanotube?

24 A That's what I mentioned. Its initial
25 status of the carbon nanotube as grown nanotube. They are

1 bundled to the carbon nanotube. So when they are uniformly
2 distributed, which means they do not form any bundled
3 structure by themselves, and they can be considered as
4 exfoliated carbon nanotube.

5 Q Is it your -- is it your testimony that
6 the words -- that the words discrete, non-agglomerated and
7 exfoliated in Claim 1 have the same meaning?

8 MR. IN: Objection. Form.

9 MR. ZINDA: Let me strike the question.

10 Q Is it your testimony that a person of
11 ordinary skill in the art would understand the words
12 discrete carbon nanotubes, non-agglomerated carbon nanotubes
13 and exfoliated carbon nanotubes to have the same meaning?

14 A I think it's not only my thoughts. It's
15 actually the thoughts from the people in the community.
16 It's the thoughts of the POSITA who have been working on
17 carbon nanotube and batteries, has experience with
18 electrochemistry and carbon fillers. They understand the
19 meaning of a uniformly distributed carbon fillers in the
20 electrode materials distributed in lithium iron batteries.
21 So they understand the meaning of discrete, the meaning of
22 non-agglomerated and the meaning of exfoliated carbon
23 nanotube. They represent a status that is desirable of
24 lithium iron battery in actual fabrication.

25 Q If I could get you to turn to Claim 8 of

1 the '483 Patent.

2 A Claim 8 of '483.

3 Q Correct. And then if you -- if you --
4 you see it goes over to the next column, Column 8?

5 A Yes.

6 Q Do you see there's a phrase in the
7 middle of that -- kind of in the middle of the top of Column
8 8. It says, "Wherein, the carbon nanotubes are uniformly
9 dispersed without clustering on a dimension scale of less
10 than about 1 micrometer."

11 Do you see that?

12 A Yes, I see that.

13 Q As a person of ordinary skill in the
14 art, what is your understanding of the meaning of that
15 phrase?

16 A My understanding is -- and also the
17 POSITA's understanding is that is a description of a status
18 that the nanotube is not bundled. So they have significant
19 distance between each other.

20 Q Is it -- is it your -- would a person of
21 ordinary skill in the art understand that phrase to mean
22 that the discrete nanotubes have to be at least 1 micrometer
23 apart?

24 A From the POSITA's point of view, if they
25 have significant distance from each other it can be regarded

1 as dispersed. And oftentimes it does have significant
2 distance as compared with the length of -- of the carbon
3 nanotube, which is in the range of the microbes. So it is
4 quite common for the POSITAs to consider that dispersed
5 carbon nanotube actually have a distance of around 1
6 micrometer.

7 Q And then if you go down to -- well, let
8 me go back to that same phrase where it says -- we just
9 talked about it. Claim 8 says, "Wherein the carbon
10 nanotubes are uniformly dispersed without clustering."

11 My question to you is from the
12 perspective of a person of ordinary skill in the art, what
13 does uniformly dispersed mean?

14 A Uniformly dispersed means there is no
15 aggregated status, particularly as compared with initial
16 status of as grown carbon nanotubes. Those nanotube are
17 bundled. So the uniform distribution means that the
18 nanotube does not form any initial bundled structure.
19 Instead they will disperse in the medium with certain
20 distance between nanotube rather than bundled each other as
21 the initial carbon nanotubes. That's the opinion of the
22 POSITA, yeah.

23 Q And the claim states that that distance
24 is about 1 micrometer, correct?

25 A That distance, about 1 micrometer for

1 you to a range that can be considered that carbon nanotube
2 are not bundled.

3 Q So, Dr. Zhu, in your declaration you
4 offered testimony on two prior art references. One prior
5 reference is referred to as Ohata. Do you remember that?

6 A Yes, I remember.

7 Q And do you have Ohata handy? That's
8 LEGS-1004.

9 A Let me open it. Yes.

10 Q And then there was another reference
11 that you provided testimony on called Kavan. Do you
12 remember that?

13 A Yes, I remember that.

14 Q And Kavan is LGES-1005. If you could
15 open that up as well.

16 A Yes, I opened it.

17 Q I'm going to try to minimize going back
18 and forth between the documents if I can. Sometimes it's a
19 little hard, but let's start with your declaration. If you
20 could go to LGES-1003, Paragraph 29. Or actually let me
21 give you the page. I think that would be better.

22 A Do we need to go to the declaration?

23 Q Declaration, yes, sir. LGES-1003. And
24 that's Page 19.

25 A I'm on Page 19.

1 Q Okay. Paragraph 28.

2 A 28. Yes, I saw that paragraph.

3 Q And it says -- the first sentence says,
4 "Kavan describes performing oxidative 'functionalization' on
5 the MWNTs to produce MWNT-ox." Did I read that correctly?

6 A Yes.

7 Q And MWNT, is that an abbreviation for
8 multi-walled carbon nanotube?

9 A Yes.

10 Q And MWNT, that's an abbreviation for an
11 oxidized multi-walled carbon nanotube?

12 A MWNT-ox means oxidized carbon nanotubes.

13 Q Does oxidizing a carbon nanotube result
14 in the carbon nanotube getting shorter?

15 MR. IN: Objection to form.

16 A It is possible to have shorter carbon
17 nanotubes after oxidation.

18 Q And can you explain how the carbon
19 nanotubes become shorter when it's exposed to oxidation?

20 A So when oxidation reaction happens, the
21 oxidant chemicals such as the nitric acid and other oxidant
22 reagent would react with the carbon atoms on the surface of
23 the carbon nanotube. And during this reaction, some of the
24 carbon atoms on the carbon nanotube would be oxidized and
25 form oxygen-containing functional group. If oxidation level

1 is very high and this can cause the circular oxidation of
2 carbon atoms on the carbon nanotube, which can potentially
3 result in a shorter of the carbon nanotube. However, this
4 is really depending on the condition of oxidation. And
5 depending on the oxidation reaction conditions, the nanotube
6 can be shortened. It can also be comparable length as the
7 original carbon nanotube. So this is not a certain answer
8 in this case whether it will be always get shortened by
9 oxidation reaction.

10 Q And you mentioned that this shortening
11 of a carbon nanotube can occur if the oxidation level was
12 high; is that correct?

13 A In principal, with more oxidation the
14 chance of getting shorter carbon nanotubes is higher.

15 Q Is the nanotube always going to get
16 shorter when it's oxidized or is it depending on the degree
17 of oxidation?

18 A As I just said, it is not always getting
19 shortened. So if the oxidation happened on the surface and
20 if the oxidation happened across the length of the carbon
21 nanotube, it does not necessarily result in the shortening
22 of the carbon nanotube. But if the reaction happens in a
23 circular direction, it probably can shorten the carbon
24 nanotube.

25 Q If you go back to Paragraph 28 of your

1 declaration, do you still have that open? Do you see that
2 final sentence of Paragraph 28?

3 A Paragraph 28 on Page 19 in my
4 declaration, right?

5 Q Right.

6 A So which sentence you want me to look?

7 Q I can just read it to just put it on the
8 record. It says, "Moreover, the amount of atomic oxygen
9 present on the MWNT-ox surfaces was also analyzed by X-ray
10 photoelectron spectroscopy and gave a value of 7%."

11 Did I read that correctly?

12 A Yes, you read that correctly.

13 Q Would you expect there to be a
14 shortening of a carbon nanotube that is oxidized with -- at
15 a value of 7 percent?

16 A That's -- that's what I -- that's what I
17 just said. It's really depending on how the oxidation
18 condition is controlled. The percentage of oxygen with
19 atomic percentage does not necessarily result in a definite
20 shortening of the carbon nanotubes because the oxidation
21 functional group can be along the longitude and direction of
22 the carbon nanotube. It could be across the circumference
23 direction of the carbon nanotube. So it's not necessary to
24 be definitive condition that will result the shorten of the
25 carbon nanotube at this level.

1 Q Can oxidizing carbon nanotubes have a
2 negative effect on the intrinsic properties of the
3 nanotubes?

4 MR. IN: Objection. Form.

5 A This is depending on how do you define
6 what is intrinsic property of the carbon nanotube. The
7 oxidation will certainly change the chemical structure of
8 carbon nanotube. And typically the oxidation will attach
9 oxygen-containing functional group on the surface, on the
10 end of the carbon nanotube. And those functional group --
11 oxygen-containing functional group will change some of the
12 property of carbon nanotube. So it's depending on what
13 intrinsic property you are referring to that -- that -- that
14 property can be changed either in a -- in a good way or in a
15 bad way depending on how you use those properties as well.

16 Q If you're relying on the carbon
17 nanotubes to increase electro conductivity through an
18 electrode, does oxidation have -- can oxidation have a
19 negative effect on that property?

20 MR. IN: Objection. Form.

21 A So the impact would be quite
22 complicated. So certainly there are some impacts on the
23 intrinsic property of the carbon nanotube. And once you put
24 oxygen functional group on the carbon nanotube, the
25 intrinsic conductivity of the carbon nanotube will be

1 decreased. However, if you consider the carbon nanotube
2 used in lithium iron battery electrode, it's not solely
3 depending on the intrinsic conductivity of carbon nanotube.
4 It also depending largely on how the carbon nanotube was
5 distributed in the electrode materials, which contain both
6 active materials, contain part of the binder materials as
7 well as carbon fillers such as carbon nanotube. So the
8 conducting network can be viewed better if the dispersion is
9 better. So this will result in a better conductivity in
10 terms of the electrode as a whole. So this really depending
11 on how nanotube and electrode materials will be used
12 together in the electrode.

13 So, as I said, oxidation can have some
14 impact on the intrinsic conductivity of nanotube, which
15 leads to lower conductivity as compared with the pristine
16 carbon nanotube. However, generally, oxidized carbon
17 nanotube leads to a better dispersion in the medium, which
18 counted for a better conducting network viewed up in the
19 lithium iron battery electrode. In this case, the
20 conductivity of the electrode as a whole will be actually
21 better if you do not have good dispersion of carbon nanotube
22 in the electrode. I think this is actually very well
23 explored even by that time for all the battery industry and
24 battery researchers and POSITA have a well understanding of
25 what is the importance of dis -- well distributed carbon

1 fillers in the electrode versus how good the intrinsic
2 conductivity of the filler is and their impact on the
3 electrode formation as a whole.

4 Q So, Dr. Zhu, I want to ask you about --
5 do you see Paragraph 29 of your declaration?

6 A Yes.

7 Q And the first sentence it says, "In
8 Kavan, oxidized nanotubes were mixed with a powder material
9 (titanium dioxide, lithium manganese phosphate or lithium
10 iron phosphate) as were non-functionalized nanotubes as a
11 control." Do you see that?

12 A Yes.

13 Q I want to ask -- do you see that you
14 cite Page 2 of Kavan?

15 A Kavan, Page 2. Yes, I'm on Page 2 of
16 Kavan paper.

17 Q Okay. And if you go to the second
18 paragraph it starts with, "Nanotubes were dispersed." Do
19 you see that?

20 A Second paragraph?

21 Q Yes, sir.

22 A The surface of oxidation carbon nanotube
23 starting from this one.

24 Q We're on the same page? You're on
25 Page 2 of LGES-1005?

1 A Yes.

2 Q And you see the second paragraph that
3 says, "Nanotubes were dispersed in water." Do you see that?

4 A So you are talking about Kavan?

5 Q Kavan, yes, sir.

6 A Kavan's literature, Page 2?

7 Q Right.

8 A The second paragraph?

9 Q Yes.

10 A My document is, "The surface oxidation
11 of carbon nanotubes by nitric acid represented levels."
12 That's the sentence on the second paragraph. I just wanted
13 to make sure we're on the same document.

14 Q Oh, no, no. I'm sorry. I should be
15 more clear. The right column. The second paragraph on the
16 right column. Sorry. My mistake. I didn't --

17 A Right column. So you're saying,
18 "Nanotubes were dispersed in water"?

19 Q Yes, sir. Second sentence.

20 A Okay. So which sentence you want me to
21 go --

22 Q Let's focus on the first sentence. It
23 says, "Nanotubes were dispersed in water under short
24 sonication in an ultrasonic bath."

25 Did I read that correctly?

1 A Yes.

2 Q What is sonication?

3 A Sonication is a use of a -- is the using
4 of sound wave, basically the mechanical vibration of the tip
5 to vibrate the materials, which is a common technique to
6 disperse aggregated materials, powder materials in solution.

7 Q And what is the purpose of sonicating
8 the carbon nanotubes in Kavan?

9 A So in this -- in this sentence Kavan is
10 carrying out experiment to disperse a solid powder,
11 nanotubes into -- into a solution. So this is to disperse
12 nanotubes in water in this case.

13 Q And then in the next sentence it says,
14 "To this solution of powder material (titanium dioxide,
15 lithium manganese phosphate or lithium iron phosphate) was
16 added (in a proportion of 1-10 wt% of nanotubes in the
17 mixture) and stirred overnight. The suspension was
18 subsequently evaporated to dryness."

19 Do you see that?

20 A Yes.

21 Q So the first step is dispersing the
22 nanotube under sonication of water; and then the second step
23 is further mixing the active material with the nanotubes,
24 correct?

25 A Yes.

1 Q And why are the -- why the -- why is the
2 dispersion of the nanotubes in water mixed with the active
3 material?

4 MR. IN: Objection. Form.

5 A I do not fully understand your question.
6 So you're asking why they put these two together? Is that
7 your question?

8 Q Yes. Why are they mixing the powder
9 material with the nanotubes that are dispersed in water?

10 A I think you needed active materials in
11 nanotube to be dispersed uniformly in the slurry and the
12 slurry will be used to cast electrode and, therefore, they
13 needed to put together uniformly. That's why they carry out
14 this experimental step to prepare the slurry, which is the
15 active materials and the carbon nanotube dispersed in water
16 for casting electrode.

17 Q And when the nanotubes are -- when the
18 nanotubes, water and powder material were mixed together,
19 does -- does the active material anchor to the nanotubes?

20 A Well, in this case, it will rely on what
21 is the surface of the carbon nanotube and the materials
22 particles. So in this case, because the nanotube used in
23 this process is already oxidized, so the nanotube mentioned
24 in this step has been oxidized before. And at the surface
25 of those nanotube and end of the nanotube, there are more

1 oxygen-containing functional groups such as carboxylic acid
2 group and hydroxy group. And those functional groups will
3 provide hydrophilicity of the carbon nanotube. That is why
4 this carbon nanotube can be very well dispersed in the
5 water, which is certainly a hydrophilic medium. And then
6 the powder materials of titanium dioxide, lithium manganese
7 phosphate and lithium iron phosphate, were dissolved -- were
8 not dissolved -- were dispersed in such a medium which
9 already have a uniform distribution of carbon nanotube in
10 the water. And this will help the interaction of carbon
11 nanotube and dispersed active materials, particles. So
12 there are a number of possibilities to forming strong
13 interaction between the surface of carbon nanotube, which
14 have different oxygen-containing functional group, and the
15 surface atoms of those active materials, which contain
16 positive charged nano ions and negatively charged oxygen
17 ions. Yeah.

18 **Q How does the surface of the -- how does**
19 **the surface of the oxidized carbon nanotubes attach to the**
20 **active material in Kavan?**

21 **A** So in Kavan's description, I mentioned
22 Kavan has mentioned the surface of carbon nanotube contain
23 at least carboxylic acid functional group, which has been
24 identified by the titration experiment. And those
25 carboxylic acid functional group, it's a connective charged

1 functional group. So they will have some interaction with
2 positive charged functional -- a positive charged ions,
3 right. So the negative charged carboxylic acid group and
4 the positive charged ions can be associated with ionic bond.
5 So the bond forming -- formed in this process can be
6 potentially an ionic carbon due to the charging status
7 between -- between the two materials. One is oxidized
8 carbon nanotube. The other is a different type of active
9 material, powder.

10 Q Does -- would a -- do unoxidized carbon
11 nanotubes have Van der Waals forces attraction with active
12 material like -- well, let me strike that.

13 Would the unoxidized carbon nanotube
14 have Van der Waals force of interaction with the titanium
15 dioxide, for example?

16 A Well, the non-oxidized carbon nanotubes,
17 the major property is you have also interaction force
18 between the nanotube itself. So they are competing with the
19 conduction force between the nanotube and the other active
20 materials. As I mentioned, the non-oxidized carbon
21 nanotube, they tended to aggregate if you do not have a good
22 way to disperse them. And the aggregation of the nanotube
23 is due to the pi pi interaction on the outside wall of the
24 carbon nanotube, which is a force between the Kavan bond and
25 the Van der Waals force. And this interaction happens when

1 the two tubes are very close to each other. So if the tube
2 are bundled to each other, then there will be no chance
3 for -- or very little chance for external active
4 materials/particles like a titanium oxide, a lithium iron
5 phosphate, particles to interact with the surface of the
6 carbon nanotube. So, therefore, the Van der Waals force
7 probably will not be competing with the interaction force of
8 the nanotube itself. And that is why the oxidized carbon
9 nanotube, which disrupted the pi pi stacking force of the
10 nanotube, will intrinsically have better interaction with
11 the oxide particles including iron phosphate, including
12 titanium dioxide and so forth.

13 Q Okay. So I want to make sure I
14 understand what you're saying. I think I do, but let me --
15 I'm going to try to explain my understanding of what you
16 said. And you can correct me if I'm wrong.

17 So basically what you're saying is that
18 when you oxidize the carbon nanotubes in Kavan and then you
19 mix it with the active material, the functional groups allow
20 you to have interaction between the active material and the
21 carbon nanotubes; is that fair?

22 A The functional group on the carbon
23 nanotube -- on the oxidized carbon nanotube will be
24 beneficial for forming strong interaction between carbon
25 nanotube surface and active material particles.

1 Q Okay. But if you have -- if you don't
2 have an oxidized -- strike that.

3 If the carbon nanotubes are not
4 oxidized, then you're saying the active material wouldn't
5 really attach to it because of the competing forces?

6 A The attachment -- I would say the
7 attachment would be much weaker as compared with the one
8 have oxidative functional group.

9 Q Okay. I understand. So I want to go to
10 the other -- the rest of the process described -- or the
11 steps described in that paragraph of Kavan. So when I say
12 "that paragraph," I'm referring to the paragraph we're
13 talking about where it begins -- in the middle there it
14 says, "The electrodes were prepared as follows." Do you see
15 that?

16 A In the same paragraph?

17 Q Yes, sir.

18 A Carbon nanotube -- I did not see -- oh,
19 "The electrodes were prepared as follows." This is what
20 you're referring to?

21 Q Right.

22 A Yes.

23 Q So it says in full, "The electrodes were
24 prepared as follows: Powder precursor material, either pure
25 or carbon-containing (see above) was mixed with 5wt% of

1 polyvinylidene fluoride (PVDF) dissolved in
2 N-methyl-2-pyrrolidone."

3 Do you see that?

4 A Yes.

5 Q Okay. So first off, what is PVDF?

6 A PVDF is a particle -- a fluorinated
7 particle which is commonly used as a binder for the casting
8 materials. It's polyvinylidene fluoride, yeah. It's a
9 several plastic polymer. It's a vinylidene polymer with a
10 fluorine group on the side chain.

11 Q And when you use the word "binder," what
12 is a binder in an electrode?

13 A The binder in the electrode is a
14 polymer, which you have as an active material, which is a
15 powder to be attached on the current connector, which is
16 usually an aluminum current collector for cathode and a
17 copper aluminum collector -- a copper collective for the
18 anode. And if you only have a powder active material so
19 they don't attach on those current collector very well, so
20 you needed to have something which function as a -- like a
21 glue and to help those active materials be attached on the
22 metal collector.

23 Q Is PVDF a resin?

24 A Yes. PVDF can be produced as a resin.

25 Q And what is a -- for laypeople like me,

1 **what is a resin?**

2 A It's just a technical term. So the PVDF
3 is a polymer and a polymer can be prepared in different
4 formats. So when we talk about a resilient polymer
5 industry, they are referring those bead-like particles of
6 polymer product. So you can basically use it for a lot of
7 processing tubes like extrusion, ejection molding. So they
8 always use something like a bead-shaped starting material.
9 And it will be typically softened by raising the temperature
10 and using shear force to mix with another component.

11 Q **So you said -- you said you increased**
12 **the temperature to soften it. Is that because it's a**
13 **viscous -- or I guess it's a solid or semisolid material**
14 **that you have to make viscous. Is that why you heat it?**

15 A So the PVDF is somewhat passed as
16 polymer. So when you heat it, the interaction between the
17 PVDF or polymer molecular will become weaker. And then the
18 polymer molecular started to flow and, therefore, the
19 materials become a little bit soft from the appearance. And
20 in this case, you can deform it and you can shear it, yeah.

21 Q **And you mentioned before -- I think the**
22 **word you used was it acts like a glue; is that fair?**

23 A Yes. The PVDF is used as a binder
24 material in lithium iron battery electrode and their big
25 function is a glue. It's like a glue to adhere all the

1 materials together to the current collector.

2 Q Okay. And then that same sentence that
3 we're talking about that mentions PVDF also states -- it
4 also mentions N-methyl-2-pyrrolidone. Have you ever
5 heard -- that's -- that's -- is that commonly referred to as
6 NMP, correct?

7 A Yes, you are right.

8 Q What is the purpose of NMP?

9 A NMP is a solid which is used to cast
10 electrode slurry. So the electrode was prepared by
11 preparing the slurry first. The slurry means your solid
12 content was not completely dissolved in the solvent. And
13 the solvent in this case can be different materials. The
14 common one used on the cathode is NMP and it's a poly
15 solvent, but it's organic solvent. And it is actually one
16 of the few solvent that can dissolve PVDF very well. And
17 that is why it was chosen as a solvent to prepare the
18 cathode material slurry. And in this case, polyvinylidene
19 fluoride will be completely dissolved in NMP once they were
20 mixed together. So the polymer PVDF was completely
21 dissolved in NMP and they formed -- pretty much they
22 themselves formed a solution. So you can have atom
23 materials like nanotube, active materials. Those are the
24 materials which will not be dissolved by NMP. Therefore,
25 you will have something like a slurry. And the slurry has a

1 solution base, which including the dissolved PVDF in NMP,
2 and a solid base, which it has common fillers such as carbon
3 nanotube, and the active material, which are powder
4 materials.

5 So once you have this slurry, you can
6 actually cast a carbon collector -- or an aluminum collector
7 in this case for the cathode. And once the film was
8 casted -- it's a white film. So then you needed to
9 evaporate all the solvent, which is NMP. So once the NMP
10 was completely evaporated -- and the PVDF itself will not be
11 evaporated. It will stay -- it will come back to -- to the
12 solid status. And in this case, the PVDF basically mixed
13 uniformly with carbon filler and active materials. So they
14 were very tightly binded to each other and binded onto to
15 the current collector which is aluminum. This is how they
16 prepare coated electrode, yeah.

17 Q Okay. And then Kavan, the first step is
18 mixing the nanotubes with water, correct?

19 A Yes.

20 Q Okay. And the mixing of PVDF doesn't
21 occur until after you've already mixed the active material
22 with the oxidized nanotubes, correct?

23 A You are right.

24 Q If you were to mix the nanotubes with
25 the PVDF in the first step instead of water, would that --

1 that would prevent the functional groups of the nanotubes
2 from attaching to the active material, wouldn't it?

3 A Can you say that again when you first --

4 Q Sure. Sorry. That's a poorly phrased
5 question. So, I mean, the nanotubes are dispersed in water
6 first, correct?

7 A Yes.

8 Q But if you were to disperse the
9 nanotubes in the PVDF, because it's a glue-like substance
10 that would prevent the functional groups of the carbon
11 nanotubes from attaching to the active material; is that
12 correct?

13 A Well, the dispersion steps can be -- can
14 be quite -- can be different from -- different from
15 different people. So the carbon nanotubes can be dispersed
16 in water very well, which usually require the carbon
17 nanotube have good hydrophilicity. And then you can
18 disperse carbon nanotube directly in water, which is
19 dispersed carbon nanotube in medium and forming uniform
20 distribution of carbon nanotube in that medium. And,
21 therefore, you can take that -- take that step.

22 And you can also disperse carbon
23 nanotube in other medium other than water, right. So if you
24 can find a way that carbon nanotube can be very well
25 dispersed in another medium -- for example, you can see the

1 PDVF as the medium. And this is a large portion in the
2 mixture. And carbon nanotube is the one you would like to
3 distribute in such a medium, right. So in this case
4 because -- because your metrics of PVDF is a solid and your
5 nanotube is also a solid, so it's a solid dispersed in a
6 solid. So in this case, you really needed to have a shear
7 force to help one solid material to be widely -- to be
8 uniformly distributed in another medium. That is why if you
9 directly combine nanotube and the PVDF, then you needed to
10 use the shear force to make sure this too was -- this too
11 was uniform mixed and nanotube was uniformly distributed in
12 PVDF, yeah.

13 So the processes will be slightly
14 different, but the purpose is the same. The purpose is to
15 achieve a uniform distribution of carbon nanotube in medium.
16 And then in the next step, if you want to mix with some
17 active materials, you can mix the active materials with that
18 medium which already have uniform distributed carbon
19 nanotube. The overall purpose for all those processes --
20 different people may use different processes, but the
21 overall purpose of all these procedures is to making sure
22 that the carbon nanotube can be uniformly distributed at the
23 end in the -- in the final mixture that you use to cast the
24 electrode, which can be active materials and carbon fillers,
25 binders and a solvent.

1 Q Okay. But in Kavan doesn't he teach
2 that another purpose other than just uniform dispersion is
3 to have a better attachment between the active material and
4 the CNTs?

5 A Yeah. I think this is quite common
6 because, as I just mentioned, you can have different
7 approach to achieve the initial pre-dispersion of carbon
8 nanotube in your medium. And this is actually well
9 established understanding for all the battery researchers,
10 for all the nanotube dispersion work. So you can choose
11 different medium. In Kavan's case, he choose to use water
12 to pre-disperse all those carbon nanotubes. So you have
13 carbon nanotubes distributed in water very well. And then
14 you start to mix with the casting solvent, which is NMP, as
15 well as the active materials, the titanium dioxide, the
16 lithium manganese phosphate, lithium iron phosphate and so
17 forth, right. And in another case in Ohata, he choose to
18 mix nanotube directly with PVDF and get a uniform
19 distribution of the carbon nanotube within PVDF. And he
20 didn't use water because his carbon nanotube is not
21 hydrophilic. It's not an oxidized carbon nanotube. So in
22 his case, he used the carbon nanotube mixed with PVDF, which
23 is also a medium, and he achieved a uniform distribution of
24 the carbon nanotube in a -- in a PVDF and everything. And
25 then the PVDF resin, which has uniform distributed carbon

1 nanotube, was then mixed with active materials as well as
2 the dispersion medium, which is a solvent, NMP, and achieve
3 eventually the same status. When they casted the
4 materials -- when they casted the materials and slurry on
5 the electrode, the material is casted to pretty much the
6 same.

7 Q Okay. But in Kavan, he uses water in
8 his first step, correct, under sonication?

9 A Kavan used water to disperse, yes.

10 Q If you were to put those nanotubes of
11 Kavan in -- the oxidized nanotubes of Kavan into, like, the
12 PVDF, right, that would cover -- that would cover the
13 nanotubes in resin. And then how the -- the functional
14 groups of the nanotubes couldn't then attach to the active
15 material, could they?

16 MR. IN: Objection. Form.

17 A So this is a -- it should be
18 understanding this way. So in Kavan's -- in Kavan's case,
19 the nanotube is already exfoliated and dispersed after they
20 were treated with acid. And once they collect solid powders
21 of those oxidized carbon nanotubes, those oxidized carbon
22 nanotubes are not really a bundled carbon nanotube as
23 compared with the pristine, non-oxidized carbon nanotube,
24 which are typically bundled. So they can be mixed in within
25 a resin such as PVDF. And you can also shear them using a

1 shear force to shear them and achieve some dispersion.

2 In my opinion, in the POSITA's opinion,
3 it will be easier to achieve a better distribution as
4 compared with the bundled carbon nanotube. So he can also
5 choose water as a medium to distribute the oxidized
6 Nanotube, which is what he did in his paper since the
7 nanotube can even be directly dispersed in water. So he
8 just choose water as a different dispersed medium. But at
9 the end they were all dissolved in -- they were all
10 dispersed in NMP. They were all dispersed -- put together
11 with active materials. So the end of status, whichever
12 approach you take, will be the same because even you use the
13 resin approach, the resin you use is PVDF. It's actually
14 the same type of the binder materials you used for -- in
15 Kavan's case when they mixed together with NMP solvent
16 system, yeah.

17 Q And the NMP, that helps disperse the
18 PVDF throughout the mixture?

19 A Yes. NMP can dissolve PVDF forming
20 solution.

21 Q I think you used the word "matrix"
22 before. What is a PVDF matrix?

23 MR. IN: Objection. Form.

24 A I -- I think what I mean in the -- in
25 describing the PVDF is I describe a PVDF as a binder

1 material in the -- in the electrode. And at the end of the
2 binder -- the binder molecular so it will bind all the
3 active particles and carbon fillers in forming good
4 adaptation to the current collector.

5 Q Okay. But when you -- when -- I just
6 want to be clear, though, that when you use the word
7 "dissolve" -- and if we go back to -- let me strike that.

8 Let me go back to that sentence. It
9 says, "5wt% of PVDF was dissolved in NMP."

10 A Yes.

11 Q That doesn't mean that the PVDF is
12 removed from the mixture, correct?

13 A No. It's not -- it doesn't mean the
14 PVDF was removed from -- from one mixture. So which mixture
15 are you referring to?

16 Q Well, it says, "The electrodes were
17 prepared as follows: Powder precursor material, either pure
18 carbon-containing (see above) was mixed with 5wt% of PVDF
19 dissolved in NMP."

20 Do you see that?

21 A Yes. So no, it was not removed from the
22 mixture. It was still inside the mixture. The mixture
23 containing all the carbon nanotubes -- containing all the
24 active materials -- active materials, powder and PVDF as
25 well as NMPs. So there are four components in this mixture.

1 MR. IN: Counsel, we've been going for
2 an hour now. Would this be a good time for a
3 quick break?

4 MR. ZINDA: Yeah. How about ten
5 minutes, does that work for you?

6 MR. IN: Yeah.

7 (Whereupon, a brief recess was taken.)

8 Q Dr. Zhu, I want to turn -- so we last
9 ended our discussion -- we talked a lot about the Kavan
10 reference. I would like to turn now and talk about the
11 Ohata reference. But before I have you pull that up, can I
12 get you to turn to Page 16 of your declaration which is
13 LGES-1003?

14 A Page 16 of my declaration?

15 Q Yes, sir.

16 A Yes, I am on that page.

17 Q Okay. So do you see that the first
18 sentence says, "Ohata prepares" -- I'm sorry. The first
19 sentence of Paragraph 24 says, "Ohata prepares its
20 composites by first producing a masterbatch by blending at
21 least carbon nanotubes with a resin such as a fluorocarbon
22 resin, e.g., PVDF."

23 Do you see that?

24 A Yes, I see that.

25 Q What is the purpose of the masterbatch

1 in Ohata?

2 A So the masterbatch is basically a
3 precursor, which outputs the dispersion of minor component,
4 in this case carbon nanotubes. So in Ohata's approach, it's
5 mixing carbon nanotubes and a resin, which is a PVDF. So
6 they first achieve uniform distribution of carbon nanotube
7 in a resin, which is the -- which is a PVDF. And then they
8 use this -- which is called a masterbatch -- to prepare the
9 final slurry for coating. And the next steps that will be
10 taking this masterbatch to mix with active materials and
11 solvent.

12 Q Okay. And so the purpose of the
13 masterbatch in Ohata is to unravel and straighten the carbon
14 nanotubes?

15 A Yes, to disperse carbon nanotubes.

16 Q Is there any other purpose for the
17 masterbatch other than the dispersion?

18 A Masterbatch can have many, many
19 advantages. Here certainly I would consider one of the very
20 important reasons to use masterbatch is to disperse nanotube
21 in a resin, which will later on achieve better dispersion in
22 the final slurry including active materials and solvent.

23 Q Okay. But in -- you said there could be
24 other uses for a masterbatch. But in Ohata, is it your
25 opinion that the only use is to unravel and straighten the

1 **nanotubes?**

2 A I wouldn't say only. I would say that
3 one of the important purposes is to disperse nanotube in the
4 resin.

5 Q **What are the other purposes that you're**
6 **aware of?**

7 A Other purpose I'm aware of is based on
8 the general industrial procedure. So if you have a
9 masterbatch, it will be much easier to scale up. So you can
10 use this masterbatch to mix with different active materials.
11 For example, in this batch is an iron phosphate. In other
12 batch I want to cast an ion material. And I could cast
13 another carbon materials other than lithium iron phosphate.
14 But regardless of which active materials I incorporate into
15 the electrode slurry, I always can use the same masterbatch
16 I prepared. So this is typically the purpose to prepare a
17 masterbatch, yeah, for other than the dispersion reason I
18 mentioned.

19 Q **Okay. Any other reason that you would**
20 **use a masterbatch in Ohata?**

21 A I think the major reason I already
22 mentioned is to disperse nanotube.

23 Q **Okay. If I could get you to go to**
24 **LGES-1004, which is the Ohata reference.**

25 A Yes, I'm on that reference.

1 Q Okay. And if you could go to
2 Paragraph 6.

3 A You mean Paragraph 6 on Page -- on
4 Page 4?

5 Q Do you see the last sentence there?

6 A So you said Paragraph 6 on which page?

7 Q We'll go with the bottom page numbers.
8 Page 4, Paragraph 6.

9 A Okay. Yes.

10 Q And it says at the end there, the last
11 sentence, "The proper amount of the carbon nanotubes
12 contained in the masterbatch is 5 to 20 parts by weight per
13 100 parts by weight of the resin."

14 Do you see that?

15 A Yes, I see that.

16 Q And in Ohata, one of the resins it uses
17 is a fluorocarbon resin such as PVDF, correct?

18 A Yes.

19 Q Do carbon nanotubes -- are carbon
20 nanotubes and PVDF attracted to one another?

21 A As far as I know, not very strong,
22 special interaction was described between nanotube and PVDF.

23 Q Would you expect there to be stronger
24 attraction between oxidized carbon nanotubes and PDVF?

25 A I -- I do not think any comparison has

1 been done academically or industrially regarding the
2 comparison of interaction force between PVDF and oxidized --
3 non-oxidized and oxidized nanotubes.

4 Q Well, to your knowledge, do oxidized
5 carbon nanotubes and PVDF attract to one another?

6 A You -- your question is: Does PVDF and
7 oxidized carbon nanotube attracted to each other?

8 Q Correct.

9 A I do not recall any specific mention
10 between the interaction of these two.

11 Q All right. So the answer is you don't
12 know as you sit here?

13 A Well, what I mean is it could be any
14 other different type of polymer versus carbon nanotube.

15 Q I want to go to -- if you could turn to
16 Paragraph 2 of Ohata, which is in the left column of that
17 same page.

18 A Uh-huh.

19 Q If you could just read Paragraph 2 to
20 yourself. You don't have to read it out loud. I just want
21 to ask you a few questions. Just let me know when you're
22 finished.

23 A So the paragraph starting, "An
24 electrolyte of non-aqueous secondary batteries," right?

25 Q Right. Sure.

1 A Yes, I finished reading this paragraph.

2 Q Okay. So this paragraph is discussing
3 uniformly dispersed -- pre-dispersing a conductive material
4 in a binder; is that correct?

5 A Yes, it mentions it.

6 Q And the conductive material that's
7 referenced in this paragraph is carbon black such as furnace
8 black or acetylene black and powder graphite; is that
9 correct?

10 A I think it mentioned -- let me just
11 doublecheck it.

12 Q Sure. Take your time. I'm not trying
13 to --

14 A Yeah. Yes. This paragraph mentions
15 three -- three conductive materials, carbon furnace,
16 acetylene black and powder graphite.

17 Q And we talked before about how the
18 binder acts as a glue between the active material, correct?

19 A Yes. That is the electrode, yeah.

20 Q And an electrode. What is the purpose
21 of pre-dispersing a conductive material in the binder of an
22 electrode?

23 A So this serves the purpose of eventually
24 to disperse the conductive materials in the electrode. So
25 regardless of how you disperse the conductive materials,

1 regardless of which step you use to disperse the conductive
2 material. The ultimate purpose is to disperse the
3 conductive materials in your electrode, coated electrode
4 uniformly. That is the final purpose. And in order to
5 achieve that final purpose, you can take different steps.
6 So you can first disperse conductive materials in one of the
7 component and then mix the other component with a
8 pre-dispersed conductive material.

9 So in the final electrode material, it
10 included -- in a slurry it included a solvent, which is also
11 called a dispersed medium, and conductive fillers and active
12 materials and binder polymers. But you can choose a route
13 to mix the conductive fillers with one of the components in
14 the previous -- in the first step to build up something,
15 which is called pre-dispersed medium, and then mix the rest
16 of the things in the following steps. So this is the
17 purpose. So here they are talking about to mix conductive
18 materials including either carbon black -- either furnace
19 black, acetylene black or powder graphite with one of the
20 component finally will be used in the electrode. That
21 component in this case is PVDF, which is a binder polymer.

22 Q Okay. So in the background here in
23 paragraph 2, it's describing a process where a conductive
24 material such as carbon black is pre-dispersed within the
25 binder and then the binder would later be dispersed with the

1 active material; is that fair?

2 A And a solvent.

3 Q And a solvent. And then in -- let's go
4 back to Kavan. In Kavan, that process is different because
5 in Kavan he starts by mixing the oxidized carbon nanotubes
6 with the active material and then he adds the PVDF after; is
7 that fair?

8 A Well, in Kavan, the dispersion is the
9 carbon nanotubes is dispersed in water. So the water is
10 also a medium. So the water is the medium used to disperse
11 carbon nanotubes. It's just like the PVDF resin is the
12 medium to use -- to disperse the unoxidized carbon nanotubes
13 within Ohata's case.

14 Q Right. But in Kavan, he's taking the
15 nanotubes and active material and mixing them together,
16 right? Whereas, in Ohata, he's taking the -- he's first
17 creating the masterbatch and then adding the active material
18 to it?

19 A Kavan also added the active material in
20 the later stage. You can consider his mixing of water and
21 carbon nanotubes is pretty much the same step as Ohata's
22 approach to mixing PVDF and carbon nanotubes. Carbon
23 nanotubes had different property they use. Therefore, the
24 first -- the first mixing step was the using different
25 materials.

1 Q And can water be used as a binder for an
2 electrode?

3 A Water can be used as a solvent, so it
4 can be one of the components in the final -- in the final
5 slurry.

6 Q But can water be used as a binder?

7 A No. Water is not a binder material.
8 But as I said, the final -- the final slurry prepared will
9 have the content including active material, binder, carbon
10 fillers and dispersed medium. It's not necessary to mix in
11 a specific order. And this is where understood by other
12 people who do mixing. It's also where understood by
13 battery researchers who prepare the electrode slurry. So
14 you can have four components, A, B, C, D, right, and you can
15 mix A, B first and then add C, D, and eventually you have A,
16 B, C, D together. Or you can mix A and C first, and then
17 you add B and D, and then you will have eventually A, B, C,
18 D together to cause the slurry.

19 So whether the person choose to mix
20 those components in which order, it's generally based on the
21 experience of the people in the field. I think people will
22 understand very well when they're working with lithium iron
23 batteries which two component can be mixed first, which two
24 component can be added later on. So there is no definitive
25 order in the industry saying that which one has to be

1 mixed -- which two has to be mixed first and which two has
2 to be added later on. So that is a general, I would say,
3 something like common sense about anybody working with
4 mixing, yeah.

5 Q So when you go back to Kavan, if we talk
6 about -- we talked about the steps earlier that one of the
7 first steps is you disperse the nanotubes in water, right,
8 under sonication, and then you -- and then you further add
9 the powder material which has your active material, correct?

10 A Yes.

11 Q And when that happens, there are --
12 there's two forms of attachment to the -- between the active
13 material and the -- and the oxidized nanotubes. One is --
14 one is going to be the anchoring between the functional
15 groups of the nanotubes and the active material, correct?

16 A Yes.

17 Q And the other is going to be the Van der
18 Waals forces that exist in the composition?

19 A So you are comparing two force
20 between -- between what?

21 Q Well, no. My question is when you
22 mix -- when you follow the first two steps of -- here in
23 Kavan, which is first disperse the nanotube in water under
24 short sonication, and then adding the powder material, which
25 is the active material, will the active material be attached

1 to the nanotubes via Van der Waals forces?

2 A It will be attached with the functional
3 group under carbon nanotubes. We have discussed this
4 because carbon nanotube has an active charge on the surface,
5 which is an oxidized functional group, an oxygen containing
6 functional group. And then it will impact with the metal
7 oxide, which are different type of active materials,
8 positive charged ions, and there will be some ion force
9 between them for sure. And there are also potential Van der
10 Waals force, which is quite uniform between oxide materials
11 and the carbon nanotubes regardless whether the carbon
12 nanotube has been oxidized or not, yeah. But ionic force is
13 something which -- which due to the charge difference
14 between the surface of oxidized nanotube and the charge of
15 metal oxide materials that will be likely forming between --
16 between those -- yeah, between those two materials.

17 Q Okay. So that's how the active material
18 and the oxidized nanotubes attach to one another?

19 A Yeah.

20 Q I want to go back. We were talking
21 about Ohata. I got a little sidetracked there. I apologize
22 I was trying to keep us on one person at a time. I want to
23 go back to the background of Ohata. And if we go to
24 Paragraph 3 -- and I'll just read this into the record.
25 It's not too long. It says -- tell me when you're there

1 actually.

2 A In Paragraph 2 and 3?

3 Q Paragraph 3.

4 A 3. Okay. "The primary particle of the
5 carbon black"?

6 Q Correct. "The primary particles of
7 carbon black or powdered graphite are linked to a chain to
8 form a conductive path."

9 First I want to ask you about what
10 exactly does that mean? I'm sorry. Let me strike that.

11 What is your understanding of what that
12 sentence means?

13 A That sentence means -- that sentence
14 actually describe the status of how the conductive pathway
15 was built in the composite material, right. So you have two
16 materials mixed together. One of the components, which is
17 carbon black or some other carbon-containing species, is
18 conductive. The other one is non-conductive. And in order
19 to have these two materials form a mixture that have overall
20 conductivity across the entire mixture, then the conductive
21 materials need to form a path, which means the conducting
22 component in this mixture needed to be conducted across the
23 entire mixture in order to achieve the -- above the
24 conductivity. That is how it is described here. So those
25 graphite powders are forming a link, then chain, which

1 indicate that there is a connected pathway from the bulk
2 point of view from one end of the material's composite to
3 another end of the materials of the component, yeah.

4 Q Okay. So let me -- let me back up a
5 little bit. So you mentioned the, I guess, network through
6 the -- so basically, in layman's terms, you're trying to
7 form a network through your -- between your active material,
8 correct?

9 A Between your conductive material.

10 Q Between your conductive material. Okay.
11 Can you -- can you have any gaps in the connective -- into
12 the chain of conductive material between your active
13 material or does it have to be, like, a complete closed
14 connection? Do you understand what I'm saying?

15 A So what do you mean "the gap"? So there
16 are somewhere probably some broken piece, but in case it was
17 connected -- I don't know. How do you define gap?

18 Q Sure. Sure. Let me -- let me try to
19 clarify my question. So the way I picture this is you've
20 got -- let's imagine you've got two -- I know this is
21 simplistic. We're talking in the abstract conceptual world
22 right now just to help me understand this better. But
23 you've got two circles of active material, right?

24 A Uh-huh.

25 Q And then between the active material you

1 have the binder, correct? Or you can? You can have binder
2 between --

3 A So you are talking about a conductive
4 material or active material?

5 Q Oh, I'm talking about active.

6 A You're talking about a conductive
7 material, right? So let --

8 Q Let me back up. Let me back up. Let me
9 get a little more high level.

10 So in these electrodes you have an
11 active material, correct?

12 A Yes.

13 Q And then you have a binder, correct?

14 A Yes.

15 Q And then you can also -- you don't have
16 to, but you can include a conductive agent within the
17 binder, correct?

18 A Usually you will have conductive filler,
19 yes.

20 Q So when you have the active material
21 that is glued together by the binder, right, and you have
22 the conductive agent within that binder, does that
23 conductive agent have to connect all the way from one side
24 to the other? Or can there be any physical space between
25 the network?

1 A So you want to have a good connection
2 between your conducting filler and active materials and
3 build up the conducting pathway across active materials,
4 across conducting fillers, and you want those paths to be as
5 robust as possible, so which means you have many, many
6 channels to actually tunnel -- tunnel the electrodes.

7 Q Do you mean, like, many channels --
8 many -- I'm trying to think of -- when you say many
9 channels, do you mean like a network of connected CNTs that
10 all go to -- some of them go to the same location, so you
11 have two or three conductive paths or --

12 A So as I -- as just suggested in this
13 paragraph says -- in the third paragraph says, the graphite
14 linked into a chain. So this is something I mean.

15 Q Okay. And then it says -- if you look
16 at that same paragraph, the second sentence says, "Shearing
17 force is applied to the conductive material during the
18 masterbatch process."

19 What is -- excuse me. What is the
20 purpose of that shearing force?

21 A The shearing force is required when you
22 mix two polymer components. So you leave a powder, which is
23 graphite, and a resin, which is like a bead, right. So you
24 start heating them in the developer and then you mix them
25 together. So you cannot mix them together without applying

1 any shear force. They don't automatically merge to each
2 other because there's no liquid. So they do not move by
3 itself. So you have to apply external force in order to mix
4 two solid compounds.

5 Q So you take the solid resin, you heat it
6 and then you mix it with the carbon black and powdered
7 graphite and then you shear it to mix it all up?

8 A Yes. You can understand how that mixing
9 is, yeah, carried out in this way.

10 Q And so when it -- and then it goes on to
11 say in the last sentence of Paragraph 3 of Ohata, "For this
12 reason, the use of the masterbatch process to uniformly
13 disperse the conductive material in the binder does not
14 yield an electrode superior connectivity."

15 So if we go back to the first
16 sentence -- or first two sentences, it talks about how that
17 linked chain that's formed by the carbon black, powdered
18 graphite is damaged by shearing. Can you kind of describe
19 in your own words what exactly that means?

20 A So those sentence means the ideal
21 situation in a -- in a carbon particle distributed in a
22 resin should be forming a chain by those carbon particles.
23 Therefore, you can have a conducting pathway for the resin
24 to move and when -- but, however, you also needed to mix the
25 resin with the powder. And when you mix the resin with a

1 carbon powder when they are small particles, the strong
2 shear force can disrupt those existing chains and which
3 lead to the ideal situation for the entire conductivity,
4 yeah, as a whole.

5 Q And when -- in the background it's
6 talking about preparing the masterbatch by mixing the carbon
7 black with resin. So that's essentially taking the carbon
8 black and embedding it within the resin matrix and then
9 mixing it up?

10 A Yes. My understanding, yeah.

11 Q Okay. So you have like -- you
12 essentially have -- in layman's terms, you have, like, this
13 glue material that actually has chains formed in it of
14 graphite and carbon black that you then use for your active
15 material so that you have better connectivity, electrical
16 connectivity; is that fair?

17 A I think that what they mention here and
18 what they want to say in this patent is they wanted this
19 carbon particles to form a chain-like structure. And
20 they wanted them forming a chain-like structure in the
21 resin. And, therefore, after the resin was mixed with other
22 components, which are active materials and as a solvent,
23 those chain-like structures can be maintained in the final
24 electrode, therefore, can provide good conductivity. I
25 think that's what they mean in this description.

1 Q And when you said -- and I asked you if
2 the carbon black is embedded in the resin, correct?

3 A Carbon black is mixed with resin, yes.

4 Q And the same -- if you -- and if you mix
5 the CNTs with the resin, just like you're embedding carbon
6 black in resin, you're embedding the CNTs within the resin
7 as well?

8 A So I do not know if that is a question.
9 I mean, CNT can be mixed with resin in a similar manner in
10 this patent. That's what they disclose.

11 Q So I guess my question is, is in the
12 background it's talking about mixing carbon black with a
13 resin to create a masterbatch, right?

14 A Yes.

15 Q And then in the actual -- you know, what
16 Ohata believes he invented, he says, oh, now we're going to
17 take the resin and we're going to mix CNTs with the resin
18 instead of carbon black, right?

19 A Yes. This is what he described in his
20 patent.

21 Q Right. So just like you have carbon
22 black embedded in the resin in the background, in his
23 invention you would have CNTs embedded in the resin as part
24 of the masterbatch? That's all I'm asking.

25 A So he described two different approach.

1 One is mixing with CNT. The other is mixing with carbon
2 black in the resin.

3 Q Right. And under either approach, the
4 result is you have this conductive agent embedded within the
5 resin, correct?

6 A There is a conductive -- yeah, there is
7 conductive materials embedded with resin in both cases.

8 Q So I want to go -- if could I get you to
9 turn, Dr. Zhu, to paragraph -- let me do it this way. I
10 always say paragraph and then I realize I've got to give you
11 the page number. Makes it a little easier. If I could get
12 you to turn to Page 29 of your declaration.

13 A Page 29?

14 Q Correct. And if you look at
15 Paragraph 47, do you see that?

16 A Yes.

17 Q And you say, "It is my opinion that a
18 POSITA would have been motivated to use oxidized nanotubes
19 in the Ohata-Kavan electrode material mixture paste for at
20 least the following reasons."

21 Did I read that right?

22 A Yes.

23 Q And the first reason begins on
24 Paragraph 48. And you say, "The first reason for using
25 oxidized nanotubes for the specific case when the electrode

1 material mixture paste is a positive electrode material
2 mixture paste having a phosphate olivine as the active
3 material. (See LGES-1005 (Kavan), 2), is that
4 electromechanical performance of the electrode is improved
5 by the presence of oxidized nanotubes."

6 Did I read that correctly?

7 A Yes. In Kavan's paper, they disclose
8 that oxidized nanotubes mixed with phosphate olivine
9 achieved good electrical mechanical performance.

10 Q And is that because the functional
11 groups added to the CNT via oxidation provide anchoring
12 points for the phosphate olivine?

13 A There are a number of reasons. I think
14 one of the reason provided by Kavan says there are certainly
15 interaction between carbon nanotube and phosphate olivine,
16 which significantly improved the electrochemical performance
17 of the electrode.

18 Q Is that tied to the ability -- I guess
19 is that tied to the improved attachment of the oxidized CNT
20 to the active material because of the functionalization?

21 A It is. The attached active materials
22 and the oxidized carbon nanotubes can be one of the
23 functions that enhance the electrochemical performance of
24 the carbon nanotube.

25 Q And then if we go down to Paragraph 49,

1 which is on Page 30, you say, "The second reason for using
2 oxidized nanotubes is because it is consistent with, and
3 helpful for achieving, Ohata's goal to have well-dispersed
4 nanotubes in the composite."

5 Do you see that?

6 A Yes.

7 Q And that -- is that -- is improved
8 dispersion a result of the active material attaching to the
9 functional groups of the oxidized nanotubes?

10 A As I said, oxidized carbon nanotube has
11 oxygen-containing functional groups on the side wall of the
12 carbon nanotube. Therefore, it will -- it will prevent the
13 formation of the pi pi stacking of pristine carbon nanotube.
14 As a result, those functional carbon nanotubes will have
15 better dispersion to prevent forming -- forming clumps.

16 Q And one of the -- in Paragraph 51 you
17 cite to a reference. I honestly don't know how to say that.
18 Gojny. I don't know. I'm probably saying that wrong.
19 G-O-J-N-Y. Which you quoted as stating, "The presence of
20 carboxylic acid groups on the surface enables a better
21 dispersion of CNTs and shows images of oxidized multi-walled
22 carbon nanotubes that are completely dispersed in the epoxy
23 resin matrix due to the carboxylic groups on the surface."

24 Do you see that sentence?

25 A Yes.

1 Q So this -- you're citing this reference
2 as evidence that you get improved dispersion when you
3 oxidize nanotubes, correct?

4 A I cite this reference because they
5 specifically mention the function of carboxylic acid group
6 on the surface of the carbon nanotube will help the
7 dispersion.

8 Q And this cited reference, which is
9 LGES-1014, it's mixing its nanotubes in a resin similar to
10 how Ohata is mixing its nanotubes in a resin, correct?

11 A The polymer difference we use as a
12 reference because they are mixing between the oxidized
13 carbon nanotube and a polymer.

14 Q And in -- but in Ohata is it -- PVDF is
15 a polymer, correct?

16 A PVDF is a polymer.

17 Q Okay. And when you mix -- this
18 reference, LGES-1014, when you mix the oxidized CNTs in the
19 resin matrix, does that completely cover the CNTs for resin?

20 A Can you explain your question a little
21 more?

22 Q Sure. So the reference that you cited,
23 LGES-1014, it teaches that it's dispersing oxidized
24 multi-walled nanotubes in a resin matrix, correct?

25 A Yes.

1 Q And when you do that, would you expect
2 that the resin would cover the CNTs completely -- or I'm
3 sorry. Would you expect the resin to cover the carbon
4 nanotubes completely?

5 A I do not think there's any mention about
6 the resin needing to cover the entire surface of the CNT in
7 my declaration.

8 Q Okay. What I'm asking you -- what you
9 state here, "Oxidized multi-walled carbon nanotubes are
10 completely dispersed in epoxy resin matrix," would that
11 result in the CNTs being completely covered with the matrix?

12 A As I just said, the CNT was uniformly
13 distributed within this resin. I didn't say the resin
14 needed to cover the surface of the CNT completely.

15 Q That's not my question. My question is:
16 Would the carbon nanotubes be completely covered with the
17 resin matrix if you mixed them the way that LGES-1014
18 teaches?

19 A I think this is how you define what is
20 completely -- what means to completely cover the bio resin.
21 So the carbon nanotube inside this mixture can also contact
22 each other. It's not necessary to be a completely bundled
23 status, which are like unoxidized carbon nanotubes. But
24 they can have contact between carbon nanotubes. So I do not
25 know what is criteria of completely covered by the polymer

1 matrix in your -- in your -- yeah -- in your statement. So
2 I do not know how to describe that because I don't know what
3 is meaning of completely cover carbon nanotube with a
4 polymer resin.

5 It's also related to -- to the -- to the
6 content we discussed here, which we discussed about how the
7 nanotube is distributed in the -- in the polymer medium.

8 Q I want to go back. I want to go to
9 Exhibit 1014. Or I'm sorry. LGES -- or LGES-1014. Sorry.
10 Math is not my strong suit. Apparently reading numbers
11 isn't either. Let me know -- have you found it?

12 A Under what specific --

13 Q If you could just turn to Page 2 under
14 the heading "Experimental."

15 A Page 2, under heading "Experimental."

16 Q If you could just read those two
17 paragraphs to yourself under "Experimental." And then I'll
18 ask you the question again. Just let me know when you're
19 ready?

20 A Yep.

21 Q So my question is, Under the
22 experimental steps disclosed in LGES-1014, would you expect
23 the resin to be completely -- I'm sorry -- would you expect
24 the oxidized carbon nanotubes to be completely covered with
25 the resin matrix?

1 A Well, it just says here the nanotube was
2 mixed with the resin. I think, as I said, depending on how
3 you define what is -- what is the criteria for the complete
4 coverage of carbon nanotubes with resin. Yeah.

5 Q When you mix resin with carbon
6 nanotubes, would you agree that the resin covers the carbon
7 nanotube surface?

8 A I would agree the resin cover
9 significant amount of the surface of the carbon nanotube.

10 Q And would you agree that the resin is a
11 viscous substance?

12 A As compared with what?

13 Q Well, let's say is a resin typically a
14 solid, semisolid or viscous substance?

15 A Resin is a solid and it could be a flow,
16 but whether it's viscous depending on what you compare it
17 with.

18 Q Well, compared to water it's viscous,
19 correct?

20 A Yes. It's more viscous than water.

21 Q And once you covered -- once you covered
22 a carbon nanotube in resin, how can you remove the resin
23 from the nanotube?

24 A If the resin can be dissolved in
25 dispersing medium, then it can be removed, and it can be

1 also partially removed depending on your treatment,
2 depending on the exact experiment procedures, conditions.

3 MR. ZINDA: All right. I have no
4 further questions.

5 MR. IN: Sorry, Stephen. Just to
6 confirm, you're saying no further questions for --

7 MR. ZINDA: Yes. I'm passing the
8 witness.

9 MR. IN: Thank you. Could you give us
10 maybe ten minutes?

11 MR. ZINDA: Yeah.

12 MR. IN: And then we can get back on the
13 record. Would that be okay?

14 MR. ZINDA: That works for me.

15 MR. IN: All right. We'll be back in
16 ten minutes. Thank you.

17 (Whereupon, a brief recess was taken.)

18 MR. IN: No further questions. Thank
19 you.

20

21

22

23

24

25

1 A C K N O W L E D G E M E N T

2

3 STATE OF OHIO :
4 COUNTY OF HAMILTON : SS:
5

6

7 I, YU ZHU, PHD, have read the transcript
8 of my testimony given under oath on March 14, 2025.

9 Having had the opportunity to note any necessary
10 corrections of my testimony on the errata page, I hereby
11 certify that the above-mentioned transcript is a true and
12 complete record of my testimony.

13

14

15

16

17

YU ZHU, PHD

18

19

20 (DEPOSITION CONCLUDED AT 12:40 P.M.)

21

22

23

24

25

1 C E R T I F I C A T E

2 STATE OF OHIO :
3 COUNTY OF HAMILTON : SS:
4 :

5 I, Jennifer K. Starner, the undersigned, a duly
6 qualified and commissioned Notary Public within and for the
7 State of Ohio, do hereby certify that before giving of the
8 aforesaid deposition, the said YU ZHU, PHD was by me first
9 duly sworn to depose the truth, the whole truth and nothing
10 but the truth; that the foregoing deposition was given at
11 the said time and place and was taken in all respects
12 pursuant to Notice; that the deposition was taken in
13 stenotypy by me and transcribed into typewritten form under
14 my supervision; that the transcribed deposition is to be
15 submitted to the witness for his examination and signature,
16 and that signature may be affixed out of the presence of the
17 Notary Public; that I am neither relative, attorney, nor
18 employee of any party or their counsel and have no interest
19 in the result of this pending action.

20 IN WITNESS WHEREOF, I have hereunto set my hand
21 and official seal of office at Cincinnati, Ohio, this
22 18th day of March, 2025.

23

24 My commission expires:

25 March 7, 2029

Jennifer K. Starner

Jennifer K. Starner, RPR

Notary Public

1 CHANGES AND SIGNATURE

2 WITNESS NAME: Yu Zhu, PhD, 03/14/2025

3	PAGE	LINE	CHANGE	REASON
---	------	------	--------	--------

10

11

12

14

16

17

18

19

20 I, Yu Zhu, PhD, have read the foregoing

21 transcript and hereby affix my signature that same is

22 true and correct, except as noted above.

23

24

25 Yu Zhu, PhD

Date

	29	18:20		48:19
1		24:5	6	achieve
		60:12,13		37:15 38:7
1			6	45:2,3,6,
8:5,7,8			8	39:2 40:1,
14:6,8,17				3 43:6,21
15:7		3		48:5 53:23
16:10,22			7	achieved
17:5,24,25	3	52:24		38:23 61:9
1-10		53:2,3,4	7	21:15
26:16		57:11	7%	21:10
10,153,483	30	62:1		achieving
6:22				62:3
100		4	8	acid 19:21
45:13				25:11
1014	4	45:4,8	8	15:25
65:9	45	6:13		16:2,4,8
12:40				17:9
68:20	47	60:15	83	9:22,23,
14			24	act 11:23
68:7	48	60:24		active 23:6
16	483	6:25		26:23
42:12,14		7:3,7,10,	A	27:2,10,
19		14,20 8:2,		15,19
18:24,25		3 9:17	abbreviation	28:11,15,
21:3		12:15		20 29:8,
1B		16:1,2	ability 6:5	11,19
10:25			61:18	30:3,19,
	49	9:20,21	above-	20,25 31:4
		61:25	mentioned	32:14,18,
2			68:10	21 34:23
	5		abstract	35:3,13,21
2		45:12	54:21	36:2,11
24:14,15,	50	10:23,24	academically	37:17,24
25 25:6	51	62:16	46:1	38:3,15
46:16,19	5wt%	31:25	accurately	39:1,14
48:23 53:2		41:9,18	13:19	40:11
61:3			acetylene	41:3,24
65:13,15			47:8,16	43:10,22
20				44:10,14
45:12				
2025				
68:7				
24				
42:19				
28				
19:1,2				
20:25				
21:2,3				

47:18	agent 55:16,	appears	attached
48:11	22,23 60:4	11:16	32:15,21
49:1,6,15,	agglomerated	applied	51:25 52:2
17,19 50:9	12:24	56:17	61:21
51:9,12,	aggregate	apply 7:12	attaching
15,25	29:21	57:3	36:2,11
52:4,7,17	aggregated	applying	62:8
54:7,12,	12:8,23	56:25	attachment
23,25	17:15 26:6	approach	31:6,7
55:4,5,11,	aggregation	38:7	38:3 51:12
20 56:2,3	29:22	40:12,13	61:19
58:14,22	agree 66:6,	43:4 49:22	attract 46:5
61:2,20,21	8,10	59:25 60:3	attracted
62:8	aluminum	arrive 7:21	45:20 46:7
acts 33:22	32:16,17	arrow 11:2	attraction
47:18	35:6,15	art 7:12,	29:11
actual 15:24	amount 21:8	13,14,20	45:24
59:15	45:11 66:9	14:12,22	automatically
adaptation	analyzed	15:11	57:1
41:4	21:9	16:14,21	aware 44:6,7
add 50:15,	anchor 27:19	17:12 18:4	
17 51:8	anchoring	assume 5:20	B
added 11:2	51:14	atom 34:22	back 10:11
26:16	61:11	atomic 21:8,	14:5,17
49:19	annotated	19	17:8 18:17
50:24 51:2	10:23	atoms 19:22,	20:25
61:11	anode 32:18	24 20:2	35:11
adding 49:17	apologize	28:15	41:7,8
51:24	52:21	attach 22:8	49:4 51:5
adds 49:6	apparently	28:19 31:5	52:20,23
adhere 33:25	13:4 65:10	32:19	54:4 55:8
advantages	appearance	39:14	57:15 65:8
43:19	33:19	52:18	67:12,15
age 5:2			background

48:22	beginning	59:2,3,6,	48:11,15
52:23 58:5	8:10	12,18,22	carbon 8:12,
59:12,22	begins 31:13	60:2	16,19,24
bad 22:15	60:23	blending	9:2,5,8,
base 35:1,2	believes	42:20	12,13
based 44:7	59:16	bond 29:4,	10:1,14
50:20	beneficial	5,24	11:8,22
basically	30:24	bottom 45:7	12:2,16,25
13:4 26:4	big 33:24	break 42:3	13:1,3,4,
30:17 33:6	bind 41:2	breaks 6:9,	7,11,15
35:12 43:2	binded 35:14	11,12,14	14:12,16,
54:6	binder 23:6	broken 54:16	22,25
batch 44:11,	32:7,11,	build 48:14	15:1,4,12,
12	12,13	56:3	13,17,18,
bath 25:24	33:23	built 53:15	19,22 16:8
batteries	40:14,25	bulk 54:1	17:2,5,9,
8:11	41:2 47:4,	bundled	16,21 18:1
15:17,20	18,21	12:8,25	19:8,11,
46:24	48:12,21,	13:3,5,7,	12,13,14,
50:23	25 50:1,6,	12 15:1,2	16,18,22,
battery 8:21	7,9 55:1,	16:18	23,24
11:22	13,17,21,	17:17,18,	20:2,3,7,
12:16	22 57:13	20 18:2	11,14,20,
15:24	binders	30:2	22,23
23:2,19,	37:25	39:22,24	22,23,25
23,24	bio 64:20	40:4 64:22	22:1,6,8,
33:24 38:9	bit 6:15	bundles	10,12,16,
50:13	33:19 54:5	13:12	23,24,25
bead 56:23	black 47:7,		23:1,3,4,
bead-like	8,16		7,16,21,25
33:5	48:18,19,	C	24:22
bead-shaped	24 53:5,7,	call 5:13	25:11 26:8
33:8	17 57:6,17	called 18:11	27:15,21
	58:7,8,14	43:8	28:3,4,9,
			10,13,19,
			22 29:6,8,
			10,13,16,

20,24	22 63:6,13	cases 60:7	change 22:7,
30:6,8,18,	64:3,9,16,	cast 27:12	11
21,22,23,	21,23,24	34:9 35:6	changed
24 31:3,18	65:3,24	37:23	22:14
35:2,6,13	66:4,5,6,	44:12	channels
36:10,15,	9,22	casted 35:8	56:6,7,9
16,18,19,	carbon-	39:3,4,5	charge 52:4,
20,22,24	containing	casting	13,14
37:2,15,	31:25	27:16 32:7	charged
18,22,24	41:18	38:14	28:16,25
38:7,12,	53:17	cathode	29:2,3,4
13,19,20,	carboxylic	32:16	52:8
21,22,24,	28:1,23,25	34:14,18	charging
25 39:21,	29:3	35:7	29:6
22,23 40:4	62:20,23	certainty	chemical
41:3,23	63:5	43:19	22:7
42:21	carried 57:9	certified	chemicals
43:4,5,6,	carry 27:13	5:3	19:21
13,15	carrying	certify	chime 5:14
44:13	26:10	68:10	choose
45:11,19,	case 10:20	chain 32:10	38:10,11,
24 46:5,7,	20:8 23:19	53:7,25	17 40:5,8
14 47:7,15	26:12	54:12	48:12
48:18,24	27:20,22	56:14	50:19
49:5,9,11,	33:20	57:17,22	chosen 34:17
12,21,22	34:13,18	chain-like	circles
50:9 52:3,	35:7,12	58:19,20,	54:23
4,11 53:5,	37:3,6	23	circular
7,17 57:6,	38:11,17,	chains 58:2,	20:1,23
17,21,22	22 39:18	13	circumference
58:1,6,7,	40:15 43:4	challenging	21:22
14,19	48:21	6:21	cite 24:14
59:2,3,5,	49:13	chance 20:14	62:17 63:4
12,18,21	54:16	30:2,3	
60:1	60:25		
61:15,22,			
24 62:10,			
12,13,14,			

cited 63:8, 22	collect 39:20	51:19	62:4
citing 63:1	collective 32:17	comparison 45:25 46:2	composites 42:20
claim 8:5,7, 8,10 14:6, 8,17 15:7, 25 16:2 17:9,23	collector 32:16,17, 19,22 34:1 35:6,15 41:4	competing 29:18 30:7 31:5	composition 8:10 51:18
claims 7:3, 7,12,17	column 16:4, 7 25:15, 16,17 46:16	complete 54:13 66:3 68:11	compounds 57:4
clarify 5:17,20 54:19	combine 37:9	completely 34:12,19, 20 35:10 62:22 63:19	comprising 8:11
clear 9:4 25:15 41:6	common 8:20 9:11 11:21 17:4 26:5 34:14 35:2 38:5 51:3	64:2,4,10, 11,14,16, 20,22,25 65:3,23,24	conceptual 54:21
close 30:1			CONCLUDED 68:20
closed 54:13		complicated 22:22	condition 20:4 21:18,24
clumps 62:15	commonly 32:7 34:5		conditions 13:2 20:5 67:2
clustering 16:9 17:10	community 8:21 15:15	component 33:10 43:3 48:7,20,21 50:23,24 53:22 54:3	conducted 53:22
CNT 59:9 60:1 61:11,19 64:6,12,14	comparable 20:6		conducting 11:23,24 12:2 23:8, 18 53:21 56:2,3,4 57:23
CNTS 38:4 56:9 59:5, 6,17,23 62:21 63:18,19 64:2,11	compare 66:16	components 41:25 48:13 50:4,14,20 53:16 56:22 58:22	conduction 29:19
coated 35:16 48:3	compared 7:13 14:15 17:2,15 23:15 31:7 39:23 40:4 66:12,18	composite 11:24 53:15 54:2	conductive 47:3,6,15, 21,24,25 48:1,3,6, 8,11,13,
coating 43:9	comparing		

17,23	consistent	15,24	5:4
53:8,14,	62:2	66:19	current
18,20	contact	corrections	32:15,16,
54:9,10,12	64:21,24	68:9	19 34:1
55:3,6,16,	contained	correctly	35:15 41:4
18,22,23	45:12	19:5	
56:11,17	content	21:11,12	D
57:13	34:12 50:9	25:25 61:6	
60:4,6,7	65:6	counsel	damaged
conductivity	context	5:14,24	57:18
22:17,25	12:15	42:1	declaration
23:3,9,14,	control	counted	7:2,6,10,
15,20 24:2	24:11	23:18	21 8:23
53:20,24	controlled	COUNTY 68:4	9:17,18
58:3,24	21:18	cover 39:12	10:23
confirm 67:6	copper 32:17	63:19	13:20,25
connect	correct	64:2,3,6,	18:3,19,
55:23	6:22,23	14,20 65:3	22,23
connected	8:2 11:1	66:8	21:1,4
54:1,17	13:17 16:3	coverage	24:5
56:9	17:24	66:4	42:12,14
connection	20:12	covered	60:12 64:7
54:14 56:1	26:24	64:11,16,	decreased
connective	30:16 34:6	25 65:24	23:1
28:25	35:18,22	66:21	define 22:5
54:11	36:6,12	covers 66:6	54:17
connectivity	39:8 41:12	create 59:13	64:19 66:3
57:14	45:17 46:8	creating	definite
58:15,16	47:4,9,18	49:17	21:19
connector	51:9,15	criteria	definition
32:15	53:6 54:8	64:25 66:3	9:4,6,7,11
considered	55:1,11,	CROSS-	definitive
9:1 15:3	13,17 59:2	EXAMINATION	21:24
18:1	60:5,14		50:24
	63:3,10,		deform 33:20

degree 20:16	desirable	16,17	39:19
depending	15:23	12:5,9,12,	40:7,8,10
20:4,5,16	developer	21,22	47:3
21:17	56:24	13:6,10,	48:11,25
22:5,12,15	difference	13,15,20	49:9 50:10
23:3,4,10	12:14	15:6,12,21	62:22
66:2,16	52:13	16:22	64:10
67:1,2	63:11	discussed	dispersing
deposed 5:9	dimension	52:3 65:6	26:21
6:10,16,20	16:9	discussing	63:23
deposition	dioxide 24:9	47:2	66:25
5:12,23	26:14 28:6	discussion	dispersion
6:8,12	29:15	42:9	23:8,17,21
68:20	30:12	disperse	27:2 36:13
der 29:11,	38:15	17:19	38:2,10
14,25 30:6	direction	26:6,10,11	39:2 40:1
51:17	20:23	29:22	43:3,17,21
52:1,9	21:21,23	36:8,18,22	44:17 49:8
describe	directly	39:9 40:17	62:8,15,21
10:18	36:18 37:9	43:15,20	63:2,7
12:14	38:18 40:7	44:3,22	disrupt 58:2
40:25	dis 23:25	47:24,25	disrupted
53:14	disagrees	48:1,2,6	30:9
57:18 65:2	5:14	49:10,12	dissolve
describes	disclose	51:7,23	34:16
19:4	59:10 61:7	57:13	40:19 41:7
describing	disclosed	dispersed	dissolved
40:25	65:22	10:19 16:9	28:7,8
48:23	discrete	17:1,4,10,	32:1
description	8:11,16,	13,14	34:12,19,
16:17	18,23 9:5,	24:18	21,24 35:1
28:21	7,11 10:2,	25:3,18,23	40:9 41:9,
58:25	7,14,16	27:9,11,15	19 66:24
descriptions	11:5,7,9,	28:4,8,11	distance
8:23 12:4		36:5,15,	16:19,25
		19,25 37:5	

17:2,5,20, 23,25	E	47:19,20, 22,24	entire 53:20,23
distribute 37:3 40:5	e.g. 42:22	48:3,9,20	58:3 64:6
distributed 9:15 12:3, 7 13:22	earlier 51:6	50:2,13	epoxy 62:22
14:4,14	easier 40:3	57:14	64:10
15:2,19,20	44:9 60:11	58:24	errata 68:9
23:5,25	effect 22:2, 19	60:19,25	essentially 58:7,12
37:8,11, 18,22	ejection 33:7	61:1,4,17	established 38:9
38:13,25	electrical 58:15 61:9	electrodes 31:14,19, 23 41:16	evaluation 7:15,16
57:21	electro 22:17	55:10 56:6	evaporate 35:9
64:13 65:7	electrochemica 1 61:16,23	electrolyte 46:24	evaporated 26:18
distribution 11:25	electrochemist ry 15:18	electromechani cal 61:4	35:10,11
12:6,15	electrode 11:22,25	embedded 59:2,22,23	eventually 39:3 47:23
13:9 17:17	12:6,16	60:4,7	50:15,17
28:9 36:20	15:20	embedding 58:8 59:5, 6	evidence 63:2
37:15	22:18	enables 62:20	exact 67:2
38:19,23	23:2,5,10, 11,12,19, 20,22	end 8:5,6 22:10	examined 5:3
40:3 43:6	24:1,3	27:25	excuse 56:19
document 25:10,13	27:12,16	37:23	exfoliated 8:12,19,24
documents 18:18	32:12,13	40:9,11	9:14 10:3, 17 11:8
doublecheck 47:11	33:24	41:1 45:10	12:5,13,24
dryness 26:18	34:10	54:2,3	13:6,10,21
due 29:6,23	35:16	ended 42:9	14:18,22
52:13	37:24 39:5	enhance 61:23	15:4,7,13,
62:23	41:1 44:15		
duly 5:2			

22 39:19		50:4, 8	52:1
Exhibit 65:9	F	58:23	form 7:4, 18
exist 51:18	fabrication	finally	8:17 9:9
existing	15:24	48:20	10:9 11:19
58:2	fair 6:25	find 36:24	12:11
expect 21:13	7:1 10:8	finished	13:18
45:23	11:18	46:22 47:1	15:2, 8
64:1, 3	30:21	flow 33:18	17:18
65:22, 23	33:22	66:15	19:15, 25
experience	49:1, 7	fluoride	22:4, 20
6:13 15:17	58:16	32:1, 8	27:4 39:16
50:21	field 50:21	34:19	40:23
experiment	figure	fluorinated	53:8, 19, 21
26:10	10:22, 23,	32:6	54:7
28:24 67:2	24, 25	fluorine	formation
experimental	11:12	32:10	24:3 62:13
27:14	figures	fluorocarbon	formats 33:4
65:14, 15,	11:11	42:21	formed 29:5
17, 22	filler	45:17	34:21, 22
explain	11:23, 24	focus 25:22	57:17
19:18	12:2 24:2	follow 51:22	58:13
30:15	35:13	force 29:14,	forming
63:20	55:18 56:2	17, 19, 24,	13:9, 12
explored	fillers	25 30:6, 7,	28:12 29:5
23:23	15:18, 19	9 33:10	30:24
exposed	23:7 24:1	37:7, 10	36:19
19:19	35:2 37:24	40:1 46:2	40:19 41:3
external	41:3	51:19	52:15
30:3 57:3	48:11, 13	52:8, 10, 12	53:25
extrusion	50:10 56:4	56:17, 20,	57:22
33:7	film 35:7, 8	21 57:1, 3	58:19, 20
final 21:2	final 21:2	58:2	62:15
37:23	37:23	forces 29:11	forms 51:12
43:9, 22	43:9, 22	31:5 51:18	found 65:11
48:4, 5, 9	48:4, 5, 9		full 31:23

fully 10:2, 7,10,12, 14,22 11:5,10 27:5	games 11:14	58:24 61:9	61:18
function 32:20 33:25 63:5	gap 54:15, 17 gaps 54:11 gas-based 13:2,3 gave 8:22 21:10	graphite 47:8,16 48:19 53:7,25 56:13,23 57:7,18 58:14	guideline 6:16
functional 19:25 21:21 22:9,10, 11,24 28:1,2,14, 23,25 29:1,2 30:19,22 31:8 36:1, 10 39:13 51:14 52:2,5,6 61:10 62:9,11,14	general 12:1 44:8 51:2 generally 23:16 50:20 give 6:5 18:21 60:10 67:9 giving 6:15 glue 32:21 33:22,25 47:18 58:13 glue-like 36:9 glued 55:21 goal 62:3 Gojny 62:18 good 5:6,7 11:25 22:14 23:21 24:1 29:21 36:17 41:3 42:2 56:1	Great 6:19 ground 5:14 group 19:25 21:21 22:9,10, 11,24 28:2,14, 23,25 29:1,3 30:22 31:8 32:10 52:3,5,6 63:5 groups 28:1, 2 30:19 36:1,10 39:14 51:15 61:11 62:9,11, 20,23 grow 13:2 grown 13:3, 11 14:25 17:16 guess 33:13 54:5 59:11	handy 18:7 happened 20:19,20 hard 18:19 heading 65:14,15 heard 34:5 heat 33:14, 16 57:5 heating 56:24 helpful 62:3 helps 40:17 hereinafter 5:2 high 20:1, 12 55:9 higher 20:14 honestly 62:17 hour 6:13 42:2 hydrophilic 28:5 38:21
G			
G-O-J-N-Y 62:19			

hydrophilicity	30:11 35:1	33:16	15:20, 24
28:3 36:17	43:22	45:22	23:2, 19
hydroxy 28:2	48:18 50:9	46:2, 10	24:10
		61:15	26:15 28:7
	incorporate		30:4, 11
I	44:14	interchangeabl	33:24
		y 8:22	38:16
ideal 57:20	increase	intermedium	44:11, 13
58:3	22:17	10:19	50:22
identified	increased	interpret	isolated
28:24	33:11	7:17	10:2, 7, 12,
identifying	individual	interpreting	14, 22
11:4	10:19	10:6	11:5, 10
images 62:21	industrial	intrinsic	
	44:8	22:2, 6, 13,	J
imagine	industrially	23, 25	
54:20	46:1	23:3, 14	joining
impact 22:21	industry	24:1	11:12
23:14 24:2	23:23 33:5	intrinsically	
52:6	50:25	30:10	K
impacts	initial	invented	Kavan 18:11,
22:22	14:15, 24	59:16	14 19:4
impair 6:5	17:15, 18,	invention	24:8, 14,
	21 38:7	59:23	15, 16
importance	inside 41:22	ion 44:12	25:4, 5
23:25	64:21	52:8	26:8, 9
important	instructs	ionic 29:4, 6	28:20, 22
43:20 44:3	6:1	52:12	29:24
improved	interact	ions 28:16,	30:18
61:4, 16, 19	30:5	17 29:2, 4	31:11
62:7 63:2	interaction	52:8	35:17 38:1
include	28:10, 13	IPR 6:21	39:7, 9, 11
55:16	29:1, 14,	iron 8:11	42:9 49:4,
included	17, 23, 25	12:16	5, 8, 14, 19
48:10	30:7, 10,		51:5, 23
including	20, 24		61:3, 14

Kavan's 25:6	21:25 55:9	44:13	42:20,25
28:21	levels 25:11	50:22	43:2,8,10,
38:11	LGES 65:9	location	13,17,18,
39:18	LGES-1001	12:8 56:10	20,24
40:15 61:7	7:23 14:5	long 6:8	44:9,10,
kind 5:13	LGES-1003	52:25	15,17,20
16:7 57:18	9:16	longitude	45:12
knowledge	18:20,23	21:21	49:17
46:4	42:13	lot 33:6	56:18
	LGES-1004	42:9	57:12 58:6
L	44:24	loud 46:20	59:13,24
language	LGES-1005	lower 23:15	material
7:12,17,19	18:14		11:24 13:2
large 37:1	24:25 61:3	M	24:8
largely 23:4	LGES-1014	maintained	26:14,23
lawful 5:2	63:9,18,23	58:23	27:3,9,18,
lay 5:13	64:17	major 29:17	19 28:20
layman's	65:9,22	44:21	29:9,12
54:6 58:12	link 53:25	make 5:21	30:19,20,
laypeople	linked 53:7	6:1,17	25 31:4,24
32:25	56:14	25:13	32:14,18
leaded 58:3	57:17	30:13	33:8,13,24
leads 23:15,	liquid 57:2	33:14	34:18
17	literature	37:10	35:3,21
leave 56:22	8:20 25:6	Makes 60:11	36:2,11
left 46:16	lithium 8:11	making 37:21	37:7 38:3
LEGS-1004	11:22	manganese	39:5,15
18:8	12:16	24:9 26:15	41:1,17
length 17:2	15:20,24	28:6 38:16	44:12
20:6,20	23:2,19	manner 59:9	47:3,6,18,
level 19:25	24:9 26:15	March 68:7	21 48:2,8,
20:11	28:6,7	masterbatch	9,24 49:1,
	30:4 33:24		6,15,17,19
	38:16		50:7,9
			51:9,13,
			15,24,25
			52:17
			53:15

54:7,9,10, 12,13,23, 25 55:4,7, 11,20 56:17 57:13 58:13,15 60:19 61:1,3,20 62:8	52:7,10, 15,16 53:16,19, 21 54:3 56:2,3 58:22 60:7 61:21	medication 6:4 medium 9:15 13:9,22 14:15 17:19 23:17 28:5,8 36:19,20, 23,25 37:1,3,8, 15,18 38:8,11,23 39:2 40:5, 8 48:11,15 49:10,12 50:10 65:7 66:25	metrics 37:4 microbes 17:3 micrometer 16:10,22 17:6,24,25 middle 16:7 31:13 minimize 18:17 minor 43:3 minutes 6:13 42:5 67:10,16 mistake 25:16 mix 30:19 33:10 35:24 37:16,17 38:14,18 43:10 44:10 48:7,13, 15,17 50:10,15, 16,19 51:22 56:22,24, 25 57:3,6, 7,24,25 59:4,17 63:17,18 66:5 mixed 24:8
material's 54:2 materials 11:23 15:20 23:5,6,11 26:5,6 27:10,15, 21 28:6, 11,15 29:7,20 32:8,21 33:19 34:1,13, 23,24 35:4,13 37:17,24 38:15 39:1,4 40:11,14 41:24 43:10,22 44:10,13, 14 47:15, 24,25 48:3,6,12, 18 49:25	materials/ particles 30:4 Math 65:10 matrix 40:21,22 58:8 62:23 63:19,24 64:10,11, 17 65:1,25 meaning 8:15 9:1 15:7, 13,19,21, 22 16:14 65:3 means 10:18 12:7,23 14:4,14 15:2 17:14,17 19:12 34:11 53:12,13, 21 56:5 57:19,20 64:20 mechanical 26:4 61:9	mention 46:9 58:17 63:5 64:5 mentioned 14:24 20:10 27:23 28:21,22 29:20 33:21 38:6 44:18,22 47:10 54:5 mentions 34:3,4 47:5,14 merge 57:1 metal 32:22 52:6,15	

27:2,18	molecular	22,23	19,22
31:25	33:17,18	13:1,3,4,	38:8,10,
34:20	41:2	11,14	18,19,20,
35:12,21	morning 5:6,	14:13,16,	21,22,24
37:11	7	23,25	39:1,19,
38:22		15:1,4,17,	22,23
39:1,24	motivated	23 16:18	40:4,6,7
40:15	60:18	17:3,5,16,	43:6,20
41:18	move 57:2,	18,20 18:1	44:3,22
50:23 51:1	24	19:8,11,	45:22
53:16	multi-walled	13,14,23,	46:7,14
58:21	19:8,11	24 20:2,3,	51:23
59:3,9	62:21	5,7,11,15,	52:4,12,14
61:8 64:17	63:24 64:9	21,22,24	61:15,24
66:2		21:14,22,	62:10,12,
mixing 26:23	MWNT 19:7,	23,25	13 63:6,13
27:8	10	22:6,8,10,	64:21
35:18,20	MWNT-OX	12,23,24,	65:3,7
43:5 49:5,	19:5,12	25 23:1,3,	66:1,7,9,
15,20,22,	21:9	4,7,11,14,	22,23
24 50:12	MWNTS 19:5	16,17,21	nanotubes
51:4 57:8		24:22	8:12,16,19
58:6,9		26:22	10:19
59:12 60:1	N	27:11,15,	11:6,8,10,
63:9,10,12		21,22,23,	12,18
mixture	N-METHYL-2-PYRROLIDONE	25 28:3,4,	12:10,25
26:17	32:2 34:4	9,11,13,22	13:7,8,16,
37:2,23	nano 28:16	29:8,13,	21 14:1
40:18	nanotube	18,19,21,	15:12,13
41:12,14,	8:21,24	22,24	16:8,22
22,25	9:2,5,8,	30:6,8,9,	17:10,16,
53:19,20,	12,13	10,23,25	21 19:12,
22,23	10:1,8,14,	31:18	17,19
60:19	15 11:4,	34:23 35:3	20:14
61:1,2	17,22,23	36:17,18,	21:20
64:21	12:3,6,10,	19,20,23,	22:1,3,17
molding 33:7	14,16,21,	24 37:2,5,	24:8,10,18
		9,11,15,	25:3,11,

18,23	21:19	12:4,5,13	13:18 15:8
26:8,11,	needed 11:25	13:6,10,20	19:15
12,16,23	12:3	14:9,12	22:4,20
27:2,9,17,	27:10,13	15:6,12,22	27:4 39:16
18,19	32:20 35:8	non-aqueous	40:23
28:19	37:6,9	46:24	objections
29:11,16	53:22	non-bundled	5:24
30:18,21	57:24	13:14,16	occur 20:11
31:3	64:14	non-conductive	35:21
35:18,22,	needing 64:6	53:18	offered 6:21
24 36:1,5,	negative	non-	7:2,7,9
9,11,15	22:2,19	functionalized	18:4
38:12,13	29:3	24:10	oftentimes
39:10,11,	negatively	non-oxidized	8:22 17:1
13,14,21,	28:16	29:16,20	Ohata 18:5,7
22 41:23	network	39:23 46:3	38:17
42:21	23:8,18	nonresponsive	42:11,18,
43:4,5,14,	54:5,7	12:18,19	19 43:1,
15 44:1	55:25 56:9	note 68:8	13,24
45:11,19,	nitric 19:21	number 28:12	44:20,24
20,24	25:11	60:11	45:16
46:3,5	NMP 34:6,8,	61:13	46:16
49:5,9,11,	9,14,19,	numbers 45:7	49:16
12,15,21,	21,24	65:10	52:21,23
22,23	35:1,9		57:11
51:7,13,15	38:14 39:2		59:16
52:1,3,11,	40:10,15,		63:10,14
18 60:18,	17,19	o	
25 61:5,8,	41:9,19	oath 68:7	Ohata's 43:4
22 62:2,4,	NMPS 41:25	object 12:19	49:13,21
9,14,22	non-	Objection	62:3
63:3,9,10,	agglomerated	7:4,18	Ohata-kavan
24 64:4,9,	8:11,18,24	8:17 9:9	60:19
16,23,24	9:14 10:3,	10:9 11:19	OHIO 68:3
65:24	17 11:8	12:11	olivine
66:4,6			61:2,8,12,
necessarily			
20:21			

15	19:17, 19,	61:5, 8, 19,	46:16, 19,
open 6:10	20, 25	22 62:2, 9,	23 47:1, 2,
18:9, 15	20:1, 4, 5,	10, 21	7, 14 48:23
21:1	9, 11, 13,	63:12, 18,	52:24
opened 9:18	17, 19, 20	23 64:9	53:2, 3
18:16	21:17, 20	65:24	56:13, 16
opinion 7:9	22:7, 8, 18	oxidizing	57:11
12:9 17:21	23:13	19:13 22:1	60:9, 10,
40:2 43:25	24:22	oxygen 21:8,	15, 24
60:17	25:10	18 22:24	61:25
opinions	61:11	28:16 52:5	62:16
7:3, 7, 11	oxidative	oxygen-	paragraphs
opportunity	19:4 31:8	containing	65:17
68:8	oxide 30:4,	19:25	part 7:11,
order 48:4	11 52:7,	22:9, 11	16 23:6
50:11, 20,	10, 15	28:1, 14	59:23
25 53:18,	oxidize	62:11	partially
23 57:3	30:18 63:3		67:1
ordinary	oxidized	P	particle
14:12, 22	10:10	P.M. 68:20	32:6, 7
15:11	19:11, 12,	paper 24:16	53:4 57:21
16:13, 21	24 20:16	40:6 61:7	particles
17:12	21:14	paragraph	27:22
organic	23:16 24:8	9:20, 22,	28:11
34:15	27:23, 24	23, 24	30:5, 11, 25
original	28:19 29:7	18:20	33:5 41:3
20:7	30:8, 23	19:1, 2	53:6 57:22
outputs 43:3	31:2, 4	20:25	58:1, 19
overnight	35:22	21:2, 3	parts 45:12,
26:17	38:21	24:5, 18, 20	13
oxidant	39:11, 21	25:2, 8, 12,	passed 33:15
19:21	40:5 45:24	15 31:11,	passing 67:7
oxidation	46:2, 3, 4, 7	12, 16	paste 60:19
	49:5 51:13	42:19	61:1, 2
	52:5, 12,	45:2, 3, 6, 8	
	14, 18		
	60:18, 25		

patent 6:21,	16:13,20	16:24 54:2	11:21 17:4
25 7:3,8,	17:12	pointing	positive
9,14,17,	50:19	12:5	28:16
20,24 8:2,	52:22	points 61:12	29:2,4
3,5,6 9:17	perspective	poly 34:14	52:8 61:1
12:15 16:1	14:11,21	polymer	possibilities
58:18	17:12	32:9,14	28:12
59:10,20	Phd 5:1	33:3,4,6,	potential
path 53:8,	68:6,17	16,17,18	52:9
21	phosphate	34:20	potentially
paths 56:4,	24:9,10	46:14	20:2 29:6
11	26:15 28:7	48:21	powder 24:8
pathway	30:5,11	56:22	26:6,10,14
53:14 54:1	38:16	63:11,13,	27:8,18
56:3 57:23	44:11,13	15,16	28:6 29:9
PDVF 37:1	61:2,8,12,	64:25	31:24
45:24	15	65:4,7	32:15,18
people 6:14	photoelectron	polymers	35:3
9:12 15:15	21:10	48:12	41:17,24
36:15	phrase 8:9	polyvinylidene	47:8,16
37:20	16:6,15,21	32:1,8	48:19
50:12,21	17:8	34:18	51:9,24
percent	phrased 36:4	poorly 36:4	56:22
21:15	physical	portion 37:1	57:25 58:1
percentage	55:24	POSITA 8:25	powdered
21:18,19	pi 29:23	9:25 12:2	53:7 57:6,
performance	30:9 62:13	15:16	17
61:4,9,16,	picture	17:22	powders
23	54:19	23:24	39:20
performing	piece 54:16	60:18	53:25
19:4	plastic 32:9	POSITA's	pre-disperse
person	play 11:14	16:17,24	38:12
14:11,21	point 8:25	40:2	pre-dispersed
15:10		POSITAS	48:8,15,24

pre-dispersing 47:3,21	previous 48:14	product 33:6	44:3,5
pre-dispersion 38:7	primary 53:4,6	proper 45:11	put 7:20
precursor 31:24 41:17 43:3	principal 20:13	properly 8:13	21:7 22:23 27:6,13 39:10
preparation 11:23	prior 7:12, 13,14,20 18:4	properties 22:2,15	40:10
prepare 27:14 34:17 35:16 43:8 44:16 50:13	pristine 23:15 39:23 62:13	property 22:6,12, 13,14,19, 23 29:17 49:23	PVDF 32:1, 5,6,23,24 33:2,15, 17,23 34:3,16,20 35:1,10, 12,20,25
prepared 31:14,19, 24 33:3 34:10 41:17 44:16 50:8	procedure 44:8	proportion 26:16	36:9 37:4, 9,12 38:18,19, 22,24,25 39:12,25
prepares 42:18,19	procedures 37:21 67:2	provide 28:3 58:24 61:11	40:13,18, 19,22,25 41:9,11, 14,18,24 42:22
preparing 34:11 58:6	process 27:23 29:5 31:10 48:23 49:4 56:18 57:12	provided 7:14 18:11 61:14	43:5,7 45:17,20, 22 46:2,5, 6 48:21
presence 61:5 62:19	processes 37:13,19, 20	pull 7:22 42:11	49:6,11,22 63:14,16
present 21:9	processing 33:7	pure 31:24 41:17	
pretty 5:8 34:21 39:5 49:21	produce 19:5	purpose 26:7 34:8 37:14,19, 21 38:2 42:25	Q
prevent 36:1,10 62:12,15	produced 13:1 32:24	43:12,16 44:7,16 47:20,23 48:2,4,5, 17 56:20	question 5:15,16, 17,19 6:1 7:5 10:13 12:17,20
	producing 42:20	purposes	

15:9 17:11	ready 65:19	references	research
27:5,7	reagent	18:4	8:21
36:5 46:6	19:22	referred	researchers
51:21	realize	9:13 18:5	23:24 38:9
54:19	60:10	34:5	50:13
59:8,11	reason	referring	resilient
63:20	44:17,19,	22:13	33:4
64:15	21 57:12	31:12,20	resin 10:20
65:18,21	60:23,24	33:5 41:15	32:23,24
questions	61:14 62:1	regarded	33:1 38:25
46:21	reasons	16:25	39:13,25
67:4,6,18	43:20	related 65:5	40:13
quick 42:3	60:20	rely 27:20	42:21,22
quoted 62:19	61:13	relying	43:5,7,21
	recall 46:9	22:16	44:4
R	recess 42:7	remember	45:13,17
raising 33:9	67:17	18:5,6,12,	49:11
range 17:3	record 21:8	13	56:23
18:1	52:24	remove 66:22	57:5,22,
raw 13:1	67:13	removed	23,25
react 19:22	68:11	41:12,14,	58:7,8,21
reaction	red 11:2	21 66:25	59:2,3,5,
19:20,23	refer 6:24,	67:1	6,9,13,17,
20:5,9,22	25 10:25	repeat 7:5	22,23
read 8:13	reference	represent	60:2,5,7
19:5 21:7,	18:5,10	15:23	62:23
11,12	42:10,11	represented	63:9,10,
25:25	44:24,25	25:11	19,24
46:19,20	62:17	require	64:2,3,6,
52:24	63:1,4,8,	36:16	10,13,17,
60:21 61:6	12,18,22	required	20 65:4,
65:16 68:6	referenced	56:21	23,25
reading 47:1	47:7		66:2,4,5,
65:10			6,8,10,13,
			15,22,24
			resins 45:16

response	45:5, 11	19 20:3,	22 15:11
12:18	53:12, 13	14, 16	16:13, 21
rest 31:10	56:16	shown 10:1,	17:12
48:15	57:11, 16,	12	slightly
result 19:13	20 62:24	shows 62:21	37:13
20:3, 21	sentences	side 32:10	slurry
21:19, 24	57:16	55:23	27:11, 12,
23:9 60:4	separate	62:11	14 34:10,
62:8, 14	11:17	sidetracked	11, 18, 25
64:11	12:10, 21	52:21	35:5 39:4
robust 56:5	13:16 14:2	significant	43:9, 22
route 48:12	separated	12:13	44:15
rules 5:14	11:11 13:8	16:18, 25	48:10
	serves 47:23	17:1 66:9	50:5, 8, 13,
s	shear 33:10,	significantly	18
scale 16:9	20 37:6, 10	61:16	small 58:1
44:9	39:25 40:1	similar 9:1	soft 33:19
secondary	57:1, 7	10:17, 18	soften 33:12
46:24	58:2	59:9 63:9	softened
semisolid	shearing	simple 12:20	33:9
33:13	56:16, 20,	simplistic	solely 23:2
66:14	21 57:18	54:21	solid 26:10
sense 5:21	short 25:23	sir 7:25	33:13
6:2, 17	51:24	18:23	34:9, 11
51:3	shorten	24:21	35:2, 12
sentence	20:23	25:5, 19	37:4, 5, 6, 7
10:4, 5, 12	21:24	31:17	39:20
19:3 21:2,	shortened	42:15	57:4, 5
6 24:7	20:6, 8, 19	sit 46:12	66:14, 15
25:12, 19,	shortening	situation	solution
20, 22	20:10, 21	10:18	26:6, 11, 14
26:9, 13	21:14, 20	57:21 58:3	34:22 35:1
34:2 41:8	shorter	skill 14:12,	40:20
42:18, 19	19:14, 16,	solvent	

34:12,13, 15,16,17 35:9 37:25 38:14 39:2 40:15 43:11,22 48:10 49:2,3 50:3 58:22	stacking 30:9 62:13 stage 49:20 start 18:19 38:14 56:24 started 33:18	step 26:21, 22 27:14, 24 35:17, 25 36:21 37:16 39:8 48:1,14 49:21,24 Stephen 67:5 steps 31:11 36:13 43:9 48:5,16 51:6,7,22 65:22	7:13,20 subsequently 26:18 substance 36:9 66:11,14 suggested 56:12 suit 65:10 superior 57:14 surface 19:22 20:19 22:9 24:22 25:10 27:21,24 28:13,15, 18,19,22 30:5,25 52:4,14 62:20,23 63:6 64:6, 14 66:7,9 surfaces 21:9 suspension 26:17 sworn 5:2 system 40:16
sonicating 26:7 sonication 25:24 26:2,3,22 39:8 51:8, 24 sort 6:4 sound 26:4 space 55:24 special 45:22 species 53:17 specific 12:8 46:9 50:11 60:25 65:12 specifically 63:5 spectroscopy 21:10 SS 68:3	starting 24:23 33:8 46:23 starts 24:18 49:5 state 64:9 68:3 statement 65:1 states 17:23 34:3 stating 62:19 status 9:1, 14 12:6, 14,22 13:5,7,10, 11 14:15, 25 15:23 16:17 17:15,16 29:6 35:12 39:3 40:11 53:14 64:23 stay 35:11	stirred 26:17 straighten 43:13,25 strike 9:6 12:18 15:9 29:12 31:2 41:7 53:10 strong 28:12 30:24 45:21 58:1 65:10 stronger 45:23 structure 15:3 17:18 22:7 58:19,20 structures 58:23 studied	taking 6:10 43:10

49:14,16	58:12	treated	54:24
58:7	testified	39:20	ultimate
talk 33:4	5:3 13:13	treatment	48:2
42:10 51:5	testimony	67:1	ultrasonic
talked 17:9	6:6,20	true 68:10	25:24
42:9 47:17	13:15	truthful 6:5	understand
51:6	15:5,10	tube 30:1	5:16,21
talking 25:4	18:4,11	tubes 10:19	7:19 11:15
31:13 34:3	68:7,9,11	30:1 33:7	15:11,18,
48:17	things 48:16	tunnel 56:6	21 16:21
52:20	thoughts	turn 8:5	27:5 30:14
54:21	15:14,15,	9:16,19	31:9 50:22
55:3,5,6	16	14:17	54:14,22
58:6 59:12	tied 61:18,	15:25	57:8
talks 57:16	19	42:8,10,12	understanded
teach 38:1	tightly	46:15	50:11,12
teaches	35:14	60:9,12	understanding
63:23	time 23:23	65:13	7:21 9:5,
64:18	42:2 47:12	Turning	12 10:7,
technical	52:22	10:11	13,16
7:9 33:2	tip 26:4	type 29:8	11:16,21
technique	titanium	40:14	12:1
26:5	24:9 26:14	46:14 52:7	16:14,16,
telling 5:15	28:6 29:14	typically	17 23:24
6:15	30:4,12	6:11,12,14	30:15 38:9
temperature	38:15	22:8 33:9	39:18
33:9,12	titration	39:24	53:11
ten 42:4	28:24	44:16	58:10
67:10,16	today 6:6,20	66:13	understood
tended 29:21	told 5:25		10:1
term 33:2	top 16:7		uniform
terms 12:14	transcript	U.S. 6:21	11:25 13:9
23:10 54:6	68:6,10	Uh-huh 46:18	17:17 28:9
			36:19
			37:11,15,
			18 38:2,

18,23,25	view 8:25	51:7,23	wrote 13:24
43:6 52:10	16:24 54:2	66:18,20	wt% 26:16
uniformly	viewed 23:8,	wave 26:4	
9:15 12:3,	18	weaker 31:7	X
7 13:21	viewing	33:17	
14:4,14	11:15	weight	X-RAY 21:9
15:1,19	vinylidene	45:12,13	Y
16:8	32:9	well-dispersed	
17:10,13,	viscous	62:3	yield 57:14
14 27:11,	33:13,14	whichever	YU 5:1
13 35:13	66:11,14,	40:11	68:6,17
37:8,11,22	16,18,20	white 35:8	
47:3 48:4		widely 37:7	Z
57:12	W	word 10:22	Zhu 5:1,6
64:12		11:14,15	6:19 7:22
unoxidized	Waals 29:11,	32:11	18:3 24:4
29:10,13	14,25 30:6	33:22	42:8 60:9
49:12	52:1,10	40:21 41:6	68:6,17
64:23	wall 29:23	words 8:25	ZINDA 5:5
unravel	62:11	9:8 10:17	15:9 42:4
43:13,25	wanted 25:12	14:18	67:3,7,11,
V	58:18,20	15:6,11	14
	water 25:3,	57:19	
validity	18,23	work 38:10	
7:3,7	26:12,22	42:5	
Van 29:11,	27:2,9,15,	working 9:12	
14,25 30:6	18 28:5,10	15:16	
51:17	35:18,25	50:22 51:3	
52:1,9	36:5,16,	works 67:14	
versus 24:1	18,23	world 54:21	
46:14	38:11,13,	write 14:3	
vibrate 26:5	20 39:7,9	wrong 30:16	
vibration	40:5,7,8	62:18	
26:4	49:9,10,20		
	50:1,3,6,7		