

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

ABBOTT LABORATORIES,) IPR2025-00096
) Patent 11,351,356
Petitioner,)
)
VS.)
)
MIRACOR MEDICAL SA,)
)
Patent Owner.)

REMOTE VIDEOTAPED DEPOSITION OF
PETER CROSBY

TAKEN: January 8, 2026
TIME: 9:00 a.m.
JOB NO. 7828044

STENOGRAPHICALLY REPORTED BY:
Brandi Bigalke, RPR, RSA, CSR NO. 084-4870

Page 2 1 APPEARANCES: 2 On Behalf of the Petitioner: 3 WINSTON & STRAWN, LLP 4 BY: Saranya Raghavan 5 300 N. LaSalle, Suite 4400 6 Chicago, IL 60654 7 EMAIL: Sraghavan@winston.com 8 On Behalf of the Patent Owner: 9 BARNES & THORNBURG LLP 10 BY: Ronald Cahill 11 Todd Vare 12 11 S Meridian Street 13 Indianapolis, IN 46204 14 EMAIL: RCahill@btlaw.com 15 - and - 16 BARNES & THORNBURG LLP 17 BY: Derek Roller 18 One N Wacker Drive, Suite 4400 19 Chicago, IL 60606 20 EMAIL: Derek.Roller@btlaw.com 21 ALSO PRESENT: 22 Nate Riveness, Concierge Tech 23 24 25	Page 4 1 EXHIBIT 2003.....33 2 Liao, et al. End-Stage Congestive Heart Failure in 3 the Adult Population 2003.pdf 4 MARKED EXHIBIT PAGE 5 EXHIBIT 2013.....8 6 Esmore 2007 7 EXHIBIT 2023.....42 8 Ex. 2023 9 ifu00375_rev05_hvad_ifu_en-english_electronic.pdf 10 11 REPORTER'S NOTE: All quotations from exhibits are 12 reflected in the manner in which they were read 13 into the record and do not necessarily indicate an 14 exact quote from the document. 15 16 17 18 19 20 21 22 23 24 25
Page 3 1 INDEX PAGE 2 EXAMINATION BY PAGE 3 Mr. Cahill.....6 4 5 6 INDEX OF EXHIBITS 7 EXHIBIT NUMBER PAGE 8 EXHIBIT 1001.....28 9 U.S. Patent No. 11,351,356 10 EXHIBIT 1008..... 11 Wampler 169 12 EXHIBIT 1015.....40 13 Heartware- A Novel Miniaturized Passive Maglev 14 Centrifugal.pdf 15 EXHIBIT 1036.....13 16 Exhibit D - Supplemental Infringement Claim Chart 17 EXHIBIT 1042.....6 18 Crosby Reply Declaration 19 EXHIBIT 1044.....40 20 HeartWare Announcement 21 EXHIBIT 1046..... 22 Third-generation Blood Pumps with Mechanical 23 Noncontact Magnetic Bearings.pdf 24 25 EXHIBITS (Cont'd)	Page 5 1 VIDEOTAPED DEPOSITION OF PETER CROSBY, conducted 2 virtually, is taken on this 8th day of January, 2026, 3 commencing at 9:00 a.m. 4 --- 5 THE COURT REPORTER: We are on the 6 record. I am Brandi Bigalke, court reporter for 7 Veritext Legal Solutions. Today's date is January 8 8th in the year 2026. The time is now 8:57 a.m. 9 We are taking the remote deposition 10 of Peter Crosby in the matter of Abbott 11 Laboratories versus Miracor Medical SA. 12 Will counsel please state your 13 appearances for the record beginning with the 14 noticing attorney first. 15 MR. CAHILL: This is Ron Cahill from 16 Barnes & Thornburg for the patent owner Miracor 17 Medical. 18 MS. RAGHAVAN: Saranya Raghavan from 19 Winston & Strawn on behalf of Abbott Laboratories, 20 the petitioner. 21 THE COURT REPORTER: Thank you. 22 Do all counsel stipulate to the 23 swearing of the witness remotely by the court 24 reporter? 25 (All counsel so stipulated and the

Page 6 1 court reporter remotely swore in the witness:) 2 PETER CROSBY, 3 Called as a witness and having been first duly 4 sworn, testifies as follows: 5 THE COURT REPORTER: Thank you. 6 You may begin, Counsel. 7 EXAMINATION 8 BY MR. CAHILL: 9 Q. Good morning, Mr. Crosby. 10 A. Good morning, Mr. Cahill. Nice to 11 see you again. 12 (Exhibit 1042 was shown to the 13 witness.) 14 BY MR. CAHILL: 15 Q. So as we have mentioned, I've placed 16 Exhibit 1042 in the Exhibit Share folder, and I 17 understand you have access to that. 18 Can you confirm that you can see that 19 document? 20 A. Yes, I can. I also printed out hard 21 copies of each of my reply reports. And if it's 22 okay with you, I can refer to those. It's easier 23 than scrolling through a screen. 24 Q. That's fine. 25 Do you have any other documents	Page 8 1 (Derek Roller joined the deposition.) 2 A. On page 9 in Paragraph 11 it says: 3 "As I testified during my cross-examination for 4 about five years from 2005 to 2010," that should be 5 2009. I think that's a typo. I left VentraCor in 6 2009. 7 Q. Okay. 8 A. And I think there's one -- I can't 9 remember exactly where it is, there's one place 10 towards the end of the report where there's a 11 missing link which came through on the PDF. But 12 that's about all I saw. There may be other 13 typographical errors of which I'm not aware. 14 Q. But in terms of the substance, you 15 think it's correct? 16 A. Yes. 17 (Exhibit 2013 marked for 18 identification and was shown to the witness.) 19 BY MR. CAHILL: 20 Q. Mr. Crosby, I would like to start 21 with a paper we discussed before. Let's see. 22 Trying to work the controls here to bring it up. 23 Okay. So I've introduced 24 Exhibit Number 2013. And this is the -- this is 25 the Esmore paper entitled VentrAssist left
Page 7 1 relating to the IPR matters -- 2 A. No. 3 Q. -- with you? Okay. Yeah, so that's 4 fine. Please feel free to use either the computer 5 screen or your paper copies. 6 Mr. Crosby, did you prepare for your 7 deposition today? 8 A. Yes. 9 Q. How did you prepare? 10 A. I reread my reply reports, all six of 11 them. And I spent some time with the attorneys for 12 the petitioner. 13 Q. Okay. How much time did you spend 14 with the attorneys? 15 A. About three to four hours, if I 16 remember correctly. 17 Q. And you said you reviewed your reply 18 declarations. When you did so, did you see any 19 errors in them that you feel the need to correct? 20 A. Yes. 21 Q. And what was that? 22 A. There are a couple of typographical 23 errors. If I can pick up the '356, one in 24 particular because that's easy to remember. I'll 25 give you one example.	Page 9 1 ventricular assist device: clinical trial results 2 and Clinical Development Plan update. 3 Do you have that? 4 A. Yes, I do. 5 Q. Now, you were -- on the second page 6 of this document there are some illustrations of 7 the VentrAssist pump. 8 And you were the CEO of VentraCor, 9 the maker of this pump; is that right? 10 A. From 2006, yes. 11 Q. Okay. And in your declarations, did 12 you provide any exhibits describing the VentrAssist 13 pump? 14 MS. RAGHAVAN: Objection; form. 15 THE WITNESS: I think all the 16 exhibits in my declaration are listed on the 17 declaration. I cannot recall if I provided them or 18 they were found by another party. But I have a 19 pretty big library of scientific papers in this 20 field, so I may have provided this one for example. 21 But I don't recall if I did. 22 BY MR. CAHILL: 23 Q. Well, I submit to you that this one 24 was provided by Miracor which is why the exhibit 25 number starts with 2.

Page 10 1 A. Okay. 2 Q. And certainly we've discussed this 3 one. 4 But my question for you is in your 5 declarations, do you provide any other exhibits 6 that describe the VentrAssist pump? 7 MS. RAGHAVAN: Objection; form. 8 THE WITNESS: Which report are you 9 looking at, and I can go through the list of 10 exhibits and see if any jog my memory? 11 BY MR. CAHILL: 12 Q. Well, today I would like to refer 13 only to the reply declaration in the '096 IPR. 14 A. '096. 15 Q. Yeah. So that's -- that one should 16 be sufficient for our purposes. I don't think 17 we'll need to look at the other ones. 18 A. '096. I have '077, '879, '415, 19 '517, '356, and '357. That's the six declarations. 20 Q. Those are the patent numbers, I 21 believe. 22 A. Oh, okay. 23 Q. It would be the '356 patent. 24 A. Okay. I'm sorry. I misunderstood. 25 I have that here, yes.	Page 12 1 A. You mean the surgical placement of 2 the pump? Because there are multiple components. 3 Q. Yes. 4 A. Could you repeat the question now I 5 understand the context? 6 Q. In your declarations, have you 7 provided any exhibits describing the surgical 8 placement of the VentrAssist pump? 9 MS. RAGHAVAN: Objection; form and 10 outside the scope to the extent you're asking about 11 previous declarations. 12 THE WITNESS: I don't believe so for 13 this declaration, for this reply declaration, no. 14 BY MR. CAHILL: 15 Q. Okay. In this declaration, did you 16 provide any exhibits that describe the inflow 17 cannula of the VentrAssist pump? 18 MS. RAGHAVAN: Objection; form. 19 THE WITNESS: No. 20 BY MR. CAHILL: 21 Q. So in your reply declaration for the 22 '356 patent, Exhibit Number 1042, could you turn to 23 page 52? 24 A. I have that. 25 Q. Okay. So I want to ask you about the
Page 11 1 Q. Okay. And the question is, did you 2 provide any exhibits describing the VentrAssist 3 pump? 4 A. Let me have a look -- 5 MS. RAGHAVAN: Objection to form. 6 THE WITNESS: -- at this list. 7 I don't think there are any exhibits 8 on the list that I provided regarding the 9 VentrAssist pump. 10 BY MR. CAHILL: 11 Q. Okay. Did you provide any exhibits 12 describing the placement of the VentrAssist pump? 13 MS. RAGHAVAN: Objection; form. 14 THE WITNESS: The place -- did you 15 say -- 16 BY MR. CAHILL: 17 Q. The placement. 18 A. The placement. 19 Q. Yes. 20 A. You mean the -- 21 Q. It's a challenge sometimes in these 22 remote proceedings to not talk over each other, 23 especially when there are questions. 24 But yes, placement within the body 25 during implantation.	Page 13 1 three figures shown there and your annotations of 2 it. You might want to flip back a page and look at 3 Paragraph 96 first. 4 A. Yes. I've read Paragraph 96. 5 Q. Okay. So if we could look at those 6 figures on page 52. You identify an inflow 7 cannula. And on the two figures on the right, you 8 identify what looks like a fitting on the top of 9 the pump as the inflow cannula; is that correct? 10 A. The inflow cannula is attached at the 11 end of that arrow on the top right illustration 12 with a threaded element. That's where the inflow 13 cannula attaches to the pump. 14 Q. Okay. And is it the same for the 15 bottom figure? 16 A. Well, yes. The bottom figure is a 17 kind of a pseudo x-ray illustration of what's 18 inside it. But yes, that's the same location where 19 the inflow cannula is attached. 20 (Exhibit 1036 was shown to the 21 witness.) 22 BY MR. CAHILL: 23 Q. So Mr. Crosby, I have shared 24 Exhibit Number 1036. 25 A. I have it up on the screen.

4 (Pages 10 - 13)

Page 14 1 Q. Now, if you'd like to look, I believe 2 you refer to this document in Paragraph 41 of your 3 reply declaration. 4 A. I'm sorry. I need -- so you want me 5 to look at Paragraph 41 of the -- 6 Q. Yeah. Yeah, I don't want to -- I 7 don't mean to take any of this out of context. You 8 refer to this exhibit there, and you're welcome to 9 look at it if you'd like. 10 A. Paragraph 41 of the '356 starts with, 11 "For infringement, Miracor alleges that the 'Rotor' 12 of," is that the one you're referring to? 13 Q. Yes, I am. And you can see there 14 that cite to Exhibit 1036, which is the one we're 15 looking at now. 16 A. Okay. But which page of 17 Exhibit 1036? It's a large number of pages. 18 Q. Okay. 19 (Simultaneous speaking.) 20 THE WITNESS: Go ahead. 21 BY MR. CAHILL: 22 Q. There are a number of questions I'd 23 like to ask you about this document, Exhibit 1036. 24 Have you reviewed the entire document 25 of Exhibit 1036?	Page 16 1 MS. RAGHAVAN: Objection; form. 2 THE WITNESS: Well, hold on. You 3 asked me to look at the '356 reply declaration, but 4 you're referring to a claim for a different patent. 5 So I'm confused about which you want me. 6 BY MR. CAHILL: 7 Q. Okay. Well, sir, you're the one who 8 cited it. In your '356 declaration you cite this 9 document, and that's why I'm asking you about it. 10 A. That's exhibit -- which -- can you 11 tell me where I cite that, please, and which page 12 number just to remind me? 13 Q. It is Paragraph 41. 14 A. I think it cites to Exhibit 1040, and 15 the exhibit you have up is 1036. 16 Q. Sir, right underneath the figure it's 17 cited to Exhibit 10 -- 18 A. 36. 19 Q. -- 36. 20 A. I see it. I beg your pardon. 21 And what's the 20? Is that page 20? 22 Q. You've cited to page 20, yes. 23 A. And you got my attention directed to 24 page 5. 25 Q. Correct.
Page 15 1 A. Yes. But I don't think I spent a lot 2 of time reviewing it for generating my reply 3 declaration. 4 Q. Okay. Could you turn to page 5 of 5 Exhibit 1036. 6 A. Yes. I have page 5. 7 Q. Okay. On the left-hand side there is 8 some patent claim language. 9 Do you see that? 10 A. Yes, I see that. 11 Q. Could you read that claim language 12 into the record for us? 13 A. This is U.S. Patent Number 11,572,879 14 claim, quote, "a magnetically driven rotor axially 15 aligned with the inflow axis and being rigidly 16 coupled and axially adjacent to a first magnetic 17 device that is axially aligned with the inflow 18 axis." 19 Q. Okay. And in the figures in the box 20 on the right-hand side, do you see that there's an 21 identification of a magnetically driven rotor? 22 A. Yes, I see that. 23 Q. And the arrows for that magnetically 24 driven rotor, those are pointed to guide surfaces 25 that direct blood flow; is that right?	Page 17 1 A. Okay. And what was the question on 2 page 5? 3 Q. The question on page 5 is in the 4 figures on the right, a magnetically driven rotor 5 is identified. 6 A. Yes. 7 Q. And my question is, the arrows for 8 the magnetically driven rotor, are those pointed to 9 guide surfaces that are intended to move blood 10 through the pump? 11 MS. RAGHAVAN: Objection; form. 12 THE WITNESS: Well, perhaps I should 13 look at page 20 to see the illustration that's on 14 page 20 since that's the one I actually refer to. 15 BY MR. CAHILL: 16 Q. Well, sir, I'm asking you 17 specifically about this illustration on page 5. 18 Are you going to answer my question? 19 MS. RAGHAVAN: Object to form. 20 THE WITNESS: I'm trying to 21 understand. You refer to a reference in my report, 22 then you're asking me a question about a different 23 page in the same reference. 24 BY MR. CAHILL: 25 Q. That's right.

5 (Pages 14 - 17)

Page 18 1 A. I beg your pardon? 2 Q. That's right, sir. In a deposition I 3 get to ask the questions, and I'm asking you a 4 question about page 5. 5 A. I don't think it referred to page 5. 6 So if you're asking me to come up with an opinion 7 now, I can do that. 8 If your question is does the arrow 9 point to the impeller part of the magnetically 10 driven rotor in that illustration, then the answer 11 is yes. But that's not the illustration referred 12 to in my report. 13 Q. Understood. 14 And it also identifies a first 15 magnetic device, correct? 16 A. Go back to your page 5 again. You 17 know, it's harder on the screen, but I'm doing my 18 best. 19 So page 5, it identified a 20 magnetically driven rotor and a first magnetic 21 device, yes. 22 Q. And the first magnetic device is 23 identified as a magnet that's above the 24 magnetically driven rotor; is that correct? 25 MS. RAGHAVAN: Objection; form.	Page 20 1 of it. It's not clear if the arrow refers only to 2 that part of it. If it was only that part I would 3 have expected some sort of box around it, but 4 there's nothing. So I could interpret that as 5 saying the arrow which identifies the magnetically 6 driven rotor is that entire thing. It's not clear 7 to me what you're trying to say. 8 BY MR. CAHILL: 9 Q. I'm saying the arrows point to what 10 they point to. 11 The magnetically driven rotor arrow 12 points to the impeller at the bottom, correct? 13 MS. RAGHAVAN: Objection; form. 14 THE WITNESS: Well, the tip of the 15 arrow is on the impeller at the bottom, which is 16 part of the entire magnetically driven rotor 17 according to patent owners' contentions, in my 18 understanding. 19 BY MR. CAHILL: 20 Q. Okay. And the first magnetic device 21 points to a magnet that's above the impeller, 22 correct? 23 MS. RAGHAVAN: Objection; form. 24 THE WITNESS: The arrow at the end of 25 the label first magnetic device points to the
Page 19 1 THE WITNESS: You mean above on the 2 page? 3 MR. CAHILL: Yes. 4 THE WITNESS: I'm trying to 5 understand. 6 MR. CAHILL: Correct. Above on the 7 page. 8 MS. RAGHAVAN: Same objection. 9 THE WITNESS: I think the first 10 magnetic device in that illustration is referred to 11 as the magnets which are embedded in the entire 12 rotor, which includes the impeller, which is 13 underneath the rotor of the direction we're talking 14 about. Excuse me, underneath the magnet. 15 BY MR. CAHILL: 16 Q. Okay. So the first magnetic device 17 is above what's identified as the magnetically 18 driven rotor; is that right? 19 MS. RAGHAVAN: Objection; form. 20 THE WITNESS: Well, I'm not sure 21 about that because there are other parts of this 22 document and other parts which talk about the 23 magnetically driven rotor is the entire thing that 24 rotates, including the impeller. So it talks about 25 magnetically driven rotor with an arrow to one part	Page 21 1 magnet part which is inside the entire magnetically 2 driven rotor, which is above in the direction we're 3 talking about, yes. 4 BY MR. CAHILL: 5 Q. Okay. Will you turn to page 6. 6 A. Of? 7 Q. Of Exhibit 1036. 8 A. I have this. 9 Q. And again, there's a figure here that 10 identifies a first magnetic device and a 11 magnetically driven rotor. 12 Do you see that? 13 A. I do. 14 Q. And again, the arrow for the 15 magnetically driven rotor points to the impeller at 16 the bottom. Do you see that? 17 MS. RAGHAVAN: Objection; form. 18 THE WITNESS: The arrow -- the end of 19 the arrow for the text box magnetically driven 20 rotor is on the impeller part of the entire 21 magnetically driven rotor, which is one unitary 22 object. 23 BY MR. CAHILL: 24 Q. And the first magnetic device points 25 to a magnet that is above the impeller; is that

Page 22 1 right? 2 MS. RAGHAVAN: Objection; form. 3 THE WITNESS: The end of that arrow 4 points to a magnet which was embedded inside the 5 magnetically driven rotor, that's correct. 6 BY MR. CAHILL: 7 Q. And could we turn to page 7 of 8 Exhibit 1036. 9 A. I have page 7. 10 Q. And there's another figure here that 11 identifies the magnetically driven rotor and the 12 first magnetic device; is that correct? 13 A. It's the same -- looks like the same 14 illustration we were looking at before where the 15 end of the arrow referring to the magnetically 16 driven rotor is on the impeller part, and the end 17 of the arrow labeled first magnetic device is on 18 the magnet which is embedded inside the entire 19 magnetically driven rotor. 20 Q. Okay. And can we turn to page 8 of 21 Exhibit 1036. 22 A. I have page 8, yes. 23 Q. And do you see a figure there in 24 which the magnetically driven rotor and the first 25 magnetic device are identified?	Page 24 1 first magnetic device? 2 A. Yes. Again, the arrow at the orange 3 arrow from the text box magnetically driven rotor 4 points to the impeller part of the entire 5 magnetically driven rotor. 6 Q. Okay. Can we turn to page 16 of 7 Exhibit 1036. 8 A. I see that. 9 Q. And do you see a figure there where 10 it is identified a magnetically driven rotor with 11 guide surface to produce centrifugal flow 12 components? 13 A. I see that. 14 MS. RAGHAVAN: Objection; form, 15 outside the scope. 16 THE WITNESS: I see that text box, 17 yes. 18 BY MR. CAHILL: 19 Q. And does that point to the impeller 20 portion of the rotor? 21 MS. RAGHAVAN: Same objection. 22 THE WITNESS: The end of the blue 23 arrow is on the impeller part of the magnetically 24 driven rotor, and that's what the text box says. 25 The magnetically driven rotor with guide surfaces
Page 23 1 A. I see again the end of the arrow for 2 the box labeled magnetically driven rotor is on the 3 impeller part, and the end of the arrow labeled 4 first magnetic device is on the magnet embedded 5 inside the magnetically driven rotor. 6 Q. Could we turn to page 11 of 7 Exhibit 1036. 8 A. I have that. 9 Q. And do you see a figure there where 10 the magnetically driven rotor is identified? 11 MS. RAGHAVAN: Objection; form and 12 outside the scope of his declaration. I don't see 13 this page cited in his declaration. 14 THE WITNESS: The text box 15 magnetically driven rotor has the end of the arrow 16 on the right-hand side pointing to the impeller 17 part of the magnetically driven rotor, yes. 18 BY MR. CAHILL: 19 Q. Okay. Could we turn to page 12 of 20 Exhibit 1036. 21 MS. RAGHAVAN: Same objection. 22 THE WITNESS: I have it. 23 BY MR. CAHILL: 24 Q. And do you see a figure there that 25 identifies the magnetically driven rotor and the	Page 25 1 to produce centrifugal flow. 2 BY MR. CAHILL: 3 Q. Could we turn to page 17 of Exhibit 4 1036? 5 A. I see that. 6 Q. And do you see there a figure where 7 it has identified the magnetically driven rotor? 8 A. I see a text box that says 9 magnetically driven rotor, and I see above that the 10 text from the original illustration which says 11 rotor magnet, which says that that's a magnet which 12 is part of the rotor. So yes, the text box arrow 13 points to the impeller part of the magnetically 14 driven rotor. 15 Q. Okay. Could you turn to page 18 of 16 Exhibit 1036. 17 A. I have it, yes. 18 Q. And do you see there elements 19 identified as a magnetically driven rotor and a 20 first magnetic device? 21 MS. RAGHAVAN: Objection; outside the 22 scope. 23 THE WITNESS: I see a text box with a 24 blue arrow, the arrow which is pointing to the 25 impeller part of the magnetically driven rotor.

7 (Pages 22 - 25)

Page 26 1 And I see another text box where the end of the 2 blue arrow is pointing to the magnet which is 3 embedded in the magnetically driven rotor. 4 BY MR. CAHILL: 5 Q. Can you turn to page 21 of Exhibit 6 1036. 7 A. Just going past page 20 which has 8 also got an illustration which has a text box 9 magnetically driven rotor which show the arrow 10 points to the entire thing, by the way. But I'm on 11 page 21 now. 12 Q. Thank you. 13 And do you see there identified a 14 magnetically driven rotor within a first chamber? 15 A. I see that. 16 MS. RAGHAVAN: Objection; form, 17 outside the scope. 18 THE WITNESS: I see the text box 19 magnetically driven rotor within a first chamber, 20 yes. 21 BY MR. CAHILL: 22 Q. And what portion of the device does 23 it identify or does it point to? 24 MS. RAGHAVAN: Objection to form. 25 THE WITNESS: Well, it's not clear	Page 28 1 which is part of the original figure which says, 2 quote, "rotor with internal magnet." 3 So the original figure talks about 4 the rotor with an internal magnet, and the text box 5 that we refer to appears to talk {ph} only part of 6 that rotor with an internal magnet. 7 (Exhibit 1001 was shown to the 8 witness.) 9 BY MR. CAHILL: 10 Q. Okay. Mr. Crosby, I've just 11 introduced Exhibit 1001. And this is the '356 12 patent. 13 A. Yes, I have that up. 14 Q. So to start with, Mr. Crosby, could 15 you confirm that the figure on the front face of 16 the patent is figure number 2? 17 A. Yes. It appear -- yes, it's figure 18 number 2. 19 Q. Okay. Could we turn to figure number 20 2. 21 A. I have it. 22 Q. So are you -- I know you've looked at 23 this before, but I don't know how recently you've 24 looked at it. 25 Are you familiar with the elements of
Page 27 1 because the two halves are actually different. The 2 left side of the illustration, the blue text box -- 3 excuse me, the blue arrow from the text box with 4 the text magnetically driven rotor within a first 5 chamber points to the bottom of the impeller, 6 whereas on the right-hand side the end of the blue 7 arrow from the same text box appears to include the 8 whole of the rotor. It's not clear that it's 9 limited to just the impeller or it includes the 10 whole thing. I think that picture is ambiguous. 11 BY MR. CAHILL: 12 Q. So do you not think that the blue 13 arrow on the right that the point of that arrow is 14 on the impeller portion? 15 MS. RAGHAVAN: Objection; form. 16 THE WITNESS: Well, in my view it's 17 ambiguous. 18 BY MR. CAHILL: 19 Q. Okay. Is the point of the arrow in 20 the impeller portion? 21 MS. RAGHAVAN: Objection; form. 22 THE WITNESS: Well, the point of the 23 arrow appears to be in -- just in the impeller 24 portion of the entire magnetically driven rotor. 25 But I'll point you also to the text to the right	Page 29 1 this figure? 2 A. With the what of the figure? 3 MS. RAGHAVAN: Objection; form. 4 MR. CAHILL: The elements. 5 MS. RAGHAVAN: Same objection. 6 THE WITNESS: In general, yes. I'd 7 have to refer back to the text to remind myself of 8 which number refers to which element. But in 9 general, yes. 10 BY MR. CAHILL: 11 Q. Okay. And if you'd like, we could 12 turn to the text. It's Column 4, the bottom 13 paragraph introduces the elements of Figure 2. 14 A. Okay. I don't think I can get both 15 the figure and the text up at once. 16 Q. Well, that's okay. I offer it in 17 case you'd like to read through that paragraph 18 before answering questions about the figure. 19 A. Okay. I understand. 20 Are you waiting on me? 21 Q. I am. 22 A. I'm sorry. I wasn't sure if there 23 was a question pending. 24 Q. There isn't. 25 Have you read through that paragraph

Page 30 1 regarding Figure 2? 2 A. I beg your pardon. 3 Yes, I have read through paragraph 4 starting on line 47 on Column 4 of the patent, yes. 5 Q. Okay. Could we turn to Figure 2? 6 A. Yes, I have it up. I apologize. I 7 was waiting on you and you were waiting on me. 8 Q. Okay. So do you understand Element 9 18 to be a rotor? 10 A. Element 18 is -- let me see what 11 exactly what it defines it as. 12 It's called a rotor, yes. 13 Q. And Element 9 is a tube? 14 A. Yes. They label it as a tube, 9. 15 Q. And we don't see the entirety of tube 16 9 here, it's partially torn away; is that right? 17 A. No. We see the entirety of tube 9 on 18 Figure 1. 19 Q. Right. But we're looking at 20 Figure 2. 21 A. Yes. 22 Q. So it's partially torn away on 23 Figure 2, isn't it? 24 A. That's correct. 25 Q. Okay. And this figure shows flow	Page 32 1 obviously that's wrong if the rotor is providing 2 the acceleration of the blood then it had to go 3 through the rotor. That's why I say it's kind of a 4 cartoon representation of the general direction of 5 flow. 6 Q. Okay. So the rotor 18 and the tube 9 7 for the portion that we see in Figure 2, are they 8 axially aligned? 9 MS. RAGHAVAN: Objection; form. 10 THE WITNESS: What definition -- in 11 order for me to answer that question I need to 12 understand what definition you are using for the 13 term "axially aligned". 14 BY MR. CAHILL: 15 Q. I'm using the ordinary meaning of 16 those terms. 17 They're just English words, aren't 18 they? 19 MS. RAGHAVAN: Objection; form. 20 THE WITNESS: I don't think I provide 21 an opinion on the ordinary meaning of the terms 22 axially aligned. I think I relied on the 23 institution decision on how that term is to be 24 used. And I think my reply reports refer to the 25 way Mr. Leschinsky uses the term "axially aligned".
Page 31 1 lines 24? 2 A. Yes. 3 Q. Okay. And that would indicate the 4 flow of blood through the pump; is that right? 5 A. Well, it is at best a fanciful 6 representation of how the blood might flow. 7 Q. Okay. Do you understand the rotor 18 8 to have an axis of rotation? 9 A. I do. 10 Q. In the page of the figure, what 11 direction would that axis run? 12 A. What direction would the what, sorry? 13 Q. The axis of rotation of the rotor. 14 A. In Figure 2 it runs vertically from 15 the center of the axis of tube 9 down through the 16 center of the axis of the second tube. 17 Q. Okay. So straight up and down? 18 A. In that part, yes. 19 Q. Okay. In the portion of tube 9 that 20 we see, does that also run straight up and down? 21 A. Well, as you point out, this is tube 22 9 cut off in a small section here. So this sketch 23 shows tube 9 running straight up and down in this 24 illustration. The flow arrows that you referred to 25 before, 24, don't get anywhere near the rotor when	Page 33 1 I don't think I've offered my own opinion on what 2 those terms mean. 3 BY MR. CAHILL: 4 Q. Okay. So as you sit here today, you 5 have no opinion on what "axially aligned" means in 6 the context of the '356 patent; is that right? 7 MS. RAGHAVAN: Objection; form. 8 THE WITNESS: That wasn't part of my 9 assignment to come up with definitions of what the 10 terms mean. My assignment was to apply the 11 definitions of the board {ph} used in their 12 institutional decision and then consider the 13 opinions that Mr. Leschinsky provided on those 14 terms and discuss it in that context. 15 I haven't given any thought into what 16 that term might mean. I guess I could come back in 17 a week. 18 BY MR. CAHILL: 19 Q. Okay. Mr. Crosby, are you familiar 20 with the structure of the HeartWare HVAD pump? 21 A. In general terms, yes. 22 (Exhibit 2003 was shown to the 23 witness.) 24 BY MR. CAHILL: 25 Q. Okay. I've just introduced

Page 34 1 Exhibit Number 2003. 2 A. I have it here, yes. 3 Q. And have you seen this document 4 before? 5 A. Do I cite it as one of the exhibits 6 in any of my reports? If I have, then yes, I have. 7 Q. Let's see. So I don't think you cite 8 it in your reply declaration. It was cited by 9 Mr. Leschinsky in his declaration. 10 A. I recall reviewing this briefly, yes. 11 MS. RAGHAVAN: I'm going to object to 12 this line of questioning as outside the scope. If 13 it's not citing his declaration he's not -- he's 14 not here to opine on things that are outside his 15 reply declaration. 16 MR. CAHILL: And everything that I am 17 introducing today relates directly to his opinions. 18 It is a proper cross-examination certainly for 19 impeachment purposes, but also simply to understand 20 what his opinions are. He has -- 21 (Simultaneous speaking.) 22 MS. RAGHAVAN: If you're able to tie 23 it to his declaration, then I can assess that -- 24 assess whether it's part of the scope. But right 25 now you're just introducing a document that's	Page 36 1 A. I have that page, yes. 2 Q. And there are two figures at the top. 3 They're labeled A and B. 4 Do you see those? 5 A. I see them. 6 Q. Are those figures consistent with 7 your understanding of the structure of the 8 HeartWare HVAD pump? 9 A. Look, you're presenting me a document 10 I haven't looked at in detail and for a long time, 11 if at all. It may be part of my library of 12 documents. I may have read it in considering the 13 declaration of Mr. Leschinsky. But I certainly did 14 not review this in preparation for this deposition, 15 so I don't feel comfortable providing any views on 16 this document at this time. 17 Q. But my question for you isn't an 18 opinion about the document, it's about your 19 understanding of the structure of the HeartWare 20 HVAD device. And there are two figures here. 21 And are you able to answer the 22 question, are these figures consistent with your 23 understanding of the structure of that device? 24 MS. RAGHAVAN: Objection; form. 25 THE WITNESS: I might be able to
Page 35 1 nowhere cited in his declaration. We have no idea 2 where you're going with it. So I'm going to object 3 to the line of questioning as it stands. 4 MR. CAHILL: Okay. He does express 5 opinions in his reply report about the HeartWare 6 HVAD pump. 7 MS. RAGHAVAN: And you can ask about 8 those opinions as they appear in his declaration, 9 not about random documents that don't appear in his 10 declaration. 11 MR. CAHILL: It's hardly a random 12 document if it's been -- 13 (Simultaneous speaking.) 14 MS. RAGHAVAN: If you want to tie it 15 to his declaration I'm more than happy for you to 16 ask him questions about it. 17 MR. CAHILL: Okay. Well, I'm going 18 to ask my questions. 19 BY MR. CAHILL: 20 Q. So Mr. Crosby, I believe you said you 21 have some familiarity with the structure of the 22 HeartWare HVAD pump; is that right? 23 A. That's what I said, yes. 24 Q. Could you turn to page -- in the PDF 25 it's page 6 of 8 in Exhibit 2003.	Page 37 1 answer the question better if you talked about 2 which specific elements of the structure you're 3 referring to. I mean, this is an entire pump and 4 an illustration of part of the pump and 5 cross-section. So I'm not sure which part of the 6 structure you're referring to. 7 BY MR. CAHILL: 8 Q. Well, Figure A on the left is an 9 exterior view of a HeartWare pump, isn't it? 10 MS. RAGHAVAN: Objection; form. 11 THE WITNESS: I don't know if that's 12 a photograph, or an engineering drawing, or an 13 illustration. I don't know what you mean by that's 14 an exterior view. And if it is an exterior view, 15 for example, a photograph, it's an exterior view 16 from one side of one angle. It's very difficult 17 for anyone to opine or give even any thought about 18 the structure of an object if you only see it from 19 one side. I'm not sure I can answer your question. 20 BY MR. CAHILL: 21 Q. If you look at the figure on the 22 right labeled B, and do you see that that figure 23 shows some of the interior of the pump? 24 A. Well, I think any way to really give 25 an answer to your question is to read the text

Page 38 1 which describes that figure in the paper. If I can 2 do that I might be able to answer your question a 3 bit better. But just looking at an illustration in 4 a paper without also understanding how it's 5 referred to in the text, it's -- I'm sorry, it's 6 difficult to answer your question. 7 Q. Do you know what the structure of the 8 impeller in the HeartWare HVAD device is? 9 MS. RAGHAVAN: Objection; form. 10 THE WITNESS: I'm not sure what you 11 mean by the word "structure." Are you asking, for 12 example, what's the engineering equations of the 13 curve of the volumes. Are you asking what the 14 materials are. Are you asking how it's machined. 15 For an engineer who has worked many 16 years on design of these devices, I'm sorry, it's a 17 question I can't ascribe meaning to. 18 BY MR. CAHILL: 19 Q. What does it look like? 20 MS. RAGHAVAN: Objection; form. 21 THE WITNESS: What does what look 22 like? The impeller; is that what you're asking? 23 MR. CAHILL: Yes. 24 MS. RAGHAVAN: Same objection. 25 THE WITNESS: I don't have one in	Page 40 1 Q. So I believe you cite to two exhibits 2 apart from citing to the Leschinsky declaration. 3 Let me pull them up. 4 (Exhibits 1014 and 1044 were shown to 5 the witness.) 6 BY MR. CAHILL: 7 Q. Okay. So I've introduced 8 Exhibits 1015 and 1044, which I believe are the two 9 exhibits that you cite. 10 A. Which one would you like me to pull 11 up? I can only do one at a time, I think. 12 Q. How about 1015. 13 A. Okay. Pulling up 1015. I have that. 14 Q. Okay. So this is one of the two 15 exhibits that you cited in your declarations 16 regarding the HVAD pump. 17 So my question is at the time you 18 prepared your reply declaration, did you have an 19 understanding of what the rotor in the HeartWare 20 HVAD pump looked like? 21 A. Can you please point out where I cite 22 this exhibit in my reply declaration because I need 23 to have the context of why I cited this so that I 24 know what I relied on in this. 25 Q. Mr. Crosby, have you ever had an
Page 39 1 front of me. It's a while since I've held one in 2 my hands. I wouldn't feel confident answering that 3 question without more data. And I'm not here as an 4 expert on the HVAD pump. You need to talk with 5 someone who has worked with that pump. 6 BY MR. CAHILL: 7 Q. You did express views about the HVAD 8 pump though, didn't you? 9 A. I did express views about the HVAD 10 pump, but I don't think I expressed a view which 11 would directly answer your question what does the 12 impeller look like unless you can point to it 13 somewhere in my report where I expressed that view. 14 I'd be happy to review it. 15 Q. When you prepared your reply 16 declaration, did you have an understanding of what 17 the rotor in the HeartWare HVAD pump looked like? 18 MS. RAGHAVAN: Objection; form. 19 THE WITNESS: I reviewed the 20 documents which are listed as exhibits in my reply 21 declaration, and I relied on those exhibits to form 22 my opinions. If you can point to one of those 23 exhibits which might answer your question, then I 24 can look at that exhibit. 25 BY MR. CAHILL:	Page 41 1 understanding of what the rotor in the HeartWare 2 HVAD pump looked like? 3 A. Have I ever had an understanding, 4 well, yes. I've attended many conferences and met 5 with many people and read many papers. When I was 6 working in the left ventricular assist device 7 industry for many years, I've held them in my 8 hands, yes. I was very familiar with them when I 9 worked in this industry about almost 20 years ago. 10 Q. Okay. But you can't describe what 11 the impeller looks like today? 12 MS. RAGHAVAN: Objection; form. 13 THE WITNESS: Well, that's a 14 different question. 15 I wasn't -- in preparation for this 16 deposition, I didn't spend time looking over what 17 the HeartWare HVAD looks like so I could be 18 prepared to answer these questions. I could, given 19 time, go through my literature and maybe even -- 20 I'm sure I don't have any notes, but maybe from my 21 recollections from about 20 years ago and form an 22 opinion. But I certainly didn't do that in 23 preparation for this deposition. 24 MR. CAHILL: I'm going to introduce a 25 new exhibit, number 2023.

Page 42 1 (Exhibit 2023 was marked for 2 identification and shown to the witness.) 3 BY MR. CAHILL: 4 Q. I'm just going to see if I can jog 5 your memory a little bit. 6 MS. RAGHAVAN: I'm going to object to 7 your questions about this exhibit as outside the 8 scope. 9 THE WITNESS: I don't see it yet. 10 MR. CAHILL: Okay. Let me try to do 11 this. Okay. It should be there now. 12 THE WITNESS: 2023. I see it. 13 BY MR. CAHILL: 14 Q. Okay. So just looking at the front 15 page of this, have you ever seen -- this is 16 labeled, by the way, it's Exhibit 2023, its title 17 is HeartWare HVAD System Instructions for Use. 18 Have you ever seen HeartWare HVAD 19 Instructions for Use before? 20 A. It depends on the date. 21 What is the date of this document, 22 and then I might be able to. 23 Q. So this document I think is 24 copyrighted in 2018. 25 A. Well, if it's copyrighted in 2018,	Page 44 1 unanswerable. 2 It's like if you show me a picture of 3 a Ford F-150 from 2026 and say is this the same as 4 a Ford F-150 from 2005 that you drove, well, it 5 might look the same, but they're not the same. 6 BY MR. CAHILL: 7 Q. Well, you might be able to answer 8 from your memory of an earlier Ford F-150 that you 9 drove, couldn't you? 10 MS. RAGHAVAN: Objection; form and 11 completely outside the scope. 12 MR. CAHILL: I will agree that 13 Mr. Crosby's reply declaration expresses no 14 opinions about Ford F-150s. 15 MS. RAGHAVAN: We can agree on one 16 thing today. 17 BY MR. CAHILL: 18 Q. So Mr. Crosby, then, is it your view 19 that the documentation after 2009 is not reliable 20 for determining what documentation on the HeartWare 21 HVAD after 2009 is not a reliable indication of 22 what the clinically implanted pump for the 23 HeartWare HVAD looked like before 2009? 24 MS. RAGHAVAN: Objection; form, 25 outside the scope, mischaracterizes his testimony.
Page 43 1 no, I would not have seen this document. I stopped 2 working on the LVAD industry in about 2009. 3 Q. Is this a depiction of the HeartWare 4 HVAD system on the front page of this document? 5 MS. RAGHAVAN: Objection; form, 6 outside the scope. 7 THE WITNESS: Well, if this is the 8 instructions for use for 2018, I can't say with 9 certainty if this is the same HeartWare HVAD that 10 was in use prior to 2009 because like all medical 11 devices, they go through multiple revisions over 12 time. So I don't think that I can answer that 13 question sitting here today looking at a 2018 14 document if this is the same as the HVAD system 15 that I would have seen prior to 2009. 16 BY MR. CAHILL: 17 Q. You wouldn't be able to tell by 18 looking at it if it was the same one you saw before 19 2009? 20 MS. RAGHAVAN: Objection; form. 21 THE WITNESS: You put up this 22 document which I don't think I've seen before with 23 a picture of a version of the HeartWare HVAD pump. 24 I don't know if this is the same as the pump that I 25 would have seen prior to 2009. The question is	Page 45 1 THE WITNESS: I think that 2 mischaracterizes what I said. I said I could not 3 answer the question based on a document from 2018. 4 If you provide me documents from the contemporary 5 period, and in particular from the priority date or 6 before the priority date of the patents at issue 7 then I might be able to answer the question. 8 BY MR. CAHILL: 9 Q. But if I provided you with a document 10 from 2013, would it be your position that you 11 wouldn't be able to tell what that -- okay. 12 So if I provide you with a document 13 from 2013 that shows the structure of a HeartWare 14 HVAD system, would it be your opinion that that 15 wouldn't tell you anything about the HeartWare HVAD 16 system that was clinically implanted prior to 2009? 17 MS. RAGHAVAN: Objection; form, 18 outside the scope, mischaracterizes his testimony, 19 and now poses incomplete hypotheticals. 20 THE WITNESS: I don't think the 21 question is answerable. If you as you suggest to 22 provide me a drawing of the HVAD from 2013, I ask 23 if it was the same one that was available in say 24 2006, I would need additional information to answer 25 that question. Like a representation that there

Page 46 1 were no changes made between 2006 and 2013, or if 2 there were changes made here is the list of what 3 they were, or, you know, this is the device that 4 was approved by the FDA in 2000 whenever it was and 5 no changes have been made since. The question is 6 not answerable just looking at a photograph without 7 additional information. 8 BY MR. CAHILL: 9 Q. Is it the case that you don't know 10 what changes may or may not have been made to the 11 HeartWare HVAD system over time between 2006 and 12 say 2013? 13 MS. RAGHAVAN: Objection; form, 14 outside the scope. 15 THE WITNESS: I wasn't part of {ph} 16 HeartWare. I wasn't part of the engineering team. 17 I wasn't following the company in the 2013 18 timeframe. I have no knowledge of what changes 19 were made or not made in that time period you 20 referred to in your question. 21 BY MR. CAHILL: 22 Q. Okay. So for the HeartWare HVAD 23 system that was clinically implanted prior to the 24 priority date, do you today recall what the rotor 25 in that pump looked like?	Page 48 1 that any of these might describe the HeartWare HVAD 2 structure, is that what you're asking? 3 MR. CAHILL: Yes. 4 THE WITNESS: Do I have the question 5 correct? Okay. Hold on a moment, please. 6 I don't have the exhibits in front of 7 me, but just looking from the titles, Exhibit 1046, 8 may. I don't know, it may. Exhibit 1015 may. And 9 again, I'd have to look at the exhibit to answer 10 your question specifically, but these titles are 11 suggestive that they could. They are the two 12 documents that stand out as possibly answering your 13 question. 14 (Exhibit 1008 was shown to the 15 witness.) 16 BY MR. CAHILL: 17 Q. Mr. Crosby, I have introduced 18 Exhibit Number 1008, and I think you'll recognize 19 that as the Wampler reference? 20 A. Yeah, I have it up. 21 Q. Now, in your reply declaration you 22 say that you relied on a single embodiment of 23 Wampler. 24 Do you recall that? 25 A. Vaguely. Can you point out where
Page 47 1 MS. RAGHAVAN: Objection; form. 2 THE WITNESS: I think that's the same 3 question you were asking before. I didn't prepare 4 for that question in this deposition. Of course I 5 was familiar with the HeartWare device at that 6 time. I would have to refer to documents and 7 publications contemporaneous with that time to be 8 able to answer the question. I'm certainly not 9 going to sit here and either speculate or go into 10 the depths of my memory from 20 years ago and come 11 up with an answer. 12 BY MR. CAHILL: 13 Q. Could you go back to your reply 14 declaration, Exhibit 1042, and could you take a 15 look through the exhibit list and tell me if any of 16 those exhibits would explain the structure of a 17 HeartWare HVAD pump before 2009? 18 MS. RAGHAVAN: Objection to form. 19 THE WITNESS: And you're referring -- 20 apologize. Say it again. 21 I think you're referring to reply 22 declaration for the '356 patent; is that correct? 23 MR. CAHILL: Correct. 24 THE WITNESS: Okay. And you want me 25 to go through the exhibit list and see if I recall	Page 49 1 that is in my reply declaration, please, so I can 2 refresh my memory? 3 Q. Sure. You might look at Paragraph 4 56. 5 A. Yes, I see that. 6 Q. So can we -- are you okay with 7 referring to the "first embodiment" and the "second 8 embodiment" in Wampler as you quote in Paragraph 9 56? 10 MS. RAGHAVAN: Objection; form. 11 THE WITNESS: I think in Paragraph 56 12 I refer to Mr. Leschinsky's description as the 13 first and second embodiment. If you're asking it 14 for the purposes of this question I'll take his 15 definition, then that's fine. 16 BY MR. CAHILL: 17 Q. Okay. So could we look on the second 18 page of the document, Figure 3. So this is 19 Figure 3 of Wampler. 20 A. Yes, I have it. 21 Q. So would it be fair to call this a 22 partial cross-sectional view? 23 A. Yes, that would be fair. 24 Q. Okay. And this is a view of the 25 embodiment, Mr. Leschinsky's first embodiment, the

Page 50 1 embodiment that you did not rely on; is that 2 correct? 3 MS. RAGHAVAN: Objection; form. 4 THE WITNESS: Well, if it's part of 5 Figures 1 to 8, which is the first embodiment, 6 that's correct. I didn't -- well, rely on, I'm not 7 sure I understand your question. 8 Will you repeat the question, please? 9 BY MR. CAHILL: 10 Q. Sure. I mean, you have a title in 11 your reply declaration that said I relied on a 12 single embodiment of Wampler. 13 And so that's why I'm asking you, 14 this is the -- Figure 3 illustrates the embodiment 15 that you did not rely on; is that right? 16 A. In the sense that it illustrates the 17 embodiment that I did not rely on, that's correct. 18 Q. Okay. And could we go to Figure 13. 19 A. Yes, I have it. 20 Q. And would it be fair to call this a 21 partial cross-sectional view in Figure 13? 22 A. Yes, that's fair. 23 Q. Okay. And does this illustrate the 24 second embodiment termed by Mr. Leschinsky that you 25 did rely on?	Page 52 1 of the DuraHeart pump -- 2 MS. RAGHAVAN: Objection; form. 3 THE WITNESS: I think I cited a 4 number of references for the DuraHeart pump. And I 5 certainly have familiarity with it as I -- when I 6 was involved in the LVAD business myself and I was 7 very familiar with it. It's a while since I've 8 looked at it. 9 BY MR. CAHILL: 10 Q. Are you familiar with the manner of 11 implantation of the DuraHeart pump that you 12 describe in Paragraph 99? 13 A. Are you referring to a reference that 14 I cite here? 15 Q. Well, I'm asking you are you familiar 16 with how it was implanted? 17 A. I haven't seen an implant of a 18 DuraHeart pump. I've seen implant of many other 19 pump, but not that one. It is the device which has 20 an inflow tube inserted into the apex of the left 21 ventricular, which connects directly to the pump, 22 then the outflow graft is routed, excuse me, 23 towards the ascending aorta, and then the 24 percutaneous lead is routed to a percutaneous plug 25 or connector in the abdomen, which then connects to
Page 51 1 MS. RAGHAVAN: Objection; form. 2 THE WITNESS: It is one of the 3 illustrations of the second embodiment, yes. 4 BY MR. CAHILL: 5 Q. Okay. The embodiment that you did 6 rely on, right? 7 MS. RAGHAVAN: Objection; form. 8 THE WITNESS: Well, yes. As I said 9 in my report, I relied on what the disclosure of a 10 complete device depicted in Figures 11 to 14, and 11 of course the text which describes it. 12 BY MR. CAHILL: 13 Q. So Mr. Crosby, you discuss in your 14 reply report the DuraHeart. 15 Do you remember that? 16 A. I do recall it. 17 Can you point to where it is in my 18 report to refresh my memory? 19 Q. Sure. You start to discuss it at 20 Paragraph 99. 21 A. 91 did you say? 22 Q. 99. 23 A. Oh, 99. I beg your pardon. 24 Okay. Yes, I have that. 25 Q. Are you familiar with the structure	Page 53 1 the battery pack and controller {ph} externally. 2 That's a general configuration. 3 (Exhibit 1046 was shown to the 4 witness.) 5 BY MR. CAHILL: 6 Q. Okay. So I've introduced 7 Exhibit 1046, which is the reference that you cite 8 for the DuraHeart VAD. 9 A. I have it on the screen, yes. 10 Where do I cite this reference, 11 please? 12 Q. Well, you cite it multiple times in 13 Paragraph 99. 14 A. I'll check again. Okay. 15 Q. Now, if you turn to the fourth page 16 of the PDF, page 4 of 15. 17 A. Yeah, I have that. 18 Q. Okay. So Figure 2B. 19 A. I have to expand it on the screen so 20 I can see it. Give me a moment. 21 Yeah, I have it. 22 Q. So you reproduced Figure 2B in 23 Paragraph 99. I'd like you to look at Figure 2A. 24 A. Figure? 25 Q. 2A right next to it.

Page 54 1 So that is labeled as the Terumo 2 DuraHeart, correct? 3 A. It is. 4 Q. And there's a generally cylindrical 5 pump there? 6 A. I don't think I refer to this 7 illustration in my report. What we're looking at 8 is a -- it's one view of the pump, yes. 9 Q. So there are three elements extending 10 out of the pump, are there not? 11 A. Well, sure. But again, as we talked 12 about before, it's very difficult to look at an 13 illustration on its own without referring to the 14 text which describes the illustration in the paper 15 itself. So you're asking me questions about an 16 illustration without any context. In order for me 17 to answer your questions, I need to consider the 18 context of this. 19 Q. Okay. Well, there is no reference in 20 the text to Figure 2 that I can tell. I stand 21 corrected. On page 326 there's a reference to 22 Figure 2, and it says what it says there. 23 A. Okay. So I've read it. 24 What was your question again, please? 25 Q. So could we go back to Figure 2A, and	Page 56 1 BY MR. CAHILL: 2 Q. So what it says in the text is 3 Figure 2 shows the levitation and drive mechanisms 4 of the Terumo DuraHeart system. The implantable 5 DuraHeart system consists of a titanium pumping 6 unit, a titanium inflow and outflow conduit, and a 7 drive and a comp control consol. 8 So looking at Figure 2A, do you know 9 which one is the titanium inflow? 10 A. I can't tell. 11 Q. It's not the one on the upper right? 12 A. It may be. It's not labeled as such. 13 Q. So are you able to identify in this 14 figure -- let me put it this way. 15 So there is a titanium inflow and 16 outflow. The two upper most elements extending 17 from the pump, aren't those the inflow and outflow? 18 A. Well, they could be. They're not 19 labeled as such, but they could be. 20 Q. How else would the blood enter or 21 exit the pump? 22 A. How would what? Sorry. 23 Q. How else would the blood enter or 24 exit the pump if those were not an inflow and 25 outflow?
Page 55 1 there is a pump illustrated there, correct? 2 A. There appears to be, yes. 3 Q. And there are three elements 4 extending out from the pump; is that right? 5 A. Appears to be, yes. 6 Q. So starting on the left, do you know 7 what those three elements are, the left-most one? 8 A. Well -- 9 (Simultaneous speaking.) 10 MS. RAGHAVAN: Object to form. 11 THE WITNESS: -- as you pointed out 12 earlier -- sorry, Saranya. 13 MS. RAGHAVAN: Go ahead. 14 THE WITNESS: As I pointed out 15 earlier, that -- as you pointed out earlier, that 16 illustration is not described in the text, so it 17 would be pure speculation for me to suggest what 18 those elements were. The text does say that that 19 was implanted in sheep and in humans, and I don't 20 know if that is the device that was implanted in 21 sheep and/or humans or if they were different. So 22 I don't think that question is really answerable. 23 I didn't look at Figure 2A for the 24 purposes of my report. I looked at Figure 2B, 25 which illustrates the points I made in my report.	Page 57 1 A. Obviously the blood enters the pump 2 through an inflow cannula and exits the pump 3 through an outflow cannula. I don't see an 4 illustration of the whole pump, I see one view. So 5 it's -- I can't tell which of those two devices is 6 the inflow and the outflow. I could speculate. 7 I'm not really here to speculate. 8 And as I mentioned before, I don't 9 know if that's the configuration of the pump that 10 was used for animal studies or for human 11 implantation. 12 Q. So of the two elements extending from 13 the top of the pump in Figure 2A, is one of those 14 the inflow and one of them the outflow? 15 MS. RAGHAVAN: Objection; form. 16 THE WITNESS: Most likely, yes. We 17 know from other publications referenced in my 18 report that there are -- that show the 19 configuration of the pump implanted in a human. I 20 refer to that in my report. 21 MR. CAHILL: Could we take a break? 22 MS. RAGHAVAN: Sure. 23 MR. CAHILL: Ten minutes. 24 We may be close to done. 25 MS. RAGHAVAN: Okay. All right.

Page 58 1 Let's say ten minutes then. 2 THE WITNESS: Okay. See you in ten. 3 THE COURT REPORTER: We are off the 4 record. 5 (Thereupon, a break was taken, and 6 then the proceedings continued as follows:) 7 THE COURT REPORTER: We are back on 8 the record. 9 MR. CAHILL: Thank you, Mr. Crosby. 10 I have no further questions at this point. 11 THE WITNESS: Thank you. 12 MS. RAGHAVAN: Thank you, Mr. Crosby. 13 No further questions from Abbott. 14 THE COURT REPORTER: Okay. We are 15 off the record. 16 (Whereupon, the remote deposition was 17 terminated at 10:46 a.m.) 18 19 (The Court Reporter received the 20 following orders: 21 MR. CAHILL: Original & 1 copy 22 w/exhibits, expedite 5 days. 23 MS. RAGHAVAN: 1 copy w/exhibits, 24 remote realtime, rough draft copy, read & sign 25 transcript, expedite 5 days.)	Page 60 1 Veritext Legal Solutions 2 1100 Superior Ave 3 Suite 1820 4 Cleveland, Ohio 44114 5 Phone: 216-523-1313 6 7 January 15, 2026 8 9 To: Saranya Raghavan, Esq. 10 11 Case Name: Abbott Laboratories v. Miracor Medical SA 12 Veritext Reference Number: 7828044 13 14 Witness: Peter Crosby Deposition Date: 1/8/2026 15 16 Dear Sir/Madam: 17 18 Enclosed please find a deposition transcript. Please have the witness 19 review the transcript and note any changes or corrections on the 20 included errata sheet, indicating the page, line number, change, and 21 the reason for the change. Have the witness' signature notarized and 22 forward the completed page(s) back to us at the Production address 23 shown 24 above, or email to production-midwest@veritext.com. 25 If the errata is not returned within thirty days of your receipt of this letter, the reading and signing will be deemed waived. Sincerely, Production Department NO NOTARY REQUIRED IN CA
Page 59 1 STATE OF ILLINOIS: 2 CERTIFICATE 3 COUNTY OF COOK: 4 5 BE IT KNOW, that I, Brandi N. Bigalke, RPR, 6 RSA, CSR, Stenographic Court Reporter, do hereby 7 certify that the foregoing transcript of PETER 8 CROSBY, in the matter of ABBOTT LABORATORIES versus 9 MIRACOR MEDICAL SA is true, correct and accurate; 10 11 That said transcript was prepared under my 12 direction and control from my stenographic 13 shorthand notes taken on the 8th day of January, 14 2026; 15 16 That I am not related to any of the parties in 17 this matter, nor am I interested in the outcome of 18 this action; 19 20 That the cost of the original has been charged 21 to the noticing party, and that all parties who 22 ordered copies have been charged at the same rate 23 for such copies; 24 25 WITNESS MY HAND AND SEAL this 14th of January, 2026. <i>Brandi N. Bigalke, RPR</i> Brandi N. Bigalke, RPR, CSR, RSA Illinois CSR License No. 84-004870	Page 61 1 DEPOSITION REVIEW 2 CERTIFICATION OF WITNESS 3 4 ASSIGNMENT REFERENCE NO: 7828044 5 CASE NAME: Abbott Laboratories v. Miracor Medical SA 6 DATE OF DEPOSITION: 1/8/2026 7 WITNESS' NAME: Peter Crosby 8 In accordance with the Rules of Civil 9 Procedure, I have read the entire transcript of 10 my testimony or it has been read to me. 11 I have made no changes to the testimony 12 as transcribed by the court reporter. 13 14 Date Peter Crosby 15 Sworn to and subscribed before me, a 16 Notary Public in and for the State and County, 17 the referenced witness did personally appear 18 and acknowledge that: 19 20 They have read the transcript; 21 They signed the foregoing Sworn 22 Statement; and 23 Their execution of this Statement is of 24 their free act and deed. 25 I have affixed my name and official seal this ____ day of _____, 20____. Notary Public Commission Expiration Date

16 (Pages 58 - 61)

Veritext Legal Solutions

www.veritext.com

Abbott v. Miracor, IPR2025-00115
Miracor Ex. 2027, p. 16 of 16