UNITED STATES PATENT AND TRADEMARK OFFICE

BEFORE THE PATENT TRIAL AND APPEAL BOARD

KUBOTA NORTH AMERICA CORPORATION,

Petitioner,

v.

VERMEER MANUFACTURING COMPANY,

Patent Owner.

IPR2025-00167

IPR2025-00168

IPR2025-00169

U.S. Patent No. 9,321,386

IPR2025-00170

U.S. Patent No. 9,975,750

IPR2025-00171

U.S. Patent No. 10,202,266

IPR2025-00381

U.S. Patent No. 11,465,891

PGR2025-00016

U.S. Patent No. 12,098,063

Deposition of DR. STEVEN ALAN VELINSKY

Conducted virtually via Zoom

Tuesday, January 6, 2026

1:04 p.m. CST

Job No.: 613974

Pages: 1 - 52

Reported by: THERESA A. VORKAPIC,

CSR, RMR, CRR, RPR

Deposition of Dr. Steven Alan Velinsky, conducted virtually via Zoom, pursuant to notice before Theresa A. Vorkapic, a Certified Shorthand Reporter, Registered Merit Reporter, Certified Realtime Reporter, Registered Professional Reporter and a Notary Public in and for the State of Illinois.

Vermeer Manufacturing Company – Ex. 2039
Kubota v. Vermeer
IPR2025-00169
Page 2 of 68

REPORTER'S NOTE: All quotations from exhibits are reflected in the manner in which they were read into the record and do not necessarily indicate an exact quote from the document.

A P P E A R A N C E S

ON BEHALF OF PETITIONER KUBOTA NORTH AMERICA CORPORATION:

DAVID M. O'DELL, ESQUIRE
KELLY GEHRKE LYLE, ESQUIRE (Austin)
HAYNES & BOONE, LLP
2801 North Harwood Street, Suite 2300
Dallas, Texas 75201
972-739-8635
david.odell@haynesboone.com

ON BEHALF OF PATENT OWNER VERMEER MANUFACTURING COMPANY:

MARC W. VANDER TUIG, ESQUIRE
ARMSTRONG TEASDALE LLP
7700 Forsyth Boulevard, Suite 1800
St. Louis, Missouri 63105
314-259-4798
mvandertuig@atllp.com

ALSO PRESENT:

Peyton Abrams, Remote Tech, Planet Depos.

Daniel Esparza, Videographer, Planet Depos.

C O N T E N T S

EXAMINATION OF DR. STEVEN ALAN VELINSKY PAGE
Examination By Mr. Vander Tuig 7

E X H I B I T S
(Attached to transcript.)

VELINSKY DEPOSITION EXHIBITS PAGE

Exhibit A AHMCT Research Report 18
Development of a Tethered
Mobile Robot for Highway
Maintenance
Exhibit B AHMCT Research Report 23
Development of a Tethered
Mobile Routing Robot (TMRR)
Exhibit C US Patent 6,056,498 36
Exhibit D US Patent 6,809,294 37
Exhibit E US Patent 6,789,291 41
Exhibit F AHMCT/UC Davis/Caltrans 43
article
Assessment of Graffiti
Removal Practices and
Initial Development of a
Tool for Removing Graffiti
from Retroreflective Signs

Vermeer Manufacturing Company – Ex. 2039
Kubota v. Vermeer
IPR2025-00169
Page 5 of 68

E X H I B I T S (Continued)

Exhibit G	AMCHT Evaluation of the TowPlow for Caltrans Operations	45

PREVIOUSLY MARKED EXHIBITS PAGE

Exhibit 1001	First Declaration of Steven Alan Velinsky, PhD PE Under 37 C.F.R. ⊘ 1.68 in Support of Petition For Inter Partes Review	28
Exhibit 1003	Velinsky CV	13
Exhibit 1036	Second Declaration of Steven Alan Velinsky, PhD, PE Under 37 C.F.R. ⊘ 1.68 In Support of Petitioner's Reply	17

P R O C E E D I N G S

THE REPORTER: Would you raise your right hand, please.

(The witness was duly sworn.) 01:05:20

DR. STEVEN ALAN VELINSKY,

called as a witness herein, having been first duly sworn, was examined and testified as follows:

EXAMINATION 01:05:23

BY MR. VANDER TUIG: 01:05:23

Q Dr. Velinsky, I'm Mark Vander Tuig. I'm 01:06:04
one of Vermeer's back-up counsel in these IPRs. I 01:06:10
know that you were already deposed once in these 01:06:14
proceedings, so I won't go over a lot of the 01:06:17
background ground rules. But -- and I don't 01:06:20
anticipate that this will go that long today, but 01:06:21
if you need a break for any reason, just let me 01:06:23
know and I'd be happy to accommodate that. 01:06:26

A Okay. 01:06:28

Q Just before we went on the record, we went 01:06:29
over some of the logistics of the remote sharing 01:06:31
of exhibits. I think you have now already the 01:06:36
exhibit that was previously marked as 1042 which 01:06:40

is the second declaration that you submitted in 01:06:45
these proceedings. The one that I uploaded was, 01:06:47
in particular for the record, the Exhibit 1042 01:06:52
from proceeding IPR 2025-00167. 01:06:55
Do you have that in front of you, sir? 01:07:03
A Yes, I do. 01:07:06
Q If you turn to the second page of the 01:07:06
document, Paragraph 2 says that: "I understand 01:07:09
and agree with the board's construction of the 01:07:16
claim term 'mainframe' to mean 'the primary 01:07:18
supporting structure of the machine.'" 01:07:21
Do you see that statement? 01:07:25
A Yes. 01:07:26
Q Is this understanding of the meaning of 01:07:28
mainframe the same as or different than the 01:07:31
meaning of mainframe you applied in your first 01:07:34
declaration in these proceedings? 01:07:36
A It's been a while since I obviously wrote 01:07:41
those declarations, but I believe, yes, it is. 01:07:44
Q You believe it's the same definition in 01:07:48
this declaration as it was in your very first 01:07:51
declaration in these proceedings? 01:07:54

A Again, as best as I could recall. I 01:07:57
haven't -- haven't read those things in a long 01:08:00
time but yes. 01:08:03
Q Okay. And feel free to review the 01:08:07
declaration, but I see reference -- in addition to 01:08:09
the institution decision from the board, you also 01:08:13
addressed the KR996 reference. 01:08:16
Were there any other documents that you 01:08:21
reviewed as part of preparing this second 01:08:25
declaration? 01:08:28
A It was the, as you stated, the Korean 01:08:33
patent and then those portions of the board's 01:08:34
decision related to mainframe. 01:08:37
Q And no other documents were reviewed in 01:08:40
connection with preparing this declaration? 01:08:41
A No, sir. 01:08:43
Q In Paragraph 2 you say you understand and 01:08:53
agree with the board's construction, and then it 01:08:55
looks like the rest of the declaration, it seems 01:08:58
like you're applying that construction to the 01:09:01
KR996, but it doesn't seem like you're offering an 01:09:05
opinion on whether the board's preliminary 01:09:10

1 construction of mainframe is correct or not. Is 01:09:14
2 that accurate, that you're not opining on claim 01:09:18
3 construction but instead applying that claim 01:09:21
4 construction to the KR996 reference? 01:09:23
5 A I'm applying it. I haven't -- yes, I 01:09:34
6 would say that I agree with them. I do agree with 01:09:37
7 them, and that's why I am applying it. 01:09:39
8 Q You're not offering expert testimony on 01:09:45
9 what the meaning of mainframe is in connection 01:09:47
10 with the Vermeer patents, correct? 01:09:49
11 A No, I think that I'm applying their 01:09:57
12 definition. 01:09:59
13 Q Rather than opining on what the meaning of 01:10:03
14 the word is, right? 01:10:06
15 A No. It's my understanding of what the 01:10:09
16 word means and using their decision, their 01:10:12
17 definition. 01:10:19
18 Q But you don't -- you don't cite in this 01:10:21
19 declaration any evidence, for example, that 01:10:23
20 supports the meaning of the definition. You're 01:10:26
21 just applying the board's understanding of that 01:10:30
22 term to KR996; is that right? 01:10:32

A And, of course, my years of experience in 01:10:35
mechanical engineering. 01:10:45
Q But you're not citing any evidence in 01:10:46
support of the definition, correct, in this 01:10:48
document? 01:10:50
A I would say that's correct. 01:10:51
Q You mentioned a minute ago your 01:10:59
experience. So I think if you flipped down to 01:11:00
Paragraph 5 which appears starting on the bottom 01:11:03
of Page 3 of your second declaration, you say 01:11:05
that: "Also in my experience using these types of 01:11:11
construction machines in working with the 01:11:14
California State Department of Transportation," 01:11:17
and then you go on and make some assertions based 01:11:19
on that experience. 01:11:22
Do you see that? 01:11:23
A Yes. 01:11:24
Q To dive a little deeper into that 01:11:29
experience, is this work you did with the 01:11:31
California State Department of Transportation the 01:11:35
work you did when you were the director of the 01:11:36
Advanced Highway Maintenance and Construction 01:11:39

Technology Research Center at UC Davis? 01:11:43
A Yes, that's correct. 01:11:44
Q And I might refer to that as the acronym 01:11:47
that I noticed on the website of that 01:11:49
organization, AHMCT; is that familiar? 01:11:52
A Yes, kind of awkward, but yes. 01:11:56
Q Both the name and the acronym are a bit of 01:12:00
a mouthful, but I think the acronym is a little 01:12:02
bit easier. 01:12:05
A That's fine. 01:12:06
Q So in Paragraph 5, you refer to these 01:12:07
types of construction machines as mini skid 01:12:14
steers. 01:12:18
Do you see that? 01:12:18
A Yes. 01:12:24
Q What is your understanding of what a mini 01:12:25
skid steer is? 01:12:28
A It would be a -- there are the Bobcat-type 01:12:32
of size machines that are typically referred to as 01:12:37
skid steers. The mini skid steer would be a 01:12:40
smaller device, lighter weight, easier to 01:12:44
maneuver. 01:12:50

Skid steer is referring to the method of 01:12:51
steering as opposed to having an active steering 01:12:55
wheel and turning the tires. What a skid steer 01:12:58
does it has -- it drives each -- wheels on each 01:13:04
side of the vehicle, and it drives them at 01:13:07
different speeds in order to accomplish turning, 01:13:09
et cetera. 01:13:13
Q I'm going to load another exhibit. This 01:13:24
is going to be your first declaration, just for 01:13:26
reference on this point. I'm throwing that into 01:13:28
the chat right now. This was previously marked as 01:13:32
Exhibit 1003. 01:13:34
A Okay. 01:13:43
Q And this particular Exhibit 1003 was 01:13:43
submitted in IPR 2025-00167. Let me know when you 01:13:45
have that up. 01:13:51
A So I should just open it and it should 01:13:59
open? Okay. Okay. I have opened it. 01:14:02
Q If you could turn -- and feel free to 01:14:11
review any portion of this for context if you need 01:14:13
to, but if you turn to Page 11 of the document, 01:14:16
Paragraph 15 starts at the bottom of that page. 01:14:21

1 And let me know when you see that. 01:14:24
2 A Yes, I have Paragraph 15. 01:14:34
3 Q Okay. So in this paragraph, you define 01:14:35
4 skid steer. 01:14:41
5 Do you see that? 01:14:46
6 A Yes. 01:14:46
7 Q And you define it as "an equipment or 01:14:46
8 device that changes direction by propelling the 01:14:49
9 opposite sides of the machine at different rates 01:14:52
10 as opposed to actively turning the wheels, for 01:14:54
11 example."
12 Do you see that? 01:14:58
13 A Yes. 01:14:58
14 Q And then you go on to say that you have 01:14:59
15 experience with these types of skid steers dating 01:15:01
16 back to the early 1990s, when you were developing 01:15:06
17 wheeled mobile robots for use in highway 01:15:11
18 maintenance tasks. 01:15:13
19 Do you see that? 01:15:15
20 A Yes. 01:15:15
21 Q So do you consider the wheeled mobile 01:15:22
22 robots that you -- that you developed in the 1990s 01:15:24

to be skid steers? 01:15:27
A Yes, the differentially steered wheeled 01:15:29
mobile robots were skid steers, yes. 01:15:36
Q I believe in your first deposition, you 01:15:45
used the term "mini skid steer, compact utility 01:15:49
loader, compact track loader," all sort of 01:15:55
synonyms for the same type of machine. 01:15:58
Do you still agree that that's the case? 01:16:01
A I haven't -- you're talking about the 01:16:03
deposition? 01:16:06
Q Yeah, just as far as terminology during 01:16:07
the deposition, I think you used "compact utility 01:16:10
loader," which I think Vermeer's patents refer to. 01:16:13
"Mini skid steer" is another term and then 01:16:16
"compact track loader" were all kind of referring 01:16:19
to the same machine. 01:16:23
Do you agree with that, or are there 01:16:24
differences between the three? 01:16:26
A I don't recall specifically, you know, 01:16:28
saying that back then, but just as far as a 01:16:31
tracked vehicle would clearly have tracks as 01:16:37
opposed to wheels. But other than that, I'm fine 01:16:40

with using the same terminology to describe these 01:16:46
devices. 01:16:49
Q And would you consider your wheeled mobile 01:16:51
robots developed in the 1990s to be compact 01:16:53
utility loaders? 01:16:59
A Yes, some of them. 01:17:04
Q Going back to the first exhibit we were 01:17:24
looking at, Exhibit 1042, again, looking at 01:17:26
Paragraph No. 5. 01:17:30
A Yes. 01:17:36
Q This is towards the end of Paragraph 5, on 01:17:36
Page 4 of the document, you say: "For example, a 01:17:40
mini skid steer can be used for an individual task 01:17:43
only, such as routing pavement or placing crack 01:17:47
sealant on the highway." 01:17:51
Do you see that? 01:17:53
A Yes. 01:17:53
Q And have you developed or designed mini 01:17:57
skid steers for accomplishing the routing of 01:18:00
pavement or the placement of crack sealant on the 01:18:02
highway? 01:18:06
A Yes. 01:18:06

Q I'm going to mark another exhibit. This 01:18:28
one I'm going to mark as -- actually, this has 01:18:29
been previously marked as well. This one is 01:18:32
Exhibit 1036. It should be on its way. Let me 01:18:39
know when you have that pulled up. 01:18:51
A I've opened it. 01:18:52
Q So Exhibit 1036, as you can see, is a copy 01:18:53
of your CV that was submitted along with your 01:18:58
first declaration in these matters. 01:19:02
Do you recognize that? 01:19:11
A Yes. 01:19:12
Q And if you turn to Page 6 of your CV, 01:19:12
there is a -- this is part of a list of your 01:19:15
research publications. 01:19:24
Do you recognize that? 01:19:25
A Yes. 01:19:25
Q If we look at Research Publication No. 49, 01:19:34
you're listed as an author. The title of this 01:19:36
paper is: "Development of a Tethered Mobile Robot 01:19:39
for Highway Maintenance." 01:19:43
Do you see that? 01:19:44
A Yes. 01:19:45

Q Do you recognize this paper to be related 01:19:46
to the machine that you built for routing pavement 01:19:48
and applying sealant to highways? 01:19:52
A I would say certainly related to it. I 01:20:05
don't recall all the details of that report as we 01:20:08
speak, but generally, we developed a bunch of what 01:20:09
we called, you know, wheeled mobile robots for 01:20:12
highway maintenance. 01:20:16
MR. VANDER TUIG: I'm going to mark as a 01:20:39
new Exhibit A to this deposition a document that 01:20:40
I'm sending your way. 01:20:43
(A certain document was marked Velinsky 01:20:45
Deposition Exhibit A for identification,
as of 01/06/2026.) 01:21:01
BY MR. VANDER TUIG: 01:21:01
Q Let me know when you have that one pulled 01:21:01
up. 01:21:02
A I have it. 01:21:03
MR. O'DELL: I'm still downloading. 01:21:03
MR. VANDER TUIG: Okay. 01:21:05
MR. O'DELL: Okay. I'm ready. 01:21:07
BY MR. VANDER TUIG: 01:21:09

Q So I've pulled up a handful of research 01:21:14
reports, and this is the first one that we're 01:21:16
going to discuss on the AHMCT website that has 01:21:18
some of the final reports and various documents 01:21:24
that were prepared by you and your team back in 01:21:28
the day. 01:21:30
Do you recognize this report? 01:21:30
A Yeah. I mean, in general, yes. Until I 01:21:39
look at it I don't know all the details, but, 01:21:43
yeah, sure. 01:21:46
Q When we're looking at your list of 01:21:50
research publications in Exhibit 1036, number 49 01:21:52
referenced a document UCB-ARR-94-10-31-01. 01:21:58
Do you recognize -- 01:22:09
A This report is what you just -- 01:22:12
Q Right. Do you recognize that to be the 01:22:14
case? 01:22:16
A Sure, yes. 01:22:17
Q So this report was published or prepared 01:22:19
or finalized in October of 1994, and you're listed 01:22:21
as one of the authors on the first page. 01:22:26
Do you see that? 01:22:29

A That's correct. 01:22:30
Q If we turn to Page 11 of the document 01:22:36
which appears at PDF Page 19, let me know when 01:22:40
you're there. 01:22:45
A I'm there. 01:22:46
Q There's a Figure 3.4 that's labeled "TMR 01:22:48
Photograph." 01:22:54
Do you see that? 01:22:54
A Yes. 01:22:55
Q And TMR stands for tethered mobile robot, 01:22:56
correct? 01:23:00
A That's correct. 01:23:00
Q Is this a picture of the prototype of the 01:23:05
tethered mobile robot as of the date of this 01:23:08
report? 01:23:11
A Yeah, we were developing the methodology 01:23:12
for accurately measuring the position of the 01:23:15
device with respect to the support vehicle that it 01:23:19
works from and that's -- in the laboratory, that 01:23:26
is the laboratory prototype unit for measuring the 01:23:28
position accurately. 01:23:35
Q So this is the tethered mobile robot 01:23:39

prototype, correct? 01:23:43
A Yes, this is the early prototype without 01:23:54
having any tools on it. It was used to measure 01:23:56
the relative position accurately with respect to 01:23:58
the vehicle. That's what that aspect concerns. 01:24:02
Q When you reference the support vehicle, if 01:24:04
you look a couple of pages earlier, Page 9 of the 01:24:06
native document, which is Page 17 of the PDF? 01:24:12
A Yes. 01:24:13
Q There is a schematic there under 01:24:14
Figure 3-1 that's labeled the "Conceptual TMR 01:24:16
System Configuration." 01:24:20
Do you recognize that? 01:24:21
A Yes, that's correct. 01:24:24
Q And the support vehicle there is indicated 01:24:25
at the top which has the control hardware and the 01:24:28
materials for the crack sealant work and the power 01:24:31
supply. 01:24:35
Do you see that? 01:24:36
A Yes. 01:24:36
Q And the support vehicle would be what, 01:24:37
like a truck or what would the vehicle be? 01:24:39

A Yes, it's a truck. 01:24:42
Q And then there would be some sort of 01:24:45
mechanical arm or linkage that would hook to the 01:24:47
tethered mobile robot, correct? 01:24:50
A For this particular prototype, that's what 01:24:52
we were envisioning, was this arm. You see 01:24:55
mechanical linkage. And it would allow us to 01:25:00
measure the position accurately by having encoders 01:25:02
on the arm. 01:25:08
Q And so this prototype that we're seeing in 01:25:13
Figure 3-4, that we looked at a minute ago on 01:25:14
Page 11 of the document, do you consider than to 01:25:17
be a compact utility loader? 01:25:20
A Well, as it stands, there's not tools on 01:25:30
it. It's just a prototype being used in the 01:25:32
development of a compact utility loader. 01:25:38
Q So you would say that this is not itself a 01:25:42
compact utility loader? 01:25:44
A As it stands, no. 01:25:45
Q You still have Exhibit 1036 up, your CV. 01:25:53
If you could pull that back up and scroll down in 01:25:58
the list of research publications to Publication 01:26:01

No. 80. 01:26:03
A Yes. 01:26:10
Q Do you see that one? 01:26:11
A Uh-huh. 01:26:12
Q This is another research report where 01:26:13
you're listed as an author. This one is titled: 01:26:15
"Development of Tethered Mobile Routing Robot 01:26:18
(TMRR)." 01:26:23
A Yes. 01:26:24
Q Which is another AHMCT research report. 01:26:25
This one is identified as UCD-ARR-97-02-24-01. 01:26:30
Do you see that? 01:26:41
A Yes, I do. 01:26:42
Q And that one is from 1997, correct? 01:26:42
A Yes. 01:26:45
MR. VANDER TUIG: I want to load that 01:26:50
research report up as our next exhibit. Just a 01:26:51
second. 01:26:54
(A certain document was marked Velinsky 01:26:54
Deposition Exhibit B for identification,
as of 01/06/2026.) 01:27:05
BY MR. VANDER TUIG: 01:27:05

Q It should be on its way. It's a little 01:27:06
bit large. 01:27:08
A Yes, I opened it. 01:27:18
Q And here you're again on the front page 01:27:23
listed as one of the authors. 01:27:26
Do you recognize this to be the report 01:27:27
that's referenced on your CV? 01:27:30
A Yes. 01:27:32
Q No. 8. If you turn to Page 5 of the 01:27:34
native document which is PDF Page 11, please. 01:27:39
A Okay, got it. 01:27:53
Q On Page 5 of the native document there is 01:27:55
a Figure 2-2 which is a photograph of the front 01:27:57
view of the tethered mobile routing robot. 01:28:00
Do you see that? 01:28:03
A Yes. 01:28:05
Q And the acronym for this is TMRR, correct? 01:28:06
A Yes. 01:28:10
Q Is this an accurate photograph of the 01:28:12
tethered mobile routing robot that existed as of 01:28:16
1997? 01:28:21
A As best as I can tell from that 01:28:27

figure -- there are other views in here -- 01:28:29
Q Yeah, feel free to --
A -- that's in our laboratory, by the way. 01:28:38
Q Do you recognize this machine, though, to 01:28:39
be the tethered mobile routing robot that your 01:28:41
team created? 01:28:44
A To the best of my recollection, that looks 01:28:51
like the machine. 01:28:54
Q At a high level -- and you don't have to 01:28:56
get too detailed -- can you explain how this thing 01:28:58
worked? 01:29:01
A Sure. So basically you have cracks in the 01:29:06
pavement that you want to fill with material, 01:29:09
tar-like material, bituminous material. And so 01:29:16
what you like to do is enlarge the crack in such a 01:29:21
way that the material could penetrate and fill 01:29:26
that crack. And so there is a routing unit that 01:29:29
typically is -- looks like a person -- 01:29:36
(Reporter clarification.) 01:30:09
BY THE WITNESS:
A Okay. So the typical router is manually 01:30:12
operated. A person pushes around a device; it 01:30:14

1 looks like a big lawn mower, snow blower. But our 01:30:17
2 research center concerned automating highway 01:30:23
3 maintenance operations, and so we built our own 01:30:29
4 routing device, mounted it on a wheeled mobile 01:30:33
5 robot that was differentially steered. The basic 01:30:38
6 sensing was on the support vehicle, and then this 01:30:50
7 device had additional sensing on it to ensure that 01:30:56
8 the operator was moving over the cracks while the 01:31:01
9 device was routing, so the router was powered. 01:31:08
10 And the power to run the router came from the 01:31:13
11 support vehicle. 01:31:20
12 Q And, again, the support vehicle was like a 01:31:23
13 construction truck? 01:31:27
14 A Yes. 01:31:28
15 Q So this -- is this the photograph of the 01:31:31
16 tethered mobile robot with the tooling necessary 01:31:37
17 to achieve the routing and the sealing? 01:31:43
18 A As best as I could -- no, let me back up, 01:31:50
19 no. 01:31:53
20 The sealant was a different robot, so one 01:31:53
21 is routing and one is sealing. So this is the 01:31:57
22 routing robot as best as -- the picture is kind of 01:32:04

fuzzy, but it was a development stage in the 01:32:08
laboratory during the development part of the 01:32:10
machine where we were ensuring that we could 01:32:13
follow cracks and so on, and then later on moved 01:32:16
it out onto the pavement to actually route 01:32:23
pavement itself. 01:32:29
Q What did the sealing robot look like? 01:32:32
A Similar type of device being as it was a 01:32:40
wheeled mobile robot that was differentially 01:32:46
steered, and it had a patented sealant applicator 01:32:49
on it. 01:32:58
Q Would it be attached to a support vehicle 01:33:00
like the routing robot was? 01:33:03
A Yes, because it got its power and the 01:33:05
sealant was provided by the melter that existed on 01:33:10
the support vehicle. 01:33:16
Q Is my understanding correct that there 01:33:19
would be a separate pairing of the routing robot 01:33:20
and a support vehicle separate from a different 01:33:25
support vehicle and the sealing robot? 01:33:28
A No, not necessarily. It could be or they 01:33:32
could come from the same vehicle. 01:33:36

Q The two robots could share the same 01:33:44
support vehicle you're saying? 01:33:47
A Yes, that's correct. 01:33:48
Q So in Figure 2-2 of Exhibit B that we're 01:33:51
looking at, do you consider this to be a compact 01:33:55
utility loader? 01:33:58
A The road-going machine definitely was. 01:34:13
This could be considered one. 01:34:16
Q So you do or you do not consider this to 01:34:22
be a compact utility loader? 01:34:26
A I consider it to be a compact utility 01:34:28
loader, yes. 01:34:31
Q As part of your work in connection with 01:34:37
these cases, did you consider the definition of 01:34:39
"compact tool carrier" that's provided in 01:34:41
Vermeer's patents? 01:34:44
A Yes. 01:34:49
Q Just so we're on the same page, I'm going 01:34:49
to upload the '386 patent so you can take a look 01:34:52
at that. It should be on its way. This is 01:35:00
Exhibit 1001 previously marked. Let me know when 01:35:03
you have that up. 01:35:13

A This is the patent? 01:35:14
Q This is Vermeer's '386 patent, correct? 01:35:14
A Yes. 01:35:17
Q You are familiar with this patent, right? 01:35:17
A It's been a while since I reviewed it, so 01:35:19
I can't -- can't tell you the details of it, but, 01:35:23
you know, it's been quite a few months since we 01:35:26
went through and wrote those initial reports, and 01:35:32
I haven't read it recently but generally, yes, I'm 01:35:34
familiar with it. 01:35:37
Q If you could turn to PDF Page 10 which is 01:35:43
where the written description starts, Column 1. 01:35:46
Let me know when you're there. 01:35:48
A I'm there. 01:35:49
Q Under the heading "Background," there is a 01:35:49
description of compact tool carrier is the first 01:35:52
paragraph there. 01:35:55
Do you see that? 01:35:55
A Yes. 01:35:56
Q I'll just read it into the record so we're 01:35:58
on the same page here. It says: "Compact tool 01:36:03
carriers are tool-mounted machines that are 01:36:07

capable of working in tight spaces for utility or 01:36:09
do-it-yourself home projects. Compact tool 01:36:13
carriers are walk-behind units or include a 01:36:16
platform on which the operator may stand during 01:36:20
use." 01:36:22
It goes on to say that: "Compact tool 01:36:27
carriers are generally defined" -- citing a couple 01:36:30
of ISO and SAE standards -- "as 'a self-propelled 01:36:34
crawler or wheeled machine having an operating 01:36:41
mass of less than 1500 kilograms with a rigid 01:36:43
frame, having a pedestrian operating position or a 01:36:48
standing operator platform at the rear of the 01:36:51
machine and either front-mounted interchangeable 01:36:54
equipment or lift arms with an attachment bracket 01:36:58
capable of coupling to interchangeable 01:37:02
front-mounted attachments.' The tool carriers may 01:37:05
be attached to a variety of interchangeable tools 01:37:10
such as buckets, augers, forks, stump grinders, 01:37:14
tillers, rollers and the like." 01:37:18
Do you see that? 01:37:22
A I see that. 01:37:23
Q Applying this definition of compact tool 01:37:26

carrier in the Vermeer patents, you would agree 01:37:30
with me that the tethered mobile robot that we saw 01:37:34
in Exhibits A and B don't have these features, 01:37:39
correct? 01:37:47
A Well, I mean, I think the -- first of all, 01:37:50
I'm looking at this now after quite a period of 01:37:54
time, but a self-propelled machine certainly meets 01:37:58
that, certainly has a mass less than 01:38:04
1500 kilograms, certainly has a rigid frame and 01:38:07
generally defined as such. And so my definition 01:38:11
fits that. I generally define it as such. It's a 01:38:14
machine that -- also our machines can operate 01:38:18
remotely with teleoperation from someone walking 01:38:23
on the roadway. So generally our machines do meet 01:38:26
this definition, and in my opinion they do. 01:38:32
Q So did your machine have a pedestrian 01:38:36
operating position or a standing operator platform 01:38:39
at the rear of the machine? 01:38:43
A Our machines can be operated by a 01:38:45
pedestrian remotely, yes. Teleoperation, that's 01:38:49
what we're talking about. I believe in the patent 01:38:55
it also talks about that as well, that in addition 01:38:58

1 to riding on it, you can operate it, for example, 01:39:04
2 wirelessly or connected with a wire it has, so 01:39:08
3 yes. 01:39:17
4 Q But your robots were not designed to have 01:39:17
5 an operator on the robot, correct? 01:39:19
6 A No. 01:39:26
7 Q And your tethered robots were not designed 01:39:27
8 to have front-mounted interchange building 01:39:34
9 equipment or lift arms with an attachment bracket 01:39:37
10 capable of coupling to interchangeable 01:39:39
11 front-mounted attachments, correct? 01:39:42
12 A Well, we have -- we certainly have 01:39:48
13 interchangeable equipment, and the ones we're 01:39:50
14 looking at don't have lift arms on them, no. 01:39:54
15 Q When you say "the ones we're looking at," 01:40:01
16 were there other tethered mobile robots that you 01:40:04
17 designed with lift arms? 01:40:07
18 A Not that I can recall. 01:40:09
19 Q And your tethered mobile robots couldn't 01:40:13
20 be attached to buckets, augers, forks, stump 01:40:18
21 grinders, tillers, rollers or the like, correct? 01:40:23
22 A Well, there's other -- there were other 01:40:33

1 devices that have buckets on them. 01:40:34
2 Q Just so the board understands your 01:40:40
3 testimony, it's your understanding that your 01:40:42
4 tethered mobile robots that we saw in Exhibits A 01:40:43
5 and B are compact tool carriers as described in 01:40:47
6 Vermeer's patents? 01:40:49
7 A It's as I defined them based on reading 01:40:55
8 that, yes. 01:40:58
9 Q Kubota has represented to the Patent Trial 01:41:09
10 and Appeal Board that as to you and Vermeer's 01:41:16
11 expert, Dr. Flemming, that you are the one true 01:41:18
12 expert for compact utility loaders based on your 01:41:20
13 experience with the UC Davis AHMCT group. 01:41:24
14 Were you aware of that fact? 01:41:38
15 A I'm aware of the fact -- I'm sorry. If 01:41:45
16 you could repeat that, please. 01:41:47
17 Q Yeah. 01:41:48
18 Kubota has represented that based on your 01:41:49
19 experience with the AHMCT research center, that as 01:41:51
20 between you and Vermeer's expert, Dr. Flemming, 01:41:55
21 that you're the only true expert in compact 01:41:58
22 utility loaders. 01:42:03

1 Were you aware of their representation? 01:42:10
2 A No. 01:42:12
3 Q Do you agree with that? 01:42:12
4 A I don't know anything about the other 01:42:13
5 expert. I didn't even know they had an expert. 01:42:15
6 So I can't comment on that. I'm not -- not 01:42:18
7 prepared to discuss that now. 01:42:20
8 Q Okay. So you never reviewed 01:42:22
9 Dr. Flemming's expertise in his declaration or his 01:42:25
10 CV? 01:42:27
11 A No, not at all. 01:42:27
12 Q Did you review Dr. Flemming's declaration? 01:42:31
13 A No. 01:42:33
14 Q In making that representation, Kubota 01:42:37
15 cites to your first declaration. So if you could 01:42:47
16 pull that back up. We've previously marked at 01:42:50
17 Exhibit 1003. Let me know when you have that back 01:42:53
18 up on your screen. 01:43:01
19 A I'm going to close some of these. It's a 01:43:05
20 little awkward. 1003 was -- was my first exhibit. 01:43:07
21 Okay. My first declaration, yes. 01:43:18
22 Q Okay. And if you turn to Page 9 of that 01:43:20

first declaration, there's a Paragraph 10 there. 01:43:24
Let me know when you have that on your screen. 01:43:28
A Yes. 01:43:36
Q And this, again, is referring to the AHMCT 01:43:36
experience you had. 01:43:40
Do you see that? 01:43:41
A Yes. 01:43:41
Q In particular, you state in your 01:43:42
declaration that you designed and developed 01:43:47
automated highway maintenance and construction 01:43:51
machines. 01:43:53
Do you see that? 01:43:54
A Yes. 01:43:55
Q And there was a variety of highway tasks 01:43:57
that these different machines accomplished. And 01:44:00
then I'll just read. It says: "...the dispensing 01:44:03
and retrieval of highway cones, sealing of 01:44:06
pavement cracks, collection of roadway debris, 01:44:10
landscape maintenance, graffiti removal and 01:44:13
plowing of snow..." 01:44:17
Do you see that? 01:44:19
A Those were examples, yes. 01:44:20

Q Okay. I'd like to explore some of that 01:44:27
experience as well. 01:44:29
MR. VANDER TUIG: I'm going to mark as the 01:44:30
next exhibit -- let's see. We'll mark this as 01:44:31
Exhibit C. 01:44:44
(A certain document was marked Velinsky 01:44:51
Deposition Exhibit C for identification,
as of 01/06/2026.) 01:45:02
BY MR. VANDER TUIG: 01:45:02
Q Okay. The next exhibit, Exhibit C, should 01:45:02
be on its way. Let me know when you have that 01:45:04
pulled up. 01:45:06
A I have that exhibit pulled up. 01:45:07
Q And, for the record, Exhibit C is United 01:45:08
States Patent No. 6,056,498 that issued in May of 01:45:11
2000. 01:45:18
Do you recognize this patent? 01:45:18
A Yes, I do. 01:45:20
Q And you're listed as the first named 01:45:21
inventor on this patent, correct? 01:45:25
A That's correct. 01:45:26
Q And this is titled: "Apparatus for 01:45:29

retrieving conical roadway warning markers." 01:45:31
Do you see that? 01:45:34
A Yes. 01:45:35
Q Do you recognize this to be the apparatus 01:45:39
that you developed as part of the AHMCT work for 01:45:41
retrieving highway cones? 01:45:49
A Yes. 01:45:52
Q And if we look at, for example, Figure -- 01:45:54
let's see, Figure 3, does that show that this 01:45:58
apparatus that achieved that function was attached 01:46:03
to the side of a truck? Correct? 01:46:05
A That's correct. 01:46:08
Q Do you consider this apparatus to be a 01:46:10
compact utility loader? 01:46:14
A This specific one, no. 01:46:17
MR. VANDER TUIG: I'm going to mark 01:46:26
another exhibit. This will be Exhibit D. 01:46:27
(A certain document was marked Velinsky 01:46:33
Deposition Exhibit D for identification,
as of 01/06/2026.) 01:46:48
BY MR. VANDER TUIG:
Q It should be on its way. For the record 01:46:48

Exhibit D is United States Patent 6,809,294 issued 01:47:02
in October of 2004. 01:47:07
A That's right. 01:47:08
Q Do you recognize this patent? 01:47:09
A Yes. 01:47:12
Q This one is titled: "Apparatus For 01:47:12
Dispensing Pavement Sealants," and it lists you as 01:47:16
the first named inventor. 01:47:19
Do you see that? 01:47:20
A Yes. 01:47:26
Q In addition to the tethered mobile robot 01:47:26
for sealing cracks, is this another apparatus for 01:47:28
sealing cracks that you developed as part of the 01:47:32
AHMCT? 01:47:34
A Yes. 01:47:42
Q And if we look at Figure 1, this depicts 01:47:45
the apparatus for sealing cracks as a towable 01:47:51
trailer towed behind a truck which is not pictured 01:47:56
except for the Reference No. 12. 01:47:59
Do you see that? 01:48:02
A I'm sorry. Will you repeat what you -- 01:48:06
what you said this is? 01:48:09

Q Yes, sure. 01:48:10
So if we look at Figure 1, do you have 01:48:11
that up? 01:48:13
A I do. 01:48:13
Q So Figure 1 depicts the apparatus for 01:48:14
sealing cracks, correct? 01:48:17
A No. 01:48:19
Q What does Figure 1 show? 01:48:21
A Figure 1 is a sealant melter. The way 01:48:23
that the operations occur is that rather than 01:48:30
bringing molten tar out to the jobsite, there's a 01:48:37
sealant melter. And the sealant is brought out 01:48:43
in -- I'll call them cubes for a better 01:48:49
definition. They're not exactly cube-shaped. 01:48:52
And they go into this melter, and there is 01:48:55
certainly a lag in the period of time for them to 01:49:00
melt to where they can be dispensed as sealant to 01:49:04
the roadway. And so this part of the patent 01:49:10
concerns, I believe, us cutting up the material 01:49:14
into smaller sections so that it would melt 01:49:24
faster. If you look at Figure 7, for example, it 01:49:27
shows a mechanism to -- to do that. 01:49:29

Q How, if at all, does this apparatus relate 01:49:36
to the tethered mobile robot for sealing cracks? 01:49:38
A So this unique contribution relates to 01:49:48
being able to melt the material at a faster rate 01:49:51
because we were dispensing the material with the 01:49:57
device shown in Figure 11 at a much higher rate 01:49:58
than was ever done previously. 01:50:07
The sealant applicator itself is depicted 01:50:10
later on, and that's our patented device on how 01:50:14
we -- Figure 19 is a good figure of that. And so 01:50:19
that sealant applicator was then placed on a 01:50:22
wheeled device, differentially steered, skid steer 01:50:34
little device. And then the tether provided the 01:50:39
sealant from the trailer to that dispenser on the 01:50:41
compact utility loader, I'll call it. 01:50:49
Q So if I'm understanding correctly, the 01:50:54
Figure 19 is the applicator mechanism that would 01:50:57
be mounted on the tethered mobile robot, for 01:51:02
example. 01:51:06
A That's correct. 01:51:06
Q And what we saw in Figure 1 is the towable 01:51:08
trailer that is pulled behind the support vehicle 01:51:10

that helps melt the tar that will be used for the 01:51:13
sealing operation in a more efficient manner? 01:51:20
A Yes, that's correct. 01:51:22
MR. VANDER TUIG: We'll mark another 01:51:39
exhibit. 01:51:40
(A certain document was marked Velinsky 01:51:41
Deposition Exhibit E for identification,
as of 01/06/2026.) 01:51:50
BY MR. VANDER TUIG: 01:51:50
Q I'm marking the next one as Exhibit E. It 01:51:50
should be on its way. For the record, Exhibit E 01:52:04
is United States Patent No. 6,789,291 that issued 01:52:07
in September of 2004 titled "Telerobotic Nozzle 01:52:13
Positioning System For An Automated Roadway Debris 01:52:17
Vacuum Vehicle," listing you, sir, Dr. Velinsky, 01:52:21
as the first inventor. 01:52:29
Do you see that? 01:52:30
A Yes. 01:52:32
Q Do you recognize this patent?
A Yes. 01:52:32
Q Does this patent reflect the work you'd 01:52:32
done in developing a machine to collect roadway 01:52:35

debris? 01:52:38
A Yes. 01:52:38
Q If we look at Figure 1, does this show 01:52:50
that this apparatus is connected to a support 01:52:53
vehicle in the form of a truck that we see there? 01:52:55
A Yes. 01:52:58
Q At a high level, can you explain how this 01:52:59
apparatus worked to collect roadway debris? 01:53:01
A Sure. This -- the type of vehicle that 01:53:06
was the support vehicle is a truck that's 01:53:11
typically used. It's a large vacuum truck that's 01:53:18
typically used for clearing storm drains that -- 01:53:21
you may have seen them on the roadway. 01:53:27
And the idea was to be able to collect 01:53:30
debris from very challenging locations, like on 01:53:34
the freeway where it would get caught next to a 01:53:38
guardrail, and you had high-speed traffic passing 01:53:42
by, the goal being to remove people from the 01:53:44
roadway. 01:53:50
And so this was a teleoperated end 01:53:51
effecter, I'll call it, that goes on that vacuum 01:53:57
truck. And you could control the position of it 01:54:00

while the vacuum is sucking up the debris and 01:54:06
removing it from the roadway surface. 01:54:09
Q You wouldn't consider this particular 01:54:14
apparatus to be a compact utility loader, correct? 01:54:16
A No. 01:54:19
MR. VANDER TUIG: The next exhibit I'm 01:54:23
going to mark is going to be Exhibit F. 01:54:24
(A certain document was marked Velinsky 01:54:27
Deposition Exhibit F for identification,
as of 01/06/2026.) 01:54:33
BY MR. VANDER TUIG: 01:54:33
Q It should be on its way. Let me know when 01:54:33
you have that one open. 01:54:35
A It's open. 01:54:42
Q So this is another AHMCT report. This one 01:54:43
is dated December 31, 2001 and lists you as the 01:54:50
principal investigator. 01:54:59
Do you see that? 01:55:00
A Yes, uh-huh. 01:55:03
Q The title of this one is: "Assessment of 01:55:05
Graffiti Removal Practices and Initial Development 01:55:11
of a Tool for Removing Graffiti from 01:55:12

Retroreflective Signs." 01:55:16
Do you recall this project? 01:55:18
A You're reminding me of it, but yes, I 01:55:26
guess so. 01:55:29
Q If you turn or flip down to Page 65 of the 01:55:29
PDF which is Page 55 of the native document. 01:55:32
A 65. 55. 01:55:40
Q Of the native document. 01:55:51
A Yes, uh-huh. 01:55:52
Q So here we have a Figure 2-2 in your 01:55:53
report that's titled: "Conceptual sketch of a 01:55:56
sign cleaning tool," and depicted here is a 01:56:00
backpack-mounted battery power sign cleaning tool. 01:56:03
Do you see that? 01:56:07
A Yes. 01:56:08
Q Is this the work -- is this the apparatus 01:56:12
that you developed for removing graffiti? 01:56:16
A I wouldn't say we developed it. It's a 01:56:24
conceptual sketch. 01:56:26
Q Did you ever develop an actual graffiti 01:56:28
removal machine? 01:56:30
A I don't recall. It's certainly not 01:56:35

1 something like this. 01:56:45
2 Q Okay. You can close that one. 01:56:51
3 MR. VANDER TUIG: One more exhibit. This 01:57:00
4 one will be Exhibit G. 01:57:01
5 (A certain document was marked Velinsky 01:57:04
6 Deposition Exhibit G for identification,
7 as of 01/06/2026.) 01:57:10
8 BY MR. VANDER TUIG: 01:57:10
9 Q Which should be on its way. Let me know 01:57:11
10 when you have that pulled up. 01:57:12
11 A I have it. 01:57:24
12 Q So Exhibit G is another one of your 01:57:25
13 reports for the AHMCT Research Center listing you 01:57:30
14 as an author. This one is dated September 30, 01:57:33
15 2015. It's titled: "Evaluation of the TowPlow, 01:57:39
16 T-o-w-P-l-o-w for Caltrans Operations." 01:57:44
17 Do you see that? 01:57:50
18 A Yes. 01:57:51
19 Q Do you remember this project? 01:57:59
20 A Yes. 01:58:00
21 Q And if you turn to Page 19 of the PDF 01:58:01
22 which is native Page 2 of the document. 01:58:03

A Yes. 01:58:11
Q There is a Figure 1 with a photograph of a 01:58:12
TowPlow system in its deployed configuration. 01:58:14
Do you see that? 01:58:17
A Yes. 01:58:18
Q This is a trailer-type apparatus that is 01:58:19
pulled behind a snow plow, correct? 01:58:27
A That's correct. 01:58:31
Q At a high level, can you describe how this 01:58:31
apparatus worked? 01:58:33
A So just to make clear, we did not design 01:58:40
this device. We were testing it for Caltrans. 01:58:42
The goal of the device's use is to plow more than 01:58:52
one lane of snow with a snow plow. And so it's a 01:58:55
trailer that's steerable. The truck at the -- you 01:58:59
know, the wheels basically at the back of the 01:59:05
trailer are steerable, and there's a plow that 01:59:08
would reside on that trailer. 01:59:13
So the snow plow moves along. It directs 01:59:19
the snow that it plows from the truck back 01:59:22
to -- and off the road. And at the same time, the 01:59:29
trailer is directing snow off the road, so it 01:59:32

could do more than one lane at once. 01:59:35

Q So your testimony is you did not design or 01:59:40

develop this TowPlow system, correct? 01:59:43

A No, we were merely evaluating it as the -- 01:59:46

the report suggests and did a lot of analytical 01:59:50

work to look at the stability of it and the 01:59:56

handling of it and those kinds of things. 02:00:01

Q Did your group ever design or develop an 02:00:04

apparatus for plowing snow? 02:00:10

A We certainly worked on snow plow 02:00:14

operations, yes. 02:00:17

Q Did you ever design or develop a machine 02:00:19

for plowing snow? 02:00:24

A It depends on what you mean by defining 02:00:29

"machine for plowing snow." I'm a little -- 02:00:32

Q Well, we're looking at the TowPlow system. 02:00:34

You didn't design or develop that; you tested it? 02:00:37

A No. 02:00:39

Q Were there any other apparatus that you 02:00:39

were involved with with the -- in your experience 02:00:41

for plowing snow? 02:00:44

A Yes. 02:00:47

1 Q What were those? 02:00:48
2 A Well, one of the goals years ago was the 02:00:50
3 ability to control the snow plows in whiteout 02:00:59
4 conditions. And so the research center developed 02:01:04
5 sensing technology that went on the snow plows 02:01:11
6 that allowed them to stay in the lanes while they 02:01:19
7 were plowing. 02:01:26
8 Q Anything else? 02:01:27
9 A Similar with huge snow plowers that they 02:01:31
10 use to open up roads that had been closed over the 02:01:36
11 winter. 02:01:38
12 Q So that experience in connection with your 02:01:44
13 evaluation of the TowPlow, is that the sum total 02:01:46
14 of your experience with apparatus involved with 02:01:49
15 plowing snow? 02:01:52
16 A I don't know if it's the sum total of my 02:01:59
17 entire experience. Those are the projects that we 02:02:02
18 directly worked on, to the best of my 02:02:07
19 recollection, at HMCT. 02:02:10
20 Q The TowPlow system that we see in Figure 1 02:02:17
21 of Exhibit G is not a compact utility loader, 02:02:20
22 correct? 02:02:24

A No. 02:02:25
Q No, it isn't, or no, I'm not correct? 02:02:28
A No, it's not a compact utility loader, no. 02:02:30
Q Of these various machines that we looked 02:02:36
at in these declarations, which one of these do 02:02:41
you believe is closest to the Vermeer compact 02:02:44
utility loaders described in the patents that are 02:02:47
at issue in these proceedings in your opinion? 02:02:51
MR. O'DELL: Objection. Assumes he knows 02:02:57
what the Vermeer products are. 02:02:59
BY MR. VANDER TUIG: 02:03:03
Q Well, let me back up. 02:03:03
You've reviewed the Vermeer patents, 02:03:04
right, and you're familiar with what's depicted in 02:03:06
those patents, right? 02:03:08
A Yes. 02:03:12
Q So those mini skid steers, those compact 02:03:15
utility loaders that are depicted in Vermeer's 02:03:18
patents, my question is which of the machines that 02:03:26
we've seen in the exhibits that we've looked at 02:03:29
relating to your experience with the AHMCT 02:03:32
Research Center is most similar to those machines? 02:03:36

A Well, limiting to those that we looked at 02:03:44
here, then my wheeled robot technology is that 02:03:47
that is most closely related to their device. 02:03:53
Q And not limited to what we've looked at 02:03:57
here, are there any others that are closer? 02:03:59
A Well, we've -- the wheeled mobile robots 02:04:05
are -- we looked at ones that were in laboratory 02:04:09
testing in a laboratory. We actually have wheeled 02:04:17
robots that worked on the roadway that were parted 02:04:21
and were operated out in actual operations, so 02:04:27
those would be -- I also have a mower that 02:04:31
would -- I believe consider -- I believe is a 02:04:39
compact utility loader as well. It was a device 02:04:45
for -- a wheeled mobile device for mowing. 02:04:48
Q Did you design the mower in that case? 02:05:01
A We designed the device, yes. 02:05:03
Q You designed the mower or designed the 02:05:09
remote control operation of the mower? 02:05:12
A The wheeled robot that the mower would 02:05:13
attach to. 02:05:15
Q You designed the robot? 02:05:22
A The wheeled device, yes. 02:05:24

Q And did the wheeled device, the robot, did it have loader arms? 02:05:26 02:05:31

A No. 02:05:48

MR. VANDER TUIG: I don't have any further questions. Thanks for your time. 02:05:48 02:05:50

THE WITNESS: Thank you. 02:05:53

THE REPORTER: Counsel, do you have any questions. 02:06:02 02:06:05

MR. O'DELL: Maybe. I'd like to take a short break and then come back in, say, ten minutes. 02:06:06 02:06:08 02:06:10

(A recess was had.) 02:06:13

MR. O'DELL: This is David owe Dell. We have no further questions. 02:14:28 02:14:30

THE REPORTER: Would you like to go off the record? 02:14:35 02:14:36

MR. O'DELL: Yes. 02:14:37

(Off the record at 2:15 p.m. CST.) 02:15:15

CERTIFICATE OF COURT REPORTER - NOTARY PUBLIC

I, Theresa A. Vorkapic, Certified Shorthand Reporter No. 084-2589, CSR, RMR, CRR, RPR, and a Notary Public in and for the County of Kane, State of Illinois, the officer before whom the foregoing deposition was taken, do hereby certify that the foregoing transcript is a true and correct record of the testimony given; that said testimony was taken by me and thereafter reduced to typewriting under my direction; that reading and signing was not requested; and that I am neither counsel for, related to, nor employed by any of the parties to this case and have no interest, financial or otherwise, in its outcome.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my notarial seal this 19th day of January, 2026.

My commission expires November 6, 2027.

Theresa A Vorkapic

_____

THERESA A. VORKAPIC

NOTARY PUBLIC IN AND FOR ILLINOIS

A
ability 48:3
able 40:4, 42:14
about 15:9, 31:21, 31:22, 34:4
abrams 4:2
accommodate 7:17
accomplish 13:6
accomplished 35:15
accomplishing 16:19
accurate 10:2, 24:19
accurately 20:17, 20:21, 21:4, 22:8
achieve 26:17
achieved 37:10
acronym 12:3, 12:7, 12:8, 24:17
active 13:2
actively 14:10
actual 44:20, 50:10
actually 17:2, 27:5, 50:8
addition 9:5, 31:22, 38:11
additional 26:7
addressed 9:7
advanced 11:22
affixed 52:16
after 31:6
again 9:1, 16:8, 24:4, 26:12, 35:4
ago 11:7, 22:11, 48:2
agree 8:9, 9:18, 10:6, 15:8, 15:17, 31:1, 34:3
ahmct 5:8, 5:12, 5:18, 12:5, 19:3, 23:10, 33:13, 33:19, 35:4, 37:5, 38:14, 43:15, 45:13, 49:21
alan 2:1, 2:14, 5:2, 6:9, 6:15, 7:5
all 3:1, 15:6, 15:15, 18:5, 19:9, 31:5, 34:11, 40:1
allow 22:7
allowed 48:6
along 17:8, 46:19
already 7:12, 7:21
also 4:1, 9:6, 11:11, 31:12, 31:22, 50:11
amcht 6:2
america 1:5, 3:7
analytical 47:5
another 13:8, 15:14, 17:1, 23:5, 23:10, 37:17, 38:12, 41:4, 43:15, 45:12
anticipate 7:15
any 7:16, 9:8, 10:19, 11:3, 13:20, 21:3, 47:19, 50:5, 51:4, 51:7, 52:13
anything 34:4, 48:8
apparatus 36:22, 37:4, 37:10, 37:13, 38:6, 38:12, 38:17, 39:5, 40:1, 42:4, 42:8, 43:4, 44:16, 46:6, 46:10, 47:9, 47:19, 48:14
appeal 1:3, 33:10
appears 11:9, 20:3
applicator 27:10, 40:8, 40:11, 40:17
applied 8:16
applying 9:20, 10:3, 10:5, 10:7, 10:11, 10:21, 18:3, 30:22
arm 22:3, 22:6, 22:9
arms 30:14, 32:9, 32:14, 32:17, 51:2
armstrong 3:20
around 25:22
article 5:19
aspect 21:5
assertions 11:14
assessment 5:20, 43:20
assumes 49:9
attach 50:20
attached 5:5, 27:12, 30:17, 32:20, 37:10
attachment 30:14, 32:9
attachments 30:16, 32:11
augers 30:18, 32:20
austin 3:10
author 17:18, 23:6, 45:14
authors 19:21, 24:5
automated 35:10, 41:14
automating 26:2
aware 33:14, 33:15, 34:1
awkward 12:6, 34:20
B
back 14:16, 15:20,

16:7, 19:5, 22:21, 26:18, 34:16, 34:17, 46:16, 46:20, 49:12, 51:10
back-up 7:11
background 7:14, 29:15
backpack-mounted 44:13
based 11:14, 33:7, 33:12, 33:18
basic 26:5
basically 25:12, 46:16
battery 44:13
because 27:14, 40:5
been 7:6, 8:18, 17:3, 29:5, 29:7, 48:10
before 1:3, 2:16, 7:19, 52:5
behalf 3:7, 3:17
behind 38:18, 40:22, 46:7
being 22:15, 27:8, 40:4, 42:18
believe 8:19, 8:20, 15:4, 31:21, 39:19, 49:6, 50:12
best 9:1, 24:22, 25:7, 26:18, 26:22, 48:18
better 39:13
between 15:18, 33:20
big 26:1
bit 12:7, 12:9, 24:2
bituminous 25:14
blower 26:1
board 1:3, 9:6, 33:2, 33:10
board's 8:9, 9:12, 9:18, 9:22, 10:21
bobcat-type 12:18
boone 3:11
both 12:7
bottom 11:9, 13:22
boulevard 3:21
bracket 30:14, 32:9
break 7:16, 51:10
bringing 39:11
brought 39:12
buckets 30:18, 32:20, 33:1
building 32:8
built 18:2, 26:3
bunch 18:6

C

california 11:13, 11:20
call 39:13, 40:15, 42:21
called 7:6, 18:7
caltrans 5:18, 6:4, 45:16, 46:12
came 26:10
can't 29:6, 34:6
capable 30:1, 30:15, 32:10
carrier 28:15, 29:16, 31:1
carriers 29:22, 30:3, 30:7, 30:16, 33:5
case 15:8, 19:17, 50:15, 52:13
cases 28:14
caught 42:16
center 12:1, 26:2, 33:19, 45:13, 48:4, 49:22
certain 18:12, 23:19, 36:6, 37:18, 41:6, 43:8, 45:5
certainly 18:4, 31:7, 31:8, 31:9, 32:12, 39:16, 44:22, 47:10
certificate 52:1
certified 2:16, 2:17, 52:2
certify 52:7
cetera 13:7
challenging 42:15
changes 14:8
chat 13:11
cite 10:18
cites 34:15
citing 11:3, 30:7
claim 8:10, 10:2, 10:3
clarification 25:19
cleaning 44:12, 44:13
clear 46:11
clearing 42:12
clearly 15:21
close 34:19, 45:2
closed 48:10
closely 50:3
closer 50:5
closest 49:6
collect 41:22, 42:8, 42:14
collection 35:18
column 29:12
com 3:15, 3:24
come 27:22, 51:10

comment
34:6
commission
52:18
compact
15:5, 15:6, 15:12, 15:15, 16:4, 22:13, 22:16, 22:18, 28:5, 28:10, 28:11, 28:15, 29:16, 29:21, 30:2, 30:6, 30:22, 33:5, 33:12, 33:21, 37:14, 40:15, 43:4, 48:21, 49:3, 49:6, 49:17, 50:13
company
1:8, 3:18
conceptual
21:11, 44:11, 44:19
concerned
26:2
concerns
21:5, 39:19
conditions
48:4
conducted
2:2, 2:15
cones
35:17, 37:6
configuration
21:12, 46:3
conical
37:1
connected
32:2, 42:4
connection
9:15, 10:9, 28:13, 48:12
consider
14:21, 16:3, 22:12, 28:5, 28:9, 28:11, 28:14, 37:13, 43:3, 50:12
considered
28:8
construction
8:9, 9:18, 9:20, 10:1, 10:3, 10:4, 11:12, 11:22, 12:12, 26:13, 35:10
context
13:20
continued
6:1
contribution
40:3
control
21:16, 42:22, 48:3, 50:18
copy
17:7
corporation
1:5, 3:8
correct
10:1, 10:10, 11:4, 11:6, 12:2, 20:1, 20:11, 20:12, 21:1, 21:14, 22:4, 23:14, 24:17, 27:17, 28:3, 29:2, 31:4, 32:5, 32:11, 32:21, 36:20, 36:21, 37:11, 37:12, 39:6, 40:20, 41:3, 43:4, 46:7, 46:8, 47:3, 48:22, 49:2, 52:8
correctly
40:16
could
9:1, 13:19, 22:21, 25:16, 26:18, 27:3, 27:21, 27:22, 28:1, 28:8, 29:11, 33:16, 34:15, 42:22, 47:1
couldn't
32:19
counsel
7:11, 51:7, 52:12
county
52:4
couple
21:7, 30:7
coupling
30:15, 32:10
course
11:1
court
52:1
crack
16:14, 16:20, 21:17, 25:15, 25:17
cracks
25:12, 26:8, 27:4, 35:18, 38:12, 38:13, 38:17, 39:6, 40:2
crawler
30:9
created
25:6
crr
2:9, 52:3
csr
2:9, 52:3
cst
2:4, 51:18
cube-shaped
39:14
cubes
39:13
cutting
39:19
cv
6:13, 17:8, 17:12, 22:20, 24:7, 34:10

D

dallas
3:13
daniel
4:3
date
20:14
dated
43:16, 45:14
dating
14:15
david
3:9, 3:15, 51:13
davis
5:18, 12:1, 33:13
day
19:6, 52:16
debris
35:18, 41:14, 42:1, 42:8, 42:15, 43:1
december
43:16
decision
9:6, 9:13, 10:16
declaration
6:8, 6:14, 8:1, 8:17, 8:21, 8:22, 9:5, 9:10, 9:15, 9:19, 10:19, 11:10, 13:9, 17:9, 34:9, 34:12, 34:15, 34:21, 35:1, 35:9
declarations
8:19, 49:5
deeper
11:18
define
14:3, 14:7, 31:11
defined
30:7, 31:10,

33:7
defining
47:14
definitely
28:7
definition
8:20, 10:12, 10:17, 10:20, 11:4, 28:14, 30:22, 31:10, 31:15, 39:14
dell
51:13
department
11:13, 11:20
depends
47:14
depicted
40:8, 44:12, 49:14, 49:18
depicts
38:16, 39:5
deployed
46:3
depos
4:2, 4:4
deposed
7:12
deposition
2:1, 2:14, 5:6, 15:4, 15:10, 15:12, 18:10, 18:13, 23:20, 36:7, 37:19, 41:7, 43:9, 45:6, 52:6
describe
16:1, 46:9
described
33:5, 49:7
description
29:12, 29:16
design
46:11, 47:2, 47:8, 47:12, 47:17, 50:15
designed
16:18, 32:4, 32:7, 32:17, 35:9, 50:16, 50:17, 50:21
detailed
25:10
details
18:5, 19:9, 29:6
develop
44:20, 47:3, 47:8, 47:12, 47:17
developed
14:22, 16:4, 16:18, 18:6, 35:9, 37:5, 38:13, 44:17, 44:18, 48:4
developing
14:16, 20:16, 41:22
development
5:9, 5:13, 5:22, 17:19, 22:16, 23:7, 27:1, 27:2, 43:21
device
12:21, 14:8, 20:18, 25:22, 26:4, 26:7, 26:9, 27:8, 40:6, 40:9, 40:12, 40:13, 46:12, 50:3, 50:13, 50:14, 50:16, 50:22, 51:1
device's
46:13
devices
16:2, 33:1
differences
15:18
different
8:15, 13:6, 14:9, 26:20, 27:19, 35:15
differentially
15:2, 26:5, 27:9, 40:12
directing
46:22
direction
14:8, 52:10
directly
48:18
director
11:21
directs
46:19
discuss
19:3, 34:7
dispensed
39:17
dispenser
40:14
dispensing
35:16, 38:7, 40:5
dive
11:18
do-it-yourself
30:2
document
3:4, 8:8, 11:5, 13:21, 16:12, 18:10, 18:12, 19:13, 20:2, 21:8, 22:12, 23:19, 24:10, 24:12, 36:6, 37:18, 41:6, 43:8, 44:6, 44:8, 45:5, 45:22
documents
9:8, 9:14, 19:4
done
40:7, 41:22
down
11:8, 22:21, 44:5
downloading
18:19
dr
2:1, 2:14, 5:2, 7:5, 7:10, 33:11, 33:20, 34:9, 34:12, 41:15
drains
42:12
drives
13:4, 13:5
duly
7:4, 7:6
during
15:11, 27:2, 30:4

E

each
13:4
earlier
21:7
early
14:16, 21:2
easier
12:9, 12:21
effecter
42:21
efficient
41:2
either
30:13
else
48:8
employed
52:12
encoders
22:8
end
16:11, 42:20
engineering
11:2
enlarge
25:15
ensure
26:7
ensuring
27:3
entire
48:17
envisioning
22:6

equipment
14:7, 30:14, 32:9, 32:13
esparza
4:3
esquire
3:9, 3:10, 3:19
et
13:7
evaluating
47:4
evaluation
6:2, 45:15, 48:13
even
34:5
ever
40:7, 44:20, 47:8, 47:12
evidence
10:19, 11:3
exact
3:4
exactly
39:14
examination
5:2, 5:3, 7:8
examined
7:7
example
10:19, 14:11, 16:12, 32:1, 37:8, 39:21, 40:19
examples
35:22
except
38:19
exhibit
5:8, 5:12, 5:15, 5:16, 5:17, 5:18, 6:2, 6:8, 6:13, 6:14, 7:22, 8:3, 13:8, 13:12, 13:14, 16:7, 16:8, 17:1, 17:4, 17:7, 18:10, 18:13, 19:12, 22:20, 23:17, 23:20, 28:4, 28:21, 34:17, 34:20, 36:4, 36:5, 36:7, 36:10, 36:13, 36:14, 37:17, 37:19, 38:1, 41:5, 41:7, 41:10, 41:11, 43:6, 43:7, 43:9, 45:3, 45:4, 45:6, 45:12, 48:21
exhibits
3:1, 5:6, 6:6, 7:21, 31:3, 33:4, 49:20
existed
24:20, 27:15
experience
11:1, 11:8, 11:11, 11:15, 11:19, 14:15, 33:13, 33:19, 35:5, 36:2, 47:20, 48:12, 48:14, 48:17, 49:21
expert
10:8, 33:11, 33:12, 33:20, 33:21, 34:5
expertise
34:9
expires
52:18
explain
25:10, 42:7
explore
36:1

F

fact
33:14, 33:15
familiar
12:5, 29:4, 29:10, 49:14
far
15:11, 15:20
faster
39:21, 40:4
features
31:3
feel
9:4, 13:19, 25:2
few
29:7
figure
20:6, 21:11, 22:11, 24:13, 25:1, 28:4, 37:8, 37:9, 38:16, 39:2, 39:5, 39:8, 39:9, 39:21, 40:6, 40:10, 40:17, 40:21, 42:3, 44:10, 46:2, 48:20
fill
25:13, 25:16
final
19:4
finalized
19:20
financial
52:14
fine
12:10, 15:22
first
6:8, 7:6, 8:16, 8:21, 13:9, 15:4, 16:7, 17:9, 19:2, 19:21, 29:16, 31:5, 34:15, 34:20, 34:21, 35:1, 36:19, 38:8, 41:16
fits
31:11
flemming
33:11, 33:20
flemming's
34:9, 34:12
flip
44:5
flipped
11:8
follow
27:4
follows
7:7
foregoing
52:6, 52:7
forks
30:18, 32:20
form
42:5
forsyth
3:21
frame
30:11, 31:9
free
9:4, 13:19, 25:2
freeway
42:16
front
8:5, 24:4, 24:13
front-mounted
30:13, 30:16, 32:8, 32:11
function
37:10
further
51:4, 51:14
fuzzy
27:1

G

gehrke
3:10
general
19:8
generally
18:6, 29:9, 30:7, 31:10, 31:11, 31:14
given
52:8

go
7:13, 7:15, 11:14, 14:14, 39:15, 51:15
goal
42:18, 46:13
goals
48:2
goes
30:6, 42:21
going
13:8, 13:9, 16:7, 17:1, 17:2, 18:9, 19:3, 28:18, 34:19, 36:3, 37:16, 43:7
good
40:10
graffiti
5:20, 5:23, 35:19, 43:21, 43:22, 44:17, 44:20
grinders
30:18, 32:21
ground
7:14
group
33:13, 47:8
guardrail
42:17
guess
44:4

H

hand
7:3, 52:16
handful
19:1
handling
47:7
happy
7:17
hardware
21:16
harwood
3:12
haynes
3:11
heading
29:15
helps
41:1
here
24:4, 25:1, 29:21, 44:10, 44:12, 50:2, 50:5
hereby
52:6
herein
7:6
hereunto
52:15
high
25:9, 42:7, 46:9
high-speed
42:17
higher
40:6
highway
5:10, 11:22, 14:17, 16:15, 16:21, 17:20, 18:8, 26:2, 35:10, 35:14, 35:17, 37:6
highways
18:3
hmct
48:19
home
30:2
hook
22:3
huge
48:9

I

idea
42:14
identification
18:13, 23:20, 36:7, 37:19, 41:7, 43:9, 45:6
identified
23:11
illinois
2:20, 52:5, 52:22
include
30:3
indicate
3:3
indicated
21:15
individual
16:13
initial
5:22, 29:8, 43:21
instead
10:3
institution
9:6
inter
6:12
interchange
32:8
interchangeable
30:13, 30:15, 30:17, 32:10, 32:13
interest
52:14
inventor
36:20, 38:8, 41:16
investigator
43:17
involved
47:20, 48:14
ipr
1:12, 1:13, 1:14, 1:16, 1:18, 1:20, 8:4, 13:15
iprs
7:11
iso
30:8
issue
49:8
issued
36:15, 38:1, 41:12
itself
22:17, 27:6, 40:8

J

january
2:3, 52:17
job
2:6
jobsite
39:11

K

kane
52:5
kelly
3:10
kilograms
30:10, 31:9
kind
12:6, 15:15, 26:22
kinds
47:7
know
7:12, 7:17, 13:15, 14:1, 15:19, 17:5, 18:7, 18:16, 19:9, 20:3, 28:21, 29:7, 29:13, 34:4, 34:5, 34:17, 35:2, 36:11, 43:12, 45:9, 46:16, 48:16
knows
49:9
korean
9:11
kr
9:7, 9:21, 10:4, 10:22
kubota
1:5, 3:7, 33:9,

33:18, 34:14

L

labeled
20:6, 21:11
laboratory
20:19, 20:20, 25:3, 27:2, 50:7, 50:8
lag
39:16
landscape
35:19
lane
46:14, 47:1
lanes
48:6
large
24:2, 42:11
later
27:4, 40:9
lawn
26:1
less
30:10, 31:8
let's
36:4, 37:9
level
25:9, 42:7, 46:9
lift
30:14, 32:9, 32:14, 32:17
lighter
12:21
limited
50:4
limiting
50:1
linkage
22:3, 22:7
list
17:13, 19:11, 22:22
listed
17:18, 19:20, 23:6, 24:5, 36:19
listing
41:15, 45:13
lists
38:7, 43:16
little
11:18, 12:8, 24:1, 34:20, 40:13, 47:15
llp
3:11, 3:20
load
13:8, 23:16
loader
15:6, 15:13, 15:15, 22:13, 22:16, 22:18, 28:6, 28:10, 28:12, 37:14, 40:15, 43:4, 48:21, 49:3, 50:13, 51:2
loaders
16:5, 33:12, 33:22, 49:7, 49:18
locations
42:15
logistics
7:20
long
7:15, 9:2
look
17:17, 19:9, 21:7, 27:7, 28:19, 37:8, 38:16, 39:2, 39:21, 42:3, 47:6
looked
22:11, 49:4, 49:20, 50:1, 50:4, 50:7
looking
16:8, 19:11, 28:5, 31:6, 32:14, 32:15, 47:16
looks
9:19, 25:7, 25:18, 26:1
lot
7:13, 47:5
louis
3:22
lyle
3:10

M

machine
8:11, 14:9, 15:7, 15:16, 18:2, 25:4, 25:8, 27:3, 28:7, 30:9, 30:13, 31:7, 31:12, 31:16, 31:18, 41:22, 44:21, 47:12, 47:15
machines
11:12, 12:12, 12:19, 29:22, 31:12, 31:14, 31:19, 35:11, 35:15, 49:4, 49:19, 49:22
mainframe
8:10, 8:15, 8:16, 9:13, 10:1, 10:9
maintenance
5:11, 11:22, 14:18, 17:20, 18:8, 26:3, 35:10, 35:19
make
11:14, 46:11
making
34:14
maneuver
12:22
manner
3:2, 41:2
manually
25:21
manufacturing
1:8, 3:17
marc
3:19
mark
7:10, 17:1, 17:2, 18:9, 36:3, 36:4, 37:16, 41:4, 43:7
marked
6:6, 7:22, 13:11, 17:3, 18:12, 23:19, 28:21, 34:16, 36:6, 37:18, 41:6, 43:8, 45:5
markers
37:1
marking
41:10
mass
30:10, 31:8
material
25:13, 25:14, 25:16, 39:19, 40:4, 40:5
materials
21:17
matters
17:9
maybe
51:9
mean
8:10, 19:8, 31:5, 47:14
meaning
8:14, 8:16, 10:9, 10:13, 10:20
means
10:16
measure
21:3, 22:8
measuring
20:17, 20:20
mechanical
11:2, 22:3, 22:7
mechanism
39:22, 40:17

meet
31:14
meets
31:7
melt
39:17, 39:20, 40:4, 41:1
melter
27:15, 39:9, 39:12, 39:15
mentioned
11:7
merely
47:4
merit
2:17
method
13:1
methodology
20:16
might
12:3
mini
12:12, 12:16, 12:20, 15:5, 15:14, 16:13, 16:18, 49:17
minute
11:7, 22:11
minutes
51:11
missouri
3:22
mobile
5:10, 5:14, 14:17, 14:21, 15:3, 16:3, 17:19, 18:7, 20:10, 20:14, 20:22, 22:4, 23:7, 24:14, 24:20, 25:5, 26:4, 26:16, 27:9, 31:2, 32:16, 32:19, 33:4, 38:11, 40:2, 40:18, 50:6, 50:14
molten
39:11
months
29:7
more
41:2, 45:3, 46:13, 47:1
most
49:22, 50:3
mounted
26:4, 40:18
mouthful
12:8
moved
27:4
moves
46:19
moving
26:8
mower
26:1, 50:11, 50:15, 50:17, 50:18, 50:19
mowing
50:14
much
40:6
mvandertuig@atllp
3:24

N

name
12:7
named
36:19, 38:8
native
21:8, 24:10, 24:12, 44:6, 44:8, 45:22
necessarily
3:3, 27:21
necessary
26:16
need
7:16, 13:20
neither
52:12
never
34:8
new
18:10
next
23:17, 36:4, 36:10, 41:10, 42:16, 43:6
north
1:5, 3:7, 3:12
notarial
52:16
notary
2:19, 52:1, 52:4, 52:22
note
3:1
notice
2:15
noticed
12:4
november
52:18
nozzle
41:13
number
19:12

O

o'dell
3:9, 18:19, 18:21, 49:9, 51:9, 51:13, 51:17
objection
49:9
obviously
8:18
occur
39:10
october
19:20, 38:2
odell@haynesboone
3:15
offering
9:21, 10:8
office
1:1
officer
52:5
okay
7:18, 9:4, 13:13, 13:18, 14:3, 18:20, 18:21, 24:11, 25:21, 34:8, 34:21, 34:22, 36:1, 36:10, 45:2
once
7:12, 47:1
one
7:11, 8:2, 17:2, 17:3, 18:16, 19:2, 19:21, 23:3, 23:6, 23:11, 23:14, 24:5, 26:20, 26:21, 28:8, 33:11, 37:15, 38:6, 41:10, 43:13, 43:15, 43:20, 45:2, 45:3, 45:4, 45:12, 45:14, 46:14, 47:1, 48:2, 49:5
ones
32:13, 32:15, 50:7
only
16:14, 33:21
open
13:17, 13:18, 43:13, 43:14, 48:10
opened
13:18, 17:6, 24:3
operate
31:12, 32:1
operated
25:22, 31:19, 50:10
operating
30:9, 30:11, 31:17
operation
41:2, 50:18

operations
6:4, 26:3, 39:10, 45:16, 47:11, 50:10
operator
26:8, 30:4, 30:12, 31:17, 32:5
opining
10:2, 10:13
opinion
9:22, 31:15, 49:8
opposed
13:2, 14:10, 15:22
opposite
14:9
order
13:6
organization
12:5
other
9:8, 9:14, 15:22, 25:1, 32:16, 32:22, 34:4, 47:19
others
50:5
otherwise
52:14
out
27:5, 39:11, 39:12, 50:10
outcome
52:14
over
7:13, 7:20, 26:8, 48:10
owe
51:13
own
26:3
owner
1:9, 3:17

P

page
5:2, 5:6, 6:6, 8:7, 11:10, 13:21, 13:22, 16:12, 17:12, 19:21, 20:2, 20:3, 21:7, 21:8, 22:12, 24:4, 24:9, 24:10, 24:12, 28:18, 29:11, 29:21, 34:22, 44:5, 44:6, 45:21, 45:22
pages
2:7, 21:7
pairing
27:18
paper
17:19, 18:1
paragraph
8:8, 9:17, 11:9, 12:11, 13:22, 14:2, 14:3, 16:9, 16:11, 29:17, 35:1
part
9:9, 17:13, 27:2, 28:13, 37:5, 38:13, 39:18
parted
50:9
partes
6:12
particular
8:3, 13:14, 22:5, 35:8, 43:3
parties
52:13
passing
42:17
patent
1:1, 1:3, 1:9, 1:15, 1:17, 1:19, 1:21, 1:23, 3:17, 5:15, 5:16, 5:17, 9:12, 28:19, 29:1, 29:2, 29:4, 31:21, 33:9, 36:15, 36:17, 36:20, 38:1, 38:4, 39:18, 41:12, 41:19, 41:21
patented
27:10, 40:9
patents
10:10, 15:13, 28:16, 31:1, 33:6, 49:7, 49:13, 49:15, 49:19
pavement
16:14, 16:20, 18:2, 25:13, 27:5, 27:6, 35:18, 38:7
pdf
20:3, 21:8, 24:10, 29:11, 44:6, 45:21
pe
6:10, 6:16
pedestrian
30:11, 31:16, 31:20
penetrate
25:16
people
42:18
period
31:6, 39:16
person
25:18, 25:22
petition
6:11
petitioner
1:6, 3:7
petitioner's
6:17
peyton
4:2
pgr
1:22
phd
6:9, 6:15
photograph
20:7, 24:13, 24:19, 26:15, 46:2
picture
20:13, 26:22
pictured
38:18
placed
40:11
placement
16:20
placing
16:14
planet
4:2, 4:3
platform
30:4, 30:12, 31:17
please
7:3, 24:10, 33:16
plow
46:7, 46:13, 46:14, 46:17, 46:19, 47:10
plowers
48:9
plowing
35:20, 47:9, 47:13, 47:15, 47:21, 48:7, 48:15
plows
46:20, 48:3, 48:5
point
13:10
portion
13:20
portions
9:12
position
20:17, 20:21, 21:4, 22:8, 30:11, 31:17,

42:22
positioning
41:14
power
21:17, 26:10, 27:14, 44:13
powered
26:9
practices
5:21, 43:21
preliminary
9:22
prepared
19:5, 19:19, 34:7
preparing
9:9, 9:15
present
4:1
previously
6:6, 7:22, 13:11, 17:3, 28:21, 34:16, 40:7
primary
8:10
principal
43:17
proceeding
8:4
proceedings
7:13, 8:2, 8:17, 8:22, 49:8
products
49:10
professional
2:18
project
44:2, 45:19
projects
30:2, 48:17
propelling
14:8
prototype
20:13, 20:20, 21:1, 21:2, 22:5, 22:10, 22:15
provided
27:15, 28:15, 40:13
public
2:19, 52:1, 52:4, 52:22
publication
17:17, 22:22
publications
17:14, 19:12, 22:22
published
19:19
pull
22:21, 34:16
pulled
17:5, 18:16, 19:1, 36:12, 36:13, 40:22, 45:10, 46:7
pursuant
2:15
pushes
25:22

Q

question
49:19
questions
51:5, 51:8, 51:14
quite
29:7, 31:6
quotations
3:1
quote
3:4

R

raise
7:2
rate
40:4, 40:6
rates
14:9
rather
10:13, 39:10
read
3:2, 9:2, 29:9, 29:20, 35:16
reading
33:7, 52:11
ready
18:21
realtime
2:18
rear
30:12, 31:18
reason
7:16
recall
9:1, 15:19, 18:5, 32:18, 44:2, 44:22
recently
29:9
recess
51:12
recognize
17:10, 17:15, 18:1, 19:7, 19:14, 19:16, 21:13, 24:6, 25:4, 36:17, 37:4, 38:4, 41:19
recollection
25:7, 48:19
record
3:3, 7:19, 8:3, 29:20, 36:14, 37:22, 41:11, 51:16, 51:18, 52:8
reduced
52:10
refer
12:3, 12:11, 15:13
reference
9:5, 9:7, 10:4, 13:10, 21:6, 38:19
referenced
19:13, 24:7
referred
12:19
referring
13:1, 15:15, 35:4
reflect
41:21
reflected
3:2
registered
2:17, 2:18
relate
40:1
related
9:13, 18:1, 18:4, 50:3, 52:12
relates
40:3
relating
49:21
relative
21:4
remember
45:19
reminding
44:3
remote
4:2, 7:20, 50:18
remotely
31:13, 31:20
removal
5:21, 35:19, 43:21, 44:21
remove
42:18
removing
5:23, 43:2, 43:22, 44:17
repeat
33:16, 38:21
reply
6:18
report
5:8, 5:12, 18:5, 19:7, 19:15, 19:19, 20:15, 23:5, 23:10, 23:17,

24:6, 43:15, 44:11, 47:5
reported 2:8
reporter 2:17, 2:18, 2:19, 7:2, 25:19, 51:7, 51:15, 52:1, 52:3
reporter's 3:1
reports 19:2, 19:4, 29:8, 45:13
representation 34:1, 34:14
represented 33:9, 33:18
requested 52:11
research 5:8, 5:12, 12:1, 17:14, 17:17, 19:1, 19:12, 22:22, 23:5, 23:10, 23:17, 26:2, 33:19, 45:13, 48:4, 49:22
reside 46:18
respect 20:18, 21:4
rest 9:19
retrieval 35:17
retrieving 37:1, 37:6
retroreflective 5:24, 44:1
review 6:12, 9:4, 13:20, 34:12
reviewed 9:9, 9:14, 29:5, 34:8, 49:13
riding 32:1
right 7:2, 10:14, 10:22, 13:11, 19:16, 29:4, 38:3, 49:14, 49:15
rigid 30:10, 31:9
rmr 2:9, 52:3
road 46:21, 46:22
road-going 28:7
roads 48:10
roadway 31:14, 35:18, 37:1, 39:18, 41:14, 41:22, 42:8, 42:13, 42:19, 43:2, 50:9
robot 5:10, 5:14, 17:19, 20:10, 20:14, 20:22, 22:4, 23:7, 24:14, 24:20, 25:5, 26:5, 26:16, 26:20, 26:22, 27:7, 27:9, 27:13, 27:18, 27:20, 31:2, 32:5, 38:11, 40:2, 40:18, 50:2, 50:19, 50:21, 51:1
robots 14:17, 14:22, 15:3, 16:4, 18:7, 28:1, 32:4, 32:7, 32:16, 32:19, 33:4, 50:6, 50:9
rollers 30:19, 32:21
route 27:5
router 25:21, 26:9, 26:10
routing 5:14, 16:14, 16:19, 18:2, 23:7, 24:14, 24:20, 25:5, 25:17, 26:4, 26:9, 26:17, 26:21, 26:22, 27:13, 27:18
rpr 2:9, 52:4
rules 7:14
run 26:10

S

sae 30:8
said 38:22, 52:9
same 8:15, 8:20, 15:7, 15:16, 16:1, 27:22, 28:1, 28:18, 29:21, 46:21
saw 31:2, 33:4, 40:21
say 9:17, 10:6, 11:6, 11:10, 14:14, 16:12, 18:4, 22:17, 30:6, 32:15, 44:18, 51:10
saying 15:20, 28:2
says 8:8, 29:21, 35:16
schematic 21:10
screen 34:18, 35:2
scroll 22:21
seal 52:16
sealant 16:15, 16:20, 18:3, 21:17, 26:20, 27:10, 27:15, 39:9, 39:12, 39:17, 40:8, 40:11, 40:14
sealants 38:7
sealing 26:17, 26:21, 27:7, 27:20, 35:17, 38:12, 38:13, 38:17, 39:6, 40:2, 41:2
second 6:14, 8:1, 8:7, 9:9, 11:10, 23:18
sections 39:20
see 8:12, 9:5, 11:16, 12:14, 14:1, 14:5, 14:12, 14:19, 16:16, 17:7, 17:21, 19:22, 20:8, 21:19, 22:6, 23:3, 23:12, 24:15, 29:18, 30:20, 30:21, 35:6, 35:12, 35:21, 36:4, 37:2, 37:9, 38:9, 38:20, 41:17, 42:5, 43:18,

44:14, 45:17, 46:4, 48:20
seeing 22:10
seem 9:21
seems 9:19
seen 42:13, 49:20
self-propelled 30:8, 31:7
sending 18:11
sensing 26:6, 26:7, 48:5
separate 27:18, 27:19
september 41:13, 45:14
set 52:15
share 28:1
sharing 7:20
short 51:10
shorthand 2:16, 52:3
should 13:17, 17:4, 24:1, 28:20, 36:10, 37:22, 41:11, 43:12, 45:9
show 37:9, 39:8, 42:3
shown 40:6
shows 39:22
side 13:5, 37:11
sides 14:9
sign 44:12, 44:13
signature-mig2k 52:19
signing 52:11
signs 5:24, 44:1
similar 27:8, 48:9, 49:22
since 8:18, 29:5, 29:7
sir 8:5, 9:16, 41:15
size 12:19
sketch 44:11, 44:19
skid 12:12, 12:17, 12:20, 13:1, 13:3, 14:4, 14:15, 15:1, 15:3, 15:5, 15:14, 16:13, 16:19, 40:12, 49:17
smaller 12:21, 39:20
snow 26:1, 35:20, 46:7, 46:14, 46:19, 46:20, 46:22, 47:9, 47:10, 47:13, 47:15, 47:21, 48:3, 48:5, 48:9, 48:15
some 7:20, 11:14, 16:6, 19:4, 22:2, 34:19, 36:1
someone 31:13
something 45:1
sorry 33:15, 38:21
sort 15:6, 22:2
spaces 30:1
speak 18:6
specific 37:15
specifically 15:19
speeds 13:6
st 3:22
stability 47:6
stage 27:1
stand 30:4
standards 30:8
standing 30:12, 31:17
stands 20:10, 22:14, 22:19
starting 11:9
starts 13:22, 29:12
state 2:19, 11:13, 11:20, 35:8, 52:5
stated 9:11
statement 8:12
states 1:1, 36:15, 38:1, 41:12
stay 48:6
steer 12:17, 12:20, 13:1, 13:3, 14:4, 15:5, 15:14, 16:13, 40:12
steerable 46:15, 46:17
steered 15:2, 26:5, 27:10, 40:12
steering 13:2
steers 12:13, 12:20, 14:15, 15:1, 15:3, 16:19, 49:17
steven 2:1, 2:14, 5:2, 6:9, 6:15, 7:5
still 15:8, 18:19, 22:20
storm 42:12
street 3:12
structure 8:11
stump 30:18, 32:20
submitted 8:1, 13:15, 17:8
sucking 43:1
suggests 47:5
suite 3:12, 3:21
sum 48:13, 48:16
supply 21:18
support 6:11, 6:17, 11:4, 20:18,

21:6, 21:15, 21:21, 26:6, 26:11, 26:12, 27:12, 27:16, 27:19, 27:20, 28:2, 40:22, 42:4, 42:10
supporting 8:11
supports 10:20
sure 19:10, 19:18, 25:12, 39:1, 42:9
surface 43:2
sworn 7:4, 7:7
synonyms 15:7
system 21:12, 41:14, 46:3, 47:3, 47:16, 48:20

T

t-o-w-p-l-o-w 45:16
take 28:19, 51:9
taken 52:6, 52:9
talking 15:9, 31:21
talks 31:22
tar 39:11, 41:1
tar-like 25:14
task 16:13
tasks 14:18, 35:14
team 19:5, 25:6
teasdale 3:20
tech 4:2
technology 12:1, 48:5, 50:2
teleoperated 42:20
teleoperation 31:13, 31:20
telerobotic 41:13
tell 24:22, 29:6
ten 51:10
term 8:10, 10:22, 15:5, 15:14
terminology 15:11, 16:1
tested 47:17
testified 7:7
testimony 10:8, 33:3, 47:2, 52:8, 52:9
testing 46:12, 50:8
tether 40:13
tethered 5:9, 5:13, 17:19, 20:10, 20:14, 20:22, 22:4, 23:7, 24:14, 24:20, 25:5, 26:16, 31:2, 32:7, 32:16, 32:19, 33:4, 38:11, 40:2, 40:18
texas 3:13
th 52:16
thank 51:6
thanks 51:5
thereafter 52:9
theresa 2:8, 2:16, 52:2, 52:21
thing 25:10
things 9:2, 47:7
think 7:21, 10:11, 11:8, 12:8, 15:12, 15:13, 31:5
three 15:18
through 29:8
throwing 13:10
tight 30:1
tillers 30:19, 32:21
time 9:3, 31:7, 39:16, 46:21, 51:5
tires 13:3
title 17:18, 43:20
titled 23:6, 36:22, 38:6, 41:13, 44:11, 45:15
tmr 20:6, 20:10, 21:11
tmrr 5:14, 23:8, 24:17
today 7:15
tool 5:23, 28:15, 29:16, 29:21, 30:2, 30:6, 30:16, 30:22, 33:5, 43:22, 44:12, 44:13
tool-mounted 29:22
tooling 26:16
tools 21:3, 22:14, 30:17
top 21:16
total 48:13, 48:16
towable 38:17, 40:21
towards 16:11
towed 38:18
towplow 6:3, 45:15, 46:3, 47:3, 47:16, 48:13, 48:20
track 15:6, 15:15
tracked 15:21
tracks 15:21
trademark 1:1
traffic 42:17
trailer 38:18, 40:14, 40:22, 46:15, 46:17, 46:18, 46:22
trailer-type 46:6
transcript 5:5, 52:7
transportation 11:13, 11:20

trial
1:3, 33:9
truck
21:22, 22:1, 26:13, 37:11, 38:18, 42:5, 42:10, 42:11, 42:22, 46:15, 46:20
true
33:11, 33:21, 52:7
tuesday
2:3
tuig
3:19, 5:3, 7:9, 7:10, 18:9, 18:15, 18:20, 18:22, 23:16, 23:22, 36:3, 36:9, 37:16, 37:21, 41:4, 41:9, 43:6, 43:11, 45:3, 45:8, 49:11, 51:4
turn
8:7, 13:19, 13:21, 17:12, 20:2, 24:9, 29:11, 34:22, 44:5, 45:21
turning
13:3, 13:6, 14:10
two
28:1
type
15:7, 27:8, 42:9
types
11:11, 12:12, 14:15
typewriting
52:10
typical
25:21
typically
12:19, 25:18, 42:11, 42:12

U

uc
5:18, 12:1, 33:13
ucb-arr---
19:13
ucd-arr---
23:11
uh-huh
23:4, 43:19, 44:9
under
6:10, 6:16, 21:10, 29:15, 52:10
understand
8:8, 9:17
understanding
8:14, 10:15, 10:21, 12:16, 27:17, 33:3, 40:16
understands
33:2
unique
40:3
unit
20:20, 25:17
united
1:1, 36:14, 38:1, 41:12
units
30:3
until
19:8
upload
28:19
uploaded
8:2
use
14:17, 30:5, 46:13, 48:10
using
10:16, 11:11, 16:1
utility
15:5, 15:12, 16:5, 22:13, 22:16, 22:18, 28:6, 28:10, 28:11, 30:1, 33:12, 33:22, 37:14, 40:15, 43:4, 48:21, 49:3, 49:7, 49:18, 50:13

V

vacuum
41:15, 42:11, 42:21, 43:1
vander
3:19, 5:3, 7:9, 7:10, 18:9, 18:15, 18:20, 18:22, 23:16, 23:22, 36:3, 36:9, 37:16, 37:21, 41:4, 41:9, 43:6, 43:11, 45:3, 45:8, 49:11, 51:4
variety
30:17, 35:14
various
19:4, 49:4
vehicle
13:5, 15:21, 20:18, 21:5, 21:6, 21:15, 21:21, 21:22, 26:6, 26:11, 26:12, 27:12, 27:16, 27:19, 27:20, 27:22, 28:2, 40:22, 41:15, 42:5, 42:9, 42:10
velinsky
2:1, 2:14, 5:2, 5:6, 6:9, 6:13, 6:15, 7:5, 7:10, 18:12, 23:19, 36:6, 37:18, 41:6, 41:15, 43:8, 45:5
vermeer
1:8, 3:17, 10:10, 31:1, 49:6, 49:10, 49:13
vermeer's
7:11, 15:13, 28:16, 29:2, 33:6, 33:10, 33:20, 49:18
via
2:2, 2:15
videographer
4:3
view
24:14
views
25:1
virtually
2:2, 2:15
vorkapic
2:8, 2:16, 52:2, 52:21

W

walk-behind
30:3
walking
31:13
want
23:16, 25:13
warning
37:1
way
17:4, 18:11, 24:1, 25:3, 25:16, 28:20, 36:11, 37:22, 39:9, 41:11, 43:12, 45:9
we'll
36:4, 41:4
we're
19:2, 19:11, 22:10, 28:4, 28:18, 29:20,

31:21, 32:13, 32:15, 47:16
we've 34:16, 49:20, 50:4, 50:6
website 12:4, 19:3
weight 12:21
went 7:19, 29:8, 48:5
wheel 13:3
wheeled 14:17, 14:21, 15:2, 16:3, 18:7, 26:4, 27:9, 30:9, 40:12, 50:2, 50:6, 50:8, 50:14, 50:19, 50:22, 51:1
wheels 13:4, 14:10, 15:22, 46:16
whereof 52:15
whether 9:22
whiteout 48:3
winter 48:11
wire 32:2
wirelessly 32:2
without 21:2
witness 7:4, 7:6, 25:20, 51:6, 52:15
word 10:14, 10:16
work 11:19, 11:21, 21:17, 28:13, 37:5, 41:21, 44:16, 47:6
worked 25:11, 42:8, 46:10, 47:10, 48:18, 50:9
working 11:12, 30:1
works 20:19
wouldn't 43:3, 44:18
written 29:12
wrote 8:18, 29:8

Y

yeah 15:11, 19:8, 19:10, 20:16, 25:2, 33:17
years 11:1, 48:2

Z

zoom 2:2, 2:15

0

00016 1:22
00167 1:12, 8:4, 13:15
00168 1:13
00169 1:14
00170 1:16
00171 1:18
00381 1:20
01 18:14, 19:13, 23:11, 23:21, 36:8, 37:20, 41:8, 43:10, 45:7
02 23:11
04 2:4
06 18:14, 23:21, 36:8, 37:20, 41:8, 43:10, 45:7
084 52:3

1

1 2:4
1.68 6:10, 6:16
10 19:13, 29:11, 35:1
10,202,266 1:19
1001 6:8, 28:21
1003 6:13, 13:12, 13:14, 34:17, 34:20
1036 6:14, 17:4, 17:7, 19:12, 22:20
1042 7:22, 8:3, 16:8
11 13:21, 20:2, 22:12, 24:10, 40:6
11,465,891 1:21
12 38:19
12,098,063 1:23
13 6:13
15 13:22, 14:2, 51:18
1500 30:10, 31:9
17 6:14, 21:8
18 5:8
1800 3:21
19 20:3, 40:10, 40:17, 45:21, 52:16
1990 14:16, 14:22, 16:4
1994 19:20
1997 23:14, 24:21

2

2 51:18
2-2 24:13, 28:4, 44:10
2000 36:16
2001 43:16
2004 38:2, 41:13
2015 45:15
2025 1:12, 1:13, 1:14, 1:16, 1:18, 1:20, 1:22, 8:4, 13:15
2026 2:3, 18:14, 23:21, 36:8, 37:20, 41:8,

43:10, 45:7, 52:17
2027
52:18
23
5:12
2300
3:12
24
23:11
2589
52:3
259
3:23
28
6:8
2801
3:12

3

3-1
21:11
3-4
22:11
3.4
20:6
30
45:14
31
19:13, 43:16
314
3:23
36
5:15
37
5:16, 6:10, 6:16
386
28:19, 29:2

4

41
5:17
43
5:18
45
6:2
4798
3:23
49
17:17, 19:12

5

52
2:7
55
44:6, 44:7

6

6,056,498
5:15, 36:15
6,789,291
5:17, 41:12
6,809,294
5:16, 38:1
613974
2:6
63105
3:22
65
44:5, 44:7

7

739
3:14
75201
3:13
7700
3:21

8

80
23:1
8635
3:14

9

9,321,386
1:15
9,975,750
1:17
94
19:13
97
23:11
972
3:14
996
9:7, 9:21, 10:4, 10:22