

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

-----x
MICRON TECHNOLOGY, INC., :
Petitioner, :
v. : Case IPR2025-00117
YANGTZE MEMORY TECHNOLOGIES :
COMPANY, LTD., : U.S. Patent No.
Patent Owner. : 10,672,711
-----x

Deposition of SANJAY BANERJEE, Ph. D.
Conducted Virtually
Wednesday, August 13, 2025
6:58 a.m. PST

Job No.: 592769
Pages: 1 - 79
Reported by: Dwayne W. Lewis, Jr., CA-CSR 14636

1 Deposition of SANJAY BANERJEE, Ph. D., conducted
2 virtually.

3
4
5 Pursuant to notice, before Dwayne W. Lewis, Jr.
6 CA-CSR 14636.

1

2

APPEARANCES

3

ON BEHALF OF PETITIONER:

4

JOHANNES S. HSU, ESQUIRE

5

ORRICK, HERRINGTON & SUTCLIFFE

6

2050 Main Street, Suite 1100

7

Irvine, California 92614-8280

8

(949) 567-6700

9

10

ON BEHALF OF PATENT OWNER:

11

ALEX GRABOWSKI, ESQUIRE

12

LATHAM & WATKINS LLP

13

330 N Wabash Ave, Suite 2800

14

Chicago, IL 60611

15

(312) 876-7700

16

17

18

ALSO PRESENT:

19

MICAH HARDIN, TECHNICIAN

20

21

22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C O N T E N T S

WITNESSES PAGE

CALLED BY THE PATENT OWNER:

SANJAY BANERJEE, PH. D.

DIRECT EXAMINATION BY MR. GRABOWSKI 6

CROSS-EXAMINATION BY MR. HSU 72

E X H I B I T S

Patent Owner's Description Page

Exhibit 1003 Declaration 11

Exhibit 1005 Kim Reference 13

Exhibit 1006 Park Reference 13

Exhibit 1007 Fang Reference 13

1 THEREUPON, the following proceedings were had
2 and taken, commencing at 06:58 AM:

3 THE TECHNICIAN: Thank you to everyone for
4 attending this proceeding remotely which we
5 anticipate will run smoothly. Please remember to
6 speak slowly and do your best not to talk over one
7 another. Please be aware that we are recording
8 this proceeding for backup purposes. Any
9 off-the-record discussions should be had away from
10 the computer. Please remember to mute your mic for
11 those conversations.

12 Please have your video enabled to help the
13 reporter identify who is speaking. If you are
14 unable to connect with video and are connecting via
15 phone, please identify yourself each time before
16 speaking. We will provide a complementary unedited
17 recording of this deposition with the purchase of a
18 transcript. I apologize in advance for any
19 technical related interruptions. Thank you.

20 SANJAY BANERJEE, PH. D. , called as a witness
21 by the Patent Owner, having been first duly sworn
22 testified as follows:

1 DIRECT EXAMINATION

2 BY MR. GRABOWSKI:

3 Q. All right. Good morning, Dr. Banerjee. Am I
4 saying that correctly?

5 A. Banerjee, yes.

6 Q. Banerjee, perfect. My name is Alex Grabowski.
7 So thank you first off for your time today. If you
8 wouldn't mind just stating your name and business
9 address for the record.

10 A. Sanjay Banerjee, University of Texas at
11 Austin.

12 Q. All right. And have you ever been deposed
13 before?

14 A. Yes.

15 Q. About how many times?

16 A. Like 20 to 30 times.

17 Q. All right. Well, then I'm sure you've heard
18 the ground rules speech before, but I'm going to go over
19 them again quickly just to act as a refresher. So I'm
20 going to give you questions and you'll give me answers,
21 is that all right?

22 A. Yes.

1 Q. I'd appreciate it if you give me verbal
2 answers only, not nodding or shaking your head so that
3 we can get it down for the court reporter, is that all
4 right?

5 A. Yes.

6 Q. All right. As the court reporter [sic]
7 mentioned, since Zoom in particular doesn't allow
8 multiple people to talk, let's try not to talk over each
9 other. I will try to do the same for you. If you don't
10 understand anything, please ask for clarification,
11 otherwise I'll assume you understood my question, is
12 that all right?

13 A. Yes.

14 Q. All right. You understand your lawyer may
15 make objections from time to time, but unless he
16 instructs you not to answer, you're still going to
17 answer the question; right?

18 A. Yes.

19 Q. Perfect. We'll have an opportunity to take
20 breaks. We can take a break whenever you like, the only
21 thing I ask is that if there's a question pending, will
22 you answer that before we take the break; is that okay?

1 A. Yes.

2 Q. All right. And then a couple questions I have
3 to ask everyone, are you taking any medication that
4 might interfere with your ability to give complete and
5 accurate testimony today?

6 A. No.

7 Q. Is there any other reason you can't give
8 complete and accurate testimony today?

9 A. No.

10 Q. All right. Because this is a remote
11 deposition I have just a few other questions about your
12 setup. Is there anybody else in the room with you?

13 A. No.

14 Q. Okay. Do you have any notes or documents in
15 front of you?

16 A. I have a clean copy of my declaration and the
17 711 patent on my desk, and on my computer I have open
18 electronic versions of my declaration, the Kim patent
19 and the Park patent.

20 Q. Perfect. And are there any annotations or
21 notes on the electronic copies?

22 A. No.

1 Q. Other than the documents you mentioned, are
2 there any other applications open on your computer?

3 A. I guess Zoom.

4 Q. Fair enough.

5 A. E-mail -- e-mail. I can close Outlook if you
6 want me to.

7 Q. If you don't mind, yeah, I'd appreciate it.

8 A. Okay.

9 Q. Perfect. Any other applications open?

10 A. No.

11 Q. All right.

12 A. I guess adobe Acrobat is open because I have
13 those files open.

14 Q. Yeah, fair enough. That will be useful, I
15 think you can keep that one. You mentioned about 20 or
16 30 prior depositions. Were you acting as an expert
17 witness in all of those?

18 A. Yes.

19 Q. Were they all patent cases?

20 A. Yes.

21 Q. All right. What did you to to prepare for
22 your deposition today?

1 A. I reviewed my declaration, the 711 patent and
2 some of the cited prior art such as Kim, Park, Fang and
3 others.

4 Q. Did you review any materials that weren't
5 cited in your declaration?

6 A. I don't think so, not in the past few days at
7 least.

8 Q. Did you review any materials that weren't
9 cited in your declaration to prepare for this deposition
10 prior to the past few days?

11 A. No.

12 Q. Okay.

13 A. No.

14 Q. Did you speak to anyone to prepare for your
15 deposition today?

16 A. I spoke briefly with my counsel yesterday and
17 on Friday, which would be August 8th.

18 Q. And who exactly did you meet with?

19 A. Mr. Hsu, Johannes Hsu.

20 Q. Anyone else?

21 A. No.

22 Q. About how long was the meeting yesterday?

1 A. About an hour.

2 Q. And how long was the meeting on the 8th?

3 A. I think half an hour, or maybe an hour at
4 most.

5 Q. Did you speak to any non-attorneys to prepare
6 for this deposition?

7 A. No.

8 Q. Do you recall when you were first contacted
9 about this case?

10 A. By this case, are you referring only to the
11 711 patent? Because there's a lot of -- lots of --

12 Q. Fair enough. We'll start with the 711 patent
13 and then maybe go back from there.

14 A. I don't recall. I've been handling so many
15 patents that it's all in a haze, but probably around a
16 year. I could be wrong.

17 Q. All right. And then I guess more generally do
18 you recall when you were first contacted about this
19 dispute between Micron and YMTC?

20 A. Once again, I don't recall exactly, but I
21 think over a year back.

22 (Patent Owner's Exhibit 1003 was marked for

1 identification.)

2 Q. Now, speaking, again, specifically about
3 this -- this patent and this declaration that we're here
4 today to deal with, you have in front of you -- you
5 mentioned the declaration. I just want to make sure
6 we're talking about the same thing. That's Exhibit 1003
7 for U.S. patent number 10,672,711; is that right?

8 A. Yes.

9 Q. All right. About how long did you spend
10 preparing this declaration, Exhibit No. 1003?

11 A. So this is my declaration and the opinions are
12 mine, but I worked collaboratively with Counsel
13 preparing it as I think is good in most cases, and I
14 provided technical feedback, counsel gave me legal
15 guidelines. So once again, speaking from memory,
16 probably, I don't know, 20, 30 hours perhaps, or maybe
17 more. I don't know.

18 Q. And who provided the references you cite in
19 your declaration?

20 A. I don't recall exactly, because typically what
21 happens is in various cases the Counsels provide some
22 and they ask me to do some prior art search in some

1 cases, so I provide some. I think in this case the
2 references are provided by Counsel. I may have done
3 some searches for some of the prior art, but the key
4 ones I think Counsel provided.

5 (Patent Owner's Exhibit 1005 was marked for
6 identification.)

7 Q. All right. And when you say Counsel provided
8 the key ones, you mentioned the Kim reference earlier,
9 that's Exhibit 1005; correct?

10 A. Yes.

11 Q. All right. And did Counsel provide
12 Exhibit 1005 to you?

13 A. Yes.

14 (Patent Owner's Exhibit 1006 was marked for
15 identification.)

16 Q. All right. And you mentioned the Park
17 reference, that's Exhibit 1006; correct?

18 A. Yes.

19 Q. Did Counsel provide the Park reference to you?

20 A. Yes.

21 (Patent Owner's Exhibit 1007 was marked for
22 identification.)

1 Q. And the other reference used in some of your
2 grounds is the Fang reference, Exhibit 1007; is that
3 right?

4 A. Yes.

5 Q. Did Counsel provide the Fang reference to you?

6 A. Yes.

7 Q. And I appreciate there are other references
8 cited in your declaration, but am I correct that those
9 are the only three references that form parts of the
10 actual obviousness combination for any of your grounds?

11 A. Those are the primary ones, though we do cite
12 a few others like the Chen patent for example -- in
13 passing, you know, Chen and Exhibit 1015 I think we
14 refer to in passing, but 1005, 6 and 7 are the primary
15 103 combinations.

16 Q. And when you say they are the primary 103
17 combinations, like I said, I appreciate you cite other
18 references. Do you have an understanding of what it
19 means to be part of an obvious combination for purposes
20 of patent law?

21 A. Well, I'm not a lawyer, so my opinions about
22 what is an obviousness argument, I've given my

1 declaration, but on a very high level. Based on
2 layman's terms, I think if all the claim elements are
3 found in a single reference that's anticipation if you
4 had to combine various pieces of prior art I think
5 that's obviousness, but that's a nonlawyer
6 understanding.

7 Q. Understood, and I --

8 A. And a --

9 Q. Excuse me. I didn't mean to cut you off.

10 A. The precise understanding that I applied is
11 described in my declaration.

12 Q. And I just want to make sure we're on the same
13 page, that you cite other references like Chen for
14 instance, but that isn't part of the actual obviousness
15 combination in any of the grounds; correct?

16 A. No, I guess not.

17 Q. Okay. And then the only three references that
18 make up any of the obviousness combinations in any of
19 the grounds are Park, Fang and Kim; correct?

20 A. And knowledge of the procedure.

21 Q. Understood. All right. Did you speak to any
22 non-lawyers about your declaration?

1 A. No.

2 MR. GRABOWSKI: All right. Could we pull up
3 Exhibit 1003, Dr. Banerjee's declaration, please.

4 THE TECHNICIAN: Stand by. Okay. I've placed
5 it in the chat. I'm about to pull it up on screen.

6 BY MR. GRABOWSKI:

7 Q. All right. Thank you very much. And just for
8 the record, Dr. Banerjee, this is Exhibit 1003, the
9 declaration we've been talking about so far, yes?

10 A. Yes.

11 Q. All right. And this declaration contains your
12 complete opinions on the 711 patent?

13 A. Yes.

14 Q. Are there any mistakes you're aware of in this
15 declaration as you sit here today?

16 A. Yes. I noticed a few typos.

17 Q. Typos. All right. Are there any you need to
18 correct or they just --

19 A. A couple of the typos involved when we're
20 comparing Kim with Fang and Park with Fang, Fang has one
21 etch-top layer, while both Kim and Park have two. I
22 accidentally flipped those two in a couple of places,

1 and then in another location where we're talking with
2 the range of thicknesses, 10 through 1000 nanometers, I
3 accidentally said that's three orders of magnitude. It
4 should be two orders of magnitude.

5 Q. All right. Let's go through it and find those
6 spots just so we are all talking about the same thing.
7 Would you mind walking me through the declaration and
8 pointing out exactly what you're talking about?

9 A. Sure. Is it okay if I pull up my version on
10 my computer?

11 Q. Yeah, that -- that's fine. Whatever's
12 convenient for you.

13 A. So the error about three orders of magnitude
14 is in paragraph 129 where we say the cited range
15 spanning three orders of magnitude lacks criticality.
16 It should read two orders of magnitude.

17 Q. Understood.

18 A. Okay. And then -- okay. So in paragraph 131
19 I state Fang has two etch-stop layers and Kim has one.
20 Should be the other way around, Kim has two etch-stop
21 layers while Fang has one. And then in paragraph 190 I
22 state Fang has 2 etch-stop layers while Park has one.

1 Once again, they are flipped. It should be Park has two
2 etch-stop layers but Fang has one. I think those are
3 the typos that I...

4 Q. All right. Thank you for that. Are there any
5 other mistakes you're aware of in your declaration?

6 A. Not that I can think of.

7 Q. Okay. All right. We touched on this briefly
8 already, but you have a layman's understanding of what
9 obviousness means in the context of patent law; right?

10 A. Yes.

11 Q. And what is that understanding?

12 A. Let me refer to my declaration and be precise.
13 I'm instructed by Counsel to consider the following
14 factors: The scope and content of the prior art, the
15 difference or differences between each claim of the
16 patent and the prior art and the level of ordinary skill
17 in the art at the time the patent was filed. And then
18 one should also consider secondary considerations, art
19 objective indicia of non-obviousness.

20 And then to make these evaluations, one has to
21 determine whether the art is from the same field of
22 endeavor regardless of the problem addressed. If the

1 reference is not within the field of the patentee's
2 endeavor, whether the reference still is reasonably
3 pertinent to the particular problem with which the
4 patentee is involved. The field of endeavor is not
5 limited to the specific point of novelty, the narrowest
6 possible conception of the field, or the particular
7 focus within a given field.

8 I can keep reading, but my understanding is
9 described in paragraphs 27, 28, 29, 30, 31, 32, 33, 34,
10 36, 37, 38.

11 Q. Just for completeness I think you skipped 35
12 there. Was that intentional?

13 A. Yes. I meant 35 also. Thank you.

14 Q. And then your opinion relates to four grounds
15 of invalidity; is that correct?

16 A. Yes.

17 Q. Is it your understanding that all four of
18 those grounds are based on obviousness?

19 A. Yes.

20 Q. Okay. And you've heard of anticipation in the
21 context of patent law; right?

22 A. Yes.

1 Q. You don't offer any opinion in your
2 declaration that any claims of the 711 patent are
3 anticipated; right?

4 A. That's correct.

5 MR. GRABOWSKI: All right. Could we go to
6 paragraph five of your declaration? I'd like to
7 talk a little bit about your background. You
8 received a PhD in electrical engineering from the
9 University of Illinois; correct?

10 A. Yes.

11 Q. Excellent school, most of my family went
12 there.

13 A. Really?

14 Q. And then you went to work at Texas
15 Instruments; correct?

16 A. Yes.

17 Q. While you were at Texas Instruments, you
18 worked on 3D (DRAM) cells; do I have that right?

19 A. Yes.

20 Q. And (DRAM) stands for Dynamic Random Access
21 Memory?

22 A. Yes.

1 Q. And (DRAM) is different from (NAND) memory;
2 correct?

3 A. They are both semiconductor memories, and
4 there's a certain degree of commonality between
5 different types of memory as in these memory cells are
6 organized in rows and columns that are addressed by row
7 decoders, column decoders. So the peripheral circuitry
8 has a lot of commonality but the memory cells themselves
9 are different. A (DRAM) is a volatile memory involving
10 a storage capacitor and a pass transistor, while a flash
11 memory is a non-volatile memory.

12 Q. And you mentioned flash memory. NAND is a
13 type of flash memory; right?

14 A. Yes.

15 Q. There are other type of flash memory as well;
16 correct?

17 A. Yes.

18 Q. All right. And then, looking now at paragraph
19 six, it mentioned you worked on developing a replacement
20 for MOSFETs; correct?

21 A. Yes.

22 Q. All right. And MOSFETs are also different

1 from NAND memory; correct?

2 A. MOSFETs are the switches that are used in most
3 of the circuitry, so NAND memory also involves the use
4 of MOSFETs.

5 Q. So a MOSFET is one element of NAND memory; is
6 that fair to say?

7 A. MOSFETs are used in the peripheral circuits,
8 that is the row decoders and the column decoders and
9 sense amplifiers, and then the memory cells themselves,
10 while they are not exactly a MOSFET, there's
11 commonalities in the sense that they have a source and a
12 drain and a channel and the flow of carriers to the
13 channel is controlled by a control gate.

14 But in a flash memory you have an additional
15 floating gate or a storage medium. I'm not claiming
16 that flash memory is exactly like a MOSFET, but there's
17 a fair degree of overlap.

18 Q. All right. Looking at paragraph eight now,
19 you mention 40 years of experience in the field and then
20 you go on to talk about a few projects, 3D (DRAM) cells,
21 MOSFETs, flash memory and CMOS transistors. Do you see
22 that?

1 A. Yes.

2 Q. Did any of those projects specifically involve
3 3D NAND memory cells?

4 A. I work on 3D flash type devices, but I've
5 worked on individual memory cells. In academia we don't
6 have the luxury of making complete chips, but the
7 physics that we study is directly applicable to
8 products.

9 So if you are asking if I made a string of
10 these memory cells as in a NAND flash, I did not, but I
11 did make memory cells themselves which are applicable to
12 NAND flash, and furthermore in the course -- during the
13 research you have to be aware of the current state of
14 the art, so I've extensively studied what's been
15 published in NAND flash by industry, talked to industry
16 experts, I've been funded by industry in this area.

17 MR. GRABOWSKI: All right. You'll probably
18 want to keep the physical one up, but we can take
19 Exhibit 1003 off the screen. Can we put Kim
20 Exhibit 1005 up, please.

21 THE TECHNICIAN: Stand by.

22 BY MR. GRABOWSKI:

1 Q. All right. And Doctor, this is Exhibit 1005,
2 the Kim reference that you relied on in forming your
3 opinions in this case; correct?

4 A. That's one of -- that's the main Kim
5 reference. There's also a secondary Kim reference.

6 Q. Fair enough. This is -- this is Exhibit 1005,
7 the Kim reference that forms part of your obviousness
8 combinations for grounds one and two; is that right?

9 A. Yes.

10 MR. GRABOWSKI: Okay. I'd like to go to
11 paragraph 27 if we could, please.

12 THE TECHNICIAN: Counsel, do you have a PDF
13 page that I could go to?

14 MR. GRABOWSKI: Yeah. It's PDF page 57.

15 THE TECHNICIAN: Thank you.

16 BY MR. GRABOWSKI:

17 Q. Can you see that all right, Doctor?

18 A. Yes.

19 Q. Paragraph 27 of Kim is discussing the
20 purported benefits of its alleged invention; is that
21 right?

22 A. It's talking about some of the benefits, yes.

1 Q. And it explains specifically that its
2 inventive concept may reduce or prevent damage from
3 occurring on contact paths on the semiconductor patterns
4 in the cell array region as a result of planarizing the
5 interlayer dielectric; right?

6 A. I see that.

7 Q. And paragraph 27 of Kim doesn't discuss any
8 damage to the device other than damage to the contact
9 paths in the cell array region; right?

10 A. Could you repeat that question, please?

11 Q. Sure. Paragraph 27 of Kim doesn't discuss any
12 damage to the device other than damage to the contact
13 paths in the cell array region; right?

14 A. I think I would respectfully disagree a little
15 bit, because if we read the last sentence, it says in
16 the process of forming contact plugs and interconnection
17 lines, can thereby be performed with a sufficient
18 process margin. I've read the whole patent, evaluated
19 this precisely, but when one is talking about the
20 interconnection lines, that could also refer to another
21 word lines which extend into the staircase region for
22 example, the bit lines, which go into the periphery.

1 And when you're talking about making these
2 with a sufficient process margin, I think there's an
3 element of disclosure of preventing defects and those
4 things also, so that the chip functions properly.
5 Having said that, under the primary discussion in this
6 particular paragraph, it is in the cell array region.

7 Q. All right. Can I just try to understand your
8 answer a little more? I think you said you'd have to
9 read the whole patent a little more to understand what
10 contact plugs and interconnection lines mean, did I
11 understand that correctly?

12 A. No. I said I did not -- I didn't -- I don't
13 think I expressed any opinion specifically about this
14 paragraph in my declaration. If I did, kindly point to
15 that, but I think your question was whether paragraph 27
16 only talks about damage in the cell array region.

17 And my answer is yeah, it does, but there's a
18 caveat in the sense that the last sentence also mentions
19 interconnection lines, and those very often go from the
20 cell array region into the peripheral region, so I think
21 there's an implication of preventing damage in those
22 regions also, but I've not opined on these aspects

1 specifically in my report.

2 Q. All right. Do you have an understanding of
3 what Kim means by the cell array region?

4 A. Yes.

5 Q. What is your understanding of the cell array
6 region as Kim uses that term?

7 A. So as I was telling you a few minutes earlier,
8 there's a lot of commonality between different types of
9 memory. So we have a central section typically where we
10 have these two dimensional arrays of memory cells. This
11 could be in this case vertical NAND memory strings. It
12 could be a DRAM cell, it could be an SRAM cell, but that
13 surrounding this cell array with the memory cells
14 themselves are located, we have peripheral circuitry and
15 some of the main ones are what are called row decoders
16 and column decoders which then feed into sense
17 amplifiers.

18 And what these refer to -- the rows refer to
19 word lines, where the columns refer to bit lines, and
20 you typically have a memory cell at an intersection of a
21 bit line and a word line so the cell array is where the
22 memory cells themselves are located, and they are

1 generally surrounded by various types of peripheral
2 circuitry such as the ones that I mentioned.

3 You also have other circuitry, such as, you
4 know, input and output buffers for the data, timing
5 circuitries, charge pumps.

6 Q. Does the cell array region itself contain any
7 word line conductor structures?

8 A. Yes.

9 Q. All right. Now, it's your opinion that Kim
10 discloses a structure with a plurality of stacks; right?

11 A. Yes.

12 Q. And it's your opinion that Kim discloses
13 having each step comprise a gate stack disposed over a
14 dielectric layer; right?

15 A. I believe that's true, but if you could point
16 me to my declaration, to a specific paragraph?

17 Q. Yeah. That would be paragraph 90.

18 A. Yes.

19 MR. GRABOWSKI: Okay. Could we look at Kim's
20 figure 22A, PDF page 39. Thank you. All right.
21 Figure 22A is one of the figures showing the
22 embodiment from Kim that you relied on for your

1 opinions in grounds one and two; correct?

2 A. Well, I interpreted figure 22A where the gate
3 stack is below the insulating layer in light of the
4 written description. That's why I call the gate stack
5 on top, figure 22A in my declaration in paragraph 90.

6 So while 22A, as shown, might show the gate
7 stack below the insulator, I proceeded to be able to
8 interpret figure 22A in light of the specs where the
9 order would be flipped as shown in the figure in my
10 declaration.

11 Q. All right. So I appreciate you have the
12 modified figure, and we are going to get there. For the
13 moment I just want to talk about the figure 22A as it
14 actually appears in the Kim reference, all right?

15 A. Okay.

16 Q. So figure 22A as it appears in the Kim
17 reference shows a staircase structure for a 3D
18 semiconductor memory device; right?

19 A. Yes.

20 Q. All right. And the staircase shown in Kim's
21 figure 22A has a series of alternating insulating layers
22 and gate stack layers; right?

1 A. Yes.

2 Q. All right. And each of those pairs of
3 insulating layers and gate stack layers makes up a step
4 of the staircase; right?

5 A. Yes.

6 Q. And do you see that there are numbers in
7 figure 22A with lines pointing to different parts of the
8 figure?

9 A. Yes.

10 Q. Do you have an understanding of the purpose of
11 those numbers?

12 MR. HSU: Objection, vague.

13 THE WITNESS: Those numbers refer to specific
14 elements of the figure, so that when you read the
15 specifications, you can correlate the two and make
16 sense of the description.

17 BY MR. GRABOWSKI:

18 Q. All right. Could we look -- if you look at
19 number 11, do you see that?

20 A. Yes.

21 Q. Do you know what number 11 in figure 22A
22 designates?

1 A. I'm pretty sure, but let me look at my soft
2 copy of Kim. It says in paragraph 230 of Kim that layer
3 11 is a lower gate insulating layer formed between the
4 lowermost gate conductive layer 101 and the substrate --
5 that's a silicon substrate -- 10.

6 Q. Do you know what the purpose of the lower gate
7 insulating layer is?

8 A. Well, as a term, insulating gate, it's an
9 electrical non-conductor, so it prevents electrical
10 crosstalk with the substrate. Let me see if Kim has
11 further description of that. Yeah, I don't believe Kim
12 has any additional description, but, you know, as a
13 POSITA or hopefully as an expert -- people do this all
14 the time where the starting silicone substrate, you want
15 to protect the top surface by growing a thermal oxide
16 such as layer 11 to create a passivating film if you
17 will and then it can make the structures on top of that.

18 It's sort of an additional insulating buffer,
19 if you will, between the structures that I'm putting
20 down in the 3D NAND flash and the starting substrate. I
21 believe that's what they're doing over here, but I did
22 not opine on those aspects in my report.

1 Q. All right. All right. And these numbers that
2 label these different elements, is it your understanding
3 that they are consistent across the patent?

4 A. Depends on what you mean across the patent,
5 but as I describe in my report, certain figures do have
6 a consistent set of numbering. For example, figure 22A
7 and I believe figure 8 have similar numbering schemes,
8 and to me that shows that Kim intends discussions for
9 one figure being applicable to discussions with another
10 figure, unless he explicitly points out differences.

11 MR. GRABOWSKI: So could we actually go to
12 figure 8A, it's on PDF page 12.

13 Q. So number 11 there would also refer to a lower
14 insulating gate layer; correct? Did I say that
15 correctly?

16 A. I believe so.

17 Q. All right. And if I saw a number 11 in one of
18 the other figures or referenced elsewhere in the
19 specification, it would consistently refer to that same
20 component?

21 A. I haven't done a detailed comparison. You
22 know, sometimes people mess up patents, they have

1 misnumbered figures and all of that, but in general, in
2 particular using similar notations in numbering schemes
3 in different figures generally implies that they are
4 comparable structures.

5 Q. All right. Comparable structures or the same
6 structure?

7 A. I think that would depend on the
8 specifications. I mean, if you point me to something
9 specific, I can comment on that.

10 MR. GRABOWSKI: That's fine. Can we go back
11 to figure 22, please. I lost of the page for you.
12 Perfect. Thank you.

13 Q. Okay. So again, we're talking about figure
14 22A as it is in the actual Kim reference. The
15 insulating layers are numbered 111 through 118; correct?

16 A. Yes.

17 Q. The gate stack layers are numbered 161 through
18 168; right?

19 A. Yes.

20 Q. So the combination of for instance insulating
21 layer 111 and gate stack layer 168 make up one step of
22 the staircase; right?

1 MR. HSU: Objection.

2 BY MR. GRABOWSKI:

3 Q. I'm sorry, I misspoke. I apologize. The
4 combination -- strike that. Let's start this over.

5 The combination of insulating layer 111 and
6 gate stack layer 161 make up one step of the staircase;
7 correct?

8 A. Yes.

9 Q. And so for instance, looking at layers 111 and
10 162 in Kim's figure 22A, those are part of two different
11 steps; correct?

12 A. Yes.

13 Q. All right. And you don't dispute that the
14 actual figure 22A in Kim shows the gate stack layers on
15 the bottom of each step; right?

16 A. I don't dispute that figure 22A, as shown, as
17 already mentioned, but I would like to respectfully add
18 this caveat that if you interpret figure 22A in light of
19 the written description, it could be the other way
20 around also, that the gate stack is on top and the
21 insulator is below that.

22 Q. Understood. I'm just asking about the actual

1 picture in Kim itself. It has the gate stack on the
2 bottom; correct?

3 A. Yes, figure 22, as shown, has the gate stack
4 below the insulator.

5 Q. All right. Now, you've prepared a modified
6 version of figure 22A at page 56 of your declaration
7 showing a reversed order; right?

8 A. Yes, among other pages.

9 Q. And in that modified version, the gate stacks
10 are shown on top; right?

11 A. Yes.

12 Q. All right. Now, in your declaration you don't
13 list any benefits to reversing the order of the layers;
14 right?

15 A. I don't think so. I mean, I cited to other
16 prior arts such as Chen showing that it could go either
17 way it's. A binary choice. Either the gate stack is
18 going to be on top or below and Chen shows two figure
19 side-by-side so it's a matter of simple choice, you can
20 see that.

21 Q. It's a matter of simple choice, but there's no
22 reason you give to go one way or the other; right?

1 A. No, I did not.

2 Q. All right. And reversing the order of the
3 layers isn't the only modification you made to the
4 figures; right?

5 MR. HSU: Objection, vague.

6 THE WITNESS: Could you point me to what other
7 modifications I made?

8 BY MR. GRABOWSKI:

9 Q. Yeah. You also changed the depth of the word
10 line contacts; right?

11 A. I did, but that's a trivial change in my
12 opinion. If you put the gate stack on the top of each
13 step the distance from the top surface to that would go
14 down a little bit so the word line plug is a little bit
15 shorter.

16 Q. And that would require a change to the etching
17 process; right?

18 MR. HSU: Objection, vague.

19 THE WITNESS: I -- I respectfully disagree.

20 You're etching through this insulator that covers
21 the side of the staircase, and it's very, very hard
22 to perform these vertical anisotropic etches

1 through things like reactive ion etching. A lot of
2 these chemistries are very well-established, so to
3 me, that is what the etching process involved and
4 that doesn't have to be changed. Whether you have
5 to maybe increase the etch time a tiny percentage,
6 I don't feel like it's a new etching process per
7 se.

8 BY MR. GRABOWSKI:

9 Q. Okay. You agree you would have to change the
10 etch time because you're going to a different depth;
11 right?

12 A. If you kept all the other etch parameters
13 exactly the same, you would have to marginally increase
14 etch time, but if etch time was important, there's
15 plenty of other parameters that a POSITA can tweak. For
16 example, maybe, you know, changing the plasma power a
17 little bit or tweaking the chemistry of the gases a
18 little bit.

19 I mean, there's many knobs that one can
20 twiddle in the etch processes. See, in my opinion, the
21 etch process per se refers to whether I'm using things
22 like reactive ion etching, which is generally used to

1 perform these anisotropic etches and then you choose
2 appropriate gases, you choose a certain etch chamber,
3 and those are the essential elements of the etch
4 process. Whether the time has to be increased by a
5 couple of percent or so, it's not rocket science.

6 Q. Okay. It sounds like we might be just using
7 different terms here. So you're talking about etch
8 process versus etch parameters; is that fair?

9 A. Well, I was talking about the etch process
10 broadly, and of course the different parameters that
11 enter into etch process include things like plasma power
12 and the etch time, so if you left all the other
13 parameters in a particular etch process the same going
14 from gate stack below to gate stack above would involve
15 increase in the etch times a small percentage, and
16 that's a very simple modification in my opinion.

17 Q. All right. And if you wanted to leave the
18 etch time the same but change the depth of the etch to
19 account for the reverse order, what other parameters
20 might you change instead?

21 A. There's many process -- many parameters that
22 can be changed. So in general if you increase the

1 plasma power that is used in a reactive ion etcher, etch
2 rates tend to go up. In reactive ion etching you
3 typically place the wafers on what is called the powered
4 electrode on which a bias is applied, which allows the
5 ions in the plasma to perform this anisotropic
6 bombardment.

7 You can increase that bias in voltage a little
8 bit. You can change -- these etch gases are typically a
9 mixture of different gases. You have -- I mean, you'd
10 have to show me the exact process because I mean, I've
11 been doing plasma etching for 40 years. I mean, you can
12 change the percentages of some of the components to
13 tweak the etch rates. This is all very standard tweaks
14 that a process engineer would know how to do.

15 Q. When you say change the percentages of the
16 components, do you mean the components of the gas or of
17 the structure itself?

18 A. Components of the gas. That's what I meant.

19 Q. Are there any other parameters you could tweak
20 to achieve a different etch depth?

21 A. I think I mentioned plasma power, etch gas
22 chemistry and the biasing voltage. The chamber pressure

1 would be another parameter. If you reduce the pressure,
2 generally speaking, the mean free path of the ions will
3 go up and that can sometimes increase the etch rate. So
4 that would be another parameter.

5 Q. Any other parameters you can think of?

6 A. Temperature could be a parameter also. In
7 general, higher the temperature, etch rates can go up.
8 I'm speaking in very broad terms, okay? I mean, these
9 reactive ion etches have a physical component to the
10 etch, where ions bombard the surface essentially in the
11 vertical direction.

12 That's what makes the etch anisotropic, but
13 they tend to be somewhat non-selective, so you also have
14 a chemical component to the etch, which is selective.
15 And that's very important in this context in that
16 selective component the chemical component of the etch
17 is generally going to be temperature dependent, and as
18 you increase the temperature of the substrate in general
19 that etch rate will go up, but once again, you know,
20 specifics matter, so you have to show me a specific
21 processes, and there's always technological
22 counterexamples.

1 Q. Understood. And the impact of all of these
2 parameters -- strike that.

3 Because I think this is what I think you're
4 getting at with specifics matters, but I just want to
5 make sure. The impact of all of these parameters on the
6 etch depth is going to depend on the specific materials
7 that you're etching through correctly; correct?

8 A. Yes.

9 Q. Perfect. All right. Okay. All right. Now,
10 we -- and depending on whether the gate stack is on the
11 top or on the bottom, there would be an additional
12 dielectric layer you would either have to etch through
13 or not; correct?

14 MR. HSU: Objection, vague.

15 THE WITNESS: At each step, if the gate stack
16 was below the insulating layer -- there's layers
17 111 to 118 -- you'd have to etch through those
18 layers to reach the gate electrode itself, but once
19 again, these are trivial changes in my opinion.

20 BY MR. GRABOWSKI:

21 Q. Okay. And we touched on this briefly when
22 going through the typos, but let me just get a clean

1 record: Kim discloses two stop layers; right?

2 A. Yes.

3 Q. And those are 126A and 126B; correct?

4 A. Yes.

5 Q. And it's your opinion that 126B is the
6 etch-stop layer required by the claims; right?

7 A. Yes.

8 Q. All right. You don't offer an opinion in your
9 declaration that Kim discloses any specific thickness of
10 that second stop layer; right?

11 A. For the second stop, are you referring to
12 126B?

13 Q. Yes.

14 A. Yeah. No, Kim does not have any disclosure of
15 thicknesses, which is why we use the combination with
16 Fang.

17 Q. All right. We've been going about an hour,
18 doctor, and this is a pretty good place to stop, so if
19 you want to take a break, this might be a good time.

20 A. Yeah, I would like that. Yeah. How long?

21 Q. How long would you like?

22 A. Five to 10 minutes, maybe?

1 Q. Ten minutes sounds perfect.

2 A. Thank you.

3 (Off the Record from 7:57 AM to 8:10 AM)

4 BY MR. GRABOWSKI:

5 Q. All right. Could we bring back up that figure
6 22A from Kim, please. All right. So we've been talking
7 about the etching process and etching parameters before
8 we broke off and I just wanted to understand the process
9 a little more. Looking at figure 22A here as it is in
10 Kim with the gate stack on the bottom, do you see that?

11 A. Yes.

12 Q. And so to etch one of these word line contacts
13 or I guess the hole for it, you're going to have to
14 drill through -- or etch through, excuse me -- the
15 insulator that is labeled 134; right?

16 A. Yes.

17 Q. And then you're going to have to etch through
18 stop layer 126B; right?

19 A. Yes.

20 Q. And then you're going to have to etch through
21 stop layer 126A; right?

22 A. Yes.

1 Q. And then you're going to have to etch through
2 the insulating layer that's disposed over that
3 particular gate stack; correct?

4 A. Yes.

5 Q. All right. The purpose of stop layers 126A
6 and 126B is to be resistant to etching; correct?

7 A. It's to be resistant to over etching. You
8 want to etch holes in 134 and then slow down the etching
9 dramatically once you hit 126B in this case.

10 Q. So proactively speaking, once you're through
11 134 and you hit 126B you have to change the etch
12 parameters; right?

13 A. Yes, typically the etch chemistry.

14 Q. Okay. And when you say change the etch
15 chemistry what do you mean by that?

16 A. The gases that are used for the reactive ion
17 etch process

18 Q. Okay. So once those gases get you through
19 126B, do you have to change the etch chemistry again
20 when you get to 126A?

21 A. Generally speaking. So the reason for having
22 two different etch top layers. 126A and 126B is to give

1 you additional margins of safety, if you will. I mean,
2 you can make do with just one etch top layer as Fang has
3 for example. This merely gives you additional
4 flexibility in preventing over etching to the staircase
5 stack.

6 Q. And so once you're through 126A and you get to
7 the dielectric layer that's disposed over the top of the
8 gate stack do you have to change etch chemistries again?

9 A. Typically. So typically whenever you have
10 different materials combinations, you have to tweak the
11 etch chemistries a little bit. Now, when I say tweak,
12 they are very often -- once again, details matter. I'm
13 speaking again in generalities, so very often these --
14 the class of gases called CFCs, chlorofluorocarbons, and
15 then you have additive gases.

16 So it's not as if -- in most cases you're not
17 talking about drastic changes, but the way etch top
18 layers work is based on the fact that you're looking at
19 different materials, which are different etch rates in a
20 particular gas, and the ratio of the etch rates is
21 what's called etch selectivity, so by having etch-stop
22 layers it gives you additional margins of safety.

1 You also then -- after you etch through the
2 insulator you also have to etch high-k gate dielectrics
3 that are surrounding the electrode, okay? So that's --
4 I don't think that's labeled in this figure
5 specifically, whereas that cap layer covering the
6 crosshatch regions 161 and 162.

7 Q. Okay. So once you get through the two stop
8 layers you change etch chemistry to etch through the
9 insulator if the insulator is on top and then you change
10 etch chemistry again to etch through the high-k
11 dielectric?

12 A. Generally speaking, yes.

13 Q. And then if the gate stack is on top, you
14 still have to change etch chemistry to go through the
15 high-k dielectric, but you don't have to change etch
16 chemistry to go through the insulator because that's not
17 there; right?

18 A. So once again, it depends on the specifics,
19 but in many cases this insulating layer 134 is generally
20 going to be made up of silicon dioxide as well as these
21 layers 111, okay? Not in all cases, but in many cases.
22 So the etch chemistry that you use for 134 will vary

1 often work through layers 111 through 118, okay? In
2 general, but I mean, of course, they can be
3 counterexamples.

4 So in broad terms, when you have two different
5 materials that I'm trying to etch, you generally have to
6 have a tweak of the etch gas chemistry so that you
7 preferentially etch one but not the other.

8 Q. And in instances where the insulating layer is
9 disposed over the top of the gate stack, there's going
10 to be one more instance of changing the etch chemistry
11 to get through that layer even if it is going back to
12 the original -- strike that.

13 That was a terrible question. In cases where
14 there's a dielectric disposed over the top of the gate
15 stack layer, you're going to have to change etch
16 chemistries to go through that layer; correct?

17 A. If the dielectric layer was on top of the gate
18 stack, you typically have to change etch chemistries
19 between -- in this case layer -- etch top layer 126A and
20 the dielectric layer, okay? But as we propose, if you
21 put the gate stack on top of the insulating layers, you
22 don't have to do that change, okay? So that's a benefit

1 of the modification that we are showing.

2 Q. And the insulating layer on top, however,
3 provides extra margin to prevent over etching; correct?

4 A. Not necessarily -- necessarily because you
5 have actually quite a few margins of safety, right? In
6 addition to these two etch stop layers, 126A and B as I
7 said. You also have the high-k dielectric surrounding
8 the metallic gate electrode or the polysilicon top gate
9 electrode and the etch to that high-k dielectric also if
10 you are using a high-k dielectric, which is generally
11 done these days. That will have a different composition
12 typically than the etch-stop layers, so that gives yet
13 another margin of safety.

14 Not a huge margin, however, because these
15 high-k dielectrics tend to be very thin, so even if you
16 slow down the etch rate, you don't buy a lot of extra
17 time. These are all very well known teachings that a
18 POSITA would know. I mean, I teach this to my
19 undergrads. Even they can do it.

20 Q. Okay. So the high-k dielectric provides a
21 safety margin. I appreciate there are other safety
22 margins, but if you have the -- the dielectric layer

1 there between -- between the high-k dielectric and the
2 stop layer 126A, that's going to increase the safety
3 margin; right?

4 MR. HSU: Objection, asked and answered.

5 THE WITNESS: I mean, in general, the more
6 different materials that you have that the etch has
7 to go through, in general, that provides additional
8 safety margins.

9 BY MR. GRABOWSKI:

10 Q. All right. We can take Kim down for the
11 moment. Before we go on to the next document I just
12 want to double-check, did you speak to your counsel
13 during the break?

14 A. No.

15 MR. GRABOWSKI: Okay. Could we put up
16 Exhibit 1007 Fang, please.

17 THE TECHNICIAN: Stand by.

18 BY MR. GRABOWSKI:

19 Q. All right. And do you recognize this as
20 Exhibit 1007, the Fang reference you relied on for your
21 declaration?

22 A. Yes.

1 Q. And in your opinion Fang discloses the use of
2 an etch-stop material; right?

3 A. Yes.

4 Q. And specifically as we have discussed, Fang
5 discloses a single layer of etch-stop material; right?

6 A. Yes.

7 Q. Fang doesn't disclose the use of a barrier
8 layer; right?

9 A. Not that I remember.

10 Q. The only specific material Fang identifies as
11 an etch-stop is carbon-doped silicon nitride; right?

12 A. I don't recall if (inaudible). Can you blow
13 up the page a little bit maybe? At my age I can't read
14 with glasses or without glasses. Within the extract
15 talking about using a carbon-doped silicon nitride as
16 the etch-stop layer. I don't know if in the specs he
17 has other descriptions, but this is just exemplary in my
18 opinion.

19 Q. Fair to say you are not aware of anywhere else
20 in Fang where he discloses an alternative etch-stop
21 material?

22 A. I have not searched for that. I can pull up

1 my soft copy and do a quick search, but often I'm not.

2 Q. You're welcome to take a look if you like,
3 otherwise I'm happy to take it as you're not aware.

4 A. Yeah, sitting here and not doing a search
5 right now, I am not aware.

6 MR. GRABOWSKI: All right. And could we go to
7 the claims of Fang which start on PDF 16.

8 Q. Fair to say that all of the claims require a
9 carbon-doped silicon nitride etch-stop?

10 A. Can you scroll down? Is 11 the last claim?

11 Q. No. It goes on to the next page.

12 A. Okay. The ones that I'm seeing all have
13 carbon-doped silicon nitride, but I may add that it's in
14 this case mostly silicon nitride with tiny percentages
15 of carbon added to it.

16 MR. GRABOWSKI: All right. Could we go to the
17 next page just so Dr. Banerjee can confirm it's all
18 the claims?

19 THE WITNESS: Yeah. I believe the claims
20 specifically mention carbon-doped silicon nitride,
21 but the main teaching we took from Fang was
22 teachings about the thicknesses of the etch-stop

1 layer, and I think that basic teaching would carry
2 over to some other etch-stop compositions also.

3 BY MR. GRABOWSKI:

4 Q. Do you have an understanding of whether there
5 is a difference between silicon nitride and carbon-doped
6 silicon nitride?

7 A. Yes.

8 Q. What is your understanding of that difference?

9 A. So silicon nitride is a chemical composition
10 of (Si₃N₄), but very often that also has a certain
11 amount of hydrogen in it depending on the way you
12 deposit it, specifically very often silica nitrite is
13 deposited through what is called plasma enhanced
14 chemical vapor deposition using precursor gases such as
15 silane and ammonia and you end up getting different
16 percentages of hydrogen in it, but the basic formula of
17 silicon nitride is (Si₃N₄).

18 Q. And what's the difference between (Si₃N₄) and
19 carbon-doped silicon nitride?

20 A. Well, as the claims and I guess the specs
21 describe they are incorporating different percentages --
22 small percentages of carbon, I guess one to 10 percent,

1 can't remember exactly, I guess one to 15 percent I
2 guess. So in this case if you're depositing these
3 etch-stop layers through PECVD, plasma enhanced chemical
4 vapor deposition, you have to throw in a carbon
5 precursor also. There's many of these, you know, maybe
6 methane or ethane or whatever. I haven't reviewed those
7 lately, but one might be able to use those.

8 So depending on the exact percentage of
9 carbon, the etch -- unintentional etch rates of this
10 etch-stop layer may vary somewhat, but I think the main
11 teaching that once you take away from Fang is the
12 etch-stop layer thicknesses discloses I believe
13 five nanometers to a hundred nanometers and then these
14 etch-stop layers can have slightly different carbon
15 content.

16 So to me, the fact that it's not disclosing
17 different thicknesses for the different carbon
18 compositions tells me that the exact composition may not
19 matter that much. I mean, the POSITA will know how to
20 choose a particular etch process depending on the
21 precise etch layer composition and thickness, and the
22 main overarching goal is whatever material I'm trying to

1 etch above the etch-stop layer should -- it doesn't
2 really stop.

3 So the term etch-stop layer is a bit of a
4 misnomer. I should call it an etch slowdown layer or
5 etch drastically slow down layer. You're never going to
6 get infinite selectivity between the material that I'm
7 mentioning and the etch-stop layer, so it would be well
8 within the capabilities of the POSITA to choose layer
9 thicknesses and etch processes so that when I'm etching
10 the layer I drastically slow down on the etch-stop
11 layer.

12 And once again. The teaching that we took
13 from Fang is the disclosure about the range of etch-stop
14 layer thicknesses and the fact that, you know, it's a
15 pretty wide range from five nanometers to 100 nanometers
16 is a factor of 20. So I think it tells you as I discuss
17 in my report these things are, in general, not going to
18 be that critical.

19 Similarly, in the 711 patent it's an even
20 larger range, 10 to a thousand nanometers in the factor
21 of a hundred; right? So if those exact layer
22 thicknesses of the etch-stop layer are critical in my

1 opinion the patentee should have narrowed down the
2 range.

3 Q. I just want to break that down a little bit so
4 I make sure I understand it. Is it fair to say that the
5 carbon doping has an impact on the etch rate of the
6 silicon nitride?

7 A. It could. If you left everything else the
8 same in the etch process, changing the composition in
9 general will change the etch rate.

10 Q. And carbon-doped silica -- strike that.

11 You've used the term etch selectivity. Can
12 you just explain what you meant by that?

13 A. Sure. So when I'm etching through a
14 multilayer stack for a particular etch process -- and by
15 process I mean the whole thing, etch gas composition,
16 plasma power, temperature, the whole thing -- so for a
17 particular process you have a certain etch rate or etch
18 velocity of a certain material, okay?

19 So suppose I have two materials, material one
20 above material two is going to have an etch velocity V_1
21 which would be the thickness of material one removed in
22 a certain time T_1 , okay? Then once that etch reaches

1 material two you generally have -- you'll have a
2 different etch velocity the thickness of material two
3 removed in a certain time T_2 , okay? So the term etch
4 selectivity is a ratio of the two etch rates, okay? So
5 in the case of etch-stop layers or etch drastically slow
6 down layers, you'd want the etch velocity of material
7 one to be much, much higher than the incidental etch
8 velocity of the material two, in this case the etch-stop
9 layer.

10 Q. And so you've described the etch selectivity
11 as a ratio. So fair to say that if the etch selectivity
12 were a ratio of 1-to-1, there would be no etch
13 selectivity?

14 A. That's correct.

15 Q. And if the etch-stop layer were made of the
16 same material as the layer beneath it, then it would
17 have the same etch velocity; right?

18 A. Do you mean the material above it because I'm
19 etching a material and stopping on the etch-stop layer.

20 Q. Fair. I guess either way, but if the
21 etch-stop layer were made as the -- of the same material
22 as the layer above it, it would have no etch

1 selectivity; right?

2 A. In general, but in a -- once again, details
3 matter. So you could deposit quote unquote the same
4 material by different techniques, which might have an
5 impact on the microstructure, and by different
6 techniques I mean you could use plasma-enhanced CVD as I
7 mentioned, but you could also use a technique called
8 sputtering so we might deposit silicon nitride with the
9 two techniques where nominally they both are (Si₃N₄) but
10 one may be more porous than the other and in general
11 more porous materials etch faster, but in broad terms
12 it's the material chemistry that could impact the etch
13 rate and therefore etch selectivity the most.

14 Q. All right. Have you ever, in your experience,
15 used a material with the same chemistry as an etch-stop
16 layer for an adjacent material?

17 MR. HSU: Objection, vague.

18 THE WITNESS: Could you repeat the question,
19 please?

20 BY MR. GRABOWSKI:

21 Q. In your experience, have you ever used an
22 etch-stop layer that has the same chemistry as the

1 material above it?

2 A. No, I have not.

3 Q. Okay. Have you ever heard of anyone doing
4 that?

5 A. Off-hand I can't think of anyone.

6 MR. GRABOWSKI: All right. We can take Fang
7 down. Can we put up Exhibit 1006 Park, please.

8 THE TECHNICIAN: Stand by.

9 BY MR. GRABOWSKI:

10 Q. All right. Doctor, this is Exhibit 1006 the
11 Park reference you relied on in forming your opinions in
12 this case; right?

13 A. Yes.

14 Q. And again, it's your opinion that Park
15 discloses a staircase structure comprising a plurality
16 of steps?

17 A. Yes.

18 Q. All right. And it's your opinion that Park
19 discloses having each step comprise a gate stack
20 disposed over a dielectric layer; right?

21 A. Yes.

22 MR. GRABOWSKI: All right. Could we go to

1 Park figure 9I, which is on PDF 30.

2 Q. All right. And Doctor, figure 9I is one of
3 the figures showing the embodiment from Park that you
4 relied on for your opinions in grounds three and four;
5 right?

6 A. Well, I took figure nine, but as I describe in
7 my report, it would be trivial to flip the order of the
8 gate stack layer in the insulator.

9 Q. So I appreciate that, and we'll get to the
10 modified figure, again, but for the moment I want to
11 talk about Park figure 9I as it is in the reference
12 itself; is that all right?

13 A. Okay.

14 Q. So figure 9I in Park shows a staircase
15 structure for a 3D semiconductor memory device; is that
16 right?

17 A. Yes.

18 Q. And again, it shows a serious alternating
19 insulating layers and gate stack layers; right?

20 A. Yes.

21 Q. I realize by the way I've been using
22 insulating and dielectric somewhat interchangeably. Do

1 you understand those to mean the same thing for the
2 purpose of these staircase layers?

3 A. Yes. In this context, yes.

4 Q. All right. So the dielectric layers in figure
5 9I are numbered 171 through 177; correct?

6 A. Yes.

7 Q. And the conducting layers or gate stack layers
8 are numbered 151 through 156; correct?

9 A. Yes.

10 Q. All right. And again, there's no dispute that
11 the actual figure 9I in Park shows the gate stack layers
12 on the bottom of each step; right?

13 A. Yeah, figure 9I specifically shows the gate
14 stacks on the bottom, but once, reading Park in light of
15 the specs, it would be trivial to flip the order.

16 Q. All right. And as we discussed, you prepared
17 a modified version of figure 9I that shows that reversed
18 order; right?

19 A. Yes.

20 Q. And as we discussed with Kim, you also changed
21 the depth of the word line context; am I right.

22 A. By a trivial percentage, and I say trivial

1 because if you look at these figures, the etch time
2 would be governed by 201; right? That's the deepest
3 contact plug and that corresponds to a step height of
4 the order of microns, each insulating layer -- 171,
5 172-177, it's much, much thinner than the depth of 180.

6 So when you flip the order, the change in the
7 thickness that you have to etch changes by a tiny
8 percentage, basically that with a ratio of thickness of,
9 say, 172 divided by the thickness of 180, and that's a
10 small percentage.

11 Q. And when we were looking at the Kim reference
12 earlier we discussed a number of parameters of the
13 etch-stop process that could be changed to achieve that
14 change in etch depth; right?

15 A. Yes.

16 Q. Do all those same parameters apply here?

17 MR. HSU: Objection, vague.

18 THE WITNESS: In general, yes, but specifics
19 matter, of course.

20 BY MR. GRABOWSKI:

21 Q. All right. Fair to say you could achieve the
22 change in etch-depth by holding all the parameters

1 constant except etch time and changing the etch time?

2 MR. HSU: Objection, incomplete hypothetical.

3 THE WITNESS: I mean, if you kept everything
4 else about the structure the same except flipping
5 the order of the insulator and the gate electrode
6 and you kept everything about the etch process
7 itself the same, you'd have to change the etch time
8 by a tiny amount as I just mentioned.

9 BY MR. GRABOWSKI:

10 Q. All right. And I believe you mentioned you
11 could also achieve the change in etch depth by holding
12 the parameters constant except for the etch chemistry
13 and changing that slightly; is that correct?

14 A. You'll have to give me specifics, but in
15 general that's true, because when you change the
16 composition of the etch gases, etch rates tend to
17 change.

18 Q. And you could also achieve it by holding all
19 of the parameters constant and changing the etch
20 temperature; is that right?

21 A. So these reactive ion etches, these
22 anisotropic etches, which etch predominantly in the

1 vertical direction but not laterally, have two
2 components to the etch: A physical component due to the
3 ion bombardment, which is not that selective, but then a
4 chemical component, which is generally much more
5 selective, and chemical component generally depends
6 strongly on temperature -- well, depends on temperature
7 very often in Arrhenius dependence.

8 Q. Okay. So is that a yes, you could achieve a
9 different etch depth by holding all the other parameters
10 constant and changing temperature?

11 A. Yes. In general that's true, in broad terms.

12 Q. And you also mentioned I believe plasma power
13 as a possible parameter you could alter to achieve a
14 different etch depth; is that right?

15 A. Yes, plasma power also impacts etch rate,
16 therefore you could use that as a parameter to tweak
17 etch depth or etch times.

18 Q. Okay. And you could also adjust the bias
19 voltage to achieve a different etch depth; is that
20 right?

21 A. Yes. If you kept all the other parameters the
22 same, the bias that you apply to the substrate will

1 impact the ion bombardment energy and that could have an
2 impact on the etch rate.

3 Q. Are there any other parameters you could
4 adjust that would alter the etch depth in light of the
5 change in order layer?

6 A. I mentioned pressure -- chamber pressure -- so
7 in general, the lower the pressure the longer the mean
8 free path of the gas molecules and the ions, and that
9 generally makes ion bombardment easier, so that's
10 another parameter.

11 Q. Are there any other parameters I've left out?

12 A. There may be, but I can't think of anything
13 off-hand.

14 Q. All right. All right. I'd like to look now
15 at page 104 of your declaration. Just let me know when
16 you're there.

17 A. Okay.

18 MR. GRABOWSKI: And if we could have it on the
19 screen as well, that would be helpful.

20 THE TECHNICIAN: Counsel, you said 104?

21 MR. GRABOWSKI: Yes, of Banerjee 1.

22 THE TECHNICIAN: Stand by.

1 BY MR. GRABOWSKI:

2 Q. Thank you. Looking at page 104 of your
3 declaration, this is a modified version of figure 9I
4 from Park; is that right?

5 A. Yes. Here we have the gate stack on top.

6 Q. And this shows what, in your opinion, are the
7 barrier layer and etch-stop layer that Park discloses;
8 right?

9 A. Yes.

10 Q. All right. You've labeled element 110A as the
11 barrier layer; right?

12 A. Yes.

13 Q. And that element 110A is formed on the surface
14 of the steps; right?

15 A. Yes.

16 Q. All right. And then over the barrier layer is
17 another layer labeled AD2; right?

18 A. Yes.

19 Q. All right. And then on layer AD2 is layer
20 110B; correct?

21 A. Yes.

22 Q. All right. You've labeled layer 110B as the

1 etch-stop layer; is that right?

2 A. Yes.

3 Q. All right. So the etch-stop layer is formed
4 on layer AD2; right?

5 A. In this figure, yes, but if you look at the
6 specifications, Park says that AD2 is this additional
7 dielectric, which is optional.

8 Q. So it would either be formed on AD2 or on the
9 surface of the barrier layer?

10 A. That's correct.

11 Q. All right. And then it's the barrier layer
12 that's formed on the surface of the steps, not the
13 etch-stop; right?

14 A. Yes.

15 MR. GRABOWSKI: All right. I'd like to talk
16 about the manufacturing process that Park uses.

17 Could we go to Park page 103? That's

18 Exhibit 1006 -- I apologize, not page 103, page 27.

19 All right.

20 Q. Do you see here figures 9B through 9D? And we
21 can scroll to show you the top label on that figure if
22 we need to.

1 A. Sure, I see it.

2 Q. All right. Looking at figure 9B this shows
3 the formation of insulating layer AD on top of the
4 staircase structure; right?

5 A. Yes, and if you look at the specs, once again,
6 Park says that's an optional layer.

7 Q. And then in 9C below it, it shows the
8 formation of etch-stop sacrificial layers 115A and 115B;
9 right?

10 A. Yes.

11 Q. All right. And those are formed on top of
12 layer AD in the figure; right?

13 A. Yes, but once again, that layer AD is an
14 optional layer according to the specs.

15 Q. All right. And then looking at figure 9D, it
16 shows the formation of the connection region insulating
17 layer No. 180 on top of etch-stop sacrificial layer
18 115B; right?

19 A. Could you scroll down a little bit maybe?
20 Could you repeat the question, please.

21 Q. Yes. Looking at figure 9D, it shows the
22 formation of the connecting region insulating layer 180

1 on top of etch-stop sacrificial layer 115B?

2 A. I still didn't get your question, my
3 apologies. 115B on top of...

4 Q. Sorry, I'll start over. Figure 9D shows the
5 formation of the connection region insulating layer 180;
6 is that right?

7 A. That's actually showing 9E, not D, but anyway.

8 Q. It's kind of an artifact of how these are
9 done. 9E is actually the figure on the next page I
10 believe.

11 A. Oh, okay. Oh, okay. All right. I was
12 reading it the other way around. Okay. Yeah. So 180
13 is an insulator on top of the connection region. Yes.

14 Q. Okay. And 180 is formed on top of sacrificial
15 layer 115B?

16 A. Yes.

17 Q. Okay. Do you have an understanding of what a
18 sacrificial layer is?

19 A. Well, here it's the etch-stop layer 115B, but
20 in general the term sacrificial would mean you don't
21 have it in the final structure. You sacrifice it;
22 right, at some point?

1 Q. Okay. So I -- I'm sorry, I just want to make
2 sure I understand. Is it your understanding that 115B
3 is the etch-stop layer or that it's the sacrificial
4 layer to form the etch-stop layer?

5 A. In my opinion, 115A and 115B would have
6 different compositions, and therefore in a particular
7 etch chemistry they could have different etch rates. So
8 those could be the -- so if I make a correspondence with
9 711, 115B would be the etch-stop layer and 115A would be
10 a barrier layer, but the barrier layer is also another
11 type of etch-stop layer when I read 711.

12 MR. GRABOWSKI: Okay. Let's -- let's keep
13 going. Could we -- could we scroll down to figure
14 9F please?

15 Q. All right. Do you see what's labeled T2
16 there?

17 A. Yes.

18 Q. Do you have an understanding of what element
19 T2 is?

20 A. I don't recall. Let me search my soft copy.

21 Q. Paragraph 103 may be helpful I believe.

22 A. Okay. It says it's the side opening

1 corresponding to etch the space in which the etch-stop
2 sacrificial layers are provided.

3 Q. So is it your understanding that T2
4 corresponds to a space left after the removal of layers
5 115A and 115B?

6 A. I don't think 115A and B would be completely
7 removed. You're only going to be etching those in the
8 word line plug regions, okay? And that doesn't cover
9 the entire thread of the step. If you go back to, say,
10 figure 9A, it shows these vertical word line contacts,
11 right? And that doesn't cover the entire width of the
12 staircase step.

13 So if I can scroll down -- see, if you look at
14 figure -- I guess nine -- it's confusing. You said that
15 the figures -- the figure captions refer to the
16 figure --

17 Q. And --

18 A. Okay. So if we look at I guess figure 9H as
19 an example. You're etching these vertical cavities, but
20 you're not getting rid of -- I think here they are
21 called two etch-stop layers 110A and 110B, but it's
22 clearly the same as 115A and 115B. So we can see that

1 when I'm etching the vertical cavity, I'm not getting
2 rid of all of 110A or 110B or correspondingly 115A and
3 B, so the sides T2 will still have the remaining
4 portions of 115A and B in my opinion.

5 Q. All right. So I just want to make sure I
6 understand. It's your understanding that elements 115A
7 and 115B are the same as elements 110A and 110B; is that
8 right?

9 A. I'd have to review the patent to be a hundred
10 percent sure, but I think that's right.

11 Q. All right. If it's okay with you, this may be
12 another good spot for a break.

13 A. Yes, I'd love that. Thank you. Five, ten
14 minutes again maybe?

15 MR. GRABOWSKI: Yeah. Let's do 10 minutes
16 again.

17 (Off the Record from 8:57 AM to 9:03 AM)

18 MR. GRABOWSKI: Thank you very much,
19 Dr. Banerjee. I have no further questions. I'll
20 pass it to your counsel.

21 THE WITNESS: Thank you.

22 CROSS-EXAMINATION

1 BY MR. HSU:

2 Q. Yes. I have just a few questions for you,
3 Dr. Banerjee, to make sure I understood your testimony.
4 Recall [sic] earlier you had quite a bit of discussion
5 with Counsel about etch parameters; is that right?

6 A. Yes.

7 Q. And you mentioned etch parameters such as
8 plasma power, gas chemistry, voltage, chamber pressure,
9 temperature and pressure; is that right?

10 A. Yes.

11 Q. Okay. And I think you characterized them as
12 knobs; is that right?

13 A. Yes.

14 Q. And you also recall that you mentioned that
15 these etch parameters would be depending on the specific
16 materials that's involved?

17 A. Yes.

18 Q. Now, turning to your opinion that Kim
19 discloses swapping of the order of each step in the
20 stair -- staircase, okay?

21 A. Yes.

22 Q. How many of these parameters or how many of

1 these knobs does a POSITA have to turn to accomplish
2 that order swapping?

3 A. A trivial change of the etch time, and I say
4 trivial because, as I mentioned, when you look at the
5 entire stack, each insulating layer is very, very thin
6 compared to the entire staircase stack height, so very
7 approximately the change of the -- keeping everything
8 else the same, the etch time would have to be changed by
9 the ratio of the insulating layer thickness or the
10 entire stack thickness, which is a very, very small
11 percentage.

12 Q. And is that all -- all that a POSITA would
13 have to do to accomplish that order swapping?

14 MR. GRABOWSKI: Object to form.

15 THE WITNESS: I believe so, but if -- because
16 it's important for the POSITA to keep the times
17 exactly the same. You could twiddle some of those
18 other knobs that I mentioned, so there's many ways
19 of skinning this cat.

20 BY MR. HSU:

21 Q. And are those many ways within the knowledge
22 and skill set of a POSITA?

1 MR. GRABOWSKI: Object to form.

2 THE WITNESS: Yes, they are, and I've been
3 teaching an undergrad class on this topic of
4 fabrication for 40 years. Even my undergrads could
5 do it.

6 BY MR. HSU:

7 Q. Okay. And would your answer be the same with
8 regard to swapping the order in the Park reference?

9 A. Yes.

10 Q. Okay. Turning now to your testimony toward
11 the very end. Do you recall Counsel had discussion with
12 you about the -- the layer T2 in the Park reference?

13 A. Yes.

14 Q. And I think you testified in response to
15 Counsel's question on -- related to that and
16 particularly the layers 115A and B, T2 and then 110A
17 and B. You say that you'd have to review the patent to
18 be sure. Do you recall that exchange?

19 A. Yes, yes.

20 Q. Okay. I would like to turn your attention if
21 we can put the Park reference back on, or if it's more
22 convenient for you --

1 A. Yeah.

2 Q. -- feel free to use your own copy.

3 A. Yes.

4 Q. But I'd like to turn your attention to
5 paragraphs 104 through 107. I would like you to just
6 take a little time to review those paragraphs, 104
7 through 107?

8 THE TECHNICIAN: Counsel, do you have a PDF
9 page number you can send me?

10 MR. HSU: Oh, of course. Page 14. Thank you.

11 THE WITNESS: Yes, I see that.

12 BY MR. HSU:

13 Q. Okay. And to the extent that you recall what
14 you testified regarding T2 and the layers 115A and B
15 versus 110A and B, now that you have a chance to review
16 these paragraphs, is there anything that you recall
17 that -- that you -- strike that.

18 Okay. So is it your opinion -- strike that.

19 Is it your understanding that elements 115A
20 and 115B are the same as elements 110A and 110B?

21 MR. GRABOWSKI: Object to form.

22 THE WITNESS: You're reading paragraphs 104

1 and 105. Yeah, I think there's a 1-to-1
2 correspondence between 115A and 110A and 115B and
3 one oh -- 110B. Both of them are referred to as
4 etch-stop layers.

5 BY MR. HSU:

6 Q. And that's what you meant by correspondence?

7 A. Yes.

8 MR. HSU: No further questions.

9 MR. GRABOWSKI: Nothing further for me. Thank
10 you.

11 THEREUPON, the deposition of SANJAY BANERJEE,
12 PH. D., taken at the instance of the Patent Owner,
13 concluded at 9:09 a.m.

14

15

16

17

18

19

20

21

22

ACKNOWLEDGMENT OF DEPONENT

I, SANJAY BANERJEE, PH. D., do hereby
acknowledge that I have read and examined the foregoing
testimony, and the same is a true correct and complete
transcription of the testimony given by me, and any
corrections appear On the attached Errata sheet signed
by me.

(DATE)

(SIGNATURE)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C E R T I F I C A T E

I, Dwayne W. Lewis, Jr., CA-CSR 14636, do hereby
certify:

That SANJAY BANERJEE, PH. D., the witness
whose Deposition is hereinbefore set forth, was duly
sworn by me before the commencement of such deposition
and that such deposition was taken virtually and is a
true record of the testimony given by such witness.

I further certify that the adverse party,
MICRON TECHNOLOGY, INC., was represented by counsel at
the deposition.

I further certify that the deposition of
SANJAY BANERJEE, PH. D., occurred virtually, on
Wednesday, August 13th, 2025, from 6:58 a.m. to 9:09
a.m. PST.

1 I further certify that I am not related to any
2 of the parties to this action by blood or marriage, I am
3 not employed by or an attorney to any of the parties to
4 this action, and that I am in no way interested,
5 financially or otherwise, in the outcome of this matter.
6
7

8 IN WITNESS WHEREOF, I have hereunto set my
9 hand this 13th day of August, 2025.
10
11
12
13

14 
15 _____

16 Dwayne W. Lewis, Jr., CA-CSR 14636
17
18
19
20
21
22

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

80

A			
ability 8:4	61:21, 62:11, 62:18, 63:8, 63:13, 63:19	adjacent 57:16	46:1, 46:2, 48:7, 48:9,
able 29:7, 53:7	acknowledge 77:3	adjust 63:18, 64:4	52:2, 52:10, 53:5, 57:7,
about 6:15, 8:11, 9:15, 10:22, 11:1, 11:9, 11:18, 12:2, 12:6, 12:9, 14:21, 15:22, 16:5, 16:9, 17:6, 17:8, 17:13, 20:7, 22:20, 24:22, 25:19, 26:1, 26:13, 26:16, 29:13, 33:13, 34:22, 38:7, 38:9, 42:17, 43:7, 45:17, 50:15, 51:22, 54:13, 59:11, 62:4, 62:6, 66:16, 72:5, 74:12	acknowledgment 77:1	adobe 9:12	60:20, 62:11, 62:18, 63:12, 63:15, 63:18, 69:10, 72:14
above 38:14, 54:1, 55:20, 56:18, 56:22, 58:1	acrobat 9:12	advance 5:18	alter 63:13, 64:4
academia 23:5	across 32:3, 32:4	adverse 78:11	alternating 29:21, 59:18
access 20:20	act 6:19	after 46:1, 70:4	alternative 50:20
accidentally 16:22, 17:3	acting 9:16	again 6:19, 11:20, 12:2, 12:15, 18:1, 33:13, 40:19, 41:19, 44:19, 45:8, 45:12, 45:13, 46:10, 46:18, 54:12, 57:2, 58:14, 59:10, 59:18, 60:10, 67:5, 67:13, 71:14, 71:16	always 40:21
accomplish 73:1, 73:13	action 79:2, 79:4	age 50:13	ammonia 52:15
according 67:14	actual 14:10, 15:14, 33:14, 34:14, 34:22, 60:11	agree 37:9	among 35:8
account 38:19	actually 29:14, 32:11, 48:5, 68:7, 68:9	alex 3:11, 6:6	amount 52:11, 62:8
accurate 8:5, 8:8	ad 67:3, 67:12, 67:13	alleged 24:20	amplifiers 22:9, 27:17
achieve 39:20, 61:13,	ad2 65:17, 65:19, 66:4, 66:6, 66:8	allow 7:7	anisotropic 36:22, 38:1, 39:5, 40:12, 62:22
	add 34:17, 51:13	allows 39:4	annotations 8:20
	added 51:15	already 18:8, 34:17	another 5:7, 17:1, 25:20, 32:9, 40:1, 40:4, 48:13, 64:10, 65:17, 69:10, 71:12
	addition 48:6	also 3:18, 18:18, 19:13, 21:22, 22:3, 24:5, 25:20, 26:4, 26:18, 26:22, 28:3, 32:13, 34:20, 36:9, 40:6, 40:13,	answered 49:4
	additional 22:14, 31:12, 31:18, 41:11, 45:1, 45:3, 45:22, 49:7, 66:6		answers 6:20, 7:2
	additive 45:15		anticipate 5:5
	address 6:9		anticipated 20:3
	addressed 18:22, 21:6		

anticipation 15:3, 19:20 any 5:8, 5:18, 8:3, 8:7, 8:14, 8:20, 9:2, 9:9, 10:4, 10:8, 11:5, 14:10, 15:15, 15:18, 15:21, 16:14, 16:17, 18:4, 20:1, 20:2, 23:2, 25:7, 25:11, 26:13, 28:6, 31:12, 35:13, 39:19, 40:5, 42:9, 42:14, 64:3, 64:11, 77:5, 79:1, 79:3 anybody 8:12 anyone 10:14, 10:20, 58:3, 58:5 anything 7:10, 64:12, 75:16 anyway 68:7 anywhere 50:19 apologies 68:3 apologize 5:18, 34:3, 66:18 appeal 1:2 appear 77:6 appearances 3:2 appears 29:14, 29:16 applicable 23:7, 23:11, 32:9 applications 9:2, 9:9	applied 15:10, 39:4 apply 61:16, 63:22 appreciate 7:1, 9:7, 14:7, 14:17, 29:11, 48:21, 59:9 appropriate 38:2 approximately 73:7 area 23:16 argument 14:22 around 11:15, 17:20, 34:20, 68:12 array 25:4, 25:9, 25:13, 26:6, 26:16, 26:20, 27:3, 27:5, 27:13, 27:21, 28:6 arrays 27:10 arrhenius 63:7 art 10:2, 12:22, 13:3, 15:4, 18:14, 18:16, 18:17, 18:18, 18:21, 23:14 artifact 68:8 arts 35:16 asked 49:4 asking 23:9, 34:22 aspects 26:22, 31:22 assume 7:11	attached 77:6 attending 5:4 attention 74:20, 75:4 attorney 79:3 august 1:15, 10:17, 78:17, 79:9 austin 6:11 ave 3:13 aware 5:7, 16:14, 18:5, 23:13, 50:19, 51:3, 51:5 away 5:9, 53:11 B b 42:3, 43:18, 65:20, 67:8, 67:18, 71:7 back 11:13, 11:21, 33:10, 43:5, 47:11, 70:9, 74:21 background 20:7 backup 5:8 banerjee 1:13, 2:1, 4:5, 5:20, 6:3, 6:5, 6:6, 6:10, 16:8, 51:17, 64:21, 71:19, 72:3, 76:11, 77:2, 78:5, 78:16 banerjee's 16:3 barrier 50:7, 65:7,	65:11, 65:16, 66:9, 66:11, 69:10 based 15:1, 19:18, 45:18 basic 52:1, 52:16 basically 61:8 because 8:10, 9:12, 11:11, 12:20, 25:15, 37:10, 39:10, 41:3, 46:16, 48:4, 48:14, 56:18, 61:1, 62:15, 73:4, 73:15 been 5:21, 6:12, 11:14, 16:9, 23:14, 23:16, 39:11, 42:17, 43:6, 59:21, 74:2 before 1:2, 2:5, 5:15, 6:13, 6:18, 7:22, 43:7, 49:11, 78:7 behalf 3:3, 3:10 being 32:9 believe 28:15, 31:11, 31:21, 32:7, 32:16, 51:19, 53:12, 62:10, 63:12, 68:10, 69:21, 73:15 below 29:3, 29:7, 34:21, 35:4, 35:18, 38:14, 41:16, 67:7 beneath 56:16
---	---	---	--

benefit 47:22 benefits 24:20, 24:22, 35:13 best 5:6 between 11:19, 18:15, 21:4, 27:8, 31:3, 31:19, 47:19, 49:1, 52:5, 52:18, 54:6, 76:2 bias 39:4, 39:7, 63:18, 63:22 biasing 39:22 binary 35:17 bit 20:7, 25:15, 25:22, 27:19, 27:21, 36:14, 37:17, 37:18, 39:8, 45:11, 50:13, 54:3, 55:3, 67:19, 72:4 blood 79:2 blow 50:12 board 1:2 bombard 40:10 bombardment 39:6, 63:3, 64:1, 64:9 both 16:21, 21:3, 57:9, 76:3 bottom 34:15, 35:2, 41:11, 43:10, 60:12, 60:14	break 7:20, 7:22, 42:19, 49:13, 55:3, 71:12 breaks 7:20 briefly 10:16, 18:7, 41:21 bring 43:5 broad 40:8, 47:4, 57:11, 63:11 broadly 38:10 broke 43:8 buffer 31:18 buffers 28:4 business 6:8 buy 48:16 C ca-csr 1:23, 2:6, 78:2, 79:16 california 3:7 call 29:4, 54:4 called 4:4, 5:20, 27:15, 39:3, 45:14, 45:21, 52:13, 57:7, 70:21 can't 8:7, 50:13, 53:1, 58:5, 64:12 cap 46:5 capabilities 54:8	capacitor 21:10 captions 70:15 carbon 51:15, 52:22, 53:4, 53:9, 53:14, 53:17, 55:5 carbon-doped 50:11, 50:15, 51:9, 51:13, 51:20, 52:5, 52:19, 55:10 carriers 22:12 carry 52:1 case 1:6, 11:9, 11:10, 13:1, 24:3, 27:11, 44:9, 47:19, 51:14, 53:2, 56:5, 56:8, 58:12 cases 9:19, 12:13, 12:21, 13:1, 45:16, 46:19, 46:21, 47:13 cat 73:19 caveat 26:18, 34:18 cavities 70:19 cavity 71:1 cell 25:4, 25:9, 25:13, 26:6, 26:16, 26:20, 27:3, 27:5, 27:12, 27:13, 27:20, 27:21, 28:6 cells 20:18, 21:5, 21:8, 22:9, 22:20, 23:3, 23:5, 23:10, 23:11, 27:10, 27:13, 27:22 central 27:9 certain 21:4, 32:5, 38:2, 52:10, 55:17, 55:18, 55:22, 56:3 certify 78:3, 78:11, 78:15, 79:1 cfcs 45:14 chamber 38:2, 39:22, 64:6, 72:8 chance 75:15 change 36:11, 36:16, 37:9, 38:18, 38:20, 39:8, 39:12, 39:15, 44:11, 44:14, 44:19, 45:8, 46:8, 46:9, 46:14, 46:15, 47:15, 47:18, 47:22, 55:9, 61:6, 61:14, 61:22, 62:7, 62:11, 62:15, 62:17, 64:5, 73:3, 73:7 changed 36:9, 37:4, 38:22, 60:20, 61:13, 73:8 changes 41:19, 45:17, 61:7 changing 37:16, 47:10, 55:8, 62:1,
--	--	---

62:13, 62:19, 63:10 channel 22:12, 22:13 characterized 72:11 charge 28:5 chat 16:5 chemical 40:14, 40:16, 52:9, 52:14, 53:3, 63:4, 63:5 chemistries 37:2, 45:8, 45:11, 47:16, 47:18 chemistry 37:17, 39:22, 44:13, 44:15, 44:19, 46:8, 46:10, 46:14, 46:16, 46:22, 47:6, 47:10, 57:12, 57:15, 57:22, 62:12, 69:7, 72:8 chen 14:12, 14:13, 15:13, 35:16, 35:18 chicago 3:14 chip 26:4 chips 23:6 chlorofluorocarb- ons 45:14 choice 35:17, 35:19, 35:21 choose 38:1, 38:2, 53:20, 54:8 circuitries 28:5	circuitry 21:7, 22:3, 27:14, 28:2, 28:3 circuits 22:7 cite 12:18, 14:11, 14:17, 15:13 cited 10:2, 10:5, 10:9, 14:8, 17:14, 35:15 claim 15:2, 18:15, 51:10 claiming 22:15 claims 20:2, 42:6, 51:7, 51:8, 51:18, 51:19, 52:20 clarification 7:10 class 45:14, 74:3 clean 8:16, 41:22 clearly 70:22 close 9:5 cmos 22:21 collaboratively 12:12 column 21:7, 22:8, 27:16 columns 21:6, 27:19 combination 14:10, 14:19, 15:15, 33:20, 34:4, 34:5, 42:15 combinations 14:15, 14:17,	15:18, 24:8, 45:10 combine 15:4 commencement 78:7 commencing 5:2 comment 33:9 commonalities 22:11 commonality 21:4, 21:8, 27:8 company 1:8 comparable 33:4, 33:5 compared 73:6 comparing 16:20 comparison 32:21 complementary 5:16 complete 8:4, 8:8, 16:12, 23:6, 77:4 completely 70:6 completeness 19:11 component 32:20, 40:9, 40:14, 40:16, 63:2, 63:4, 63:5 components 39:12, 39:16, 39:18, 63:2 composition 48:11, 52:9, 53:18, 53:21, 55:8, 55:15, 62:16 compositions 52:2, 53:18,	69:6 comprise 28:13, 58:19 comprising 58:15 computer 5:10, 8:17, 9:2, 17:10 concept 25:2 conception 19:6 concluded 76:13 conducted 1:14, 2:1 conducting 60:7 conductive 31:4 conductor 28:7 confirm 51:17 confusing 70:14 connect 5:14 connecting 5:14, 67:22 connection 67:16, 68:5, 68:13 consider 18:13, 18:18 considerations 18:18 consistent 32:3, 32:6 consistently 32:19 constant 62:1, 62:12, 62:19, 63:10 contact 25:3, 25:8, 25:12, 25:16, 26:10, 61:3
--	---	--	--

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

84

contacted 11:8, 11:18 contacts 36:10, 43:12, 70:10 contain 28:6 contains 16:11 content 18:14, 53:15 context 18:9, 19:21, 40:15, 60:3, 60:21 control 22:13 controlled 22:13 convenient 17:12, 74:22 conversations 5:11 copies 8:21 copy 8:16, 31:2, 51:1, 69:20, 75:2 correct 13:9, 13:17, 14:8, 15:15, 15:19, 16:18, 19:15, 20:4, 20:9, 20:15, 21:2, 21:16, 21:20, 22:1, 24:3, 29:1, 32:14, 33:15, 34:7, 34:11, 35:2, 41:7, 41:13, 42:3, 44:3, 44:6, 47:16, 48:3, 56:14, 60:5, 60:8, 62:13, 65:20, 66:10, 77:4	corrections 77:6 correctly 6:4, 26:11, 32:15, 41:7 correlate 30:15 correspondence 69:8, 76:2, 76:6 corresponding 70:1 correspondingly 71:2 corresponds 61:3, 70:4 could 11:16, 16:2, 20:5, 24:11, 24:13, 25:10, 25:20, 27:11, 27:12, 28:15, 28:19, 30:18, 32:11, 34:19, 35:16, 36:6, 39:19, 40:6, 43:5, 49:15, 51:6, 51:16, 55:7, 57:3, 57:6, 57:7, 57:12, 57:18, 58:22, 61:13, 61:21, 62:11, 62:18, 63:8, 63:13, 63:16, 63:18, 64:1, 64:3, 64:18, 66:17, 67:19, 67:20, 69:7, 69:8, 69:13, 73:17, 74:4 counsel 10:16, 12:12, 12:14, 13:2, 13:4, 13:7, 13:11, 13:19, 14:5, 18:13, 24:12, 49:12,	64:20, 71:20, 72:5, 74:11, 75:8, 78:12 counsel's 74:15 counsels 12:21 counterexamples 40:22, 47:3 couple 8:2, 16:19, 16:22, 38:5 course 23:12, 38:10, 47:2, 61:19, 75:10 court 7:3, 7:6 cover 70:8, 70:11 covering 46:5 covers 36:20 create 31:16 critical 54:18, 54:22 criticality 17:15 cross-examination 4:7, 71:22 crosshatch 46:6 crosstalk 31:10 current 23:13 cut 15:9 cvd 57:6 D damage 25:2, 25:8, 25:12, 26:16, 26:21	data 28:4 date 77:10 day 79:9 days 10:6, 10:10, 48:11 deal 12:4 declaration 4:10, 8:16, 8:18, 10:1, 10:5, 10:9, 12:3, 12:5, 12:10, 12:11, 12:19, 14:8, 15:1, 15:11, 15:22, 16:3, 16:9, 16:11, 16:15, 17:7, 18:5, 18:12, 20:2, 20:6, 26:14, 28:16, 29:5, 29:10, 35:6, 35:12, 42:9, 49:21, 64:15, 65:3 decoders 21:7, 22:8, 27:15, 27:16 deepest 61:2 defects 26:3 degree 21:4, 22:17 depend 33:7, 41:6 dependence 63:7 dependent 40:17 depending 41:10, 52:11, 53:8, 53:20, 72:15
--	--	--	---

depends 32:4, 46:18, 63:5, 63:6 deponent 77:1 deposed 6:12 deposit 52:12, 57:3, 57:8 deposited 52:13 depositing 53:2 deposition 1:13, 2:1, 5:17, 8:11, 9:22, 10:9, 10:15, 11:6, 52:14, 53:4, 76:11, 78:6, 78:7, 78:8, 78:13, 78:15 depositions 9:16 depth 36:9, 37:10, 38:18, 39:20, 41:6, 60:21, 61:5, 61:14, 62:11, 63:9, 63:14, 63:17, 63:19, 64:4 describe 32:5, 52:21, 59:6 described 15:11, 19:9, 56:10 description 4:9, 29:4, 30:16, 31:11, 31:12, 34:19 descriptions 50:17 designates 30:22 desk 8:17	detailed 32:21 details 45:12, 57:2 determine 18:21 developing 21:19 device 25:8, 25:12, 29:18, 59:15 devices 23:4 dialectic 47:17, 47:20 dielectric 25:5, 28:14, 41:12, 45:7, 46:11, 46:15, 47:14, 48:7, 48:9, 48:10, 48:20, 48:22, 49:1, 58:20, 59:22, 60:4, 66:7 dielectrics 46:2, 48:15 difference 18:15, 52:5, 52:8, 52:18 differences 18:15, 32:10 different 21:1, 21:5, 21:9, 21:22, 27:8, 30:7, 32:2, 33:3, 34:10, 37:10, 38:7, 38:10, 39:9, 39:20, 44:22, 45:10, 45:19, 47:4, 48:11, 49:6, 52:15, 52:21, 53:14, 53:17, 56:2, 57:4, 57:5, 63:9, 63:14, 63:19,	69:6, 69:7 dimensional 27:10 dioxide 46:20 direct 4:6, 6:1 direction 40:11, 63:1 directly 23:7 disagree 25:14, 36:19 disclose 50:7 discloses 28:10, 28:12, 42:1, 42:9, 50:1, 50:5, 50:20, 53:12, 58:15, 58:19, 65:7, 72:19 disclosing 53:16 disclosure 26:3, 42:14, 54:13 discuss 25:7, 25:11, 54:16 discussed 50:4, 60:16, 60:20, 61:12 discussing 24:19 discussion 26:5, 72:4, 74:11 discussions 5:9, 32:8, 32:9 disposed 28:13, 44:2, 45:7, 47:9, 47:14, 58:20 dispute 11:19, 34:13, 34:16, 60:10 distance 36:13	divided 61:9 doctor 24:1, 24:17, 42:18, 58:10, 59:2 document 49:11 documents 8:14, 9:1 doing 31:21, 39:11, 51:4, 58:3 done 13:2, 32:21, 48:11, 68:9 doping 55:5 double-check 49:12 down 7:3, 31:20, 36:14, 44:8, 48:16, 49:10, 51:10, 54:5, 54:10, 55:1, 55:3, 56:6, 58:7, 67:19, 69:13, 70:13 dr 6:3, 16:3, 16:8, 51:17, 71:19, 72:3 drain 22:12 dram 20:18, 20:20, 21:1, 21:9, 22:20, 27:12 dramatically 44:9 drastic 45:17 drastically 54:5, 54:10, 56:5 drill 43:14
---	---	--	--

due 63:2 duly 5:21, 78:6 during 23:12, 49:13 dwayne 1:23, 2:5, 78:2, 79:16 dynamic 20:20 E e-mail 9:5 each 5:15, 7:8, 18:15, 28:13, 30:2, 34:15, 36:12, 41:15, 58:19, 60:12, 61:4, 72:19, 73:5 earlier 13:8, 27:7, 61:12, 72:4 easier 64:9 eight 22:18 either 35:16, 35:17, 41:12, 56:20, 66:8 electrical 20:8, 31:9 electrode 39:4, 41:18, 46:3, 48:8, 48:9, 62:5 electronic 8:18, 8:21 element 22:5, 26:3, 65:10, 65:13, 69:18 elements 15:2, 30:14,	32:2, 38:3, 71:6, 71:7, 75:19, 75:20 else 8:12, 10:20, 50:19, 55:7, 62:4, 73:8 elsewhere 32:18 embodiment 28:22, 59:3 employed 79:3 enabled 5:12 end 52:15, 74:11 endeavor 18:22, 19:2, 19:4 energy 64:1 engineer 39:14 engineering 20:8 enhanced 52:13, 53:3 enough 9:4, 9:14, 11:12, 24:6 enter 38:11 entire 70:9, 70:11, 73:5, 73:6, 73:10 errata 77:6 error 17:13 esquire 3:4, 3:11 essential 38:3 essentially 40:10 etch-depth 61:22	etch-stop 17:19, 17:20, 17:22, 18:2, 42:6, 45:21, 48:12, 50:2, 50:5, 50:11, 50:16, 50:20, 51:9, 51:22, 52:2, 53:3, 53:10, 53:12, 53:14, 54:1, 54:3, 54:7, 54:10, 54:13, 54:22, 56:5, 56:8, 56:15, 56:19, 56:21, 57:15, 57:22, 61:13, 65:7, 66:1, 66:3, 66:13, 67:8, 67:17, 68:1, 68:19, 69:3, 69:4, 69:9, 69:11, 70:1, 70:21, 76:4 etch-top 16:21 etcher 39:1 etches 36:22, 38:1, 40:9, 62:21, 62:22 etching 36:16, 36:20, 37:1, 37:3, 37:6, 37:22, 39:2, 39:11, 41:7, 43:7, 44:6, 44:7, 44:8, 45:4, 48:3, 54:9, 55:13, 56:19, 70:7, 70:19, 71:1 ethane 53:6 evaluated 25:18	evaluations 18:20 even 47:11, 48:15, 48:19, 54:19, 74:4 ever 6:12, 57:14, 57:21, 58:3 everyone 5:3, 8:3 everything 55:7, 62:3, 62:6, 73:7 exact 39:10, 53:8, 53:18, 54:21 exactly 10:18, 11:20, 12:20, 17:8, 22:10, 22:16, 37:13, 53:1, 73:17 examination 4:6, 6:1 examined 77:3 example 14:12, 25:22, 32:6, 37:16, 45:3, 70:19 excellent 20:11 except 62:1, 62:4, 62:12 exchange 74:18 excuse 15:9, 43:14 exemplary 50:17 exhibit 4:10, 4:11, 4:12, 4:13, 11:22, 12:6, 12:10, 13:5, 13:9, 13:12,
--	--	--	---

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

87

13:14, 13:17, 13:21, 14:2, 14:13, 16:3, 16:8, 23:19, 23:20, 24:1, 24:6, 49:16, 49:20, 58:7, 58:10, 66:18 experience 22:19, 57:14, 57:21 expert 9:16, 31:13 experts 23:16 explain 55:12 explains 25:1 explicitly 32:10 expressed 26:13 extend 25:21 extensively 23:14 extent 75:13 extra 48:3, 48:16 extract 50:14	38:8, 50:19, 51:8, 55:4, 56:11, 56:20, 61:21 family 20:11 fang 4:13, 10:2, 14:2, 14:5, 15:19, 16:20, 17:19, 17:21, 17:22, 18:2, 42:16, 45:2, 49:16, 49:20, 50:1, 50:4, 50:7, 50:10, 50:20, 51:7, 51:21, 53:11, 54:13, 58:6 far 16:9 faster 57:11 feed 27:16 feedback 12:14 feel 37:6, 75:2 few 8:11, 10:6, 10:10, 14:12, 16:16, 22:20, 27:7, 48:5, 72:2 field 18:21, 19:1, 19:4, 19:6, 19:7, 22:19 figure 28:20, 28:21, 29:2, 29:5, 29:8, 29:9, 29:12, 29:13, 29:16, 29:21, 30:7, 30:8, 30:14, 30:21, 32:6, 32:7, 32:9, 32:10,	32:12, 33:11, 33:13, 34:10, 34:14, 34:16, 34:18, 35:3, 35:6, 35:18, 43:5, 43:9, 46:4, 59:1, 59:2, 59:6, 59:10, 59:11, 59:14, 60:4, 60:11, 60:13, 60:17, 65:3, 66:5, 66:21, 67:2, 67:12, 67:15, 67:21, 68:4, 68:9, 69:13, 70:10, 70:14, 70:15, 70:16, 70:18 figures 28:21, 32:5, 32:18, 33:1, 33:3, 36:4, 59:3, 61:1, 66:20, 70:15 filed 18:17 files 9:13 film 31:16 final 68:21 financially 79:5 find 17:5 fine 17:11, 33:10 first 5:21, 6:7, 11:8, 11:18 five 20:6, 42:22, 53:13, 54:15, 71:13 flash 21:10, 21:12,	21:13, 21:15, 22:14, 22:16, 22:21, 23:4, 23:10, 23:12, 23:15, 31:20 flexibility 45:4 flip 59:7, 60:15, 61:6 flipped 16:22, 18:1, 29:9 flipping 62:4 floating 22:15 flow 22:12 focus 19:7 following 5:1, 18:13 follows 5:22 foregoing 77:3 form 14:9, 69:4, 73:14, 74:1, 75:21 formation 67:3, 67:8, 67:16, 67:22, 68:5 formed 31:3, 65:13, 66:3, 66:8, 66:12, 67:11, 68:14 forming 24:2, 25:16, 58:11 forms 24:7 formula 52:16 forth 78:6
F			
fabrication 74:4 fact 45:18, 53:16, 54:14 factor 54:16, 54:20 factors 18:14 fair 9:4, 9:14, 11:12, 22:6, 22:17, 24:6,			

found 15:3 four 19:14, 19:17, 59:4 free 40:2, 64:8, 75:2 friday 10:17 front 8:15, 12:4 functions 26:4 funded 23:16 further 31:11, 71:19, 76:8, 76:9, 78:11, 78:15, 79:1 furthermore 23:12	36:12, 38:14, 41:10, 41:15, 41:18, 43:10, 44:3, 45:8, 46:2, 46:13, 47:9, 47:14, 47:17, 47:21, 48:8, 58:19, 59:8, 59:19, 60:7, 60:11, 60:13, 62:5, 65:5 gave 12:14 general 33:1, 38:22, 40:7, 40:18, 47:2, 49:5, 49:7, 54:17, 55:9, 57:2, 57:10, 61:18, 62:15, 63:11, 64:7, 68:20 generalities 45:13 generally 11:17, 28:1, 33:3, 37:22, 40:2, 40:17, 44:21, 46:12, 46:19, 47:5, 48:10, 56:1, 63:4, 63:5, 64:9 getting 41:4, 52:15, 70:20, 71:1 give 6:20, 7:1, 8:4, 8:7, 35:22, 44:22, 62:14 given 14:22, 19:7, 77:5, 78:9 gives 45:3, 45:22, 48:12 glasses 50:14	go 6:18, 11:13, 17:5, 20:5, 22:20, 24:10, 24:13, 25:22, 26:19, 32:11, 33:10, 35:16, 35:22, 36:13, 39:2, 40:3, 40:7, 40:19, 46:14, 46:16, 47:16, 49:7, 49:11, 51:6, 51:16, 58:22, 66:17, 70:9 goal 53:22 goes 51:11 going 6:18, 6:20, 7:16, 29:12, 35:18, 37:10, 38:13, 40:17, 41:6, 41:22, 42:17, 43:13, 43:17, 43:20, 44:1, 46:20, 47:9, 47:11, 47:15, 49:2, 54:5, 54:17, 55:20, 69:13, 70:7 good 6:3, 12:13, 42:18, 42:19, 71:12 governed 61:2 grabowski 3:11, 4:6, 6:2, 6:6, 16:2, 16:6, 20:5, 23:17, 23:22, 24:10, 24:14, 24:16, 28:19, 30:17, 32:11, 33:10, 34:2, 36:8,	37:8, 41:20, 43:4, 49:9, 49:15, 49:18, 51:6, 51:16, 52:3, 57:20, 58:6, 58:9, 58:22, 61:20, 62:9, 64:18, 64:21, 65:1, 66:15, 69:12, 71:15, 71:18, 73:14, 74:1, 75:21, 76:9 ground 6:18 grounds 14:2, 14:10, 15:15, 15:19, 19:14, 19:18, 24:8, 29:1, 59:4 growing 31:15 guess 9:3, 9:12, 11:17, 15:16, 43:13, 52:20, 52:22, 53:1, 53:2, 56:20, 70:14, 70:18 guidelines 12:15
G		H	half 11:3 hand 79:9 handling 11:14 happens 12:21 happy 51:3 hard 36:21 hardin 3:19 haze 11:15

head 7:2	42:17	important 37:14, 40:15,	31:18, 32:14,
heard 6:17, 19:20,	hours 12:16	73:16	33:15, 33:20,
58:3	however 48:2, 48:14	inc 1:4, 78:12	34:5, 41:16,
height 61:3, 73:6	hsu 3:4, 4:7,	incidental 56:7	44:2, 46:19,
help 5:12	10:19, 30:12,	include 38:11	47:8, 47:21,
helpful 64:19, 69:21	34:1, 36:5,	incomplete 62:2	48:2, 59:19,
here 12:3, 16:15,	36:18, 41:14,	incorporating 52:21	59:22, 61:4,
31:21, 38:7,	49:4, 57:17,	increase 37:5, 37:13,	67:3, 67:16,
43:9, 51:4,	61:17, 62:2,	38:15, 38:22,	67:22, 68:5,
61:16, 65:5,	72:1, 73:20,	39:7, 40:3,	73:5, 73:9
66:20, 68:19,	74:6, 75:10,	40:18, 49:2	insulator 29:7, 34:21,
70:20	75:12, 76:5,	increased 38:4	35:4, 36:20,
hereby 77:2, 78:2	76:8	indicia 18:19	43:15, 46:2,
hereinbefore 78:6	huge 48:14	individual 23:5	46:9, 46:16,
hereunto 79:8	hundred 53:13, 54:21,	industry 23:15, 23:16	59:8, 62:5,
herrington 3:5	71:9	infinite 54:6	68:13
high 15:1	hydrogen 52:11, 52:16	input 28:4	intends 32:8
high-k 46:2, 46:10,	hypothetical 62:2	instance 15:14, 33:20,	intentional 19:12
46:15, 48:7,	I	34:9, 47:10,	interchangeably 59:22
48:9, 48:10,	identification 12:1, 13:6,	76:12	interconnection 25:16, 25:20,
48:15, 48:20,	13:15, 13:22	instances 47:8	26:10, 26:19
49:1	identifies 50:10	instead 38:20	interested 79:4
higher 40:7, 56:7	identify 5:13, 5:15	instructed 18:13	interfere 8:4
hit 44:9, 44:11	il 3:14	instructs 7:16	interlayer 25:5
holding 61:22, 62:11,	illinois 20:9	instruments 20:15, 20:17	interpret 29:8, 34:18
62:18, 63:9	impact 41:1, 41:5,	insulating 29:3, 29:21,	interpreted 29:2
hole 43:13	55:5, 57:5,	30:3, 31:3,	interruptions 5:19
holes 44:8	57:12, 64:1,	31:7, 31:8,	intersection 27:20
hopefully 31:13	64:2		invalidity 19:15
hour 11:1, 11:3,	impacts 63:15		invention 24:20
	implication 26:21		inventive 25:2
	implies 33:3		involve 23:2, 38:14

involved 16:19, 19:4, 37:3, 72:16 involves 22:3 involving 21:9 ion 37:1, 37:22, 39:1, 39:2, 40:9, 44:16, 62:21, 63:3, 64:1, 64:9 ions 39:5, 40:2, 40:10, 64:8 ipr 1:6 irvine 3:7 itself 28:6, 35:1, 39:17, 41:18, 59:12, 62:7	10:2, 13:8, 15:19, 16:20, 16:21, 17:19, 17:20, 23:19, 24:2, 24:4, 24:5, 24:7, 24:19, 25:7, 25:11, 27:3, 27:6, 28:9, 28:12, 28:22, 29:14, 29:16, 31:2, 31:10, 31:11, 32:8, 33:14, 34:14, 35:1, 42:1, 42:9, 42:14, 43:6, 43:10, 49:10, 60:20, 61:11, 72:18 kim's 28:19, 29:20, 34:10 kind 68:8 kindly 26:14 knobs 37:19, 72:12, 73:1, 73:18 know 12:16, 12:17, 14:13, 28:4, 30:21, 31:6, 31:12, 32:22, 37:16, 39:14, 40:19, 48:18, 50:16, 53:5, 53:19, 54:14, 64:15 knowledge 15:20, 73:21 known 48:17	65:10, 65:17, 65:22, 69:15 lacks 17:15 larger 54:20 last 25:15, 26:18, 51:10 lately 53:7 laterally 63:1 latham 3:12 law 14:20, 18:9, 19:21 lawyer 7:14, 14:21 layer 16:21, 28:14, 29:3, 31:2, 31:3, 31:4, 31:7, 31:16, 32:14, 33:21, 34:5, 34:6, 41:12, 41:16, 42:6, 42:10, 43:18, 43:21, 44:2, 45:2, 45:7, 46:5, 46:19, 47:8, 47:11, 47:15, 47:16, 47:17, 47:19, 47:20, 48:2, 48:22, 49:2, 50:5, 50:8, 50:16, 52:1, 53:10, 53:12, 53:21, 54:1, 54:3, 54:4, 54:5, 54:7, 54:8, 54:10, 54:11, 54:14, 54:21, 54:22, 56:9, 56:15, 56:16,	56:19, 56:21, 56:22, 57:16, 57:22, 58:20, 59:8, 61:4, 64:5, 65:7, 65:11, 65:16, 65:17, 65:19, 65:22, 66:1, 66:3, 66:4, 66:9, 66:11, 67:3, 67:6, 67:12, 67:13, 67:14, 67:17, 67:22, 68:1, 68:5, 68:15, 68:18, 68:19, 69:3, 69:4, 69:9, 69:10, 69:11, 73:5, 73:9, 74:12 layers 17:19, 17:21, 17:22, 18:2, 29:21, 29:22, 30:3, 33:15, 33:17, 34:9, 34:14, 35:13, 36:3, 41:16, 41:18, 42:1, 44:5, 44:22, 45:18, 45:22, 46:8, 46:21, 47:1, 47:21, 48:6, 48:12, 53:3, 53:14, 56:5, 56:6, 59:19, 60:2, 60:4, 60:7, 60:11, 67:8, 70:2, 70:4, 70:21, 74:16, 75:14, 76:4 layman's 15:2, 18:8 least 10:7 leave 38:17
J			
job 1:21 johannes 3:4, 10:19 jr 1:23, 2:5, 78:2, 79:16			
K			
keep 9:15, 19:8, 23:18, 69:12, 73:16 keeping 73:7 kept 37:12, 62:3, 62:6, 63:21 key 13:3, 13:8 kim 4:11, 8:18,			
	L		
	label 32:2, 66:21 labeled 43:15, 46:4,		

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

91

left 38:12, 55:7, 64:11, 70:4 legal 12:14 let's 7:8, 17:5, 34:4, 69:12, 71:15 level 15:1, 18:16 lewis 1:23, 2:5, 78:2, 79:16 light 29:3, 29:8, 34:18, 60:14, 64:4 limited 19:5 line 27:21, 28:7, 36:10, 36:14, 43:12, 60:21, 70:8, 70:10 lines 25:17, 25:20, 25:21, 25:22, 26:10, 26:19, 27:19, 30:7 list 35:13 little 20:7, 25:14, 26:8, 26:9, 36:14, 37:17, 37:18, 39:7, 43:9, 45:11, 50:13, 55:3, 67:19, 75:6 llp 3:12 located 27:14, 27:22 location 17:1 long 10:22, 11:2,	12:9, 42:20, 42:21 longer 64:7 look 28:19, 30:18, 31:1, 51:2, 61:1, 64:14, 66:5, 67:5, 70:13, 70:18, 73:4 looking 21:18, 22:18, 34:9, 43:9, 45:18, 61:11, 65:2, 67:2, 67:15, 67:21 lost 33:11 lot 11:11, 21:8, 27:8, 37:1, 48:16 lots 11:11 love 71:13 lower 31:3, 31:6, 32:13, 64:7 lowermost 31:4 luxury 23:6 M made 23:9, 36:3, 36:7, 46:20, 56:15, 56:21 magnitude 17:3, 17:4, 17:13, 17:15, 17:16 main 3:6, 24:4, 27:15, 51:21, 53:10, 53:22	make 7:15, 12:5, 15:12, 15:18, 18:20, 23:11, 30:15, 31:17, 33:21, 34:6, 41:5, 45:2, 55:4, 69:1, 69:8, 71:5, 72:3 makes 30:3, 40:12, 64:9 making 23:6, 26:1 manufacturing 66:16 many 6:15, 11:14, 37:19, 38:21, 46:19, 46:21, 53:5, 72:22, 73:18, 73:21 margin 25:18, 26:2, 48:3, 48:13, 48:14, 48:21, 49:3 marginally 37:13 margins 45:1, 45:22, 48:5, 48:22, 49:8 marked 11:22, 13:5, 13:14, 13:21 marriage 79:2 material 50:2, 50:5, 50:10, 50:21, 53:22, 54:6, 55:18, 55:19, 55:20, 55:21, 56:1, 56:2, 56:6, 56:8, 56:16, 56:18, 56:19, 56:21,	57:4, 57:12, 57:15, 57:16, 58:1 materials 10:4, 10:8, 41:6, 45:10, 45:19, 47:5, 49:6, 55:19, 57:11, 72:16 matter 35:19, 35:21, 40:20, 45:12, 53:19, 57:3, 61:19, 79:5 matters 41:4 maybe 11:3, 11:13, 12:16, 37:5, 37:16, 42:22, 50:13, 53:5, 67:19, 71:14 mean 15:9, 26:10, 32:4, 33:8, 35:15, 37:19, 39:9, 39:10, 39:11, 39:16, 40:2, 40:8, 44:15, 45:1, 47:2, 48:18, 49:5, 53:19, 55:15, 56:18, 57:6, 60:1, 62:3, 64:7, 68:20 means 14:19, 18:9, 27:3 meant 19:13, 39:18, 55:12, 76:6 medication 8:3 medium 22:15 meet 10:18
--	--	---	--

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

92

meeting 10:22, 11:2 memories 21:3 memory 1:7, 12:15, 20:21, 21:1, 21:5, 21:8, 21:9, 21:11, 21:12, 21:13, 21:15, 22:1, 22:3, 22:5, 22:9, 22:14, 22:16, 22:21, 23:3, 23:5, 23:10, 23:11, 27:9, 27:10, 27:11, 27:13, 27:20, 27:22, 29:18, 59:15 mention 22:19, 51:20 mentioned 7:7, 9:1, 9:15, 12:5, 13:8, 13:16, 21:12, 21:19, 28:2, 34:17, 39:21, 57:7, 62:8, 62:10, 63:12, 64:6, 72:7, 72:14, 73:4, 73:18 mentioning 54:7 mentions 26:18 merely 45:3 mess 32:22 metallic 48:8 methane 53:6 mic 5:10 micah 3:19	micron 1:4, 11:19, 78:12 microns 61:4 microstructure 57:5 might 8:4, 29:6, 38:6, 38:20, 42:19, 53:7, 57:4, 57:8 mind 6:8, 9:7, 17:7 mine 12:12 minutes 27:7, 42:22, 43:1, 71:14, 71:15 misnomer 54:4 misnumbered 33:1 misspoke 34:3 mistakes 16:14, 18:5 mixture 39:9 modification 36:3, 38:16, 48:1 modifications 36:7 modified 29:12, 35:5, 35:9, 59:10, 60:17, 65:3 molecules 64:8 moment 29:13, 49:11, 59:10 more 11:17, 12:17, 26:8, 26:9, 43:9, 47:10, 49:5, 57:10, 57:11, 63:4, 74:21 morning 6:3 mosfet 22:5, 22:10, 22:16 mosfets 21:20, 21:22, 22:2, 22:4, 22:7, 22:21 most 11:4, 12:13, 20:11, 22:2, 45:16, 57:13 mostly 51:14 much 16:7, 53:19, 56:7, 61:5, 63:4, 71:18 multilayer 55:14 multiple 7:8 mute 5:10 N name 6:6, 6:8 nand 21:1, 21:12, 22:1, 22:3, 22:5, 23:3, 23:10, 23:12, 23:15, 27:11, 31:20 nanometers 17:2, 53:13, 54:15, 54:20 narrowed 55:1 narrowest 19:5 necessarily 48:4	need 16:17, 66:22 never 54:5 new 37:6 next 49:11, 51:11, 51:17, 68:9 nine 59:6, 70:14 nitride 50:11, 50:15, 51:9, 51:13, 51:14, 51:20, 52:5, 52:6, 52:9, 52:17, 52:19, 55:6, 57:8 nitrite 52:12 nodding 7:2 nominally 57:9 non-attorneys 11:5 non-conductor 31:9 non-lawyers 15:22 non-obviousness 18:19 non-selective 40:13 non-volatile 21:11 nonlawyer 15:5 notations 33:2 notes 8:14, 8:21 nothing 76:9 notice 2:5 noticed 16:16
---	--	---

novelty 19:5 number 12:7, 30:19, 30:21, 32:13, 32:17, 61:12, 75:9 numbered 33:15, 33:17, 60:5, 60:8 numbering 32:6, 32:7, 33:2 numbers 30:6, 30:11, 30:13, 32:1 O object 73:14, 74:1, 75:21 objection 30:12, 34:1, 36:5, 36:18, 41:14, 49:4, 57:17, 61:17, 62:2 objections 7:15 objective 18:19 obvious 14:19 obviousness 14:10, 14:22, 15:5, 15:14, 15:18, 18:9, 19:18, 24:7 occurred 78:16 occurring 25:3 off-hand 58:5, 64:13 off-the-record 5:9 offer 20:1, 42:8	office 1:1 often 26:19, 45:12, 45:13, 47:1, 51:1, 52:10, 52:12, 63:7 oh 68:11, 75:10, 76:3 okay 7:22, 8:14, 9:8, 10:12, 15:17, 16:4, 17:9, 17:18, 18:7, 19:20, 24:10, 28:19, 29:15, 33:13, 37:9, 38:6, 40:8, 41:9, 41:21, 44:14, 44:18, 46:3, 46:7, 46:21, 47:1, 47:20, 47:22, 48:20, 49:15, 51:12, 55:18, 55:22, 56:3, 56:4, 58:3, 59:13, 63:8, 63:18, 64:17, 68:11, 68:12, 68:14, 68:17, 69:1, 69:12, 69:22, 70:8, 70:18, 71:11, 72:11, 72:20, 74:7, 74:10, 74:20, 75:13, 75:18 once 11:20, 12:15, 18:1, 40:19, 41:18, 44:9, 44:10, 44:18, 45:6, 45:12, 46:7, 46:18, 53:11, 54:12, 55:22, 57:2,	60:14, 67:5, 67:13 one 5:6, 9:15, 16:20, 17:19, 17:21, 17:22, 18:2, 18:18, 18:20, 22:5, 23:18, 24:4, 24:8, 25:19, 28:21, 29:1, 32:9, 32:17, 33:21, 34:6, 35:22, 37:19, 43:12, 45:2, 47:7, 47:10, 52:22, 53:1, 53:7, 55:19, 55:21, 56:7, 57:10, 59:2, 76:3 ones 13:4, 13:8, 14:11, 27:15, 28:2, 51:12 only 7:2, 7:20, 11:10, 14:9, 15:17, 26:16, 36:3, 50:10, 70:7 open 8:17, 9:2, 9:9, 9:12, 9:13 opening 69:22 opine 31:22 opined 26:22 opinion 19:14, 20:1, 26:13, 28:9, 28:12, 36:12, 37:20, 38:16, 41:19, 42:5, 42:8, 50:1, 50:18, 55:1,	58:14, 58:18, 65:6, 69:5, 71:4, 72:18, 75:18 opinions 12:11, 14:21, 16:12, 24:3, 29:1, 58:11, 59:4 opportunity 7:19 optional 66:7, 67:6, 67:14 order 29:9, 35:7, 35:13, 36:2, 38:19, 59:7, 60:15, 60:18, 61:4, 61:6, 62:5, 64:5, 72:19, 73:2, 73:13, 74:8 orders 17:3, 17:4, 17:13, 17:15, 17:16 ordinary 18:16 organized 21:6 original 47:12 orrick 3:5 other 7:9, 8:7, 8:11, 9:1, 9:2, 9:9, 14:1, 14:7, 14:17, 15:13, 17:20, 18:5, 21:15, 25:8, 25:12, 28:3, 32:18, 34:19, 35:8, 35:15, 35:22, 36:6, 37:12, 37:15, 38:12, 38:19,
---	---	---	---

39:19, 40:5, 47:7, 48:21, 50:17, 52:2, 57:10, 63:9, 63:21, 64:3, 64:11, 68:12, 73:18 others 10:3, 14:12 otherwise 7:11, 51:3, 79:5 out 17:8, 32:10, 64:11 outcome 79:5 outlook 9:5 output 28:4 over 5:6, 6:18, 7:8, 11:21, 28:13, 31:21, 34:4, 44:2, 44:7, 45:4, 45:7, 47:9, 47:14, 48:3, 52:2, 58:20, 65:16, 68:4 overarching 53:22 overlap 22:17 own 75:2 owner 1:10, 3:10, 4:4, 5:21, 76:12 owner's 4:9, 11:22, 13:5, 13:14, 13:21 oxide 31:15	15:13, 24:13, 24:14, 28:20, 32:12, 33:11, 35:6, 50:13, 51:11, 51:17, 64:15, 65:2, 66:17, 66:18, 68:9, 75:9, 75:10 pages 1:22, 35:8 pairs 30:2 paragraph 17:14, 17:18, 17:21, 20:6, 21:18, 22:18, 24:11, 24:19, 25:7, 25:11, 26:6, 26:14, 26:15, 28:16, 28:17, 29:5, 31:2, 69:21 paragraphs 19:9, 75:5, 75:6, 75:16, 75:22 parameter 40:1, 40:4, 40:6, 63:13, 63:16, 64:10 parameters 37:12, 37:15, 38:8, 38:10, 38:13, 38:19, 38:21, 39:19, 40:5, 41:2, 41:5, 43:7, 44:12, 61:12, 61:16, 61:22, 62:12, 62:19, 63:9, 63:21, 64:3, 64:11, 72:5, 72:7, 72:15, 72:22 park 4:12, 8:19, 10:2, 13:16,	13:19, 15:19, 16:20, 16:21, 17:22, 18:1, 58:7, 58:11, 58:14, 58:18, 59:1, 59:3, 59:11, 59:14, 60:11, 60:14, 65:4, 65:7, 66:6, 66:16, 66:17, 67:6, 74:8, 74:12, 74:21 part 14:19, 15:14, 24:7, 34:10 particular 7:7, 19:3, 19:6, 26:6, 33:2, 38:13, 44:3, 45:20, 53:20, 55:14, 55:17, 69:6 particularly 74:16 parties 79:2, 79:3 parts 14:9, 30:7 party 78:11 pass 21:10, 71:20 passing 14:13, 14:14 passivating 31:16 past 10:6, 10:10 patent 1:1, 1:2, 1:9, 1:10, 3:10, 4:4, 4:9, 5:21, 8:17, 8:18, 8:19, 9:19, 10:1, 11:11, 11:12, 11:22, 12:3, 12:7, 13:5,	13:14, 13:21, 14:12, 14:20, 16:12, 18:9, 18:16, 18:17, 19:21, 20:2, 25:18, 26:9, 32:3, 32:4, 54:19, 71:9, 74:17, 76:12 patentee 19:4, 55:1 patentee's 19:1 patents 11:15, 32:22 path 40:2, 64:8 paths 25:3, 25:9, 25:13 patterns 25:3 pdf 24:12, 24:14, 28:20, 32:12, 51:7, 59:1, 75:8 pecvd 53:3 pending 7:21 people 7:8, 31:13, 32:22 percent 38:5, 52:22, 53:1, 71:10 percentage 37:5, 38:15, 53:8, 60:22, 61:8, 61:10, 73:11 percentages 39:12, 39:15, 51:14, 52:16, 52:21, 52:22 perfect 6:6, 7:19, 8:20, 9:9,
P			
page 4:3, 4:9,			

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

95

33:12, 41:9, 43:1 perform 36:22, 38:1, 39:5 performed 25:17 perhaps 12:16 peripheral 21:7, 22:7, 26:20, 27:14, 28:1 periphery 25:22 pertinent 19:3 petitioner 1:5, 3:3 ph 1:13, 2:1, 4:5, 5:20, 76:12, 77:2, 78:5, 78:16 phd 20:8 phone 5:15 physical 23:18, 40:9, 63:2 physics 23:7 picture 35:1 pieces 15:4 place 39:3, 42:18 placed 16:4 places 16:22 planarizing 25:4 plasma 37:16, 38:11, 39:1, 39:5,	39:11, 39:21, 52:13, 53:3, 55:16, 63:12, 63:15, 72:8 plasma-enhanced 57:6 please 5:5, 5:7, 5:10, 5:12, 5:15, 7:10, 16:3, 23:20, 24:11, 25:10, 33:11, 43:6, 49:16, 57:19, 58:7, 67:20, 69:14 plenty 37:15 plug 36:14, 61:3, 70:8 plugs 25:16, 26:10 plurality 28:10, 58:15 point 19:5, 26:14, 28:15, 33:8, 36:6, 68:22 pointing 17:8, 30:7 points 32:10 polysilicon 48:8 porous 57:10, 57:11 portions 71:4 posita 31:13, 37:15, 48:18, 53:19, 54:8, 73:1, 73:12, 73:16, 73:22 possible 19:6, 63:13 power 37:16, 38:11,	39:1, 39:21, 55:16, 63:12, 63:15, 72:8 powered 39:3 precise 15:10, 18:12, 53:21 precisely 25:19 precursor 52:14, 53:5 predominantly 62:22 preferentially 47:7 prepare 9:21, 10:9, 10:14, 11:5 prepared 35:5, 60:16 preparing 12:10, 12:13 present 3:18 pressure 39:22, 40:1, 64:6, 64:7, 72:8, 72:9 pretty 31:1, 42:18, 54:15 prevent 25:2, 48:3 preventing 26:3, 26:21, 45:4 prevents 31:9 primary 14:11, 14:14, 14:16, 26:5 prior 9:16, 10:2, 10:10, 12:22, 13:3, 15:4, 18:14, 18:16, 35:16	proactively 44:10 probably 11:15, 12:16, 23:17 problem 18:22, 19:3 procedure 15:20 proceeded 29:7 proceeding 5:4, 5:8 proceedings 5:1 process 25:16, 25:18, 26:2, 36:17, 37:3, 37:6, 37:21, 38:4, 38:8, 38:9, 38:11, 38:13, 38:21, 39:10, 39:14, 43:7, 43:8, 44:17, 53:20, 55:8, 55:14, 55:15, 55:17, 61:13, 62:6, 66:16 processes 37:20, 40:21, 54:9 products 23:8 projects 22:20, 23:2 properly 26:4 propose 47:20 protect 31:15 provide 5:16, 12:21, 13:1, 13:11, 13:19, 14:5 provided 12:14, 12:18,
--	---	---	--

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

96

13:2, 13:4, 13:7, 70:2 provides 48:3, 48:20, 49:7 pst 1:16, 78:18 published 23:15 pull 16:2, 16:5, 17:9, 50:22 pumps 28:5 purchase 5:17 purported 24:20 purpose 30:10, 31:6, 44:5, 60:2 purposes 5:8, 14:19 pursuant 2:5 put 23:19, 36:12, 47:21, 49:15, 58:7, 74:21 putting 31:19	quote 57:3 R random 20:20 range 17:2, 17:14, 54:13, 54:15, 54:20, 55:2 rate 40:3, 40:19, 48:16, 55:5, 55:9, 55:17, 57:13, 63:15, 64:2 rates 39:2, 39:13, 40:7, 45:19, 45:20, 53:9, 56:4, 62:16, 69:7 ratio 45:20, 56:4, 56:11, 56:12, 61:8, 73:9 reach 41:18 reaches 55:22 reactive 37:1, 37:22, 39:1, 39:2, 40:9, 44:16, 62:21 read 17:16, 25:15, 25:18, 26:9, 30:14, 50:13, 69:11, 77:3 reading 19:8, 60:14, 68:12, 75:22 realize 59:21 really 20:13, 54:2 reason 8:7, 35:22,	44:21 reasonably 19:2 recall 11:8, 11:14, 11:18, 11:20, 12:20, 50:12, 69:20, 72:4, 72:14, 74:11, 74:18, 75:13, 75:16 received 20:8 recognize 49:19 record 6:9, 16:8, 42:1, 43:3, 71:17, 78:9 recording 5:7, 5:17 reduce 25:2, 40:1 refer 14:14, 18:12, 25:20, 27:18, 27:19, 30:13, 32:13, 32:19, 70:15 reference 4:11, 4:12, 4:13, 13:8, 13:17, 13:19, 14:1, 14:2, 14:5, 15:3, 19:1, 19:2, 24:2, 24:5, 24:7, 29:14, 29:17, 33:14, 49:20, 58:11, 59:11, 61:11, 74:8, 74:12, 74:21 referenced 32:18 references 12:18, 13:2, 14:7, 14:9,	14:18, 15:13, 15:17 referred 76:3 referring 11:10, 42:11 refers 37:21 refresher 6:19 regard 74:8 regarding 75:14 regardless 18:22 region 25:4, 25:9, 25:13, 25:21, 26:6, 26:16, 26:20, 27:3, 27:6, 28:6, 67:16, 67:22, 68:5, 68:13 regions 26:22, 46:6, 70:8 related 5:19, 74:15, 79:1 relates 19:14 relied 24:2, 28:22, 49:20, 58:11, 59:4 remaining 71:3 remember 5:5, 5:10, 50:9, 53:1 remote 8:10 remotely 5:4 removal 70:4 removed 55:21, 56:3,
Q question 7:11, 7:17, 7:21, 25:10, 26:15, 47:13, 57:18, 67:20, 68:2, 74:15 questions 6:20, 8:2, 8:11, 71:19, 72:2, 76:8 quick 51:1 quickly 6:19 quite 48:5, 72:4			

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

97

70:7 repeat 25:10, 57:18, 67:20 replacement 21:19 report 27:1, 31:22, 32:5, 54:17, 59:7 reported 1:23 reporter 5:13, 7:3, 7:6 represented 78:12 require 36:16, 51:8 required 42:6 research 23:13 resistant 44:6, 44:7 respectfully 25:14, 34:17, 36:19 response 74:14 result 25:4 reverse 38:19 reversed 35:7, 60:17 reversing 35:13, 36:2 review 10:4, 10:8, 71:9, 74:17, 75:6, 75:15 reviewed 10:1, 53:6 rid 70:20, 71:2 rocket 38:5 room 8:12	row 21:6, 22:8, 27:15 rows 21:6, 27:18 rules 6:18 run 5:5 S sacrifice 68:21 sacrificial 67:8, 67:17, 68:1, 68:14, 68:18, 68:20, 69:3, 70:2 safety 45:1, 45:22, 48:5, 48:13, 48:21, 49:2, 49:8 said 14:17, 17:3, 26:5, 26:8, 26:12, 48:7, 64:20, 70:14 same 7:9, 12:6, 15:12, 17:6, 18:21, 32:19, 33:5, 37:13, 38:13, 38:18, 55:8, 56:16, 56:17, 56:21, 57:3, 57:15, 57:22, 60:1, 61:16, 62:4, 62:7, 63:22, 70:22, 71:7, 73:8, 73:17, 74:7, 75:20, 77:4 sanjay 1:13, 2:1, 4:5, 5:20, 6:10, 76:11, 77:2,	78:5, 78:16 saw 32:17 say 13:7, 14:16, 17:14, 22:6, 32:14, 39:15, 44:14, 45:11, 50:19, 51:8, 55:4, 56:11, 60:22, 61:9, 61:21, 70:9, 73:3, 74:17 saying 6:4 says 25:15, 31:2, 66:6, 67:6, 69:22 schemes 32:7, 33:2 school 20:11 science 38:5 scope 18:14 screen 16:5, 23:19, 64:19 scroll 51:10, 66:21, 67:19, 69:13, 70:13 se 37:7, 37:21 search 12:22, 51:1, 51:4, 69:20 searched 50:22 searches 13:3 second 42:10, 42:11 secondary 18:18, 24:5 section 27:9	see 22:21, 24:17, 25:6, 30:6, 30:19, 31:10, 35:20, 37:20, 43:10, 66:20, 67:1, 69:15, 70:13, 70:22, 75:11 seeing 51:12 selective 40:14, 40:16, 63:3, 63:5 selectivity 45:21, 54:6, 55:11, 56:4, 56:10, 56:11, 56:13, 57:1, 57:13 semiconductor 21:3, 25:3, 29:18, 59:15 send 75:9 sense 22:9, 22:11, 26:18, 27:16, 30:16 sentence 25:15, 26:18 series 29:21 serious 59:18 set 32:6, 73:22, 78:6, 79:8 setup 8:12 shaking 7:2 sheet 77:6 shorter 36:15 should 5:9, 17:4,
---	--	--	---

17:16, 17:20, 18:1, 18:18, 54:1, 54:4, 55:1 show 29:6, 39:10, 40:20, 66:21 showing 28:21, 35:7, 35:16, 48:1, 59:3, 68:7 shown 29:6, 29:9, 29:20, 34:16, 35:3, 35:10 shows 29:17, 32:8, 34:14, 35:18, 59:14, 59:18, 60:11, 60:13, 60:17, 65:6, 67:2, 67:7, 67:16, 67:21, 68:4, 70:10 si3n4 52:10, 52:17, 52:18, 57:9 sic 7:6, 72:4 side 36:21, 69:22 side-by-side 35:19 sides 71:3 signature 77:10 signature-plkal 79:14 signed 77:6 silane 52:15 silica 52:12, 55:10 silicon 31:5, 46:20, 50:11, 50:15, 51:9, 51:13,	51:14, 51:20, 52:5, 52:6, 52:9, 52:17, 52:19, 55:6, 57:8 silicone 31:14 similar 32:7, 33:2 similarly 54:19 simple 35:19, 35:21, 38:16 since 7:7 single 15:3, 50:5 sit 16:15 sitting 51:4 six 21:19 skill 18:16, 73:22 skinning 73:19 skipped 19:11 slightly 53:14, 62:13 slow 44:8, 48:16, 54:5, 54:10, 56:5 slowdown 54:4 slowly 5:6 small 38:15, 52:22, 61:10, 73:10 smoothly 5:5 soft 31:1, 51:1, 69:20	some 10:2, 12:21, 12:22, 13:1, 13:3, 14:1, 24:22, 27:15, 39:12, 52:2, 68:22, 73:17 something 33:8 sometimes 32:22, 40:3 somewhat 40:13, 53:10, 59:22 sorry 34:3, 68:4, 69:1 sort 31:18 sounds 38:6, 43:1 source 22:11 space 70:1, 70:4 spanning 17:15 speak 5:6, 10:14, 11:5, 15:21, 49:12 speaking 5:13, 5:16, 12:2, 12:15, 40:2, 40:8, 44:10, 44:21, 45:13, 46:12 specific 19:5, 28:16, 30:13, 33:9, 40:20, 41:6, 42:9, 50:10, 72:15 specifically 12:2, 23:2, 25:1, 26:13, 27:1, 46:5, 50:4, 51:20,	52:12, 60:13 specification 32:19 specifications 30:15, 33:8, 66:6 specifics 40:20, 41:4, 46:18, 61:18, 62:14 specs 29:8, 50:16, 52:20, 60:15, 67:5, 67:14 speech 6:18 spend 12:9 spoke 10:16 spot 71:12 spots 17:6 sputtering 57:8 sram 27:12 stack 28:13, 29:3, 29:4, 29:7, 29:22, 30:3, 33:17, 33:21, 34:6, 34:14, 34:20, 35:1, 35:3, 35:17, 36:12, 38:14, 41:10, 41:15, 43:10, 44:3, 45:5, 45:8, 46:13, 47:9, 47:15, 47:18, 47:21, 55:14, 58:19, 59:8, 59:19, 60:7, 60:11, 65:5, 73:5, 73:6, 73:10
--	--	--	--

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

99

stacks 28:10, 35:9, 60:14 stair 72:20 staircase 25:21, 29:17, 29:20, 30:4, 33:22, 34:6, 36:21, 45:4, 58:15, 59:14, 60:2, 67:4, 70:12, 72:20, 73:6 stand 16:4, 23:21, 49:17, 58:8, 64:22 standard 39:13 stands 20:20 start 11:12, 34:4, 51:7, 68:4 starting 31:14, 31:20 state 17:19, 17:22, 23:13 states 1:1 stating 6:8 step 28:13, 30:3, 33:21, 34:6, 34:15, 36:13, 41:15, 58:19, 60:12, 61:3, 70:9, 70:12, 72:19 steps 34:11, 58:16, 65:14, 66:12 still 7:16, 19:2, 46:14, 68:2,	71:3 stop 42:1, 42:10, 42:11, 42:18, 43:18, 43:21, 44:5, 46:7, 48:6, 49:2, 54:2 stopping 56:19 storage 21:10, 22:15 street 3:6 strike 34:4, 41:2, 47:12, 55:10, 75:17, 75:18 string 23:9 strings 27:11 strongly 63:6 structure 28:10, 29:17, 33:6, 39:17, 58:15, 59:15, 62:4, 67:4, 68:21 structures 28:7, 31:17, 31:19, 33:4, 33:5 studied 23:14 study 23:7 substrate 31:4, 31:5, 31:10, 31:14, 31:20, 40:18, 63:22 sufficient 25:17, 26:2 suite 3:6, 3:13 suppose 55:19	sure 6:17, 12:5, 15:12, 17:9, 25:11, 31:1, 41:5, 55:4, 55:13, 67:1, 69:2, 71:5, 71:10, 72:3, 74:18 surface 31:15, 36:13, 40:10, 65:13, 66:9, 66:12 surrounded 28:1 surrounding 27:13, 46:3, 48:7 sutcliffe 3:5 swapping 72:19, 73:2, 73:13, 74:8 switches 22:2 sworn 5:21, 78:7 T t1 55:22 t2 56:3, 69:15, 69:19, 70:3, 71:3, 74:12, 74:16, 75:14 take 7:19, 7:20, 7:22, 23:18, 42:19, 49:10, 51:2, 51:3, 53:11, 58:6, 75:6 taken 5:2, 76:12, 78:8 taking 8:3	talk 5:6, 7:8, 20:7, 22:20, 29:13, 59:11, 66:15 talked 23:15 talking 12:6, 16:9, 17:1, 17:6, 17:8, 24:22, 25:19, 26:1, 33:13, 38:7, 38:9, 43:6, 45:17, 50:15 talks 26:16 teach 48:18 teaching 51:21, 52:1, 53:11, 54:12, 74:3 teachings 48:17, 51:22 technical 5:19, 12:14 technician 3:19, 5:3, 16:4, 23:21, 24:12, 24:15, 49:17, 58:8, 64:20, 64:22, 75:8 technique 57:7 techniques 57:4, 57:6, 57:9 technological 40:21 technologies 1:7 technology 1:4, 78:12 telling 27:7 tells 53:18, 54:16
--	--	---	--

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

100

temperature 40:6, 40:7, 40:17, 40:18, 55:16, 62:20, 63:6, 63:10, 72:9 ten 43:1, 71:13 tend 39:2, 40:13, 48:15, 62:16 term 27:6, 31:8, 54:3, 55:11, 56:3, 68:20 terms 15:2, 38:7, 40:8, 47:4, 57:11, 63:11 terrible 47:13 testified 5:22, 74:14, 75:14 testimony 8:5, 8:8, 72:3, 74:10, 77:4, 77:5, 78:9 texas 6:10, 20:14, 20:17 th 78:17, 79:9 thank 5:3, 5:19, 6:7, 16:7, 18:4, 19:13, 24:15, 28:20, 33:12, 43:2, 65:2, 71:13, 71:18, 71:21, 75:10, 76:9 themselves 21:8, 22:9, 23:11, 27:14, 27:22 thereby 25:17	therefore 57:13, 63:16, 69:6 thereupon 5:1, 76:11 thermal 31:15 thickness 42:9, 53:21, 55:21, 56:2, 61:7, 61:8, 61:9, 73:9, 73:10 thicknesses 17:2, 42:15, 51:22, 53:12, 53:17, 54:9, 54:14, 54:22 thin 48:15, 73:5 thing 7:21, 12:6, 17:6, 55:15, 55:16, 60:1 things 26:4, 37:1, 37:21, 38:11, 54:17 think 9:15, 10:6, 11:3, 11:21, 12:13, 13:1, 13:4, 14:13, 15:2, 15:4, 18:2, 18:6, 19:11, 25:14, 26:2, 26:8, 26:13, 26:15, 26:20, 33:7, 35:15, 39:21, 40:5, 41:3, 46:4, 52:1, 53:10, 54:16, 58:5, 64:12, 70:6, 70:20, 71:10, 72:11, 74:14, 76:1 thinner 61:5	thousand 54:20 thread 70:9 three 14:9, 15:17, 17:3, 17:13, 17:15, 59:4 through 17:2, 17:5, 17:7, 33:15, 33:17, 36:20, 37:1, 41:7, 41:12, 41:17, 41:22, 43:14, 43:17, 43:20, 44:1, 44:10, 44:18, 45:6, 46:1, 46:7, 46:8, 46:10, 46:14, 46:16, 47:1, 47:11, 47:16, 49:7, 52:13, 53:3, 55:13, 60:5, 60:8, 66:20, 75:5, 75:7 throw 53:4 time 5:15, 6:7, 7:15, 18:17, 31:14, 37:5, 37:10, 37:14, 38:4, 38:12, 38:18, 42:19, 48:17, 55:22, 56:3, 61:1, 62:1, 62:7, 73:3, 73:8, 75:6 times 6:15, 6:16, 38:15, 63:17, 73:16 timing 28:4 tiny 37:5, 51:14, 61:7, 62:8	61:7, 62:8 today 6:7, 8:5, 8:8, 9:22, 10:15, 12:4, 16:15 took 51:21, 54:12, 59:6 top 29:5, 31:15, 31:17, 34:20, 35:10, 35:18, 36:12, 36:13, 41:11, 44:22, 45:2, 45:7, 45:17, 46:9, 46:13, 47:9, 47:14, 47:17, 47:19, 47:21, 48:2, 48:8, 65:5, 66:21, 67:3, 67:11, 67:17, 68:1, 68:3, 68:13, 68:14 topic 74:3 touched 18:7, 41:21 toward 74:10 trademark 1:1 transcript 5:18 transcription 77:5 transistor 21:10 transistors 22:21 trial 1:2 trivial 36:11, 41:19, 59:7, 60:15, 60:22, 73:3, 73:4
---	--	---	--

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

101

true 28:15, 62:15, 63:11, 77:4, 78:9 try 7:8, 7:9, 26:7 trying 47:5, 53:22 turn 73:1, 74:20, 75:4 turning 72:18, 74:10 tweak 37:15, 39:13, 39:19, 45:10, 45:11, 47:6, 63:16 tweaking 37:17 tweaks 39:13 twiddle 37:20, 73:17 two 16:21, 16:22, 17:4, 17:16, 17:19, 17:20, 18:1, 24:8, 27:10, 29:1, 30:15, 34:10, 35:18, 42:1, 44:22, 46:7, 47:4, 48:6, 55:19, 55:20, 56:1, 56:2, 56:4, 56:8, 57:9, 63:1, 70:21 type 21:13, 21:15, 23:4, 69:11 types 21:5, 27:8, 28:1 typically 12:20, 27:9, 27:20, 39:3,	39:8, 44:13, 45:9, 47:18, 48:12 typos 16:16, 16:17, 16:19, 18:3, 41:22 U unable 5:14 under 26:5 undergrad 74:3 undergrads 48:19, 74:4 understand 7:10, 7:14, 26:7, 26:9, 26:11, 43:8, 55:4, 60:1, 69:2, 71:6 understanding 14:18, 15:6, 15:10, 18:8, 18:11, 19:8, 19:17, 27:2, 27:5, 30:10, 32:2, 52:4, 52:8, 68:17, 69:2, 69:18, 70:3, 71:6, 75:19 understood 7:11, 15:7, 15:21, 17:17, 34:22, 41:1, 72:3 unedited 5:16 unintentional 53:9 united 1:1 university 6:10, 20:9 unless 7:15, 32:10	unquote 57:3 use 22:3, 42:15, 46:22, 50:1, 50:7, 53:7, 57:6, 57:7, 63:16, 75:2 useful 9:14 uses 27:6, 66:16 using 33:2, 37:21, 38:6, 48:10, 50:15, 52:14, 59:21 V v1 55:20 vague 30:12, 36:5, 36:18, 41:14, 57:17, 61:17 vapor 52:14, 53:4 various 12:21, 15:4, 28:1 vary 53:10 velocity 55:18, 55:20, 56:2, 56:6, 56:8, 56:17 verbal 7:1 version 17:9, 35:6, 35:9, 60:17, 65:3 versions 8:18 versus 38:8, 75:15 vertical 27:11, 36:22,	40:11, 63:1, 70:10, 70:19, 71:1 via 5:14 video 5:12, 5:14 virtually 1:14, 2:2, 78:8, 78:16 volatile 21:9 voltage 39:7, 39:22, 63:19, 72:8 W wabash 3:13 wafers 39:3 walking 17:7 want 9:6, 12:5, 15:12, 23:18, 29:13, 31:14, 41:4, 42:19, 44:8, 49:12, 55:3, 56:6, 59:10, 69:1, 71:5 wanted 38:17, 43:8 watkins 3:12 way 17:20, 34:19, 35:17, 35:22, 45:17, 52:11, 56:20, 59:21, 68:12, 79:4 ways 73:18, 73:21 we'll 7:19, 11:12, 59:9 we're 12:3, 12:6,
--	--	---	---

15:12, 16:19, 17:1, 33:13 we've 16:9, 42:17, 43:6 wednesday 1:15, 78:17 welcome 51:2 well-established 37:2 went 20:11, 20:14 weren't 10:4, 10:8 whatever 53:6, 53:22 whatever's 17:11 whenever 7:20, 45:9 whereas 46:5 whereof 79:8 whether 18:21, 19:2, 26:15, 37:4, 37:21, 38:4, 41:10, 52:4 whole 25:18, 26:9, 55:15, 55:16 wide 54:15 width 70:11 within 19:1, 19:7, 50:14, 54:8, 73:21 without 50:14 witness 5:20, 9:17, 30:13, 36:6, 36:19, 41:15, 49:5, 51:19,	57:18, 61:18, 62:3, 71:21, 73:15, 74:2, 75:11, 75:22, 78:5, 78:9, 79:8 witnesses 4:3 word 25:21, 27:19, 27:21, 28:7, 36:9, 36:14, 43:12, 60:21, 70:8, 70:10 work 20:14, 23:4, 45:18, 47:1 worked 12:12, 20:18, 21:19, 23:5 wouldn't 6:8 written 29:4, 34:19 wrong 11:16 Y yangtze 1:7 yeah 9:7, 9:14, 17:11, 24:14, 26:17, 28:17, 31:11, 36:9, 42:14, 42:20, 51:4, 51:19, 60:13, 68:12, 71:15, 75:1, 76:1 year 11:16, 11:21 years 22:19, 39:11, 74:4 yesterday 10:16, 10:22 ymtc 11:19	yourself 5:15 Z zoom 7:7, 9:3 0 00 17:2 00117 1:6 06:58 am 5:2 09 76:13, 78:17 1 1-to-1 56:12, 76:1 10 17:2, 31:5, 42:22, 52:22, 54:20, 71:15 10,672,711 1:10, 12:7 100 54:15 1000 17:2 1003 4:10, 11:22, 12:6, 12:10, 16:3, 16:8, 23:19 1005 4:11, 13:5, 13:9, 13:12, 14:14, 23:20, 24:1, 24:6 1006 4:12, 13:14, 13:17, 58:7, 58:10, 66:18 1007 4:13, 13:21, 14:2, 49:16, 49:20	101 31:4 1015 14:13 103 14:15, 14:16, 66:17, 66:18, 69:21 104 64:15, 64:20, 65:2, 75:5, 75:6, 75:22 105 76:1 107 75:5, 75:7 11 4:10, 30:19, 30:21, 31:3, 31:16, 32:13, 32:17, 51:10 110 65:10, 65:13, 65:20, 65:22, 70:21, 71:2, 71:7, 74:16, 75:15, 75:20, 76:2, 76:3 1100 3:6 111 33:15, 33:21, 34:5, 34:9, 41:17, 46:21, 47:1 115 67:8, 67:18, 68:1, 68:3, 68:15, 68:19, 69:2, 69:5, 69:9, 70:5, 70:6, 70:22, 71:2, 71:4, 71:6, 71:7, 74:16, 75:14, 75:19, 75:20, 76:2 118 33:15, 41:17,
--	--	--	---

Transcript of Sanjay Banerjee, Ph.D.
Conducted on August 13, 2025

103

47:1 12 32:12 126 42:3, 42:5, 42:12, 43:18, 43:21, 44:5, 44:6, 44:9, 44:11, 44:19, 44:20, 44:22, 45:6, 47:19, 48:6, 49:2 129 17:14 13 1:15, 4:11, 4:12, 4:13, 78:17, 79:9 131 17:18 134 43:15, 44:8, 44:11, 46:19, 46:22 14 75:10 14636 1:23, 2:6, 78:2, 79:16 15 53:1 151 60:8 156 60:8 16 51:7 161 33:17, 34:6, 46:6 162 34:10, 46:6 168 33:18, 33:21 171 60:5, 61:4 172 61:5, 61:9	177 60:5, 61:5 180 61:5, 61:9, 67:17, 67:22, 68:5, 68:12, 68:14 190 17:21 2 20 6:16, 9:15, 12:16, 54:16 201 61:2 2025 1:6, 1:15, 78:17, 79:9 2050 3:6 22 28:20, 28:21, 29:2, 29:5, 29:6, 29:8, 29:13, 29:16, 29:21, 30:7, 30:21, 32:6, 33:11, 33:14, 34:10, 34:14, 34:16, 34:18, 35:3, 35:6, 43:6, 43:9 230 31:2 27 19:9, 24:11, 24:19, 25:7, 25:11, 26:15, 66:18 28 19:9 2800 3:13 29 19:9 3 30 6:16, 9:16,	12:16, 19:9, 59:1 31 19:9 312 3:15 32 19:9 33 19:9 330 3:13 34 19:9 35 19:11, 19:13 36 19:10 37 19:10 38 19:10 39 28:20 3d 20:18, 22:20, 23:3, 23:4, 29:17, 31:20, 59:15 4 40 22:19, 39:11, 74:4 5 56 35:6 567 3:8 57 24:14 58 1:16, 78:17 592769 1:21 6 6 1:16, 78:17	60611 3:14 6700 3:8 7 711 8:17, 10:1, 11:11, 11:12, 16:12, 20:2, 54:19, 69:9, 69:11 72 4:7 7700 3:15 79 1:22 7:57 am 43:3 8 8280 3:7 876 3:15 8:10 am 43:3 8:57 am 71:17 8a 32:12 8th 10:17, 11:2 9 9 76:13, 78:17 90 28:17, 29:5 92614 3:7 949 3:8 9:03 am 71:17 9a 70:10
---	---	---	---

9b

66:20, 67:2

9c

67:7

9d

66:20, 67:15,

67:21, 68:4

9e

68:7, 68:9

9f

69:14

9h

70:18

9i

59:1, 59:2,

59:11, 59:14,

60:5, 60:11,

60:13, 60:17,

65:3