

UNITED STATES INTERNATIONAL TRADE COMMISSION

In the Matter of:	)	Investigation No.
CERTAIN HIGH-STRENGTH ALUMINUM OR	)	337-TA-1402
ALUMINUM-ALLOY COATED STEEL, AND	)	
AUTOMOTIVE PRODUCTS AND	)	
AUTOMOBILES CONTAINING SAME	)	

OPEN SESSIONS

Pages: 574 through 870 (with excerpts)
Place: Washington, D.C.
Date: March 5, 2025

HERITAGE REPORTING CORPORATION

Official Reporters

1150 Connecticut Avenue, N.W., Suite 305

Washington, D.C. 20036

(202) 628-4888

contracts@hrccourtreporters.com

1 UNITED STATES INTERNATIONAL TRADE COMMISSION
2 Washington, D.C.
3 BEFORE THE HONORABLE MONICA BHATTACHARYYA
4 Administrative Law Judge

5 _____
6 In the Matter of:) Investigation No.
7 CERTAIN HIGH-STRENGTH ALUMINUM OR) 337-TA-1402
8 ALUMINUM-ALLOY COATED STEEL, AND)
9 AUTOMOTIVE PRODUCTS AND)
10 AUTOMOBILES CONTAINING SAME)
11 _____

12
13 International Trade Commission
14 500 E Street, S.W.
15 Washington, D.C.

16
17 Wednesday, March 5, 2025

18
19 EVIDENTIARY HEARING - VOLUME 3

20
21 The Hearing commenced remotely, pursuant to the
22 notice of the Judge, at 9:31 a.m. Eastern.

23
24 Reported by: Marjorie Peters, FAPR, RMR, CRR

25

1 APPEARANCES:

2 For Complainant ArcelorMittal:

3 BENJAMIN HERSHKOWITZ, ESQ.

4 ALLEN KATHIR, ESQ.

5 SUNG BIN LEE, ESQ.

6 Gibson, Dunn & Crutcher LLP

7 200 Park Avenue

8 New York, New York 10166

9

10 MARK N. REITER, ESQ.

11 ASHBEEY N. MORGAN, ESQ.

12 JULIA G. TABAT, ESQ.

13 MONICA LIN, ESQ.

14 Gibson, Dunn & Crutcher LLP

15 2001 Ross Avenue

16 Dallas, Texas 75201

17

18 RAYMOND A. LaMAGNA, ESQ.

19 Gibson, Dunn & Crutcher LLP

20 333 South Grand Avenue

21 Los Angeles, CA 90071

22

23

24

25

1 APPEARANCES (Continued):

2

3 For Complainant ArcelorMittal:

4 BRIAN BUROKER, ESQ.

5 Gibson, Dunn & Crutcher LLP

6 1700 M Street, N.W.

7 Washington, D.C. 20036

8

9 NATHANIEL R. SCHARN, ESQ.

10 Gibson, Dunn & Crutcher LLP

11 3161 Michelson Drive

12 Irvine, CA 92612

13

14 EVAN T. KRATZER, ESQ.

15 Gibson, Dunn & Crutcher LLP

16 310 University Avenue

17 Palo Alto, CA 94301

18

19

20

21

22

23

24

25

1 APPEARANCES: (CONTINUED)

2

3 For Respondents VinFast Auto Ltd., VinFast Auto LLC,
4 VinFast USA Distribution, LLC, Vingroup USA, LLC, and
5 VinFast Trading and Production JSC:

6 MICHAEL J. McKEON, ESQ.

7 JOSEPH V. COLAIANNI, ESQ.

8 LINHONG ZHANG, ESQ.

9 SANGKI PARK, ESQ.

10 APRIL SUNYOUNG PARK, ESQ.

11 JACK R. WILSON, ESQ.

12 DANIEL Y. LEE, ESQ.

13 IRENE HWANG, ESQ.

14 JOHN THUERMER, ESQ.

15 Fish & Richardson P.C.

16 1000 Maine Avenue, S.W., Suite 1000

17 Washington, D.C. 20024

18

19 ASHLEY BOLT, ESQ.

20 BRIAN P. BOYD, ESQ.

21 Fish & Richardson P.C.

22 1180 Peachtree Street SE, Suite 2100

23 Atlanta, GA 30309

24

25

1 APPEARANCES (Continued):

2 ATTORNEY ADVISOR:

3 BETHANY MIHALIK, ESQ.

4 Attorney-Advisor

5 U.S. International Trade Commission

6 500 E Street, S.W.

7 Washington, D.C. 20436

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 P R O C E E D I N G S

2 JUDGE BHATTACHARYYA: Good morning. Please be
3 seated.

4 Before we begin, I want to address certain
5 objections that were made yesterday to Dr. Fekete's
6 testimony and exhibits.

7 Based on review of the expert reports -- we can
8 be on the public record.

9 Based on review of the expert reports and the
10 parties' arguments, the general objection to CX-826 through
11 878 is overruled; the general objection to CX-870 to 872 is
12 overruled. I'll issue a written order with the reasoning.

13 To the extent Respondents believe that there is
14 objected-to testimony that is not addressed by the record
15 order, they may let me know, and we'll address that at that
16 point.

17 Other than that, I understand that some new
18 issues have been raised with respect to the demonstratives
19 that will be provided today.

20 MR. HERSHKOWITZ: Your Honor, Benjamin
21 Hershkowitz from Complainants.

22 You will be happy to know that one of the two
23 was resolved this morning.

24 JUDGE BHATTACHARYYA: Okay.

25 MR. HERSHKOWITZ: So the one involving Bradley

1 has been resolved.

2 JUDGE BHATTACHARYYA: All right.

3 MR. HERSHKOWITZ: As to the one concerning
4 Dr. Brown, my colleague, Ray LaMagna can address that, if
5 you would like.

6 JUDGE BHATTACHARYYA: All right. Let's do that.

7 MR. LAMAGNA: Thank you, Your Honor.

8 We regrettably have one minor issue that we
9 weren't able to address.

10 Can I see slide 186 from Dr. Brown's proposed
11 slides. Are you able to bring that up.
12 (PAUSE in the proceedings.)

13 MR. LAMAGNA: If we could go back one slide to
14 186.

15 There's a build of slides that they have. This
16 is the final build. We don't believe that this is anywhere
17 in Dr. Brown's report. He doesn't have this image in his
18 report, and some of the predicate information that you see
19 here is not in his report.

20 We're not taking issue with some of the
21 quantification down at the bottom, which I think has been
22 resolved by, you know, the rulings you just had. The issue
23 is simply that this image and the math that necessarily
24 goes in to reflect this image is just not in his report.

25 If we can pull up, for example, Dr. Brown's

1 report. This is his invalidity report at Paragraph 72. If
2 you could just do those callouts that I was identifying for
3 you.

4 In Paragraph 72, Dr. Brown says that there is an
5 unspecified number of DI products that are at issue in this
6 case. He also says that there is an unspecified -- that
7 there is no evidence concerning the number of Tier 1
8 hot-stampers.

9 If I could just show that second callout further
10 down in Paragraph 72.

11 Sorry, we're getting our technology spun up.

12 There we go.

13 There's an unspecified number of DI products.
14 So what is in Dr. Brown's report here is that there is an
15 unknown quantity of DI products, and there's an unknown
16 quantity, that he's not aware of, of hot-stampers. That's
17 all that we have in his report.

18 What he does not do is then present the
19 quantification or the argumentation that is reflected
20 necessarily in the flowchart.

21 If we could go back to the flowchart.

22 That's the basis for our objection.

23 I think, if you recall, yesterday, we had
24 argumentation regarding Dr. Malackowski's report, and
25 whether there was math that was shown or not in his report,

1 and that, as Respondents argued, was the basis for
2 exclusion. Here, you have an argument that is being made
3 that is just simply not reflected in his report.

4 JUDGE BHATTACHARYYA: All right.

5 So which portions of this demonstrative are at
6 issue? So the "not subpoenaed," there's a question mark.
7 Is that all right?

8 MR. LAMAGNA: Well, I think that is the point,
9 is that we have here a number of precursor products that
10 are entering on the left of the screen.

11 Like I said, this image is not -- you know, this
12 analysis is not directly in his report.

13 At the top, you see that there's a number of
14 "not subpoenaed" products. At the bottom, there's a number
15 of parts. And there's a relative characterization
16 established between those.

17 Necessarily, when we graphically represent this,
18 we have to be making decisions as to which lines are going
19 to be fatter, which lines are going to be thinner, et
20 cetera, visually in order to show this.

21 So he's making a quantification here. There's
22 no legend here that says, not drawn to scale, for example.
23 He says in his report, expressly, I don't know what these
24 numbers are.

25 So this is really something that would have been

1 appropriate to cross-examine with Dr. Malackowski who does
2 know whether or not one number is bigger than the other
3 number, or to what extent, et cetera.

4 Having Dr. Brown as the mouthpiece for what is
5 essentially a pseudo-econometric analysis of the flow of
6 products is not appropriate, especially given that there
7 isn't -- that analysis is not presented in his report.

8 JUDGE BHATTACHARYYA: How about the bottom, do
9 you have an objection to the numbers at the bottom, the
10 seven subpoenaed, 170-plus parts, the tested 13 parts, and
11 the four parts not practicing?

12 MR. LAMAGNA: Well, I think, yes, a small bit,
13 which I think is addressed in Your Honor's ruling.

14 The 170-plus parts, no, but we do have the issue
15 where the 13 should be 16 because they've omitted the three
16 Tesla door rings that I believe I understand Your Honor
17 just ruled on, and -- or overruled the objection that
18 Complainants had. And the nine should also then be 12 to
19 reflect that there's actually three extra products.

20 But the gravamen of the objection here, Your
21 Honor, is the analysis as a whole is not present, and this
22 is not something -- you know, Dr. Brown did not opine on
23 the comparative value of that which is subpoenaed versus
24 that which is not. That's something that's in
25 Dr. Malackowski's camp, and Dr. Brown is a technical expert

1 and not presenting an econometric analysis, balancing what
2 has been subpoenaed and what hasn't been.

3 JUDGE BHATTACHARYYA: All right. Thank you.

4 MR. WILSON: Good morning, Your Honor. Jack
5 Wilson.

6 We disagree that this was -- may I proceed?

7 JUDGE BHATTACHARYYA: Yes, you may.

8 MR. WILSON: We disagree that this was not
9 presented in his report.

10 I'm going to try to use the ELM0 here.

11 We can see that he -- in Paragraph 72, following
12 the portion that you were just shown, Mr. -- excuse me,
13 Dr. Brown identifies that there are hundreds of other DI
14 direct products from the Tier 1 manufacturers. That's the
15 rest of Paragraph 72 that counsel just identified.

16 If we continue in the paragraph, it identifies
17 that there are 123 hot-stamped products from one of the
18 manufacturers, 16 from the next, 39 from the next. So
19 that's how we get to that 170 number. And he continues.
20 So he's identifying that there are a specific number, and
21 there's also additional ones.

22 Then he continues in the next paragraph, and
23 identifies that Dr. Fekete has only provided testing
24 results for a small fraction of the alleged DI direct
25 products.

1 He then goes on to identify what those DI direct
2 products are, so this is where we narrow down to the 13 --
3 obviously, that's a disputed number -- well, no longer
4 disputed, but we're down to that very small fraction, and
5 he continues at length in the rest of his section.

6 So we think this -- although this specific
7 figure, we agree, was not shown, the analysis is Dr. Brown
8 is not going to provide an economic opinion that says,
9 specifically, you know, it's 64.-whatever percentage. He's
10 showing the relative share of the tested products and
11 subpoenaed products.

12 We think this is squarely within his report,
13 Your Honor.

14 JUDGE BHATTACHARYYA: Okay. Thank you.

15 Could I have a copy of the expert report.

16 And Mr. Lamagna, did you have anything else you
17 wanted to say?

18 MR. LAMAGNA: I'll just address that very
19 briefly, Your Honor.

20 Again, I think that it's telling that at the
21 bottom of this particular slide, I think it's probably the
22 only slide they have, doesn't have a citation on it.

23 The point I'm making is not about the lower
24 band. You know, my -- Respondents' counsel pointed you to
25 places in his report where he describes what is the other

1 170 products that were not tested, that is in that lower
2 swoop in the demonstrative.

3 The question really is that Dr. Brown had no
4 basis to make a comparison between the hot-stampers that
5 are subpoenaed versus the hot-stampers that are not
6 subpoenaed, because that analysis and that comparative data
7 is not in his report.

8 Thank you.

9 JUDGE BHATTACHARYYA: Understood.

10 If we could get copies of the expert report,
11 that would be helpful.

12 MR. WILSON: Yes, Your Honor.

13 If I could approach, Your Honor?

14 JUDGE BHATTACHARYYA: Thank you.

15 I'll rule on this before Dr. Brown's testimony.

16 MR. WILSON: Thank you, Your Honor.

17 JUDGE BHATTACHARYYA: Is there any other
18 housekeeping matters that we should discuss or address
19 before we begin again with our witness?

20 MR. MCKEON: Not from Respondents, Your Honor.
21 Thank you.

22 MR. LEE: Your Honor, I believe the parties are
23 still trying to confirm the list of exhibits to be
24 submitted today, so we're happy to do that as soon as it's
25 ready, perhaps after lunch if that works for Your Honor.

1 JUDGE BHATTACHARYYA: Yes. That's fine. Thank
2 you.

3 MR. LEE: Okay. Thank you.

4 MR. REITER: Nothing further from Complainant,
5 so we can resume with Dr. Fekete.

6 JUDGE BHATTACHARYYA: Excellent.

7 MR. REITER: Thank you, Your Honor.

8 Your Honor, with all of the excitement
9 yesterday, I realized I forgot to provide you with copies
10 of the demonstrative slides. Is that okay?

11 JUDGE BHATTACHARYYA: Yes.

12 Just as a reminder, we are on the public record.

13 MR. REITER: We should probably go on -- this is
14 technical DI, so I don't know whose confidential record --
15 it's third-party confidential record.

16 JUDGE BHATTACHARYYA: So we're on the
17 all-parties confidential record, including third parties.

18 MR. REITER: Yeah.

19 JUDGE BHATTACHARYYA: Could I have confirmation
20 from counsel that we can proceed.

21 MR. MCKEON: We're all clear, Your Honor.

22 (Whereupon, the trial proceeded in confidential
23 session.)

24

25

1 O P E N S E S S I O N

2 MR. MCKEON: May I approach?

3 JUDGE BHATTACHARYYA: You may, but it's not
4 necessary for us to have binders of the exhibits.

5 MR. MCKEON: May I proceed, Your Honor?

6 JUDGE BHATTACHARYYA: Yes, please, go ahead.

7 MR. MCKEON: Thank you.

8 CROSS-EXAMINATION

9 BY MR. MCKEON:

10 Q. Good morning, Dr. Fekete.

11 A. Good morning.

12 Q. My name is Mike McKeon. It's nice to meet you.

13 I have to say right out of the gate here, we
14 share a common love because one of my majors in engineering
15 school was metallurgy, so I love metals like you love
16 metals. It is really a quite fascinating topic, so
17 congratulations on your successful career in that business.

18 Now, we know based on your testimony that you've
19 offered yesterday and today that your work in this case
20 involved infringement issues and technical domestic
21 industry issues; isn't that right?

22 A. Correct.

23 Q. Of course, you've reviewed the two patents at
24 issue, and the claims at issue in arriving at your
25 conclusions; isn't that right?

1 A. Correct.

2 Q. There is no dispute in this case, of course,
3 that all the claims at issue require these four layers that
4 we've heard a lot about in this trial; isn't that right?

5 A. Yes.

6 Q. In fact, these are really critical elements of
7 the claim.

8 You agree with that; right?

9 A. Yes.

10 Q. Let's pull up Claim 1 of the '602. Let's just
11 reference that as we discuss the claim and what's required.

12 You recognized this as the Claim 1 of the
13 '602 patent; is that right?

14 A. Yes.

15 Q. Of course, you understand the claim and all the
16 language in the claim; isn't that right?

17 A. Yes.

18 Q. The claims, of course, recite base steel.
19 We agree on that?

20 A. Yes.

21 Q. Of course, on top of the base steel, you have
22 the four different layers that are required by the claim;
23 isn't that right?

24 A. Yes.

25 Q. One thing I know we're going to agree on, the

1 claims do not recite any parameters of the process of
2 obtaining the layers on top of the steel; isn't that right?

3 A. The claims do not disclose process parameters.
4 Is that --

5 Q. Yeah, so there's no process parameters that are
6 required at all by the claims of these patents; isn't that
7 right?

8 A. Correct.

9 Q. So, for example, you don't see oven time, for
10 example, in the claim; isn't that right?

11 A. Correct.

12 Q. You are not going to see the temperature of the
13 oven, not required by any of the claims; isn't that right?

14 A. Yes.

15 Q. Heating rate, that's not required, either; isn't
16 that right?

17 A. Not in the claims.

18 Q. To determine whether a material has the
19 four-layer structure, your analysis involved looking at SEM
20 images of steel products; right?

21 A. That was part of what we used for our analysis.

22 Q. You keep saying "we."

23 When you say "we," who are you referring to?

24 A. The laboratories that produced the testing.

25 Q. The laboratories that produced the testing.

1 Okay. We'll get to that in a minute.

2 Of course, you heard Dr. Drillet testify on
3 Monday.

4 Were you here for his testimony?

5 A. Yes, I was.

6 Q. He mentioned again and again in his testimony
7 about the process parameters, the process parameters of the
8 patents.

9 Do you recall that?

10 A. Yes.

11 Q. But of course, in this case, with respect to the
12 infringement and technical domestic industry issues,
13 process parameters are irrelevant, isn't that right,
14 because the claims don't require that; is that fair?

15 A. The claims don't require the process parameters.
16 The process parameters are not in the claims.

17 Q. So we're not really required to look at them or
18 consider them, in this case, at least; isn't that right?

19 A. For doing the analysis of whether parts practice
20 or infringe.

21 Q. Is that right? You agree with me, then?

22 A. That you would not need to know the process
23 parameters in order to -- I'm just trying to understand the
24 question.

25 Q. You don't need to know the process parameters in

1 order to determine whether there's infringement and
2 technical domestic industry?

3 A. Yeah, that was not part of the analysis, that's
4 correct.

5 Q. You recall in the testimony we heard from
6 Dr. Drillet on Monday that, you know, he got this part from
7 Magna, and there was this SEM in it with the four layers,
8 and he testified that this was the last part of the process
9 puzzle for him, because it was the upper limit of the
10 process window.

11 Did you hear something to that effect on Monday?

12 A. Yes.

13 Q. You agree, though, that despite what Dr. Drillet
14 said about the sort of eureka moment where he got the last
15 process window, the last process window doesn't matter for
16 the purposes of this case; isn't that right?

17 A. In order to result in materials that practice
18 the patent, you do have to process it correctly.

19 Q. Well, did this process involve -- we all know
20 that these are being made. We heard a lot about that,
21 right, but for the purposes of your analysis, whether it
22 was infringement, technical domestic industry, you're just
23 looking at the claim, and see if it's satisfied, and that
24 doesn't account for any process parameters; right?

25 A. The claims of the patent are looking at the

1 product and the characteristics of the product, yes.

2 Q. To do your infringement analysis, you relied on
3 these SEM micrographs; isn't that right?

4 A. In part. We reviewed those as part of the
5 analysis.

6 Q. Okay.

7 Of course, you agree that the SEM micrographs
8 that you reviewed had sufficient resolution to resolve the
9 presence, or not, of the claimed four layers; isn't that
10 right?

11 A. Well, once again, we didn't rely solely on the
12 four layer of the SEM micrographs to assess that.

13 We could assess based on chemical composition
14 data whether the four layers were present.

15 Q. All right. Well, we're going to get to that,
16 okay?

17 A. To answer your question. If I understood the
18 question, do we rely on them.

19 Q. The micrographs --

20 A. In part we rely on the micrographs, but we don't
21 rely on them completely for the analysis.

22 Q. Okay. We'll get to that.

23 But one thing we know for certain is that you
24 believe that looking at a micrograph is the only way to
25 really know whether there is a four-layer structure

1 required by the claims; correct?

2 A. No.

3 Q. Well, let's get to your deposition, sir. You
4 took a deposition in this case.

5 Do you recall that?

6 A. Yes.

7 Q. You were under oath.

8 Do you recall that?

9 A. Yes.

10 Q. Let's get your deposition at 48, line 6 to 14,
11 please.

12 "Question: What else would you need to do to
13 determine whether it would form the four-layer structure or
14 not?

15 Answer: I mean, the only way of really knowing
16 is to look at a micrograph of the material."

17 Did I read that correctly, sir?

18 A. Yes.

19 Q. Now, despite the SEMs being very important in
20 the analysis that you conducted in this case, you yourself
21 did not take the SEM micrographs; isn't that right?

22 A. That's correct.

23 Q. You relied on a guy named Dr. Baskin; is that
24 right?

25 A. No, that's incorrect.

1 Q. Well, we know that Dr. Baskin oversaw all of the
2 testing that resulted in the data you rely on for your
3 opinions; isn't that right?

4 A. We worked together on that. He did the direct
5 oversight of the laboratories. I reviewed all of the
6 results. We really worked together on that.

7 Q. Okay. Well, let's get your deposition at page
8 98, 12 to 15.

9 "Question: And Dr. Baskin oversaw all of the
10 testing that resulted in the data that you relied on in
11 your report; correct?

12 Answer: That is correct."

13 A. Yes. That's correct.

14 Q. And Dr. Baskin himself actually didn't conduct
15 the testing. It was the labs that conducted the testing;
16 isn't that right?

17 A. Yes, correct.

18 Q. We don't know. We haven't heard anything in
19 this trial about the names of these people, and who they
20 were and what their experience are. You didn't provide any
21 testimony on that in your direct testimony; isn't that
22 right?

23 A. Yeah. What was that question again?

24 Q. Let me -- you identified the labs that you
25 relied on, the three labs that you relied on for testing;

1 isn't that right?

2 A. Yes.

3 Q. Okay. But we -- in your direct testimony, you
4 mentioned Dr. Baskin, correct, as overseeing?

5 A. Yeah, he oversaw the testing, yeah.

6 Q. But you didn't mention anybody else that was
7 involved in the project specifically by name; is that
8 right? In the testing.

9 A. No. We've talked about the testing quite a bit,
10 and we disclosed in our presentation that we contracted
11 labs to do the testing.

12 Q. That's precisely my point. So you contracted
13 labs --

14 A. Yeah.

15 Q. -- but we don't know if it was Tom, Dick, Harry,
16 Jane, or Sally that did the testing at the labs; correct?

17 A. I don't need to know that.

18 Q. Okay. Well, let's talk about that.

19 We did establish that Dr. Baskin, he just
20 oversaw the testing performed by the testing labs; right?

21 A. Yes.

22 Q. In fact on one of your slides, slide 33, you say
23 that you had the responsibility to visit places like
24 Calvert and Special Projects, and Dr. Baskin had the
25 responsibility to oversee testing.

1 Do you recall that slide?

2 A. Yes.

3 Q. Just so I get a handle on the process here of
4 collecting the data, I believe you testified that you
5 worked with Dr. Baskin to come up with protocols; is that
6 right?

7 A. Yes.

8 Q. Then the labs were given the protocol; do I have
9 that right?

10 A. Yes.

11 Q. Then the labs did the testing, including looking
12 at the compositions, taking all of the SEM micrographs; is
13 that right?

14 A. Yes.

15 Q. These are the people in the labs that we don't
16 know what their names are; is that fair?

17 A. No, we do not.

18 Q. Then the labs collected all of that information
19 and put it together; isn't that right?

20 A. Yes.

21 Q. And one of the things that the labs did is they
22 created a -- what your counsel referred to yesterday as a
23 summary chart; isn't that right?

24 A. Yes.

25 Q. In fact, of your -- a couple of your slides had

1 an image of the summary chart; isn't that right?

2 A. I would characterize it as a report.

3 Q. A report, but --

4 A. Yes.

5 Q. -- but the lab created that report?

6 A. Correct.

7 Q. Not you; isn't that right?

8 A. Correct.

9 Q. Then you came here and told the judge about the

10 report that the lab created; isn't that right?

11 A. Correct.

12 Q. The lab chose in that -- in that report, the lab

13 chose what numbers to put in there, and the lab chose what

14 SEMs to put on the report, isn't that fair?

15 A. Correct.

16 Q. Then the -- you got the materials from the lab,

17 and then you identified all of that collection of materials

18 in a bullet point in the materials considered in your

19 expert report; isn't that right?

20 A. All of the materials were considered were cited

21 in the report.

22 Q. Right, well, let's be specific about it. I'm

23 going to pull up Exhibit A of your expert report. For the

24 record, this is RX-1146.0220 to 227.

25 Then this is -- okay, I cannot get the ELM0, and

1 I'll take care of this.

2 Okay. Sorry about that, Dr. Fekete.

3 Do you recall this entry in your expert report,
4 the "The test results found at," and there's a series of
5 Bates numbers here.

6 Do you recall that?

7 A. Yes. Yes.

8 Q. Just so we're all grounded here, that's the
9 collection of the materials that the testing lab put
10 together and gave to you; is that right?

11 A. Correct.

12 Q. That collection of materials we can see here,
13 that's on the table here in the courtroom; isn't that
14 right?

15 A. I can't confirm that it's all here, but I'm
16 guessing some of it is here.

17 Q. Okay.

18 Well, we do know that there's a number of CX
19 exhibits that apparently they're binder exhibits, all of
20 the collection of the different materials that the lab put
21 together; isn't that right?

22 A. Yes.

23 Q. Now, one thing that caught my eye yesterday, or
24 caught my attention yesterday was you had said that the
25 testing labs were told to be looking for a four-layer

1 structure. They should expect to see one.

2 Do you recall saying that?

3 A. Yes.

4 Q. Now, isn't that really kind of a big no-no to
5 tell your testing labs to go look for a particular
6 structure?

7 A. No. Not in this case.

8 Q. Well, I mean, the labs are paid for their work;
9 isn't that right?

10 A. Yes.

11 Q. You're telling them what to see; isn't that
12 right?

13 A. No.

14 Q. Well, at least indirectly, you are; isn't that
15 right?

16 A. We're telling them what to look for, yes.

17 Q. Go look for four structures because you need to
18 show four layers to show infringement of domestic industry,
19 so you tell the lab, go look for the four layers, isn't
20 that what's going on here?

21 A. We told the labs that they should expect to see
22 four-layer structure in these. They didn't always find
23 four-layer structures, and in fact they told us whether
24 they didn't find a four-layer structure.

25 But in order for them to do all of the analysis

1 that we asked them to do, because we were just telling
2 them, well, we need you to tell us the thickness, and we
3 need you to tell us the composition, we needed to tell them
4 what they were looking for.

5 Q. Is it fair to say, then, that it was really the
6 lab that determined whether the four-layer structures were
7 there or not; is that fair?

8 A. Ultimately, they reported the data that they
9 thought represented those parts best, which was their
10 decision.

11 When they create a report, they're providing the
12 data that best represents that part, or you know, whatever
13 that sample is that they're doing. That's their job is to
14 tell us the truth about what's going on there.

15 Q. Okay.

16 Well, one thing we do know, there's a lot of
17 detail that goes into testing the sample; isn't that right?

18 A. Yes.

19 Q. It starts with preparing the sample; isn't that
20 right?

21 A. Yes.

22 Q. If you don't prepare the sample correctly, that
23 could really throw off the SEM images, for example;
24 correct?

25 A. Yes.

1 Q. But you don't know whether they prepared the
2 sample correctly or not, sitting here, you don't know?

3 A. No, I don't agree with that.

4 Q. Well, you weren't there watching them prepare
5 the samples. You just don't know sitting here in the court
6 today?

7 A. I'm highly confident that they did.

8 Q. You are confident because these are certified
9 labs, but you don't know whether Tom, Jane, Dick, or Harry,
10 who prepared the sample did it correctly, you don't know
11 here. You can't tell the judge that I know they did it?

12 A. I can tell the judge that I'm highly confident
13 they did by the quality of the labs that we chose, and our
14 oversight of the work.

15 Q. You don't know whether the SEM instrument was
16 properly calibrated; right?

17 A. No. That's not true.

18 Q. Well, again, you weren't there, you don't know
19 if the lab technician actually calibrated the instrument
20 before taking the pictures; isn't that right?

21 A. Well, I'm highly confident that they did.

22 Q. Highly confident, but you don't know; correct?

23 A. I'm highly confident.

24 Q. Okay.

25 You don't know whether the person who did the

1 EDS measurements, whether they calibrated the EDS detector.

2 You don't know that, either; do you?

3 A. I don't think I agree with that.

4 Q. Well, sir, you --

5 A. I know -- I mean, I -- we picked laboratories
6 that are accredited, that accreditation is founded on their
7 business practices and their traceability. You know, it's
8 a system of just technical competence that we all depend
9 on. You know, every time you get into your car, and you
10 believe that, you know, you're going to survive if your car
11 hits -- you know, gets hit by a bus in the door, you're
12 depending on laboratories like that to save your life.

13 I mean, that's the foundation of our system.

14 Q. I understand that, and I'm not going to quibble
15 with you on this, but I just want to make sure it's clear.

16 You just don't know for certain. You think they
17 did it, but you just don't know for certain; that's fair,
18 right?

19 A. That's not fair. I don't think that's fair at
20 all.

21 Q. Okay.

22 But one thing we do know, other than your
23 conversation with Dr. Baskin, and your lawyers over here,
24 you do not know how the testing labs produced the test data
25 you rely on for your opinions; correct?

1 A. Can you repeat that question, please?

2 Q. Yes.

3 Other than the conversation with Dr. Baskin and
4 your counsel, you do not know how the testing labs produced
5 the test data you rely on for your opinions; correct?

6 A. When you say produce, what do you mean by
7 produce, like, how did they collect it and report it, or
8 how did they --

9 Q. How they generated it, how they produced it.
10 You don't know?

11 A. I'm not sure that's -- I guess -- I don't think
12 that's a fair question. I mean, we issued a contract to do
13 certain testing. We received the results. I reviewed the
14 results. Dr. Baskin oversaw the testing.

15 It's sort of a chain of competence that's there.
16 I don't --

17 Q. All right. Well, let's get your deposition, and
18 we can get some clarity on this.

19 At page 100, 7 to 12. Ms. Park took your
20 deposition. Let me get the document camera -- is it
21 Respondent 3? I'm sorry, the -- let me get the system back
22 up here.

23 Let me read it. Ms. Park took your deposition
24 and the question is:

25 "Other than your conversation with Dr. Baskin

1 and counsel, you have no knowledge as to how the labs
2 conducted the tests that produced the testing results that
3 you rely on in your report; correct?

4 Answer: That is correct, yeah."

5 Did I read that correctly?

6 A. Yes. You read it correctly.

7 Q. Of course, Dr. Baskin is not going to come to
8 this courtroom, and provide testimony to the Court; isn't
9 that right?

10 A. That's correct.

11 Q. Now, getting back to the patent issues and the
12 infringement DI analysis, you agree that the specific
13 process parameters for the hot-stamping process are not
14 things that need to be considered when doing an analysis of
15 whether a product is covered by the claims; correct?

16 A. Correct.

17 Q. So to determine whether the claims are met in
18 your expert report, you did not consider the temperature of
19 the oven; isn't that right?

20 A. Correct.

21 Q. You didn't consider the amount of time the steel
22 was in the oven; correct?

23 A. Correct.

24 Q. You didn't consider the heating rate of the
25 steel; isn't that right?

1 A. Correct.

2 Q. Now, what you did, though, in your expert
3 report, is to determine that the required four layers are
4 present, you compared the SEM images you obtained from the
5 testing labs to Figures 1 and 2 of the asserted patent;
6 isn't that right?

7 A. That was a part of the overall analysis.

8 Q. Okay.

9 Well, let's get your deposition, at 59, 12 to
10 17.

11 Again, Ms. Park took your deposition and the
12 question is:

13 "And when you were doing the element-by-element
14 analysis for the claimed layers, you compared the SEM
15 micrographs taken from the parts with the four-layer
16 structure depicted in Figure 1 of the asserted patent;
17 right?

18 Answer: Correct."

19 Did I read that correctly, sir?

20 A. Yeah. That's an accurate statement.

21 It was part of the analysis.

22 Q. Let's look at Figure 1. Why don't we pull that
23 up from the patent.

24 And of course, the patent describes Figure 1 as
25 preferred embodiment; isn't that right?

1 A. Yes.

2 Q. It actually labels each of the layers,
3 interdiffusion layer, intermediate layer, aluminum-rich
4 intermetallic layer, and the superficial layer.

5 Do you see that?

6 A. Yes.

7 Q. Of course, that's on the steel substrate in
8 Figure 1; isn't that right?

9 A. Yes.

10 Q. Of course, you believe that Figure 1 showed the
11 claimed structure; isn't that right?

12 A. It's not a complete representation of the
13 claimed structure.

14 Q. You believe that Figure 1 shows the claimed
15 four-layer structure; isn't that right?

16 A. It's a picture of a four-layer structure.

17 Q. Well, let's get your deposition, sir. 59, 18 to
18 21, please.

19 "Question: And that's because you believe
20 Figure 1 shows the claimed four-layer structure; correct?

21 Answer: Correct."

22 A. That's what I just said, I said it's a picture
23 of the four-layer structure. It's a photograph. It's a
24 photograph of an SEM image.

25 Q. All right.

1 Well, let's look at -- by the way, Figure 1, we
2 established in this trial actually came from that
3 Magna-related TAV report.

4 Do you recall that testimony in this case?

5 A. I do recall that testimony.

6 Q. Now, we go to Figure 2, if we can, of the
7 patent, and you considered Figure 2 as well; isn't that
8 right?

9 A. Yes.

10 Q. And Figure 2 has -- it shows an interdiffusion
11 layer; isn't that right?

12 A. Yes.

13 Q. It shows an intermetallic layer; isn't that
14 right?

15 A. What the figure says.

16 Q. So it's got two of the four layers?

17 A. Yes.

18 Q. Okay.

19 But of course, the patent establishes that
20 Figure 2, the layer morphology of Figure 2 is not
21 practicing the claims, is what the patent says; correct?

22 A. Yes.

23 Q. You agree with that; right?

24 A. Yes.

25 Q. Now, interdiffusion, of course, you hear from

1 Dr. Drillet, and he talked about how interdiffusion was a
2 part of his invention.

3 Did you recall something to that effect?

4 A. Yes. I was here for his testimony.

5 Q. But you and I are going to agree, and since
6 today is Ash Wednesday, the beginning of Lent, I can call
7 upon the Lord above, the interdiffusion is sort of the law
8 of nature, no one invented interdiffusion; isn't that
9 right?

10 Well, you put two pieces of metal together, and
11 you heat them up, you get interdiffusion; right?

12 A. Yeah.

13 Diffusion is a physical process.

14 Q. Physical process, sort of a law of nature. No
15 one invented it.

16 Isn't that fair to say?

17 A. No one invented it, but certainly people
18 discovered it.

19 Q. Okay.

20 Well, discovered it, fair enough, but certainly
21 Mr. -- strike that.

22 Certainly, Dr. Drillet didn't invent it, and he
23 didn't even discover it.

24 Isn't that fair to say?

25 A. That's fair.

1 Q. In your analysis for DI and infringement, you
2 essentially took the SEM, and if it looked like Figure 1,
3 you established in your mind, okay, you concluded, that
4 this was covered by the patent.

5 If it looked more like Figure 2, you concluded
6 it was not.

7 That was your analysis with respect to the SEMs;
8 isn't that right?

9 A. No.

10 Q. All right.

11 Well, let's get your deposition again, at 64/13
12 to 65/6.

13 Okay.

14 Let me read this. 64/13 to 65/6. Let's see
15 here. Let's see here. Do I have 64/13 and 65 -- 64;
16 right?

17 64/13. Okay.

18 So here we go.

19 "Question: I see. So you were comparing it
20 with Figure 1 and Figure 2 with the image?

21 Answer: Right.

22 Question: And thought it was closer to
23 Figure 2?

24 Answer: Correct. You got it.

25 Question: Okay.

1 So aside from comparing it with Figures 1 and 2,
2 did you have to do anything else to determine this product
3 did not meet the claim?

4 Answer: Well, the other feature that we looked
5 at in this figure, if you are comparing it to Figure 1 and
6 2 of the patent -- in the patent, is whether there is a
7 preponderance of an intermetallic layer at the surface. In
8 this micrograph, there's evidence of some intermetallic
9 material at the surface, but not -- it wouldn't have
10 enough, in my opinion, to say it doesn't practice.

11 So really, for me, the reason it didn't practice
12 was the morphology of this layer, which was a layer, but it
13 looked more like Figure 2." (As read.)

14 Did I read that correctly?

15 A. You read it correctly.

16 Q. You take an SEM, you got an image, Figure 1,
17 it's Figure 2, it's Figure 1, it's Figure 2, that was the
18 analysis that you conducted in this case; isn't that right,
19 sir?

20 A. No.

21 Q. All right.

22 Well, we talked about the testing results that
23 were generated by the testing labs.

24 Do you recall that this morning, we already
25 talked about that?

1 A. Yes.

2 Q. And the testing results, there were car parts
3 relating to the VF 8 on infringement issues, and testing
4 results for car parts relating to the DI products.

5 Do you recall that?

6 A. Yes.

7 Q. It's been represented in this courtroom that the
8 collection is 5,786 documents generated from the testing.

9 Do you recall that?

10 A. Yes.

11 Q. Of course, those are the ones that are listed in
12 your materials considered; isn't that right?

13 A. Yes.

14 Q. Of course, in conducting your analysis in this
15 case, in reaching your conclusions, you did not review each
16 and every one of those documents; isn't that right?

17 A. 5,786, no, I did not.

18 Q. In fact, of the over 5,000 pages that you
19 referenced as materials considered, you reviewed only
20 upwards of 100 test results; isn't that right?

21 A. No, I reviewed more than that.

22 Q. Let's get your deposition.

23 107/25 to 108/1. 107/25 is where I need to
24 start, and I need to go to 108/1.

25 Just so the record is -- 107/25 to 108/1. Okay.

1 I'm going to read this.

2 Question: Again, by Ms. Park, "Do you know
3 roughly how many you actually reviewed from this set of
4 5,000 test results?"

5 Answer: Oh, probably -- probably upwards of a
6 hundred."

7 Did I read that correctly?

8 A. Yes, you did.

9 Q. So at least based on your deposition testimony,
10 you reviewed a hundred of the over 5,000 files, less than
11 2 percent of the actual documentation from the testing lab
12 that you cited as additional materials considered, you
13 reviewed less than 2 percent based on your deposition
14 testimony; isn't that right?

15 A. Based on the deposition testimony.

16 Q. All right.

17 Now, there was an issue that came up in this
18 case, as you've heard from the lawyers wrangling before the
19 Court, about your expert report, and what you actually
20 cited as evidence of the four layers.

21 Do you recall that?

22 So I want to talk a bit about that, but you
23 understand that your expert report is the opportunity for
24 us to understand your opinions, so when we come to trial,
25 we know what you're going to say.

1 You understand that's what the purpose of it is?

2 A. I understand that.

3 Q. You think that process is fair, right, so we
4 have notice; right?

5 A. Oh, I have no idea whether it's fair or not.
6 I'm not a lawyer.

7 Q. Well, good for you, because that's lawyer stuff,
8 so I won't get into that.

9 But needless to say, you produced an opening
10 expert report, and then you did a supplemental expert
11 report; isn't that right?

12 A. Correct.

13 Q. That's all we got from you in terms of the
14 reports in this case; isn't that right?

15 A. I would have to ask counsel if that was the
16 case.

17 Q. Well, but in terms of what you prepared, you
18 prepared two reports, an opening report, and a supplemental
19 report; isn't that right?

20 A. Those are the two reports I prepared.

21 Q. I'm not missing a report out there, am I?

22 A. No, you're not.

23 Q. Okay.

24 So I want to take some time to go through that.
25 One of the things I'd like to do is mark, if we can, and we

1 can discuss what we received from you in your expert
2 report.

3 You recall this document or this chart that was
4 prepared in your opening report.

5 Do you recall that?

6 A. Yes.

7 Q. The reason we have -- you probably understand,
8 the reason we have some blacked-out portions is because of
9 an order by the Court.

10 So what was relevant at the time of your report,
11 for the purposes of this case, we see here in the chart;
12 isn't that right?

13 A. Yes.

14 Q. Okay.

15 Now, we have this dispute, I guess, and we're
16 going to talk about it, between nine -- or strike that --
17 13 and 16 products.

18 Do you recall that debate we were having?

19 A. Yes.

20 Q. So let's just talk a little bit about that
21 first.

22 At least with respect to what you showed us in
23 your opening expert report, we have one, two, three, four,
24 five, six, seven, eight, nine identified description of
25 products in your list; isn't that right?

1 A. There are nine rows on that table, yes.

2 Q. Okay.

3 We have a dispute about the Tesla door ring.

4 You have a x4 there.

5 You think that's four separate products; isn't

6 that right?

7 A. Four separate parts.

8 Q. Four separate parts. Okay.

9 So if it's four separate parts, then you agree

10 with me, at this point in your report, you identify 13

11 different products; is that fair?

12 A. Yeah, including the four parts of the --

13 Q. Including the four.

14 If it's four, it's 13; if it's the nine -- if

15 it's not the four, it's just one, it's nine.

16 Is that fair in terms of how I look at this?

17 A. Yeah, if you consider -- if you consider that

18 row as one part, then that's correct. If you consider it

19 as four parts, then it's the bigger number.

20 Q. Okay.

21 Then we got your supplemental report, and do you

22 recall the supplemental report, you had the four

23 Volkswagen -- I'm going to -- just for the record, that

24 page I put on the ELMO was RX-1146.38. And now I'm going

25 to put on the ELMO 1147 -- RX-1147, pages to 4 to 5.

1 You recall, sir, this is an excerpt from your
2 supplemental report. I'll turn the page here, but you can
3 see the Volkswagen parts we got in your supplemental
4 report.

5 Do you see that?

6 A. Yes.

7 Q. One that we know for sure that in the
8 supplemental report, the Volkswagen parts were the only one
9 that you supplemented for the supplemental report; isn't
10 that right?

11 A. In the supplemental report, that's correct.

12 Q. In the supplemental report, you didn't include
13 any additional Tesla door rings in your report; isn't that
14 right?

15 A. That's correct.

16 Q. The one thing you say here, "I understand that
17 when my Opening Expert Report was submitted, testing was
18 still in progress for parts received from
19 Cleveland-Cliffs."

20 Do you see that?

21 A. Yes.

22 Q. There, you are -- I assume, you're referring to
23 the Volkswagen parts, isn't that right, because that's what
24 we see in this supplemental report; isn't that right?

25 A. Yes.

1 Q. Then, of course, you refer to Exhibit Z, which
2 are the charts, photographs and scanning electron
3 microscope -- micrographs; isn't that right?

4 A. Yes.

5 Q. Is it fair to say that with this supplemental
6 report, you included Exhibit Z with attached additional
7 material; isn't that right?

8 A. Yes.

9 Q. Now, with respect to the domestic industry issue
10 in this case, in your report, when you did your analysis,
11 you -- and of course, the products that were presented in
12 your opening report, shown here on the ELM0, which is,
13 again, 1146.38, you referred us to Exhibit U of your report
14 for the SEMs showing the four layers; isn't that right?

15 A. Yes.

16 Q. Let's take a look at that.

17 1146, page 150. Let me get the laptop back.
18 1146.150 is the page, and if I can get Paragraph 213,
19 please.

20 Again, we have a redaction because it was based
21 on a court order, but we see Paragraph 213 is very clear
22 with respect to the intermetallic layer. You say, "SEM
23 micrographs of the DI direct products tested as part of
24 this investigation show that the DI direct products each
25 comprise a coating with four layers."

1 Do you see that?

2 A. Yes.

3 Q. Of course, you go to -- you tell us to go to
4 Exhibit U to see that evidence; isn't that right?

5 A. Yes.

6 Q. Here, you're talking about the intermetallic
7 layer; isn't that right?

8 A. Yes.

9 Q. Why don't we just do another one for good
10 housekeeping.

11 If we can get Paragraph 216 of the report, which
12 is 1146.152.

13 Again, this is for the superficial layer, and
14 here, again, you say, for the DI direct products, each
15 comprise a coating with four layers. You tell us to go to
16 Exhibit U for that evidence; isn't that right?

17 A. Yes.

18 Q. We could do the same with the interdiffusion and
19 the intermediate layers. In those paragraphs, you also
20 tell us to go to Exhibit U; isn't that right?

21 A. Yes.

22 Q. You didn't tell us to go anywhere else with
23 respect to the four layers in these paragraphs with respect
24 to the SEMs; isn't that right?

25 A. Yeah. In these paragraphs, that's correct.

1 Q. Okay.

2 JUDGE BHATTACHARYYA: We're still on the public
3 record. I just wanted to make sure you --

4 MR. MCKEON: Thank you, Your Honor. I think
5 we're good with this. Thank you.

6 BY MR. MCKEON:

7 Q. So I'm going to put -- if I go back to the
8 document camera, and I'm going to -- we got Exhibit U that
9 you told us to go to; isn't that right?

10 A. Yes.

11 Q. In Exhibit U, I see -- let's just kind of walk
12 through it together. Can you see it, sir? If I zoom out,
13 you can see it.

14 I got the Honda Odyssey A-Pillar here; right?

15 A. Yes.

16 Q. In the SEM; correct?

17 A. Yes.

18 Q. Again, this was taken by the lab; right?

19 A. Correct.

20 Q. I got the GM Chevrolet Silverado part here.

21 Again, that was taken by the lab; am I right?

22 A. Yes.

23 Q. That's two I got; right? That's two SEMs you
24 told us to go to; isn't that right?

25 A. Yes.

1 Q. So far.

2 Let's flip the page. I got a Toyota

3 reinforcement.

4 Do you see that?

5 A. Yes.

6 Q. Of course that's the redacted portion, so we'll

7 skip that. Another redacted portion. We'll skip that. I

8 have the Chrysler door ring.

9 Do you see that?

10 A. Yes.

11 Q. Flipping the page, and I have the Tesla door

12 arm, do you see that?

13 A. Yes.

14 Q. Then that's it, right, that's it for this?

15 A. For the exhibit, that's correct.

16 Q. Okay.

17 So in this exhibit, you told us, hey, this

18 really important issue in this case for the domestic

19 industry issue on the four layers, I want you to go to

20 Exhibit U, and you gave us five SEMs; isn't that right?

21 A. Exhibit U contains five SEMs.

22 Q. That was the collection of SEMs you pointed to

23 in your expert report for us to review for the four layers;

24 isn't that right?

25 A. It's what was in Exhibit U, but it's not the

1 complete set of what I was -- used for my analysis.

2 Q. Well, sir, you got a lot of stuff cited in the
3 report, and I know you worked hard to put it together,
4 okay. So I'm not debating that.

5 What I'm focusing now is on the four layers.

6 When you were making your conclusion on the four
7 layers, you pointed us to Exhibit U, to those particular
8 SEMs; isn't that right?

9 A. Those are what was in Exhibit U, yes.

10 Q. It was just five of the products, isn't that
11 right, that we have here in your report, according to
12 Exhibit U; is that right?

13 A. Yes.

14 Q. Then the Ford parts, the three Ford parts that
15 we have here on the diagram, which is RX-1146.38 those
16 three Ford parts, you also had SEMs for; isn't that right?

17 A. Yes.

18 Q. They were contained in a separate exhibit; isn't
19 that right?

20 A. Yes.

21 Q. You pointed to us that exhibit as well in your
22 expert report; isn't that right?

23 A. Yes.

24 Q. In fact, that exhibit was Exhibit W.

25 Do you recall that?

1 A. Yes.

2 Q. For the record, that's RX-1146.371 to 374.

3 Just for completeness, I'm going to put W on the
4 ELMO, and I got one Ford part, SEM, two Ford part SEM,
5 three Ford part SEM, and then the final -- I guess --
6 that's the final page.

7 Did I go through that correctly?

8 A. To the best of my knowledge here, yes.

9 Q. So those are the three Ford parts. So far I've
10 got three for the Ford, and I have got Exhibit U, I've got
11 five. So far I've got nine -- so far I have eight SEMs
12 that I'm looking at; isn't that right?

13 A. That's what you have shown so far.

14 Q. Of course, the Kia, the Kia product, you put an
15 SEM in the body of your expert report; isn't that right?

16 A. Yes.

17 Q. That was the SEM that was your -- included your
18 analysis regarding the four-layer issue; isn't that right?

19 A. What --

20 Q. Let me strike that. Let me ask a better
21 question.

22 You put that SEM in your report to demonstrate
23 that the Kia did not have four layers; isn't that right?

24 A. No, that's incorrect.

25 Q. Well, it didn't meet the thickness requirements

1 required in the claim; isn't that right?

2 A. That's correct, but it did meet the four-layer
3 structure. It does have a four-layer structure.

4 Q. You determined it wasn't a proper technical
5 domestic industry product because it wasn't covered by the
6 patent?

7 A. That's correct.

8 Q. Okay.

9 So, so far, I have got -- we've got an
10 accounting we just did here, and you agree with me, so far
11 in total, I've got 13 SEMs that we've seen in your expert
12 reports regarding the four layers, isn't that right, that
13 you referred us to; isn't that right?

14 A. That's what's referred to, yes.

15 Q. Importantly, it's what was referred to for the
16 four layers; isn't that right?

17 A. Once again, it's part of the analysis. It's not
18 all of the analysis.

19 Q. You testified about some of the other analyses,
20 but for this critical issue of the four layers, and the
21 requirement based on your prior testimony that we need an
22 SEM to determine whether it had the four layers, those are
23 the SEMs you identified in your report, we just went over;
24 correct?

25 A. The SEMs' micrographs are insufficient to

1 disclose whether you've got the four layers, because you
2 need the chemical compositions as well, which also comes
3 from the SEM, just not shown in the micrograph.

4 Q. Okay.

5 But from the micrograph, you see the visual, the
6 way to see the four layers, to visualize them is in the
7 micrographs; isn't that right?

8 I mean, in order to see them, you've got to have
9 a photograph, and that's the micrographs; right?

10 A. But that's not how the patent describes the
11 definition of a layer. The definition of a layer is by its
12 chemical composition. Its mean chemical composition.

13 Q. Okay, well, we'll get to that.

14 Okay, so then I just want to do one other
15 exhibit to your report, and this is Exhibit Z. Exhibit Z
16 was the -- we referred to it when we looked at your
17 supplemental report earlier, that was the exhibit you said,
18 hey, go to Exhibit Z to see my additional materials
19 regarding the Volkswagen parts; isn't that right?

20 A. Yes.

21 Q. I'm going to put that one again on the ELM0.
22 For the record, it's RX-1147.18 to 37. If you see
23 Exhibit Z on the ELM0, and you actually have a collection
24 of different things here, including composition, data that
25 you supplemented your report with, but I'm going to turn

1 the pages, and then you have sort of the pictures of the
2 parts, the Volkswagen parts, and you supplemented your
3 prior exhibits with that.

4 But now I'm turning the page to RX-1147.29.
5 Here, you're supplementing Exhibit U, and it's upside-down,
6 so let me turn it correctly here.

7 Here you're supplementing Exhibit U to include
8 the Volkswagen parts; isn't that right?

9 A. Yes.

10 Q. And, again, Exhibit U was what you referred to
11 in the opening report for those critical four layers; isn't
12 that right?

13 A. Yes.

14 Q. We have got one Volkswagen SEM, and I got
15 another two, I got three, and I got four, and that was it
16 for Exhibit U. This next one is Exhibit V.

17 So for Exhibit U in the four layers, for the
18 Volkswagen analysis, you've got the four SEMs in your
19 supplement; correct?

20 A. In the report, correct.

21 Q. Now, the Tesla door ring, 2, 3, and 4 that we've
22 heard a lot about in this case, in the opening expert
23 report, and in the supplemental expert report, you didn't
24 cite to a single -- not one -- Tesla, which became to be
25 known as the Tesla door ring, 2, 3, and 4, you didn't cite

1 to a single SEM, with respect to the four layers for those
2 parts; isn't that right?

3 A. In the opening expert report?

4 Q. Opening, and in the supplemental expert report
5 with respect to this four layers, the issue of the four
6 layers for this what came to be known as Tesla door ring,
7 2, 3, and 4, you didn't cite to a single SEM; correct?

8 A. Correct.

9 Q. All right.

10 Now, let's go back to the laptop here, and I
11 want to talk about some of the SEM images now, all right.

12 One of them is the GM Chevrolet Silverado. That
13 was one of the parts you considered; isn't that right?

14 A. Yes.

15 Q. We'll go, again, to your Exhibit U, but we'll
16 just pull it up on the screen here at page 357, it's
17 1146.357.

18 This is the image that was in your Exhibit U for
19 the Silverado; isn't that right?

20 A. Yes.

21 Q. This part was over 40 inches long; isn't that
22 right?

23 A. Yes.

24 Q. We can see that, and why don't we just -- just
25 to put a pin in that, or just to bring that home before the

1 Court, go to page 349 of 1146, and this is the photograph
2 that you had of the part, and the ruler there indicates
3 this is about 48 inches; isn't that right?

4 A. Yes.

5 Q. Now, going back to the SEM, which is at 357, we
6 see this SEM, and there's a scale bar of 100 microns; do
7 you see that?

8 A. Yes.

9 Q. The whole SEM image is about 250 microns across
10 based on the scale bar; is that fair?

11 A. Yes.

12 Q. Of course you understand that a human hair is 60
13 microns; isn't that right?

14 A. Well, I'll assume you've given me good
15 information. I don't know that myself.

16 Q. Well, this is what my colleague over here told
17 me, so I assume that's right.

18 But it's in the order of a micron level?

19 A. It's in the microns, yeah.

20 Q. So if we assume it's 60, with respect to this
21 SEM, we're talking about four human hairs, if you assume a
22 human hair is 60; isn't that right?

23 A. Okay.

24 Q. Well, you have got 250, and you do the math,
25 it's 240, it's four human hairs. Okay.

1 And we just discussed that the part is 48 inches
2 long; isn't that right?

3 A. Yes.

4 Q. Of course, a human hair is a lot less than 48
5 inches.

6 We agree on that?

7 A. Hair is --

8 Q. A lot less than 48 inches?

9 A. Yeah.

10 Q. So for the SEM that you examined out of the 48
11 inches, we're talking about 250 micron SEMs is what you
12 used in terms of the micrograph imaging to establish the
13 four layers; isn't that right?

14 A. No, it's not.

15 Q. Well, that's what you cited with respect to the
16 micrographs in the exhibits to your report; isn't that
17 right?

18 A. It's not what we used to do the full analysis.

19 Q. All right.

20 Well, let's take another one.

21 A. We looked at much more data than what you're
22 showing me on the screen.

23 Q. All right.

24 Well, let's look at another one, sir.

25 If I can go to 1146, again, RX-360.

1 This is the Tesla door arm; isn't that right?

2 You used this SEM image for the Tesla door arm

3 in your report, you cite to it; isn't that right?

4 A. Yes.

5 Q. This is a magnification 2000x; isn't that right?

6 A. Yes.

7 Q. We have a scale bar of 20 microns.

8 Do you see that?

9 A. Yes.

10 Q. This image is about 60 microns; is that fair?

11 A. Yeah.

12 Q. Across?

13 A. Yes.

14 Q. About a human hair -- if we go with the 60 as a

15 human hair, it's about one human hair width?

16 A. Yes.

17 Q. The Court has already been informed about the --

18 I guess, which -- is that a Tesla door ring there -- over

19 there?

20 A. Both every these are.

21 Q. Both of them are. Okay.

22 The Tesla door ring, at least for the Tesla 1

23 part is -- is that the Tesla 1 part, or -- which part is

24 the Tesla 1 part?

25 A. I don't -- they're not labeled, one of them.

1 Q. They're not labeled, so we don't know, but it
2 looks like this if it's not it; is that right? All right.

3 Again, the SEM that you pointed to in Exhibit U
4 as support for the four layers is human hair, and then we
5 have got -- that was -- and the conclusion that you reached
6 based on the human hair was that door ring had the four
7 layers; isn't that right?

8 A. No.

9 Q. Well, that was the only SEM micrograph that you
10 considered to draw that conclusion; isn't that right?

11 A. No.

12 Q. It's the only micrograph, sir, that you cited in
13 your opening expert report with respect to the four layers
14 for the Tesla door ring; isn't that right?

15 A. The only image that was shown in the direct
16 report, and not cited in other ways.

17 Q. All right.

18 Now, with respect to infringement, so shifting
19 now to infringement issues.

20 Are you with me?

21 A. (Nods head up and down.)

22 Q. Similarly, you pointed to Exhibit L in your
23 opening expert report for the SEM micrographs for the four
24 layers on the infringement side of the case; isn't that
25 right?

1 A. Yes.

2 Q. Let's just take a look at that as an example,
3 1146.48, we have Paragraph 68, if we can draw that up,
4 going into, I guess page 47. Well, you can actually see it
5 here.

6 You see here, it's "SEM micrographs of the
7 VinFast parts tested as part of this investigation, show
8 that the VinFast parts each comprise a coating with four
9 layers."

10 Do you see that?

11 A. Yes.

12 Q. The collection of micrographs that you point to
13 is Exhibit L of your report; isn't that right?

14 A. Yes.

15 Q. And we can go through it, but you confirm for
16 the Court that with respect to the other three layers, it's
17 the same thing.

18 You refer to Exhibit L for that; isn't that
19 right?

20 A. For what?

21 Q. Well, you got the intermetallic layer, and then
22 we have three other layers; right?

23 A. I gotcha, yes.

24 Q. Okay.

25 Then you tell us for each of those, you tell us

1 to go to Exhibit L, for infringement; isn't that right?

2 A. Yes.

3 Q. That's where we're going to find the
4 micrographs, according to your opening report, that show
5 the four layers for the VinFast V 8 -- strike that.

6 The VinFast 8 vehicle; correct?

7 A. You will find some of them, yes.

8 You will find all of them that were cited in the
9 report. You won't find all of them that I used for my
10 analysis.

11 Q. Well, I got your analysis, sir, and you know,
12 you gave us a whole lot of materials considered in your
13 opening expert report, but you understand that I can't -- I
14 don't know what's in your mind, I got to rely on what you
15 cite to us.

16 With respect to the micrographs that are
17 critical in this case for the four layers, on the
18 infringement for the VF 8, you told us to go -- for the
19 four layers, you told us to go to the micrographs in
20 Exhibit L; correct?

21 A. Exhibit L contains the micrographs that were
22 shown in my opening expert report.

23 They are not the sum total of SEMs that we used
24 to do our analysis.

25 So that's where we're at.

1 Q. You did some other analysis, but with respect to
2 the four layers, the micrographs that you pointed to were
3 L; isn't that right?

4 A. That's not the sum total of micrographs we
5 looked at to do the analysis.

6 Q. Well, so, your testimony is you looked at
7 micrographs in this pile over here, but for me, when I'm
8 looking at your report, I got to go to L, which is what you
9 cited to in your report for the four layers; isn't that
10 right?

11 A. I don't know if that's all you had.
12 All I know is that was what was in the expert
13 report, with citations to other information that we used to
14 do the analysis.

15 Q. Okay.

16 Well, let's just -- I'm going to go through L
17 with you briefly now; okay?

18 I want to do a count so we can count the
19 micrographs that you cited in L for the four layers; okay?

20 If you can get the document camera again.

21 Just going through the exhibit, sir, we're going
22 to do a simple count, and it's one, two -- follow along --
23 three, four, five, six, seven, eight, nine, ten, 11, 12,
24 13, 14, 15, 16, 17, 18, 19, 20, 21 -- 19, 20 -- strike
25 that. 21, 22, 23, 24, 25, 26, 27, 28, 29, 30, 31, 32, 33.

1 33 SEMs were in Exhibit L, which you told
2 us showed the four layers; isn't that right?

3 A. There are 33 micrographs in Exhibit L.

4 Q. Exhibit L is what you said in your expert report
5 showed the four layers; isn't that right?

6 A. Those were the micrographs we put in Exhibit L,
7 and I'll repeat that -- that was not the sum total of the
8 micrographs we looked at to do the analysis.

9 Q. All right.

10 You looked at a bunch of stuff, but at least
11 what you told us in your expert report was go to Exhibit L,
12 and I can pull up the paragraph again if you would like,
13 but that's what you told us to look at; right?

14 A. That's what's in the expert report, yes.

15 Q. Then I believe the number we just arrived at was
16 33; isn't that right?

17 A. Yes.

18 Q. If we go to your slide 78 -- oh, yes. Thank
19 you.

20 This is the slide you presented to the Court
21 yesterday.

22 Do you recall this slide, sir?

23 A. Yes.

24 Q. You told the Court that you had considered 34
25 parts; isn't that right?

1 A. Yes.

2 Q. So at least with respect to the SEMs, and I
3 don't want to cover old ground here, but at least the ones
4 in Exhibit L that you told us were the four layers, you're
5 short one, aren't you?

6 A. That's what it looks like.

7 Q. All right. All right.

8 So let's, if we can, pull that down, and if you
9 can get the Magna Chevy Silverado SEM again at 1146.357.

10 This is the example of the -- of the SEM that
11 you analyzed in your report; isn't that right?

12 A. Yes.

13 Q. Okay.

14 Of course, this part was made by Magna by
15 hot-stamping coated steel; isn't that right?

16 A. Pardon?

17 Q. It was made by Magna, this part?

18 A. Yes.

19 Q. At the time of your expert report, you said that
20 these four layers you see here are evidence to you, the
21 four layers of the patent, is what you said?

22 A. Yes.

23 Q. Now in your deposition, of course, as you
24 recall, we showed you some SEM images, and asked you
25 whether those images looked like Figure 1 or Figure 2.

1 Do you recall that exercise?

2 A. I recall looking at photographs. I didn't know
3 if they were SEM images or not. There was no information
4 on them. They had clearly been altered from the folks that
5 had taken them.

6 Q. Okay.

7 Well, let's take a look at that. If we can get
8 RX-1148. Pull that up. I have to go back to the --
9 yeah -- the laptop.

10 Do you recall this image from your deposition?

11 A. Yes.

12 Q. It was marked as Exhibit 9.

13 Do you see that?

14 A. Yes.

15 Q. When we asked you in your deposition, well, was
16 this a Figure 1 or a Figure 2, do you recall telling us
17 that this looked more like Figure 2 of the patent; isn't
18 that right?

19 A. That's correct.

20 Q. Of course, if you look more at Figure 2 of the
21 patent, it wouldn't be covered by the claims; isn't that
22 right?

23 A. Correct.

24 Q. Of course, you now know if we put this image,
25 1148, next to RX-1146.357, next to each other, you now know

1 that it's the same image; isn't that right?

2 A. No, I do not.

3 Q. Well, let's take a look at that.

4 The one on the left is from your report; isn't
5 that right?

6 A. The one on the left is from my report.

7 Q. You are convinced there, you say, oh, the four
8 layers are there in that image, it's Figure 1 of the
9 patent; isn't that correct?

10 A. That's correct.

11 Q. On the right, you see -- you understand it's the
12 actual image -- it doesn't have the bottom black line of
13 information, but the image is otherwise identical.

14 Do you understand that?

15 A. No, I don't.

16 This was an image that you threw in front of me
17 in my deposition that, at that moment in my deposition, I
18 didn't know what it was, and all I knew for sure is that it
19 had been altered from its original state. That's all I
20 knew.

21 So the exercise that you were giving me was to
22 look at a photograph, and tell me -- and just tell you
23 whether it looked more like two other photographs, figures
24 in the patent.

25 Q. Well --

1 A. So that's not a reliable way of doing an
2 analysis of this type of a situation.

3 Q. Well, we went through that earlier --

4 A. It just isn't. It just isn't.

5 It was an exercise I did not understand, and
6 still do not understand, and the only thing I knew in that
7 moment is that I was looking at a picture that had somehow
8 been altered, and I didn't know how that picture had been
9 altered.

10 Q. Let's not rehash your prior testimony about
11 Figure 1 versus Figure 2. Okay? Let's just focus now on
12 what happened in your deposition. Okay?

13 In your deposition, you told us what's on the
14 right here, which is RX-1148. You said this looked like
15 Figure 2, not figure Figure 1.

16 That's what you said in your deposition; isn't
17 that right?

18 A. Correct.

19 Q. In fact, if your deposition testimony is to be
20 credited, that would mean this would not be the morphology
21 that's required by the claims of the patent; isn't that
22 right?

23 A. No, that would not be correct, because I wasn't
24 looking at a four-layer structure. I didn't know what I
25 was looking at. That was the point. I did not know what I

1 was looking at.

2 Q. So you didn't see a four-layer structure in --
3 well, let me ask you this question:

4 What's on the left here, do you see a four-layer
5 structure --

6 A. Yes.

7 Q. -- which is on the left, which is from your
8 expert report?

9 A. Yes.

10 Q. Looking to the right, do you see a four-layer
11 structure there?

12 A. I don't know what I was looking at. I was
13 looking at photographs. I was trying to figure out what
14 you people were doing when I was looking at that
15 photograph. It was the first one you put in front of me,
16 and it was like, what is this?

17 It's clearly something that has been changed,
18 and if you tell me that it is an SEM image, then it's been
19 altered; otherwise, I don't know what I'm looking at here.

20 So it was -- to me, it was a nonsensical
21 exercise because it was not giving you the information you
22 needed, whether that was a four-layer structure.

23 Q. Let's take another one. Okay? We'll go to
24 1146, expert report, .360, which is the Tesla door arm.

25 Again, this was in your -- the SEM in your

1 Exhibit U to the report; isn't that right?

2 A. Yes.

3 Q. By the way, just the -- well, let's do this
4 first:

5 This is made by Simwon, isn't that right, this
6 part?

7 A. Yes.

8 Q. Of course, at the time of your expert report,
9 you told us that, yes, this is the four layers that
10 practices the claims of the patent, looks like Figure 1;
11 isn't that right?

12 A. It was part of the analysis that we used.

13 To continue to be clear about this: When you
14 talked to me about whether an SEM micrograph gives me some
15 information, that it's the only thing we looked at, which
16 is the implication I'm hearing. Maybe I'm wrong, but it's
17 the implication I'm hearing. I just want to be clear that
18 it's not all that we looked at when we were doing our
19 infringement analysis.

20 Q. We have the record all clear on that, sir.
21 Okay? We are not going to rehash that. We'll look at a
22 lot of things. I'm just focused now on the exhibits that
23 you told us to look at in your expert report. That's all
24 I'm focusing on now. Okay?

25 A. Gotcha. Yes, sir.

1 Q. Okay.

2 Of course, again, in the deposition, we showed
3 you another SEM. So let's just pull that up, it's RX-1150.
4 And you recall this on the right was from your -- was
5 marked at your deposition.

6 Do you recall that?

7 A. Yes.

8 Q. We asked you, was it more like Figure 1 or
9 Figure 2. At the time of your deposition, you said, well,
10 actually, it doesn't look like either one of them.

11 Did I get that right?

12 A. Correct.

13 Q. So you couldn't tell whether it was a Figure 1
14 or Figure 2 at the time of your deposition; isn't that
15 right?

16 A. It didn't matter. It didn't matter. I didn't
17 know what it was. It was an altered something. I didn't
18 know what it was.

19 So I was just sort of -- you know, you asked me
20 a question, does it look more like that or like that?
21 Okay. But it's not an analysis of a micrograph as to
22 whether or not you have the four-layer structure. It was a
23 nonsensical exercise.

24 Q. Let's just do a few more for the record. Okay?
25 1147.30 is one of the Volkswagen parts. We can

1 pull up the comparison.

2 This is one of the Volkswagen parts. Again, in
3 you supplemental report, you took us to the Exhibit Z,
4 which supplemented Exhibit U.

5 Do you recall that?

6 A. Yes.

7 Q. This is one of the four you pointed to; isn't
8 that right?

9 A. Yes.

10 Q. Again, this was -- at the time of your opening
11 report, this was -- you were convinced this was covered by
12 the patents; correct?

13 A. Yes.

14 Q. So you were convinced it had the four layers;
15 isn't that right?

16 A. Using other analysis, yes.

17 Q. Okay.

18 A. We concluded that the part was practicing.

19 Q. Well, let's look at what we showed you in the
20 deposition, which is RX-1159.

21 Again, we have put 1159 in front of you. We
22 said, hey, does this -- is this covered by the claims?
23 Does this look like Figure 1 or Figure 2? And again, here,
24 you couldn't --

25 A. No, no, no. Wait a minute. No, that's not what

1 the -- the question was very specific.

2 It was -- the question -- there was never a
3 question about whether we were practicing the claims.

4 The question on the table for all of these
5 exhibits was, does it look -- does this photograph look
6 more like Figure 1 or Figure 2.

7 Q. All right. Well, let's -- I don't want to
8 rehash the analysis that you did in this case, sir, all
9 right. We already went through that. We cited your
10 depositions on that. Okay?

11 With respect to whether this looks like Figure 1
12 or Figure 2, at the time of your deposition, you
13 couldn't -- you didn't think it looked like either figure;
14 isn't that right?

15 A. That's correct.

16 Q. Let's pull up, then, if we can, just to speed
17 this along, RX-1147 next to RX-1160.

18 This is another Volkswagen part, sir.

19 Do you see that?

20 A. Yes.

21 Q. Again, on the left, you said it was covered by
22 the claims, and on the right, you said this didn't look
23 like, to you, either figure; isn't that right?

24 A. Deposition, that's what I said.

25 Q. Now, can I ask you a question in terms of the

1 process.

2 We established earlier that the markings, as I
3 recall, the markings for the SEMs you received from the
4 lab, the labs put the markings on it; isn't that right?

5 A. That's correct.

6 Q. On the left, you said, oh, there's four layers.
7 This is from your expert report. You said there's four
8 layers here; isn't that right?

9 A. Yes.

10 Q. Do you see four layers here, sir?

11 A. Yes.

12 Q. You see the four layers?

13 A. Yes.

14 Q. And you don't see them on the right?

15 A. I don't know what I'm looking at on the right.

16 Q. Well --

17 A. We go down this path again if you want to.

18 I looked at that picture in my deposition, did
19 not know what it was. Did not know if it had been altered
20 beyond having the information at the bottom removed.
21 Didn't know that at the time. Was asked a question, does
22 it look like Figure 1 or Figure 2, and I answered the
23 question to the best of my ability. That's what happened
24 in the deposition.

25 Q. All right. Let me ask you this question:

1 You see on the left, you -- you are telling the
2 Court you see four layers in the SEM micrograph on the left
3 that was attached to your report; isn't that right?

4 A. Yes.

5 Q. You are convinced of that; isn't that right?

6 A. What this picture shows me, yes.

7 Q. But on the right, you just have no idea, whether
8 that's four or not, the image on the right?

9 You don't know one way or the other whether the
10 image on the right has four layers or not; right?

11 A. Well, given the fact that now you have told me
12 what it is, you have told me where it came from, you have
13 basically represented that they are the same, then I would
14 come to the same conclusion.

15 But in the deposition, when I did not have any
16 of that information, and you just threw a picture in front
17 of me, and said, it is more like picture 1 or Figure 2, I
18 gave you a different answer.

19 Q. The one that we do know is that the lab put the
20 1, the 2, the 3, and the 4 on the SEM micrograph on the
21 left; isn't that right?

22 A. I don't know who put that on there because I --
23 at the time of the deposition, I did not know where that
24 picture came from. All I knew is that it was an SEM image
25 that had been altered by virtue of the fact that the

1 information at the bottom had been stripped away from it,
2 so I didn't know what I was looking at.

3 Q. Make sure I'm clear on my question. All right.
4 I don't want to confuse you.

5 I'm talking about the micrograph on the left
6 now. Okay? This is the one that was attached to your
7 expert report.

8 You with me?

9 A. Yes.

10 Q. The lab put the 1, the 2, the 3, and the 4 on
11 here; isn't that right?

12 A. Yes.

13 Q. You don't actually know who at the lab put the
14 1, the 2, the 3, and the 4 there; right?

15 A. I don't think I need to know that.

16 Q. You don't need to know, but you got an SEM, and
17 you told the Court earlier, yeah, you see four layers
18 there, but somebody, we don't know their name, we don't
19 know -- we don't know anything about them, somebody --

20 A. We know something about them. They work at an
21 accredited laboratory. We know they are trained. We know
22 that they are calibrating their instruments correctly. We
23 know all that. That's why we went with accredited
24 laboratories so we could be confident in the quality of the
25 testing that we were getting, and that's how every

1 laboratory works. It's how industry works. It's how the
2 system works.

3 Q. All right.

4 A. So I'm -- once again, I'm highly confident that
5 a very skilled operator of a scanning electron microscope
6 looked at that image, put on those four layers.

7 Q. But one thing we know, though, sir, is that
8 they're the ones that put the 1, the 2, the 3, and the 4 on
9 the micrograph; correct?

10 A. That is correct.

11 Q. It wasn't you?

12 A. That is correct.

13 Q. When you received this micrograph from the lab,
14 it had the 1, 2, 3, 4, and of course, your conclusion is
15 going to be there's four layers here because you told the
16 lab to look for four layers, and they put the 1, the 2, the
17 3, and the 4 there in the micrograph, it was already cooked
18 up, wasn't it?

19 A. No.

20 Well, let me rephrase that.

21 We did tell them that when they're analyzing
22 these structures, they should find four layers, and if they
23 find the four layers, then to make the measurements we
24 requested them to make.

25 So yes, they were looking for four layers,

1 there's no question about that, but we did not tell them
2 they had to find four layers. We just told them that they
3 should be looking for four layers. I admit that freely,
4 that that's what we told them in our protocol.

5 If they found them, then, yes, they identified
6 them, but that -- once again, you know, a laboratory is
7 trying to give us a valid, reproducible -- they're an
8 honest broker of our results, and they gave us what they
9 gave us.

10 But yes, you're right, they did tell us that --
11 you know, we did tell them they should be look for a
12 four-layer structure.

13 JUDGE BHATTACHARYYA: Mr. McKeon, could we take
14 a 15-minute break.

15 MR. MCKEON: Perfect timing, Your Honor. Thank
16 you.

17 JUDGE BHATTACHARYYA: On break for 15 minutes.

18 (A recess was taken at 11:17 a.m., after which
19 the hearing resumed at 11:36 a.m.)

20 JUDGE BHATTACHARYYA: We're still on the public
21 record.

22 MR. MCKEON: Thank you, Your Honor.

23 May I proceed?

24 JUDGE BHATTACHARYYA: Yes.

25 MR. MCKEON: Thank you.

1 BY MR. MCKEON:

2 Q. All right. Dr. Fekete, staying with the
3 domestic industry issues, there are two types of domestic
4 industry products at issue; isn't that right?

5 Well, let me ask a direct question.

6 You're aware, of course, that one of the
7 domestic industry products that the economic experts relies
8 on is DI precursor products. Do you understand that?

9 A. I understand what a DI precursor product is,
10 yes.

11 Q. Okay.

12 Of course, the DI precursor product is a product
13 that hasn't been hot-stamped yet; isn't that right?

14 A. That's correct.

15 Q. So based on the patents in this case, of course,
16 that means that the DI precursor product is not practicing
17 the patent; is that right?

18 A. In the state as they roll off the steel mill
19 processing line, no, they don't practice. They don't
20 practice until they're hot-stamped.

21 Q. They got to be hot-stamped, then you may have a
22 practicing product, you may not, depending on the result?

23 A. Right. Right. Yes, sir.

24 Q. Then, of course, we have the DI direct products;
25 do you understand what I mean by that?

1 A. The hot-stamped products.

2 Q. Yeah, the hot-stamped.

3 A. Yes.

4 Q. Okay.

5 In this case, of course, the DI precursor

6 products are based on Usibor; is that your understanding?

7 A. In part.

8 Q. There's Ductibor as well?

9 A. Other products.

10 Q. And there's another name, I'm forgetting it now.

11 It's luminim (phonetic) or something like that.

12 A. Usibor and Ductibor, and something else.

13 Q. So -- but Usibor and Ductibor are two of the

14 types of the precursor products; isn't that right?

15 A. Yes.

16 Q. In fact, you heard Dr. Drillet testify that

17 Usibor has been around since 2001; isn't that right?

18 A. Yes.

19 Q. It's been hot-stamped since then; isn't that

20 right?

21 A. Yes.

22 Q. You understand that what we have been calling

23 the Tier 1 manufacturers, there are a set of Tier 1

24 manufacturers which were the hot-stampers that actually

25 were subpoenaed in this case and provided information.

1 Do you understand that?

2 A. Yes.

3 Q. There were a whole bunch of others that were not
4 subpoenaed, and we don't know much about them; is that
5 right?

6 A. Well, I wouldn't say we don't know much about
7 them, but they weren't subpoenaed.

8 Q. Okay. We'll talk more about that in a moment.

9 But with respect to the ones that were
10 subpoenaed, it is that universe of products that are part
11 of your test, isn't that right, the ones that were
12 subpoenaed; isn't that right?

13 A. Yes.

14 Q. There's a debate about whether there's 13 or 16
15 products. Let's leave that aside for a moment.

16 It was those set of products that were tested;
17 isn't that right?

18 A. That's correct.

19 Q. Now, as far as we can tell, only three of them,
20 of the Tier 1, three of the seven, provided a list of
21 products that they hot-stamped for the auto manufacturers;
22 are you aware of that?

23 A. I'm sorry. Could you repeat that one?

24 Q. So three of the seven provided lists of the
25 parts that they were hot-stamping for automobile

1 manufacturers.

2 Do you recall that?

3 A. I don't recall that offhand. Is that in my -- I
4 don't. Sorry.

5 Q. We'll look at that. No problem. We can look at
6 that together.

7 But what you do understand is that Gestamp,
8 Magna and Topre, there are at least 150 parts hot-stamped
9 by these three Tier 1 manufacturers; isn't that right?

10 A. I don't know that I have that information.

11 Q. All right. Well, let's just refresh your
12 recollection on that, sir.

13 A. Yes, please.

14 Q. I'll just publish the deposition. 129/15 to 20.
15 Do you see here in your deposition, you agreed that with
16 respect to Gestamp, Topre and Eagle Bend, which is Magna;
17 right?

18 A. Yes.

19 Q. With respect to those three, there's at least
20 more than 150 parts hot-stamped in total; correct?

21 A. Yes. I recall that conversation at the
22 deposition.

23 Q. Okay. Well, we can take that down now.

24 Of course, one of the Topre parts is the Toyota
25 reinforcement part; isn't that right?

1 A. Yes.

2 Q. If we look at the -- why don't we pull up, if we
3 can, CX-341. This is a document -- are you familiar with
4 this document?

5 This is the list of Toyota parts, that Toyota
6 has hot-stamping for; isn't that right?

7 Strike that.

8 This is a list of Topre parts that are
9 hot-stamped by Topre; isn't that right?

10 A. Yes.

11 Q. And you see here there are 35, and I'll
12 represent to you there's 35 in this list.

13 Do you see that?

14 A. Yes.

15 Q. Okay.

16 But only one of the hot-stamped parts from Topre
17 was tested in this case; isn't that right?

18 A. Yes.

19 Q. So of the 35 from Topre, your testing just did
20 one; isn't that right?

21 A. Yes.

22 Q. Okay. That ended up in a Toyota vehicle; isn't
23 that right?

24 A. I believe that's correct.

25 Q. If we put this down, you can see there's Topre

1 parts that also go into Nissan and Honda as well; isn't
2 that right?

3 A. This was the Topre list.

4 Q. Yeah. The Topre list.

5 A. Okay.

6 Q. Okay.

7 So of the 35, your testing was just of one of
8 the parts; isn't that right?

9 A. Right.

10 Q. For Gestamp, we can do the same exercise, but
11 you agree that Gestamp has 16 different hot-stamped parts;
12 isn't that right?

13 JUDGE BHATTACHARYYA: Excuse me. I believe
14 there's an objection.

15 MR. REITER: I'm sorry. We should be on the
16 confidential record.

17 MR. MCKEON: Can we go on the all-parties
18 confidential record.

19 JUDGE BHATTACHARYYA: Move to the all-parties
20 confidential record.

21 Let's wait for the room to clear.

22 Can we proceed?

23 MR. MCKEON: Yeah. Your Honor, yes, we can
24 proceed. On our end. All right.

25 (Whereupon, the trial proceeded in confidential

1 session.)
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 O P E N S E S S I O N

2 BY MR. MCKEON:

3 Q. Now, we have already established with respect to
4 the products that you tested, and if I can get the document
5 camera, the ELMO.

6 Looking at the ELMO in RX-1146.38, this is the
7 chart that you provided in your report.

8 Do you recall that?

9 A. Yes.

10 Q. We have established already that the three Ford
11 parts here don't have the four layers; isn't that right?

12 A. That was -- yes.

13 Q. Okay.

14 Just for our recordkeeping, I'm going to mark --
15 I'm going to highlight -- these are the four -- the three
16 parts that you looked at -- at the testing, and the results
17 was your conclusion that they didn't have the four layers;
18 isn't that right?

19 A. Yes.

20 Q. Those are made by Magna; isn't that right?

21 A. Yes.

22 Q. We already discussed Kia and you said, well, it
23 had four layers, but it didn't have the right thickness.

24 Did I get that right?

25 A. You got that right.

1 Q. Why don't we go back to the laptop here, and
2 look at RX-1146.372.

3 This is the -- this is the SEM that was attached
4 to -- for the Magna Ford parts that you attached to your
5 report; isn't that right?

6 A. Yes.

7 Q. Do you see that?

8 With respect to what we see here, this -- you
9 concluded there was no layers; isn't that right?

10 A. I concluded -- not based on this, individual
11 micrograph, the entire analysis of the data that we looked
12 at came to the conclusion that it didn't practice.

13 Q. Didn't practice the patent, but yet the
14 hot-stamp people -- strike that.

15 The testing lab -- somebody in the testing lab,
16 put these markings on this document, 1146.372; isn't that
17 right?

18 A. That's correct.

19 Q. When they did this, the people in the testing
20 lab were measuring ostensibly thickness of layers; isn't
21 that right?

22 A. Yes.

23 Q. But we don't have the four layers in this case;
24 isn't that right?

25 A. This part, after we considered all of the

1 evidence and all of the data, we concluded that this part
2 did not practice.

3 Q. Okay.

4 Just so I'm --

5 A. We didn't use just this micrograph to come to
6 that conclusion.

7 Q. Again, sir, just to be clear, you keep saying
8 "we," I want to make sure that the "we" is you; right?

9 A. The "we" is me.

10 I apologize for the inconsistency.

11 Q. Well, I want to make sure that the record is
12 clear.

13 A. No. That's great. I appreciate that.

14 Q. Then we can go to 1146.373, which is the second
15 part that's in your Exhibit W to your expert report.

16 This second part also, you concluded, doesn't
17 practice the patent; isn't that right?

18 A. That's correct.

19 Based on all of the evidence that we collected,
20 all of the data.

21 Q. Looks more like Figure 2 than Figure 1; isn't
22 that right?

23 A. Once again, we used a lot of other evidence to
24 come to that conclusion.

25 I didn't look at this particular picture --

1 actually, I might have, but I looked at many pictures, and
2 compared them to the data, and compared them to the claims.

3 The figures are not -- the Figures are a
4 preferred embodiment, but they are not the claims.

5 We were doing an analysis against the claims.

6 Q. Well, I understand that's what you are saying
7 here in court, sir, but when we took your deposition,
8 that's not what you said in your deposition; right?

9 Your deposition was about Figure 1 versus
10 Figure 2.

11 A. But I didn't do any review of the patents in
12 your deposition.

13 I looked at photographs that had been altered,
14 compared them to Figure 1 and Figure 2 from the patent, but
15 they had no relevance because I had no information about
16 them.

17 You didn't supply any information. You changed
18 the pictures.

19 So -- once again, it was not what we based our
20 entire analysis on. So...

21 Q. Let's put away the comparisons that we went
22 through in your deposition.

23 I'm just talking about your analysis in your
24 deposition.

25 You told us what you did for your analysis in

1 this case was you looked at an SEM, and to decide whether
2 it was a practicing product, you compared it with Figures 1
3 and 2.

4 We went through that, and that's the analysis
5 that you conducted; isn't that right?

6 A. It was part of the analysis. It was not the
7 complete analysis. As I'm sure you realize from our direct
8 testimony, we did a lot of other data because in order to
9 do a complete claim construction, you need more than
10 knowing whether a picture looks more like a picture in the
11 body of the patent, or not. That's not how you do the
12 claims construction. You do the claims construction
13 against the claims or --

14 Q. With all respect, sir --

15 A. -- the claim.

16 Q. -- you didn't say that in your deposition. In
17 your deposition --

18 A. You didn't ask me in my deposition -- you did
19 ask me, and I told you, I didn't do it.

20 You did ask me. You asked me, does this
21 picture -- and you -- I don't have the citation because I
22 can't do it, but I remember the conversation.

23 We said -- you said -- or your colleague said,
24 you know, does this picture practice the four-layer patent?
25 I said, no, it does not.

1 Then finally, you started saying, well, does it
2 look more like picture 1 or picture 2, and then I started
3 answering the question, but the answer to whether it
4 practices the four layers is not there.

5 Q. Let's be clear on this.

6 All right, sir, I want to just put this to bed.
7 This is your first expert report. This is what we got in
8 your expert report. We're going back to the document
9 camera, the ELMO.

10 This is what you said in your expert report.
11 You're talking about the VF 8 and the VF 9.

12 Are you with me?

13 A. Yes.

14 Q. "To determine whether the SEM micrographs of the
15 VinFast parts tested according to the protocol developed by
16 Dr. Baskin and me, I compared the SEM micrographs taken of
17 the VinFast parts with the four-layer structure of Figure 1
18 of the asserted patents, which Mr. Drillet confirmed the
19 deposition shows the four-layer structure." (As read.)

20 Did I read that correctly from your report?

21 A. Yes.

22 Q. So before your deposition, in your expert
23 report, you told us that, my analysis consisted of
24 comparing it to Figure 1; correct?

25 A. That -- among other things. You're taking one

1 piece of the analysis out of there.

2 Yes, we did do that, but we did much other work
3 to come to a conclusion about the patents. You will find a
4 number of sections of that report where we looked at
5 compositions, and we looked at thickness layers, we looked
6 at all of the elements of the claim.

7 You can't do that from one micrograph. You
8 can't do it.

9 Q. Let's go through the report as evidence. Sir,
10 this is your report.

11 You cite to Figure 1 of the patent in the
12 report, after that paragraph; correct?

13 A. Correct.

14 Q. Then we have the infamous paragraph where you
15 tell us to go to Exhibit L. "SEM micrographs of the
16 VinFast tested parts -- tested as part of this
17 investigation show the VinFast parts each comprise a
18 coating with four layers (see Exhibit L)." (As read.)

19 Did I read that correctly?

20 A. Yes.

21 Q. Then you specifically referenced the
22 interdiffusion layer.

23 Did I read that correctly?

24 A. Yes.

25 Q. Now, going to page 29 of your report.

1 Are you with me?

2 A. Yes.

3 Q. And you cite an SEM image pointing to an
4 interdiffusion layer from the VinFast SEMs; isn't that
5 right?

6 A. Yes.

7 Q. Then you talk about -- in Paragraph 63, you go
8 on about the composition issues with respect to the layers;
9 isn't that right?

10 A. Yes.

11 Q. That's it for that element; isn't that right?
12 That's what you gave us in your expert report.

13 A. There's more in the expert report than that.

14 Q. Well, with respect to this element, sir, about
15 whether you got the four layers or not, that's what you
16 told us?

17 A. The element in the claim is defined by
18 composition, and you can't even do that from looking at a
19 picture. That's -- this is the point. I'm trying to come
20 to some understanding on this, is that you can -- Figure 1
21 is an image in a patent, but to do the claims analysis, you
22 have to do a lot more work.

23 Q. Let me read it again.

24 "To determine whether the SEM micrographs of the
25 VinFast parts tested according to the protocol developed by

1 Dr. Baskin and me, I compared the SEM micrographs taken of
2 the VinFast parts with the four-layer structure depicted in
3 the Figure 1 of the asserted patents." (As read.)

4 That's what you did in your opening expert
5 report?

6 A. It's true, but it's incomplete.

7 Q. Now, one thing, though, we can agree on is
8 that -- give me a moment here.

9 Going back to the ELMO, sir, the four parts, and
10 you've got three of them that are not covered by the claims
11 of the patent; isn't that right?

12 A. Yes. That's what our analysis showed.

13 Q. We have a total of six Magna parts; isn't that
14 right?

15 A. Yes.

16 Q. And then the Kia is also not covered by the
17 claims of the patent; isn't that right?

18 A. Correct.

19 Q. And that's a Magna part?

20 A. Correct.

21 Q. So four of the six Magna parts are not covered
22 by the claims of the patents; correct?

23 A. Correct.

24 Q. You, in your direct testimony, referred to a
25 manufacturing anomaly.

1 Do you recall that testimony?

2 A. Yes.

3 Q. You said, oh, the Ford parts are manufacturing
4 anomalies.

5 Do you recall that?

6 A. Yes.

7 Q. So what we have in this case, sir, is a
8 situation where we have six parts you tested. Four of them
9 don't practice the patent.

10 So isn't the manufacturing anomaly actually the
11 two parts that do practice the patent, because four of six,
12 majority, don't practice the patent?

13 A. No, that's not accurate.

14 Q. Well, you agree with me that four of six don't
15 practice the patent?

16 A. Yeah. Four parts don't practice.

17 Q. And you're basing your entire opinion on all of
18 these other products out in the world from the seven
19 subpoenaed parties that we went over, and these other 51
20 stampers out there, you're basing your entire analysis --
21 you say, oh, they're all -- these -- they are all
22 practicing the patent.

23 Yet, I have got here right in front of you, we
24 know that four of six Magna parts don't practice the
25 patent; isn't that right?

1 A. That's what our testing showed.

2 Q. Despite that, you're telling the Court -- you
3 want the Court to accept your testimony that all of these
4 other parts out there, from the 51 hot-stampers, and all
5 the other parts that you didn't test from the seven
6 subpoenaed parties, they're covered by the patent.

7 This is what you're telling the Court; isn't
8 that right?

9 A. Yes, that is my opinion.

10 Q. Despite the fact that four of the six Magna
11 parts don't practice the patent; isn't that right?

12 A. Yes.

13 Q. All right. Let's get the laptop.

14 Now, one reason why I believe you said yes to
15 that -- well, let me ask you this question: And one way is
16 to look at slide 184, which is a slide you presented to the
17 Court. Okay? This is CDX-3.184.

18 Do you recall presenting this to the Court?

19 A. Yes.

20 Q. What you showed the Court here in your
21 demonstrative slide at 188 -- actually, this is 184,
22 sorry -- your demonstrative slide at 184, is the two stars
23 indicating to you that the Magna process parameters to be
24 within the process window; isn't that right?

25 A. Yes. The information that they had given us, I

1 showed on the slide previous, on 183, gave the temperature
2 that the furnace was set to, and then what the process time
3 was supposed to be. We translated those numbers to the red
4 stars on this chart.

5 Q. Okay.

6 You believe that if the process parameters are
7 within the process window, that means the Claim 4 structure
8 will be created; correct?

9 A. Just barely, but yes.

10 Q. Thank you.

11 You can take that down.

12 Now, you testified yesterday about some OEM
13 specifications. Do you recall that testimony?

14 A. Yes.

15 Q. There's a lot of OEMs -- by the way, when we say
16 "OEM" in this case, we are talking about the car
17 manufacturers, like GM and Honda?

18 A. Correct.

19 Q. You spent some time talking about OEM
20 specifications.

21 Do you recall that testimony?

22 A. Yes.

23 Q. Just so we're clear on this, of all the OEMs at
24 issue in this case, the only specifications that you rely
25 on with respect to the -- let me reask this question.

1 The only OEM specifications that you cited that
2 you rely on that's going into the evidence in this case is
3 the OEM specification from GM and the one from Honda; isn't
4 that right?

5 A. Oh, and the one from VinFast.

6 Q. And one from VinFast. Okay.

7 So just stick with the DI case, the two that you
8 got are GM and Honda.

9 Did I get that right?

10 A. Correct. I believe we took the Ford one out
11 even though we looked at it.

12 Q. Okay. Well, we can put that aside.

13 A. Right.

14 Q. I just want to focus on GM and Honda, all right.

15 You believe it is the expectation of the OEMs
16 through their specifications for the OEMs to need a product
17 that practiced the patents; isn't that right?

18 A. Yes.

19 Q. In particular, I think you said something about
20 the requirements of weldability and corrosion resistance;
21 isn't that right?

22 A. Weldability and corrosion resistance are
23 benefits that the OEMs expect from the material they're
24 purchasing.

25 They write into the specifications the

1 characteristics of the product that they want in order to
2 bring those benefits to their products.

3 Q. Well, you agree with me, of course, that good
4 weldability does not necessarily lead to the conclusion
5 that the four claimed layers are part of the structure;
6 isn't that right?

7 A. Would you repeat that?

8 Q. There's other ways to get good weldability in
9 this business, isn't that right, other than the four
10 claimed layers of these patents; isn't that right?

11 Are you with me?

12 A. No. I'm not. Not at the moment.

13 Q. Okay.

14 A. I'm trying to parse that question.

15 Q. Let me get a better question, then, okay?

16 You say that -- I believe you said yesterday
17 that because of the invention here, the four layers, you
18 get good weldability. Are you with me?

19 A. Yes.

20 Q. But you agree with me that you can get good
21 weldability through other mechanisms; correct?

22 A. I --

23 Q. This patent, the technology here in these
24 patents is not the only way to get good weldability; right?

25 A. I don't know that I can answer that question,

1 honestly, without thinking about it some more because it's
2 a very complex issue.

3 I mean, you're talking about materials trying to
4 meet a part requirement. There's a lot of moving parts in
5 there.

6 Q. You just can't answer that one way or the other.

7 A. No. Not really, not that --

8 Q. How about corrosion resistance, these patents
9 are not the only way to get good corrosion resistance. You
10 agree with that, don't you?

11 A. Yeah. There's other ways to get corrosion
12 resistance.

13 Q. Now, just to put a pin on it, you got the GM and
14 the Honda spec, we already established that; am I right?

15 You tested Tesla parts; isn't that right?

16 A. Correct.

17 Q. But in your report, you did not consider Tesla
18 OEM specifications; correct?

19 A. That's correct.

20 Q. You tested Chrysler parts; correct?

21 A. Yes.

22 Q. But in your report, you do not consider the
23 Chrysler OEM specifications; correct?

24 A. That's correct.

25 Q. You tested Kia parts, correct, but in your

1 report, you did not consider Kia OEM specifications;
2 correct?

3 A. Correct.

4 Q. You tested Toyota parts; correct?

5 A. Yes.

6 Q. But in your report, did you not consider Toyota
7 OEM specifications; correct?

8 A. Correct.

9 Q. Now, you didn't test any Mercedes-Benz parts;
10 correct?

11 A. No.

12 Q. You didn't cite and --

13 A. That's correct.

14 Q. Correct. Yes.

15 You didn't cite to any Mercedes-Benz OEM
16 specifications either; isn't that right?

17 A. That's correct.

18 Q. But, of course, your technical domestic industry
19 opinion applies to parts that go into Mercedes-Benz
20 vehicles; isn't that right?

21 A. Yes.

22 Q. So you're saying that you don't have SEMs, you
23 don't have analysis of the Mercedes-Benz parts, you don't
24 have OEM specifications, and in your view, the parts going
25 into the Mercedes vehicles practice the patent?

1 A. No, I won't be able to say that in court. I
2 don't know the answer to that. I don't know if they
3 practice.

4 Q. So with respect, is it fair to say, then, with
5 respect to parts you didn't test, and parts where you don't
6 have an OEM specification, is it fair to say you don't know
7 one way or the other whether the parts that go into these
8 vehicles practice the patents?

9 A. Yeah, I don't know that. I don't know that. I
10 suspect that, just because it's that -- that is a -- the
11 benefits of the material are known widely throughout the
12 industry, and the industry has been building a lot of
13 vehicles with those parts because of that, but to know it,
14 no, I do not.

15 Q. A fine point.

16 With respect to the Mercedes-Benz, with respect
17 to Nissan, with respect to Acura, with respect to Cadillac.

18 A. Acura is a Honda company. I would not speculate
19 to that.

20 Q. Acura is a Honda company?

21 A. Acura -- yeah, Acura vehicles are made by Honda?

22 Q. You don't have an Acura OEM spec; is that right?

23 A. No, they would use Honda specs. They wouldn't
24 have their own.

25 Q. How do you know that?

1 A. Experience in the industry.

2 Q. Just speaking by experience that Acura OEM is
3 going to use an OEM from a different brand; is that right?

4 A. It's built by Honda. Honda makes the cars.

5 Q. With respect to Mercedes, with respect to
6 Cadillac, with respect to Acura.

7 A. Cadillac, that's built by General Motors. That
8 was a spec we looked at.

9 Q. You're just saying that based on the OEM spec;
10 is that right?

11 A. Yeah. Cadillacs are built by General Motors,
12 so, sure.

13 Q. Okay. So let's put a fine point on this. Let's
14 take it one at a time. I was trying to short-circuit,
15 but let's be very clear here in your testimony.

16 With respect to Mercedes-Benz, you've already
17 testified, because you didn't do any testing, you don't
18 have OEM spec, you don't know whether the parts going into
19 the Mercedes-Benz practice the patents; correct?

20 A. Correct.

21 Q. With respect to Acura, you don't have OEM spec.
22 You didn't do any testing of the parts. You don't know
23 whether parts going into the Acura vehicle practice the
24 patents; correct?

25 A. Incorrect.

1 Acura vehicle is built by Honda, would use Honda
2 specifications for those parts.

3 Q. Again, you are drawing the conclusion based on
4 another specification from Honda to conclude that the Acura
5 practices the patent; am I right?

6 A. Say again. Sorry.

7 Q. You're drawing a conclusion based on -- you
8 didn't do any testing for Acura; correct? You didn't do
9 any testing for the Acura parts.

10 Are with you me?

11 A. I'm with you.

12 Q. Do you agree with that?

13 A. I'm thinking, sir. I'm thinking.

14 Q. Okay.

15 A. I'm thinking about my answer.

16 Once again, I -- they're built by Honda. I
17 guess that's all I can say. They would be using Honda's
18 material specifications for those parts.

19 Q. You just know that based on your experience, but
20 you don't have evidence to present to this --

21 A. My experience in the industry, yes. That's
22 exactly right. Yes, sir.

23 Q. All right.

24 Let's put a finer point on this.

25 The one spec I know you relied on in this case

1 is the GM spec; right?

2 A. Yes.

3 Q. You told the Court that based on the GM spec,
4 you were able to conclude that the four layers are present
5 in the parts made by GM; is that right?

6 A. Yes.

7 Q. Of course, you tested at least one GM part;
8 isn't that right?

9 A. Yes.

10 Q. But let's focus on the GM spec that you
11 testified earlier to the Court about, and let's pull up
12 CX-638.22.

13 Do you recognize -- well, let's -- do you
14 recognize this as the GM spec?

15 A. Yes.

16 Q. Okay.

17 Of course, you see the diagram that we have
18 brought up here is within the spec; isn't that right?

19 A. Mm-hmm.

20 Q. And you see this spec is very clear that there's
21 an interdiffusion layer; do you see that?

22 A. Yes.

23 Q. Now, but the GM spec does not say anything about
24 the creation of the remaining three layers, the
25 intermediate, intermetallic, and superficial layers;

1 correct?

2 A. You're correct. It does not say that.

3 Q. In fact, we can review your expert report, but
4 in your expert report, you don't cite the GM spec as
5 evidence of any of those layers; do you agree with that?

6 A. Yes.

7 Q. So with respect to the GM spec, then, at least,
8 you can't draw a conclusion that the four layers are
9 present in the vehicles; isn't that right?

10 A. Repeat that question.

11 Q. Just based on the OEM spec, the GM spec you
12 relied on, there's an indication SEM in here that
13 specifically shows products without the four required
14 layers; correct?

15 A. It only discloses the interdiffusion layer and
16 the total thickness. That's what this image discloses.

17 Q. This part, to Chrysler -- I'm sorry.

18 This part to GM is a qualified part. It's okay
19 to put in its vehicles; correct?

20 A. It's a qualified part. It's okay to put in the
21 vehicles, and GM will be looking for the weldability
22 benefit that is being delivered by the product.

23 They aren't going to really write their
24 specification around the patent, but they are going to
25 write a specification that's going to deliver them the

1 benefits of the patented material.

2 Q. Well, GM doesn't care if it's got four layers,
3 three layers, 50 layers, according to the spec; isn't that
4 right?

5 A. They care about getting the good weldability
6 that the patented material will give them.

7 Q. Well, but there's a lot of ways to get good
8 weldability; isn't that right?

9 A. Not really. Not for this product, no. Not for
10 this product.

11 Q. You're saying GM wants good weldability, it says
12 that in their spec; therefore, domestic industry products,
13 they're covered by the patent. Is that what your
14 conclusion is?

15 A. Basically. I mean, it's based on experience in
16 the industry. Once it gets out, once word gets out that we
17 have now a hot-stamped, aluminum silicon coated product
18 with good weldability, everybody's going to want it. I can
19 tell you that for a fact. Everybody's going to want it.

20 Q. All of the products that are out there from the
21 General Motors companies, all of the product lines, based
22 on the spec that doesn't even show the four layers, because
23 they say we want good weldability, you're telling the
24 Judge, all of the General Motors cars out there in the
25 universe practice the patents; correct?

1 A. They are out there getting the benefit -- the
2 weldability benefits of that material.

3 That's what I'm telling the Court.

4 Q. The specification doesn't require the four
5 layers of the patent; correct?

6 A. That is correct.

7 Q. All right.

8 Let's take a look at the Honda spec, which is --
9 well, actually, let's pull up a slide you had on Honda,
10 CDX-1963C.

11 This is one of your slides that you presented to
12 the Court.

13 Do you recall this slide you presented to the
14 judge yesterday?

15 A. Yes.

16 Q. I believe your testimony is you believe that the
17 Honda specification describes the creation of the four
18 layers; is that right?

19 A. Correct.

20 Q. Based on what you told the judge, you could
21 extrapolate that any Honda part would have the four layers
22 because the spec would require it.

23 Is that what you're telling the judge?

24 A. That would be the expectation of the OEMs if
25 they're buying to this specification, yeah, it's going to

1 have four layers.

2 Q. But you didn't tell the judge the whole story on
3 the Honda spec; isn't that right?

4 A. Say more.

5 Q. Well, let's take a look at it.

6 If we could pull up CX-719.

7 This is the --

8 MR. REITER: Your Honor.

9 (Clarification requested by the Realtime Stenographer.)

10 MR. REITER: I'm sorry.

11 We need to go on the confidential record for
12 this document.

13 MR. MCKEON: Okay.

14 JUDGE BHATTACHARYYA: All right. Moving to
15 the -- which party?

16 MR. MCKEON: All parties?

17 MR. REITER: Yeah.

18 MR. MCKEON: All parties, Your Honor.

19 JUDGE BHATTACHARYYA: Moving to the all-party
20 confidential record.

21 MR. MCKEON: All right.

22 I think we can proceed, Your Honor?

23 JUDGE BHATTACHARYYA: Yes.

24 (Whereupon, the trial proceeded in confidential
25 session.)

1 O P E N S E S S I O N

2 REDIRECT EXAMINATION

3 BY MR. REITER:

4 Q. Already afternoon. Good afternoon, Dr. Fekete.

5 A. Good afternoon.

6 Q. Let's start where Mr. McKeon left off about your
7 prior opinion.

8 So do you recall submitting an expert report in
9 a prior case?

10 A. Yes.

11 Q. When was that?

12 A. It was in 2010.

13 Q. Was that case about the patents that are at
14 issue in this case?

15 A. No, it was not.

16 Q. I'll put up -- or put on the ELM0, RX-1144C.

17 Is there the portion of your prior expert report
18 that you were asked questions about at your deposition?

19 A. Yes.

20 Q. Was your opinion in that prior expert report
21 related to the patents here?

22 A. No, it was not.

23 Q. What was it related to?

24 A. It was related to the '805 patent, prior
25 hot-stamping patent from I guess what is now ArcelorMittal.

1 Q. Have you considered your opinions on commercial
2 success in submitting this report 15 years ago?

3 A. Yes, since --

4 Q. With respect to the patents at issue here, what
5 is that opinion?

6 A. Well, I believe there's been commercial success
7 of the product, and it really is founded on the benefits
8 that the patented products are bringing in terms of
9 weldability, corrosion resistance, painted surface
10 appearance -- or painted surface adhesion, yeah, it's been
11 a big commercial success.

12 Q. What is that commercial success attributable to?

13 A. To the patented material, to creating that
14 structure.

15 Q. So you were also asked by Mr. McKeon some
16 questions, I think, about this chart. You went through and
17 highlighted some things, and I want to focus on the Tesla
18 door ring.

19 Do you see that I have circled that on the
20 chart?

21 A. Yes.

22 Q. How many Tesla door rings did you analyze?

23 A. We looked at four.

24 Q. Were they all the same?

25 A. No, they were four different parts.

1 Q. Are any of those parts in this courtroom today?

2 A. Well, yeah, two of them. One behind me, one
3 before we. One's, I think -- this one is the door outer,
4 that one is the door inner. A door --

5 Q. Are those the same parts?

6 A. -- armature inner.

7 What.

8 Q. Are those the same parts?

9 A. No.

10 Q. How many different components make up each one
11 of those parts?

12 A. This one -- that one's five. I think that one's
13 five, too. I'd have to go count them up.

14 Q. Did you just test one piece of those parts?

15 A. No, we -- like I think I said in direct, we
16 had -- those parts are made from five separate blanks, five
17 separate pieces of material that are laser-welded together
18 and hot-stamped.

19 So we would have tested basically five
20 hot-stamped components, and then gone through our test
21 protocol with each one.

22 Q. Did you consider all of the tests from each of
23 the components in your analysis?

24 A. Yes.

25 Q. Put up slide 110 from your presentation. Oh.

1 Do you recognize this slide, Dr. Fekete?

2 A. Yes.

3 Q. I'm just really putting the slide up just so we
4 can all see the three independent claims from the
5 '602 patent.

6 Do you recognize those?

7 A. Yes.

8 Q. You were asked some questions by Mr. McKeon
9 about interdiffusion.

10 Do you recall that earlier this morning?

11 A. Yes.

12 Q. Is there an interdiffusion component besides the
13 layer associated with this claim?

14 A. No. The only reference to interdiffusion is the
15 interdiffusion layer.

16 Q. Okay.

17 But how is the layer -- how is the coating
18 formed?

19 A. The coating is formed through diffusion,
20 interdiffusion between the iron and the aluminum silicon in
21 the coating.

22 Q. Is that in the claim?

23 A. Yes. It's in the claim. It's in the --

24 Q. Does interdiffusion just happen randomly?

25 A. No. It happens as a function of the heat that

1 you put into the material.

2 Q. Does any interdiffusion process result in
3 creation of the four layers required by the claim?

4 A. No. It would need to be a very specific
5 process.

6 Q. You need to know the parameters?

7 A. You need to know the parameters.

8 Q. Let's go to slide 99.

9 Mr. McKeon spent quite a bit of time asking you
10 questions about how you discern the four layers.

11 We all agree the four layers are important;
12 right?

13 A. Correct.

14 Q. Was it just one SEM?

15 A. No.

16 Q. What else?

17 A. Well, we depended on, mainly, the chemical
18 composition data from the SEM, the line scans, which do a
19 better job of relating directly to the patent, because the
20 patent is talking about mean compositions, whether you are
21 talking about an iron composition, aluminum composition or
22 total composition.

23 The chart in the upper right basically shows
24 that in a way that's actually better than the image. The
25 image gives you an idea, because they use back -- in this

1 particular image, they use backscatter electron imagining,
2 which gives you atomic number contrast.

3 So you should be able to see, you know, iron --
4 you know, heavy iron layers versus heavy aluminum layers,
5 you have different images, but that doesn't tell you
6 anything about the chemical composition.

7 The way we got the chemical composition was to
8 actually use the SEM, but we used the electron spectroscopy
9 detector to actually measure those compositions, both in
10 these line scans so you could really visualize where the
11 coating was.

12 And then we did the spot analyses, too, just
13 really confirmative and to answer more clearly the claims
14 of the patent.

15 Q. Why do you have -- under the line scans, why do
16 you have layer (a), (b), (c), and (d) labeled there; why is
17 that there?

18 A. Because that relates to the layers, that are
19 disclosed in the patent. Layer (a) being the
20 interdiffusion layer in this case, layer (b) being the
21 intermediate layer, (c) being the intermetallic layer, and
22 (d) being the superficial layer.

23 Q. Shortly before he sat down, Mr. McKeon was
24 asking about a lot of different OEM specifications. He was
25 talking about Honda, talking about GM. I think there was

1 some discussion between the two of you about whether Honda
2 and Acura were the same.

3 Do you recall that?

4 A. Yes.

5 Q. You worked at General Motors, didn't you?

6 A. Yes.

7 Q. How long did you work there?

8 A. 15 years.

9 Q. Were you involved in any of the specifications
10 at all?

11 A. Yes, I was.

12 Q. How so?

13 A. I wrote some myself.

14 I worked with our sheet metal specialist team
15 and our global steel team to approve some others.

16 We worked with the suppliers to develop the
17 materials.

18 Worked with our product engineers to make sure
19 that the product performance requirements we were writing
20 in the specs would meet their needs.

21 So quite a bit of work around specifications.

22 Q. Those were metallurgical related?

23 A. The ones we worked on, yes.

24 Q. When you wrote or worked on a spec for General
25 Motors, did you write one for Chevrolet, one for Cadillac,

1 one for -- I'm thinking of Oldsmobile, but I know they're
2 no longer around, but --

3 A. Try Buick.

4 Q. Okay. Buick. There we go.

5 Did you write separate ones for each of those
6 different brands?

7 A. No. The General Motors engineering standards
8 were written for the whole company.

9 Q. Did that have anything to do with your
10 explanation to Mr. McKeon about Honda and Acura?

11 A. Yeah.

12 I mean, effectively, it's the same situation.
13 You know, the Honda Motor Company manufactures vehicles
14 under the Honda brand and also under the Acura brand.
15 They're engineered by Honda engineers. The structures
16 certainly are, the power trains are, too.

17 So, you know, I'm very confident in saying that,
18 an Accura vehicle, if you went and found the specs for
19 that, those would be Honda specifications.

20 Q. A lot of questions about whether or not you can
21 conclude, based on the testing of the parts that you've
22 done, that other non-tested parts would be covered by the
23 patent.

24 Do you recall that?

25 A. Yes.

1 Q. Do you stand by your opinion?

2 A. Yes.

3 Q. Why?

4 A. I stand by that opinion, because we looked at --
5 you know, in evidence we have the GM and the Honda
6 specification. I also looked at the Ford specification, to
7 where that one, it does show the four-layer structure in
8 their patent or in their specification documents.

9 Then as I think I said, when I was talking with
10 Mr. McKeon that, you know, the world -- you know,
11 ArcelorMittal made sure the world knew when this material
12 came out, and they made this substantial improvement in
13 weldability and corrosion resistance and paint adhesion,
14 that all of the OEMs knew it.

15 So, you know, I'm -- like I say, highly
16 confident that, you know, if ArcelorMittal or their
17 licensees are manufacturing the precursor materials for
18 hot-stamping, that those hot-stamped parts are very likely
19 to be practicing the patent.

20 Q. I'd like to pull up your expert report, just
21 briefly touch upon some portions of your report that
22 perhaps Mr. McKeon overlooked.

23 So if we could go to page 18 of your report,
24 which is CX-79C.

25 What does page 18 begin with, or there at

1 Section VIII?

2 A. On testing?

3 Q. I'm sorry?

4 A. On testing?

5 Q. Yes, on testing. Why did you include that?

6 A. Well, we were asked -- I was asked to provide an
7 opinion as to whether the VinFast parts, you know,
8 infringed and DI parts practiced.

9 In order to do that, you know, as part of the
10 analysis, we decided to test parts to be able to really do
11 a strong claims -- you know, claim analysis.

12 So that was the methodology that we put together
13 to do reliable testing of all of those parts.

14 Q. Now, was it just taking an SEM picture?

15 A. No.

16 Q. Go to Paragraph 50, please, on the next page.

17 What does Paragraph 50 explain?

18 A. It talks about the fact that, you know, we
19 felt -- I felt it was important that we get reliable test
20 results. Because we're going to be getting a lot of test
21 results. We're going to be relying on those test results.
22 So we want to ensure we're getting, you know, valid and
23 repeatable test results. So we chose to go with
24 laboratories that were accredited to ISO 17025.

25 It's an industry -- it's common -- I read in the

1 report, it's common for the OEMs. I know GM, it was. It
2 was a requirement that our supplier labs be accredited. I
3 think the same for Ford and Stellantis. I don't know about
4 the others. We didn't work too much with them.

5 But, you know, all of the OEMs, as part of their
6 quality systems, would be demanding, you know, reliable
7 test results, and they would be doing some level of
8 understanding whether laboratories were competent to do
9 their testing.

10 So we thought that was important -- I thought
11 that was important here.

12 Q. We talked about OEM specifications for the tech
13 DI. You just explained that. We talked about testing, and
14 I'm going to come back to that in a moment.

15 But I think during your testimony, and you
16 mentioned this to Mr. McKeon, there were -- VinFast
17 documents were produced. So maybe we can look at page 24.

18 Did materials other than just the testing of the
19 parts influence your opinion about whether VinFast
20 infringes?

21 A. Yeah. Just -- and we talked about it a lot on
22 direct, but we looked at control plans. We looked at
23 process flow diagrams for all of the VinFast parts that we
24 tested, and also the same parts or similar parts for the VF
25 9, as part of the analysis.

1 Q. Are some of those documents listed here in
2 this --

3 A. Yeah.

4 Q. -- these footnotes?

5 Let's just briefly turn to -- back to the
6 testing, and this idea that it was just a single SEM. I
7 want to turn to Exhibit 846C, and we talked a lot about
8 that. I believe that's the 7022 part that --

9 A. Yeah.

10 Q. -- you used throughout.

11 So this came up yesterday. It was produced --
12 the first page was produced native.

13 Do you recognize what this is?

14 A. Yes.

15 Q. What is that?

16 A. This is the data report we got from the labs
17 that they reported on an Excel spreadsheet for us.

18 Q. If we go to the next page, what is that?

19 A. Oh --

20 Q. Not of the -- of the -- the next page of the
21 exhibit. Sorry. Yeah.

22 What is that?

23 A. That's pictures of the parts as they were
24 received from the laboratory.

25 Q. We scroll a couple of more pages in. Keep

1 going.

2 What is that?

3 A. That's one of the line scans that we -- that was
4 presented by the lab.

5 Q. Was it just one line scan? We can do another
6 page.

7 A. No. There's a number of line scans in there.

8 Q. Can we kind of scroll through that. We can see
9 each of the page numbers, moving forward.

10 So it's more than just one line scan?

11 A. Yes. Substantially more.

12 Q. Now, there was also a question -- if we can go
13 to Bates Number 2942. This was also in the exhibit.

14 What is this?

15 A. Well, that's a calibration grid for SEM distance
16 measuring application.

17 Q. This was part of what the labs did?

18 A. Yeah. They provided those to us.

19 Q. If we go to the next -- a couple of more pages,
20 we see -- I think what's important, because I'm not going
21 to go through this, but 2944 is the Bates number.

22 Do you see that in the lower right-hand corner?

23 A. Yes.

24 Q. We can scroll through quickly. Go to the --
25 what are all of these?

1 A. These are all of the SEMs that the laboratory
2 took as part of the investigation on this part.

3 Q. We can go to the last one, which is 3033.

4 So 3033, to the first one, I have to admit, I
5 cheated while Mr. McKeon was doing his cross-examination.
6 That's 89 different micrographs.

7 Were all of this part of your report?

8 A. Was all -- yes. It was all part of the report.

9 Q. Now, you didn't look at all of the micrographs,
10 did you?

11 A. No, we didn't look at every one. We looked
12 mainly at the ones that were in the Excel spreadsheet
13 report that we got from the labs.

14 Q. Did you look at every Excel spreadsheet for
15 every part that you considered and you provided an opinion
16 on?

17 A. Yes. Every one.

18 MR. REITER: I have no further questions, Your
19 Honor.

20 JUDGE BHATTACHARYYA: Thank you.

21 MR. MCKEON: Just briefly, Your Honor, may I?

22 JUDGE BHATTACHARYYA: Yes.

23 RE CROSS-EXAMINATION

24 BY MR. MCKEON:

25 Q. Just a couple of questions, all right,

1 Dr. Fekete?

2 I'm a little -- I want to get clarification of
3 your last answer.

4 You said it was all part of your report, what
5 counsel just walked you through.

6 Do you recall that?

7 A. Yes.

8 Q. What you gave us in your report, sir, was a
9 reference to materials considered, which we see here on the
10 desk. That was in Appendix A; isn't that right?

11 A. The references, yes.

12 Q. What you say -- what Mr. Reiter just walked you
13 through, when you say it was all part of your report, it
14 was because in the materials considered attached in Exhibit
15 A; correct?

16 A. Yes.

17 JUDGE BHATTACHARYYA: Thank you.

18 MR. REITER: Nothing further, Your Honor.

19 JUDGE BHATTACHARYYA: All right.

20 Thank you, Dr. Fekete.

21 It's good timing. It's time for lunch. We'll
22 be on break for one hour.

23 (Witness excused.)

24 (Whereupon, at 12:45 p.m., a lunch recess was
25 taken.)

1 A F T E R N O O N S E S S I O N

2 (1:51 p.m.)

3 MR. HERSHKOWITZ: Good afternoon, Your Honor.

4 JUDGE BHATTACHARYYA: Good afternoon.

5 MR. HERSHKOWITZ: Ben Hershkowitz on behalf of
6 Complainants.

7 Two things.

8 We resolved all of the issues regarding the
9 exhibit list from yesterday, so Mr. Lee will move that in.

10 That was our final witness on our case-in-chief,
11 so I will turn it over now to Respondents.

12 JUDGE BHATTACHARYYA: Okay.

13 MR. LEE: Your Honor, may I approach with the
14 parties' agreed list of Day 2 exhibits?

15 JUDGE BHATTACHARYYA: Yes, you may.

16 I have received the list of exhibits entitled
17 "The joint list of admitted exhibits, Day 2."

18 I understand there's no objection to admission
19 of those exhibits into evidence; is that correct?

20 MR. COLAIANNI: That is correct, Your Honor. No
21 objection.

22 JUDGE BHATTACHARYYA: Very well, then. The
23 exhibits on that list are admitted.

24 (Joint List of Admitted Exhibits, Day 2, was
25 received into evidence.)

1 MR. LEE: Thank you.

2 JUDGE BHATTACHARYYA: Thank you.

3 Before we start, I can provide a ruling on the
4 issue regarding the Brown demonstratives.

5 The objection is overruled.

6 MR. COLAIANNI: Good afternoon, Your Honor.

7 Joseph Colaianni for Respondents.

8 We are prepared to present our case-in-chief,
9 and our first witness will be Ms. Lan Anh Nguyen.

10 Ms. Nguyen will be using an interpreter for her
11 testimony.

12 JUDGE BHATTACHARYYA: Okay.

13 Okay. Thank you. Please move to your places,
14 and I will swear in the translator first.

15 Whereupon--

16 PHUONG-ANH NGUYEN,
17 a Vietnamese Interpreter, was first legally sworn to
18 interpret, and continued as follows:

19 JUDGE BHATTACHARYYA: Ms. Nguyen, could you
20 please raise your right hand.

21 Whereupon--

22 NGUYEN THI LAN ANH,
23 having been first duly sworn, was examined and testified as
24 follows:

25 DIRECT EXAMINATION

1 BY MR. COLAIANNI:

2 Q. Good afternoon, Ms. Nguyen.

3 Could you please introduce yourself to Judge
4 Bhattacharyya.

5 A. My name is Thi Lan Anh.

6 Q. What is your current title at VinFast?

7 A. At the moment, I am the chief financial officer
8 of VinFast Auto Limited.

9 And I am also a member of board of directors of
10 VinFast Auto Limited.

11 At the same time, I am also the head of finance
12 of VinFast Trading Production, TSC, in Vietnam.

13 Q. How long have you been with VinFast?

14 A. I joined VinFast in October 2023.

15 Q. What are your job responsibilities in the role
16 that you just described?

17 A. My responsibility is to be in charge of the
18 finance and accounting of the entire VinFast company.

19 Q. Could you tell Judge Bhattacharyya a little bit
20 about VinFast, please.

21 A. VinFast is a company established in Vietnam in
22 the year 2017. We manufacture EV. VinFast have the
23 manufacturing facility in Haiphong, Vietnam, and we are
24 opening another facility in other countries.

25 Q. Approximately how many employees does VinFast

1 currently have?

2 A. At the moment, VinFast has more than 20,000
3 employees worldwide.

4 Q. What are some of VinFast's key markets?

5 A. The main market for VinFast is Vietnam; however,
6 we are expanding other markets also. The other market we
7 are planning to expand into including the US, Canada,
8 Middle East, and other Asia countries.

9 Q. Could you talk a little bit about VinFast's US
10 operations.

11 A. In the US, we have totally four entities.

12 The first one is Vingroup USA, LLC.

13 The second one is VinFast Auto, LLC.

14 The third one is VinFast USA Distribution, LLC.

15 And the fourth one is VinFast Manufacturing US,
16 LLC.

17 Q. At a high level, what do those US entities do;
18 what operation do they perform?

19 A. VinFast imports automobiles from Vietnam, and
20 then distribute to American users.

21 In the US, we have two distribution channels.

22 The first one is we import automobiles from
23 Vietnam, and sold it to the dealership.

24 In the second one, we import, and then we will
25 sell it directly to the end user through our showrooms.

1 Q. Approximately how many VinFast showrooms are
2 there in the United States?

3 A. At the moment, we have 13 showrooms under
4 VinFast in the US.

5 Q. Approximately how many dealers does VinFast
6 associate with in the United States?

7 A. We have 38 dealers in the US.

8 Q. Does VinFast currently manufacture any vehicles
9 in the United States?

10 A. At the moment, we have not yet manufactured
11 automobiles in the US.

12 Q. Do you have plans to do so?

13 A. Yes. We established VinFast Manufacturing US,
14 LLC, in North Carolina.

15 Q. Is there any activities of that LLC in the
16 United States currently?

17 A. This project, with the support of \$20 billion --
18 \$2 billion US of investment, and last year, we came out
19 with the announcement that we will delay this project until
20 the year 2028.

21 Q. What's the reason for the delay of the factory
22 in North Carolina?

23 A. Because we would like to balance our resources,
24 and also focus on the near-future objectives of the
25 company.

1 Q. What are the current VinFast models being sold
2 in the United States?

3 A. At the moment, we have two, VF 8 and VF 9.

4 Q. What types of vehicles are those?

5 A. That is SUV in which VF 8 is a five-seater and
6 VF 9 is seven-seater.

7 Q. Does VinFast offer a warranty program for its
8 customers?

9 A. VinFast provides total warranty for our
10 customers, which is either 10 years warranty or 125,000
11 miles, whatever comes first.

12 Q. For US customers, where does the warranty repair
13 work take place?

14 A. We provide guarantee for the US customer in our
15 service center, and the service center of the dealers in
16 the US.

17 Q. Does VinFast utilize suppliers in its
18 manufacturing?

19 THE INTERPRETER: Could you repeat again.

20 MR. COLAIANNI: Sure.

21 BY MR. COLAIANNI:

22 Q. Does VinFast work with suppliers as part of its
23 manufacturing process?

24 A. Yes. VinFast, the same as other automobile
25 companies, we have source of the part from suppliers.

1 Q. Does VinFast apply any criteria in selecting
2 suppliers for its parts?

3 A. Yes. VinFast has a special process to outsource
4 for suppliers, and we choose among the global supplier what
5 are reputable.

6 We actually source suppliers from the global --
7 we source the supplier from global recognized and reputable
8 suppliers in the world.

9 Q. Does VinFast produce the pre-coated steel that
10 is at issue in this investigation?

11 A. VinFast doesn't produce that kind of steel,
12 coated steel.

13 Q. Does VinFast utilize suppliers for that purpose?

14 A. (In English) Can you say the question again.

15 THE INTERPRETER: Can you rephrase your
16 question.

17 BY MR. COLAIANNI:

18 Q. Does VinFast work with suppliers for purposes of
19 obtaining steel for its cars?

20 A. Yes, we work with the supplier for parts.

21 Clarification: We work only with the supplier
22 for parts, not for the steel material.

23 Q. Do the suppliers provide VinFast parts made of
24 steel?

25 A. Will you clarify your question.

1 Q. Sure.

2 Do VinFast suppliers supply it with parts made
3 of steel to use in assembling its vehicles?

4 A. Yes. We work with the supplier of parts, and
5 those supplier of parts work with the supplier of steel.

6 MR. COLAIANNI: Thank you.

7 I'll pass the witness.

8 JUDGE BHATTACHARYYA: Thank you.

9 We're on the public record, by the way.

10 MS. TABAT: I plan to stay on the public record.
11 I have one exhibit, not witness binders.

12 Julia Tabat for ArcelorMittal, Complainant.

13 May I approach the witness to give her the
14 exhibit?

15 JUDGE BHATTACHARYYA: Yes.

16 MR. COLAIANNI: Thank you.

17 CROSS-EXAMINATION

18 BY MS. TABAT:

19 Q. Good morning, Ms. Nguyen, or good afternoon.

20 A. Good afternoon.

21 Q. I have handed you CX-0066.

22 Do you recognize this as a printout from
23 VinFast's website?

24 A. Yes. Correct.

25 Q. Do you see this printout is dated January 2,

1 2024?

2 A. Yes. Correct.

3 Q. Below that, it says there are five dealers in
4 four states across the country, referring to the US; is
5 that right?

6 A. Yes. Correct.

7 Q. I believe you testified on your direct that
8 there are now about 15 dealers; is that correct?

9 A. The figure you have is from January 2024, and
10 the figure I provided was the most updated information we
11 have.

12 Q. Thank you for the clarification.

13 So it's safe to say that since January 2024,
14 VinFast has expanded its US dealerships; is that right?

15 A. Yes. Correct.

16 Q. I'd like to direct you now to the last sentence
17 of the article before where it says "About VinFast."

18 A. Do you mean highlighted in yellow?

19 Q. That's correct.

20 Do you see that?

21 A. Yes. I see it.

22 Q. There it says that VinFast expects to expand its
23 dealer network to 125 points of sale across the United
24 States.

25 Do you see that?

1 A. Yes. I see it.

2 Q. Is that still what VinFast expects to do?

3 A. The correct number as of this time is 13 retail
4 stores -- 13 dealer store, and the number here you see --
5 13 retail stores is correct.

6 The number you see here, 125 points is for the
7 long-term objective.

8 As of this time, until 2025, we expect to open
9 up to 45 points.

10 Q. Thank you.

11 MS. TABAT: No further questions.

12 MR. COLAIANNI: Nothing further.

13 JUDGE BHATTACHARYYA: Thank you. Thank you,
14 Ms. Nguyen.

15 (Witness excused.)

16 MR. LEE: Good afternoon, Your Honor. Daniel
17 Lee for the Respondents.

18 We would like to call our next witness,
19 Dr. Bradley. We have a demonstrative for Dr. Bradley's
20 testimony, and may I approach the bench to give you the
21 slides?

22 JUDGE BHATTACHARYYA: Yes, you may. Thanks.

23 Good afternoon, Dr. Bradley.

24 THE WITNESS: Good morning, Your Honor. Good
25 afternoon. I'm sorry.

1 JUDGE BHATTACHARYYA: Could you please raise
2 your right hand.
3 Whereupon--

4 JOHN PHILLIP BRADLEY, PhD,
5 having been first duly sworn, was examined and testified as
6 follows:

7 THE WITNESS: I do.

8 DIRECT EXAMINATION

9 BY MR. LEE:

10 Q. Good afternoon, Doctor.

11 A. Good afternoon.

12 Q. Can you please introduce yourself.

13 A. John Philip Bradley.

14 Q. Did you prepare a demonstrative to help with
15 your testimony today?

16 A. Yes, I did.

17 Q. There we go. Is that what we see on the screen?

18 A. Yes.

19 Q. For the record, this is RDX-4.

20 What is your current profession?

21 A. At present, a senior research scientist at the
22 University of Hawaii. I also hold an honorary affiliate
23 faculty position at the National Center For Electron
24 Microscopy at Lawrence Berkeley National Laboratory.

25 Q. What is your educational background?

1 A. I have a BSC and MSc in chemistry from the
2 University of Canterbury in Christchurch, New Zealand, and
3 a PhD in chemistry from Arizona State University.

4 Q. Have you held other roles before?

5 A. Yes, from 2014 to 2022, I was a full professor
6 at the University of Hawaii.

7 Prior to that, from 2002 to 2013, I was the
8 director of a University of California Academic Institute
9 embedded within the Lawrence Livermore national security
10 complex at Livermore, California.

11 Prior to that, 1992 to 2001, I was primarily a
12 technical and scientific consultant to industry and federal
13 agencies.

14 Q. Please tell us about some of your research
15 experiences.

16 A. So some of them are listed here. I will just
17 highlight a few of them.

18 The US Treasury, Bureau of Engraving, I was
19 engaged to examine US currency bank notes, specifically the
20 ink on bank notes. More specifically, in my case, the ink
21 lines on George Washington's coat.

22 For the United States Navy, this is a current
23 project, we're looking at corrosion layers on steel coupons
24 from the Navy's Red Hill fuel storage complex adjacent to
25 their lab at -- on Oahu.

1 For NASA I have been working on space hardware.
2 This is the surfaces of spacecraft and other surfaces
3 exposed to the space environment as well as astromaterials.
4 These include samples of asteroids and comets that have been
5 returned to Earth by Japanese and American spacecraft
6 missions.

7 Q. How many papers have you published?

8 A. In excess of 300.

9 Q. What type of publications have you published in?

10 A. Mainly, mainstream material science,
11 astromaterial science, and environmental sciences. They
12 include at least 20 papers in some of the more prominent
13 international journals, for example, Nature and Science.
14 These three examples here contain papers, which the
15 journals chose to highlight on the front covers of the
16 issues.

17 Q. I see that one of the covers you highlight is
18 the Science cover with the caption, "Stardust."

19 A. Yes.

20 Q. Can you tell us a little bit more about that,
21 please.

22 A. In brief, Stardust was a mission we launched in
23 1999. It traveled to a comet close to the orbit of
24 Jupiter. It used aerogel. You can see an example here.
25 Aerogel cells which is an ultralow density silica, and

1 particles coming out from the comet as the spacecraft
2 traversed the coma, impacted into the --
3 (Clarification requested by the Realtime Stenographer.)

4 A. The coma, the nucleus of the comet.

5 Particles traveling at high velocity impacted
6 into the aerogel, and left these bulbus-shaped tracks which
7 contain cometary materials.

8 We collected a total -- in two minutes we
9 collected a total of about a milligram of a sample at a
10 cost of \$200 million. These samples were returned to
11 Earth, parachuted into Utah and flown over to Johnson Space
12 Center in Houston.

13 Q. Is that what that photo on the left shows?

14 A. Yes.

15 This is the stardust curatorial facility at
16 Johnson Space Center.

17 On the left, you see the collector, this is the
18 two-dimensional array of the aerogel cells. The
19 individuals of the preliminary examination team or the PET
20 team, the job was to remove the sample from the capsule and
21 photo document the cells individually and prepare a brief
22 report about what we were seeing.

23 Q. Who is that young man on the left of the photo?

24 A. That's me in a bunny suit.

25 Q. Seems like it's a while ago?

1 A. Yes. It was. Yes.

2 Q. What happened next after that photo?

3 A. So after returning to our home institutions, we
4 subsequently received samples from NASA of the collected
5 samples. In my case, I was at Livermore, and I received
6 them in the Livermore labs. Which I should point out I'm
7 not an accredited testing facility.

8 Then I proceeded to prepare the samples and
9 analyze them using electron microscopy.

10 Q. NASA didn't require their precious stardust to
11 be tested in an accredited laboratory?

12 A. No.

13 Q. In fact, they trusted you to extract and test
14 the stardust sample.

15 A. Yes.

16 MR. LEE: Your Honor, Respondents tender
17 Dr. Bradley as an expert in the field of microscopy and
18 preparation of samples for electromicroscopy.

19 JUDGE BHATTACHARYYA: Any objection?

20 MR. HERSHKOWITZ: No objection, Your Honor.

21 JUDGE BHATTACHARYYA: At this time, Dr. Brown --

22 THE WITNESS: Bradley.

23 JUDGE BHATTACHARYYA: Sorry. At this time,
24 Dr. Bradley is admitted as an expert in the field of
25 microscopy and preparation of samples for

1 electromicroscopy.

2 MR. LEE: Thank you, Your Honor.

3 BY MR. LEE:

4 Q. Could you please summarize your opinions for
5 this case, Dr. Bradley?

6 A. Yes, they're based on the physical
7 characteristics of multiple samples, for example, I
8 examined the coating microstructure, elemental composition
9 and mechanical strength of samples from a Volkswagen 2006
10 Passat.

11 In addition, I also examined the coating
12 microstructures on multiple VinFast parts, and also the
13 coating microstructures on several technical domestic
14 industry parts.

15 Q. In forming those opinions, what materials did
16 you consider?

17 A. In addition to the physical properties of these
18 samples. I also reviewed a declaration of a Mr. Bartosek
19 from the Roush Corporation. These are the folks that
20 acquired and tore down a 2006 Passat.

21 I had some work performed at IMR, that's an
22 accredited testing laboratory in Portland, Oregon. I also
23 reviewed technical documents, for example, ASTM procedures
24 and the like, as well as additional documents relevant to
25 the ASTM testing I carried out in the case.

1 Q. Those materials are on slide 9; is that right?

2 A. Yes, correct.

3 Q. Let's now talk about your testing methodology.

4 What is the equipment that you show here on
5 slide 11?

6 A. Okay. This is the scanning electron microscope
7 or SEM I used for this investigation.

8 So the SEM enables one to take images of
9 magnifications ranging from about 100 times to in excess of
10 a million times.

11 This particular instrument is manufactured by
12 Thermo Fisher Scientific, one of the major manufacturers of
13 electron microscopes. It's also equipped with an x-ray
14 detector, specifically an energy-dispersive x-ray system or
15 EDS system. That's manufactured by the German company,
16 Bruker. They are also a major manufacturer of x-ray
17 detectors for electron beam instruments.

18 Q. What types of imaging modes do those scanning
19 electron microscopes or SEMs have?

20 A. So when we say SEM image, they're actually
21 multiple types, and I use so-called secondary electron
22 images which primarily provides information about the
23 surface morphology of a sample.

24 I also use backscattered electron images that
25 provides information primarily about the composition of a

1 sample.

2 It does this because the yield of backscattered
3 electrons coming off a specimen under electron radiation
4 depends on the atomic number of the target material. So,
5 for example, a high atomic number, species like iron, will
6 give bright contrast in a backscattered image.

7 Conversely, lighter elements, for example,
8 aluminum silicon, will give less brightness or contrast in
9 the backscattered image.

10 For example, in this backscattered image here,
11 the brightest regions is the middle substrate, which of
12 course is rich in iron, and darker regions within the
13 coating on that substrate usually contain more aluminum and
14 silicon relative to iron.

15 Q. The next slide.

16 What type of maintenance is performed on the SEM
17 that you used?

18 A. So the instrument I used is maintained in a
19 service contract, which means that every three months or
20 so, a service engineer comes out and checks out the
21 microscope. This includes checking out the calibrations on
22 the instrument itself.

23 In this example -- in this slide here, I show
24 two examples.

25 On the left-hand side, we show a certified image

1 calibration standard that enables us to confirm that the
2 magnification reported by the instrument is, in fact,
3 accurate.

4 On the right, we have about a one-inch puck
5 containing elements and compounds of many different
6 compounds -- many different elements throughout the
7 periodic table.

8 Now, these materials have been previously
9 analyzed using other analytical techniques and subsequently
10 certified -- their compositions have been certified. So
11 thus, we use the certified standard to calibrate the
12 detector on the SEM.

13 Q. Independently of the service contract, did you
14 also verify the calibration?

15 A. Yeah.

16 I have a long habit of performing the
17 calibrations myself, as I did in this study.

18 Q. Specifically, what elements did you verify the
19 calibration for for the EDS measurements?

20 A. For x-ray detection, I calibrated specifically
21 aluminum, silicon, and iron.

22 Q. Something you do in the course of your regular
23 work?

24 A. Yes.

25 Q. Now turning to your sample preparation.

1 What were the initial steps for your
2 preparation?

3 A. So Dr. Fekete briefly described the sample
4 preparation earlier this morning, and I use essentially the
5 same preparation with some slight differences.

6 So upon receiving the samples from Roush, the
7 coupons, first thing I did was extracted about a
8 1-centimeter-by-1-centimeter piece of the coated metal.
9 And you can see this in the image on the left. It's
10 mounted on a platform.

11 Now, to look at a surface coating, one needs to
12 present the sample in the scanning electron microscope in a
13 vertical orientation. We use these clips we see here in
14 the image on the left to hold the sample vertically
15 positioned.

16 Now, immediately upon doing that, I attach the
17 label, which you see on the image on the right, to the
18 outside surface of that clip.

19 I did this because that -- there could be no
20 subsequent ambiguity about which sample is which as you
21 proceed downstream with the analyses.

22 Now, I was able to fit up to three different
23 samples on each of those substrates on the left.

24 Once I had done that, I put a sleeve over the
25 platform, and poured in low-viscosity epoxy. That was

1 allowed to cure for about 24 hours at about 45 degrees C.

2 The product of this curing, as seen on the
3 right, this is a solid block containing three deeply
4 embedded metal samples in this case.

5 Note once again, each of those samples is
6 labeled, and that label is also fixed in the epoxy so that
7 there can be no subsequent ambiguity about which sample is
8 which, or any evidence of subsequent tampering, because
9 once you cure epoxy, it cannot be uncured.

10 Q. Once cured, what were the next steps?

11 A. So this is a standard metallographic polisher.
12 You have seen them in laboratories all over the planet.

13 What happens here is the puck is inserted in a
14 holder sitting on a rotating substrate. This is a felt
15 substrate.

16 Then as the felt substrate is rotated, various
17 abrasives are put on the substrate, and they slowly polish
18 the sample downwards through the epoxy overburden, and then
19 deeply into the metal samples within that puck.

20 Now, we start off with a coarse grit, usually
21 silicon carbide, work our way down from 60 microns with
22 various grits, each time taking the sample out after one
23 grit, cleaning it and --

24 (Clarification requested by the Realtime Stenographer.)

25 A. Solvent, and then ultrasonicate, and then return

1 it to the polishing substrate.

2 Finally, we transfer the diamond paste. We
3 start off with 3 microns, then down to 1 microns, and
4 sometimes down to .5 microns. What we end up with is a
5 puck with a highly polished surface with highly polished
6 metal samples, cross-sections exposed at that surface.

7 Q. Why this endeavor to achieve that finely
8 polished surface?

9 A. So this is critical for high-quality SEM
10 imaging, and also for the quality of the x-ray
11 spectroscopy.

12 Q. Once you are done polishing, what additional
13 steps you have taken?

14 A. So on the left, in this image, we see a highly
15 polished puck with two samples, in this case, two pair set
16 samples.

17 Now, the next step is to apply -- there's a nice
18 shiny surface, highly polished surface of the samples.

19 So the next step is then to apply a 3 percent
20 nitric acid solution to the surface of the metals. This is
21 for about 15 to 60 seconds, and you will see a slight
22 change in the color, or the reflectivity of the metal.
23 This is because the acid is etching the steel substrate,
24 and bringing up the indigenous microstructure of the steel.

25 Then the sample is prepared to go into the SEM.

1 Now, epoxy charges under electron radiation.
2 This can degrade the quality of the SEM imagining. So to
3 mitigate this effect, I also apply conductive copper straps
4 on the top of the puck as close as possible to the samples.

5 In addition, I also label the samples on top of
6 the puck. So in the SEM where the view is top-down, I'm
7 able to confirm which sample I'm looking at in the SEM.

8 Q. Now, let's move on to your testing of the 2006
9 Volkswagen Passat. Turning to slide 18.

10 What is the box that you show on this slide?

11 A. So this is a box that I received from the Roush
12 Corporation in October of last year.

13 So when I received the box, I checked it out for
14 any signs of damage or tampering. There were none.

15 Q. Who is Roush?

16 A. Roush are the folks who acquired and tore down
17 the Volkswagen Passat.

18 Q. What did the box that you received from Roush
19 contain?

20 A. It contained seven coupons, metal coupons of
21 various sizes from the Volkswagen Passat.

22 Q. Is that what you show here on the slide 19?

23 A. Yes, correct.

24 Q. How do you know that these metal coupons are
25 that of a 2006 Volkswagen Passat?

1 A. So I reviewed a declaration by a Mr. Bartosek of
2 the Roush Corporation, and I believe he's the individual
3 who physically tore down the Passat and produced these
4 parts.

5 Q. Do you have any additional bases for knowing
6 that this is the coupons off the 2006 Volkswagen Passat?

7 A. Yeah.

8 So subsequent to reviewing the declaration, I
9 spoke to him by telephone, and once again, he confirmed
10 that these were Passat parts.

11 Q. When you say Mr. Bartosek's declaration, is that
12 what you mean that's shown on slide 20?

13 A. Yes.

14 Q. What other information did you learn from the
15 Bartosek declaration?

16 A. They actually purchased the vehicle, they had
17 taken title of it, and the VIN number of that vehicle is
18 shown in the upper right of this slide.

19 Q. For the record, this is slide 21.

20 What did you do with the 2006 Volkswagen Passat
21 coupons that you received?

22 A. So using the sample preparation procedure that I
23 described initially, I prepared two pucks of the Passat
24 sample.

25 The top row shows one puck, which contains the

1 two samples, BL1 and BL2, and the lower row of images shows
2 the second puck, which contains Passat samples BL3, BL4,
3 and BL5.

4 Q. What did you do once you had prepared those
5 Passat pucks?

6 A. So then I examined them in the SEM.

7 Q. What is it that we see on slide 23?

8 A. This is an example. This is Passat sample BL1,
9 this is a backscattered electron image.

10 Q. What is the analysis that you show on this
11 slide?

12 A. On this slide, it's the same field of view, but
13 here, I have measured the thickness of the various regions
14 within the coating throughout -- across that field of view
15 at seven different locations.

16 Q. Does the table on the right of slide 24
17 summarize your thickness measurements that are shown on the
18 left?

19 A. Yes, it does, yeah.

20 Q. Believe these thickness measurements that are
21 summarized in the table to be representative of the image
22 that is on the right -- on the left, rather, which is
23 Exhibit 86?

24 A. I do.

25 Q. For the record, that's RX-86.

1 What do you see on the slide 25?

2 A. So this is a backscattered electron image of a
3 second Passat sample labeled BL5.

4 Q. What analysis do you show here?

5 A. Again, in this field of view, I measured the
6 thickness of the regions at four locations across that
7 field of view.

8 Q. Does the table on the right of slide 26
9 summarize your thickness measurements on the BSE image of
10 BL5 shown on the left, which is RX-174?

11 A. It does, yes.

12 Q. Do you believe these measurements you show on
13 the table to be representative of the coating that is shown
14 on RX-174?

15 A. Yes.

16 Q. What other analysis did you perform on the
17 Volkswagen Passat coupons?

18 A. So if you look at the image on the left, the
19 instrument I used, the x-ray detector, collects a spectrum
20 of every pixel in the image. In this case, there are
21 1200-by-1600 pixels in that image.

22 Once you've done that, you can go and you can
23 ask -- you can draw a box or area, as you see in the image
24 labeled 1 through 4, and you can extract every spectrum
25 from each pixel in that particular box.

1 Then you can sum the spectrum and then quantify
2 the abundances of the elements in each of those areas.

3 So, for example, if we look at Box 4 on the
4 left, the composition of the coating within that box is
5 seen on the table on the right in the first three rows.

6 The composition of the area in Box 4 contains
7 52.41 percent aluminum, 2.63 percent silicon and 44.96
8 percent iron, expressed in mass percent.

9 Q. Does the table on the right of slide 27 reflect
10 the element of compositions for each of the numbered
11 regions shown on the left?

12 A. Yes. That's correct.

13 Q. What do you show on slide 28?

14 A. So the software that comes with the EDS
15 detector, so-called ESPRIT software enables one to also
16 collect line profiles from selected areas on the specimen.

17 In the slide on the left we see an area, a
18 vertical labeled Number 5 from which I expected a line
19 profile. That's seen on the left where yellow represents
20 the silicon in the profile. Blue represents aluminum, and
21 red represents iron.

22 Q. Slide 29, can you please describe the two images
23 you show here?

24 A. All right.

25 So -- right. So these are two backscattered

1 electron images of Passat sample BL1 taken at two different
2 magnifications. So on the left we have a high
3 magnification image at 1200 times X, and on the right we
4 have a much lower magnification image, 650 times.

5 The purpose of these two images is to highlight
6 the post-etching microtexture of the steel substrate.

7 Q. Earlier, you mentioned that you worked with IMR
8 Testing Labs; is that right?

9 A. Correct.

10 Q. What were the work that you commissioned IMR to
11 do?

12 A. They were commissioned to measure the tensile
13 strength of a Passat sample, and also the trace element
14 composition of the base steel after the surface coating had
15 been removed.

16 Q. Is what you show on slide 30 a testing report
17 from the IMR Testing Labs?

18 A. Yes. This is the tensile strength report.

19 Q. Let's talk about the tensile strength results.
20 Can you describe that -- results you received
21 from IMR?

22 A. Yes. So they reported the results in terms of
23 kilopounds per square inch, or ksi. So I used this in a
24 conversion procedure to convert them to SI units, that is
25 pascals. When you look at that conversion, the results are

1 the BL7 has a tensile strength of 1,4034.11 megapascals and
2 the yield strength is 1,0842 megapascals.

3 Q. What were the results of the second measurement
4 that IMR performed on your behalf?

5 A. So these are shown on this slide here. This is
6 the trace element measurement of the base steel after
7 removal of the coating. The results are shown on the right
8 for BL7. These are expressed in mass percent.

9 Q. For the record, that's slide 32.

10 Now, let's move on to your testing of the
11 VinFast parts. Can you please describe the sample
12 preparation process you employed for the VinFast parts you
13 received?

14 A. Yes.

15 So I received another box later on containing a
16 suite of samples of the VinFast samples.

17 Using the specimen preparation procedure I
18 described earlier, I prepared pucks of those samples, and
19 you can see images of them in this -- on this slide, and
20 the identification numbers of each of the samples in each
21 puck are listed below the images.

22 Q. When you received the box, did you inspect the
23 boxes?

24 A. I did indeed.

25 Q. What did you do once you prepared those VinFast

1 SEMs pucks?

2 A. So I took backscattered and secondary electron
3 images of the samples.

4 Q. Is that what you show here on slide 35?

5 A. Yeah. So this is a backscattered electron image
6 of one of the samples of VF BL1.

7 Q. Did you take any additional images?

8 A. Yes, I did.

9 Q. Is this table on slide 36 a summary of the SEM
10 images you have taken?

11 A. Yes. It provides, on the right, the
12 identification numbers of the additional SEM images that I
13 took.

14 Q. Now let's move on to your testing of the
15 technical domestic industry products. Slide 38.

16 Can you please also describe the sample
17 preparation steps that you have taken for the technical
18 domestic industry products?

19 A. Yes. So these are Gestamp and Topre products
20 that I received at some point. Again, using the sample
21 preparation procedure I described earlier, I prepared pucks
22 with these samples. The identification numbers of the
23 samples on each puck are shown above the images.

24 Q. Next slide. How about these pucks?

25 A. Yes. These are pucks containing samples I

1 received of Magna and Cosma products. Again, the
2 identification numbers of these samples in each puck are
3 shown before the images.

4 Q. For the record, that's slide 39.

5 On slide 40, can you describe the pucks you show
6 here?

7 A. Now, these are Simwon products. Again, using
8 the same procedure to prepare the specimens. I embedded
9 them in these pucks. I polished them. The identification
10 numbers of each of the samples in the puck is shown above
11 the images.

12 Q. Lastly on slide 41, can you please describe
13 these pucks.

14 A. Yes. These are Cleveland-Cliffs products
15 prepared and examined in the same manner.

16 Q. Please describe the two images you show on
17 slide 42.

18 A. So on the left is a backscattered electron image
19 of a Simwon product. On the right is the backscattered
20 electron image of a Cleveland-Cliffs product.

21 Q. Any additional images of those pucks you
22 prepared?

23 A. Yeah.

24 MR. LEE: Your Honor, at this time, we would
25 like to briefly move on to the confidential record.

1 JUDGE BHATTACHARYYA: Is it the all-parties
2 confidential record?

3 MR. LEE: It's all-parties confidential.

4 JUDGE BHATTACHARYYA: We're moving to the
5 all-parties confidential record.

6 (Whereupon, the trial proceeded in confidential
7 session.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 MR. HERSHKOWITZ: We're good on the public
3 record. Thank you.

4 CROSS-EXAMINATION

5 BY MR. HERSHKOWITZ:

6 Q. Good afternoon, Dr. Bradley.

7 A. Hello.

8 Q. So I'm Ben Hershkowitz. I'm counsel for the
9 Complainant, ArcelorMittal, and I just have a couple of
10 questions for you.

11 A couple means more than two in this case.

12 A. Right.

13 Q. Before we begin, I just want to clarify a few
14 points of terminology with you.

15 You used the word "coupon" to refer to the
16 pieces of metal that were sent to you; correct?

17 A. Correct, yes.

18 Q. You used "sample" to refer to those smaller
19 slivers that you had then taken out of those coupons;
20 correct?

21 A. That's correct, yes.

22 Q. Thank you.

23 Turning now to your testing that you performed
24 on the Passat. You tested what you understood to be
25 coupons from the B-Pillar of the 2006 Passat; correct?

- 1 A. That's correct, yes.
- 2 Q. You did not test coupons from any other part of
3 the 2006 Passat, not the A-Pillar, for example?
- 4 A. I don't believe so, no.
- 5 Q. Not the roof rail?
- 6 A. Correct.
- 7 Q. It was just that one B-Pillar?
- 8 A. I believe so, yes.
- 9 Q. You were not involved in the acquisition of the
10 Passat; is that correct?
- 11 A. No.
- 12 Q. In fact, you had not personally seen the Passat
13 before you performed your testing; is that correct?
- 14 A. Correct. Yes.
- 15 Q. You don't know whether the B-Pillar you tested
16 was in the Passat when that vehicle was originally sold?
- 17 A. Correct.
- 18 Q. You did not contact Volkswagen about the Passat?
- 19 A. No.
- 20 Q. You did not speak with the first owner of the
21 Passat?
- 22 A. No.
- 23 Q. You didn't speak with any subsequent owners of
24 the Passat; correct?
- 25 A. No.

1 Q. You don't know whether, for example, the first
2 owner of the Passat may have replaced a part in the
3 vehicle?

4 A. No.

5 Q. You don't know whether any subsequent owner may
6 have replaced a part in the vehicle?

7 A. No.

8 Q. The part you received, it didn't have a VIN
9 number or anything on it?

10 A. No.

11 Q. As a matter of fact, it didn't have any
12 identifying mark indicating that it would have been an
13 original part that was in that vehicle?

14 A. Correct.

15 Q. Dr. Bradley, now, I understand you have a lot of
16 expertise, I'm not trying to cast any aspersions, but
17 you're not an expert in the manufacture of automobile
18 parts; correct?

19 A. Correct.

20 Q. So you're unable to confirm, just looking at
21 that B-Pillar, whether or not it was the original part that
22 was in the vehicle?

23 A. Correct.

24 Q. You were not personally involved in the teardown
25 of that Passat; correct?

- 1 A. Correct.
- 2 Q. You were not involved in deciding which part of
3 the Passat should be cut up for testing; correct?
- 4 A. Correct.
- 5 Q. Instead, it was a company, I believe, Roush
6 Industries, I may be mispronouncing that, that you
7 understand performed the teardown?
- 8 A. Yes.
- 9 Q. That's the company that Mr. Bartosek, I believe
10 you had indicated --
- 11 A. Bartosek, yes.
- 12 Q. Bartosek. I will make that mistake repeatedly,
13 but I will try.
- 14 You were not involved in selecting where the
15 coupons should be taken from from that pillar, were you?
- 16 A. Correct.
- 17 Q. You don't know who decided which coupons that
18 were labeled on that part should be sent to you; correct?
- 19 A. Correct.
- 20 Q. You did not provide any instructions to Roush or
21 Mr. Bartosek on how they should tear down the car; correct?
- 22 A. Yes. Correct.
- 23 Q. You did not watch the teardown of the car?
- 24 A. No.
- 25 Q. Rather, I believe you had indicated you relied

1 on a declaration from Mr. Bartosek to describe what he had
2 done with respect to the teardown; correct?

3 A. A declaration, plus a telephone conversation I
4 had with him.

5 Q. Thank you.

6 I'd like to, assuming I hit the right button,
7 put on the screen just Paragraph 7 from RX-85, which is the
8 Bartosek declaration.

9 Did I hit the right button, please?

10 This was the Bartosek declaration that you had
11 attached to one of your reports?

12 A. I believe so, yes.

13 Q. If we could just go to Paragraph 7, please, just
14 the text of that. Right at the top.

15 So I've got on the screen right now,
16 Paragraphs -- just the text, please.

17 This declaration says that, below, further in
18 Paragraph 7, are photos that were from the Passat?

19 A. You mean this particular photo is from the
20 Passat?

21 Q. Yes.

22 A. Yes.

23 Q. You see on that, what's represented to be the
24 B-Pillar, there are markings in, looks like, indelible
25 marker.

1 Do you see that?

2 A. I do, yes.

3 Q. So if we can turn now to the page ending -- I
4 think it's just the next page. If we could go there,
5 please. Actually, go back a page.

6 Do you see -- if you can zoom in, please, just
7 to the very middle.

8 Do you see there are two adjacent squares? I
9 believe they say on them, BL3 and BLV3.

10 Do you see that?

11 A. Yes. I think you have better eyesight than I
12 do.

13 Q. I know. Our eyes -- that's why we all need
14 glasses.

15 A. I can't read the one on the right. The one on
16 the left is BL-something.

17 Q. Will you accept my representation, it says BLV
18 and 3 and BL3?

19 A. Sure. Yeah.

20 Q. Actually, if you could scroll up. That's
21 actually not the right spot. It is right where the --
22 where that little cutout is. Looks like -- there we go.
23 It's not going to do much better, but that is BL3 and BLV3.

24 You assume that the area labeled BL3 refers to
25 coupon BL3 that you had received in that package?

1 A. I would assume so, yes.

2 Q. You see, too -- if we scroll back out again,
3 please -- to the left -- well, actually, it's to the right
4 because mine's upside-down.

5 To the right of that square labeled BL3 is an
6 area labeled BL9, that sort of funny-shaped all the way on
7 the right?

8 A. So would you mind indicating it with a pointer.

9 Q. If I had one, I would.

10 We can just go to the next page. I'm told
11 there's a more zoomed-in picture. It's the picture on the
12 upper right, please.

13 Can you see that there's a label there, BL9?

14 A. Yes, I can.

15 Q. Below that area square labeled BL3, you see
16 there's one labeled BL8 that's directly below it?

17 A. Can you point to that, please.

18 Q. With this thing or that. There we go.

19 A. Yes.

20 Q. Okay. Wonderful.

21 If we can now turn to the top left image that's
22 on that page, please. If you can zoom in on that.

23 Toward the bottom of that image, there are two
24 adjacent squares next to each other labeled BL1 and BLV1.

25 Do you see those?

1 A. Yes, I do.

2 Q. Above those squares, there is a square labeled

3 BL6.

4 Do you see that?

5 A. Yes, I do.

6 Q. Now, finally, let's turn to the bottom image,

7 please.

8 You see there's a long rectangle in between

9 those two holes labeled BL7.

10 Do you see that?

11 A. I do.

12 Q. To the right of the rectangle labeled BL7, there

13 are two squares that are labeled BL5 and BLV5?

14 A. Yes. Correct.

15 Q. If we could take that down, please.

16 So in sum, this part has squares that are

17 labeled BL1 through 9, that part, and also squares or

18 shapes, I should say, labeled BLV1 through BLV5; correct?

19 A. Yes. Correct.

20 MR. HERSHKOWITZ: If we could put up -- and,

21 Your Honor, I'm sorry, I forgot to actually hand it to you,

22 but we do have a demonstrative.

23 May I approach?

24 JUDGE BHATTACHARYYA: Yes.

25 BY MR. HERSHKOWITZ:

1 Q. Please go to the second slide.
2 So on this slide, you see those were all of the
3 labels for potential coupons that were on that part.
4 Do you see that?
5 A. Correct.
6 Q. In fact, you did not receive coupons that were
7 labeled with the BLVs, the BLV1 to 5; is that correct?
8 A. Yes. With the caveat that I have no firsthand
9 knowledge where the coupons were extracted. I did not see
10 coupons with those labels.
11 Q. You anticipated my next question, so we can move
12 on. We're making great progress.
13 Do you know whether the "V" in "BLV" stands for
14 VinFast?
15 A. No.
16 Q. Do you know whether or not any of the BLV
17 coupons were actually sent to counsel for VinFast?
18 A. No.
19 Q. So you don't know whether they tested them
20 before sending you coupons to test?
21 A. No.
22 Q. So you wouldn't know whether or not, if they did
23 testing, they may have receive different results than the
24 results that you got?
25 A. Possible.

1 Q. Do you know whether VinFast had tried to
2 identify portions of that B-Pillar that were not
3 representative for you to test?

4 A. No.

5 Q. In addition to not receiving the BLV coupons, I
6 don't believe you received BL6 or BL8; is that correct?

7 A. I believe so.

8 Q. You had received BL1 to 5, 7 and 9 from those
9 coupons; is that correct?

10 A. Correct.

11 Q. So these are the coupons that you received, and
12 then my understanding is you didn't test BL9 at all; is
13 that correct?

14 A. I'm not sure I had BL9.

15 Let's see. I had 1 through 5. I'd have to look
16 at my expert report.

17 Q. I can pull up your deposition testimony, but I
18 will represent to you, at that deposition, we asked, did
19 you -- do you believe you retained coupon BL9 for yourself?

20 Yes.

21 But you did not test BL9; right?

22 You answered, "Correct."

23 A. Yeah, it sounds like I had that coupon, and I
24 did not test it, correct.

25 Q. Okay.

1 You sent BL7 off to a lab called IMR for
2 testing; is that correct?

3 A. Correct.

4 Q. You relied on IMR to perform the base steel, I
5 think I have this right, elemental composition testing?

6 A. I did.

7 Q. You relied on IMR to perform the mechanical
8 strength testing?

9 A. I did.

10 Q. But you did not personally perform all of the
11 testing that you discussed today with counsel for VinFast
12 on your direct testimony?

13 A. Can you run that by me again, please.

14 Q. So you did not perform all of the testing. You
15 relied on IMR for certain of the testing?

16 A. Correct.

17 Q. You did not personally oversee IMR's testing of
18 that BL7 part?

19 A. Correct.

20 Q. But you trusted IMR to perform these tests
21 properly; correct?

22 A. Correct. I had vetted them very carefully, and
23 the message I got from people that do this kind of work,
24 that they were the go-to lab on the West Coast for rapid
25 turnaround analysis.

- 1 Q. You trusted them because of their reputation?
- 2 A. In part, yes.
- 3 Q. And because they're an accredited laboratory?
- 4 A. In part, yes.
- 5 Q. Just to confirm, you never prepared a sample
- 6 from that BL7 coupon for the testing that you did?
- 7 A. Correct.
- 8 Q. So you have no images of the coating or
- 9 measurements of coating thickness on the BL7 sample; is
- 10 that correct?
- 11 A. Correct.
- 12 Q. So if we go to the next slide.
- 13 So to summarize, these are the coupons that were
- 14 tested either by you or by IMR?
- 15 A. Some of them I tested myself. One they -- the
- 16 BL7, they tested by IMR.
- 17 Q. Right, you tested 1 to 5?
- 18 A. Not all of them, as far as I know.
- 19 Q. Thank you.
- 20 Now, when you did your testing, you were not at
- 21 an accredited laboratory?
- 22 A. Correct.
- 23 Q. You were not an employee of an accredited
- 24 laboratory?
- 25 A. Correct.

1 Q. You didn't write a testing protocol in advance
2 before you started your testing?

3 A. I used a protocol used by myself and your
4 colleague on your side that's been around for about 70
5 years using standard metallographic preparation of
6 equipment.

7 Q. Okay.

8 You didn't provide a written protocol over to
9 IMR, did you?

10 A. No.

11 Q. You did not speak to Dr. Brown about your
12 testing protocol before --

13 A. No.

14 Q. Okay.

15 And the samples that you tested, you performed,
16 again, technical term, and I don't have the metallurgy
17 degree -- scanning electron microscopy or SEM of all five?

18 A. Yes.

19 Q. Thank you.

20 Can I just call it an SEM?

21 A. Sure.

22 Q. It will help us all a lot.

23 This SEM resulted in you collecting images of
24 the samples?

25 A. Right.

1 Q. And specifically, you collected the SEM images,
2 and something called backscatter electron images?

3 A. Correct.

4 Q. Those images were collected, I think the word
5 that I had heard was in pairs or twins?

6 A. Correct.

7 Q. You collected, I believe you said, at least five
8 image pairs of each of the Passat samples that you
9 imagined. So samples 1 to 5?

10 A. Correct.

11 Q. But you may have taken more than those five
12 images for some of the samples?

13 A. Correct.

14 Q. During your deposition, you couldn't remember
15 exactly how many of the images you had taken?

16 A. Correct.

17 Q. You also couldn't say whether each image you had
18 collected as part of your testing had actually been
19 produced over to ArcelorMittal?

20 A. Correct.

21 Q. Indeed, you agreed, I believe, that not all of
22 the testing that you performed for this investigation is
23 discussed in your report?

24 A. Correct.

25 Q. Today I think you really discussed, of the

1 testing that you personally did, from the coupons, just
2 from coupons BL1 and BL5.

3 Do I have that right?

4 A. BL1 through BL5.

5 Q. Okay.

6 But today -- I'm sorry, correct.

7 In addition to -- in your report, though, when
8 you analyzed them, you only analyzed images from BL1 and
9 BL5 in your report?

10 A. That's correct.

11 Q. In addition to the SEM, you also measured the
12 thickness of the sample coatings; correct?

13 A. I did.

14 Q. All of the measurements of thickness that you've
15 taken for this investigation are reflected in your reports?

16 A. I believe so, yes.

17 Q. So in your opening report, just to summarize,
18 you had only reported thickness measurements from samples
19 taken from coupons BL1 and BL5; correct?

20 A. Correct.

21 Q. So you did not report the thickness measurements
22 on every image you took from the 2006 Passat?

23 A. Correct.

24 Q. You also collected, and, again, you will excuse
25 my pronunciation, energy-dispersive x-ray spectroscopy,

- 1 EDS, did I get that close?
- 2 A. (Nods head up and down.)
- 3 Q. Wonderful.
- 4 Spectra and maps, certain samples from what you
- 5 understood to be the Passat; correct?
- 6 A. Correct.
- 7 Q. And specifically, in your report data, from EDS
- 8 maps only for a sample cut from BL1, you only gave that EDS
- 9 data for BL1 in your report?
- 10 A. Probably -- I'm not sure, but I think so.
- 11 That's probably correct.
- 12 Q. If we could go to the next slide, slide 5,
- 13 please.
- 14 So to just summarize, so out of the coupons,
- 15 this is basically the work that was done: You did SEMs on
- 16 at least 1 to 5, and you had sent out sample 7 for testing
- 17 by IMR.
- 18 A. Yes. Correct.
- 19 Q. Go to the next slide, please.
- 20 In your report, what you discussed is you
- 21 discussed the SEM for BL1 and 5, the EDS for BL1, and then
- 22 the rest of the data you rely on the testing by IMR on BL7?
- 23 A. Correct.
- 24 Q. Go to the next slide, please.
- 25 So if we take a look at as to where those

1 samples were, going back to that B-Pillar. So to have it
2 correct, so the EDS and the SEM were on BL1, which is down
3 at the bottom of the -- I think this may be upside-down, so
4 maybe the top, but at the bottom of this image, on what is
5 the B-Pillar; correct?

6 A. Yes. Correct.

7 Q. For BL5, it's all the way up on the top left;
8 correct?

9 A. Yeah. That's right.

10 Q. For 7, BL7, that's also up at the top, above
11 that line, that sort of appears on the part?

12 A. Yes. That's correct.

13 Q. Do you know how big a B-Pillar is?

14 A. No.

15 Q. Would it surprise you if it could be 5 feet
16 long?

17 A. No.

18 Q. We have some examples of what a door ring is
19 here.

20 A. That's just a monster.

21 Q. So the samples that were taken, BL1 could be 4,
22 5 feet away from the samples at the top of this figure?

23 A. That's possible.

24 Q. You did not speak with Mr. Bartosek at Roush
25 before you submitted your reports; is that correct?

1 A. Correct.

2 Q. You had not spoken to anybody else at Roush
3 prior to submitting your reports?

4 A. Correct.

5 Q. In fact, you testified you would not have
6 wanted, in deposition, to speak to Mr. Bartosek before
7 submitting your reports?

8 A. You know, I didn't think it was necessary,
9 frankly.

10 Q. I believe during your deposition, you testified
11 you did not know what a tailor-welded blank is?

12 A. Correct.

13 Q. You don't know whether the 2006 Passat B-Pillar
14 is a tailor-welded blank?

15 A. Correct.

16 Q. So you don't know whether the 2006 Passat
17 B-Pillar is a single hot-stamped part; correct?

18 A. Correct.

19 Q. Did you hear the testimony from Dr. Fekete
20 earlier today and yesterday?

21 A. Doctor --

22 Q. Fekete?

23 A. Fekete.

24 Q. Fekete. Fekete. I may have that one wrong,
25 too, even though it's not a technical term.

1 A. I did, yes.

2 Q. All right.

3 Are you aware that he testified that B-Pillars
4 can be made up of multiple steel parts or components?

5 A. I may have heard that, yes.

6 Q. You're aware that Dr. Fekete worked as an
7 engineer at GM for 15 years?

8 A. Yes.

9 Q. You have no basis for disagreeing with him about
10 the -- that a B-Pillar can be made up of multiple
11 components or steel parts?

12 A. Well, I have no reason to doubt him.

13 Q. But you don't know whether that B-Pillar that
14 you analyzed was made up of multiple components or multiple
15 parts?

16 A. Correct.

17 Q. But you don't even know whether BL1 and BL7
18 which were at opposite ends were from the same steel part?

19 A. I believe they're from the same pillar, but
20 beyond that, I have no information.

21 Q. Again, you don't have any testing data
22 indicating whether BL1 or BL7 are made from the same steel
23 because you only sent BL7 out for testing?

24 A. Correct.

25 Q. Nor do you have any testing data whether they

1 have the same coating, because you didn't test the coating
2 on BL7?

3 A. Correct.

4 Q. Now, you also discussed today results from the
5 EDS maps that you collected of the Passat B-Pillar; am I
6 correct?

7 A. Correct.

8 Q. I'm going to go to the old-fashioned way and use
9 the ELMO. I don't even know if it's still called an ELMO.
10 So, Dr. Bradley, this is, I believe, slide 28 of
11 your opening slide deck.

12 Do you recall that?

13 A. Yes, I do.

14 Q. This graph represents a line profile or line
15 scan of the elemental composition found within the EDS map
16 that you had run for that sample 1?

17 A. Can you repeat that, please.

18 Q. So you had run this line graph test on the BL1
19 sample; am I correct?

20 A. Yes.

21 Q. Okay.

22 At the top of this graph, if we could just --
23 are you able to zoom in or do I have to do it? I think I
24 have to do it.

25 So you had -- at the top of this, you had

1 labeled these horizontal arrows 1, 2, 3, 4?

2 A. Yes.

3 Q. Those arrows correspond to what you had

4 indicated were interfaces that exist between the four

5 regions of the coating?

6 A. The arrows -- the arrows -- yes. The arrows

7 show changes in the composition to those points along the

8 profile.

9 Q. You -- the red line that appears on this graph,

10 that corresponds to iron?

11 A. Yes.

12 Q. And the -- well, I think it's supposed to be

13 blue, but I'm not sure that that's the color that's showing

14 up.

15 We'll call it the gray line --

16 A. Yeah.

17 Q. -- represents aluminum?

18 A. Correct.

19 Q. The orangey-yellow line, depending on one's

20 monitor, is for silicon?

21 A. Correct.

22 Q. Did you draw those vertical lines?

23 A. Correct.

24 Q. Okay.

25 You selected for the vertical lines where the

1 iron and aluminum lines, composition lines, crossed?

2 A. In part.

3 Q. So you had drawn a horizontal line, for example,
4 between where -- if we go between 1 and 2, where, for
5 example, the iron dipped below the aluminum?

6 A. Yeah. Yes.

7 Q. Then you drew another vertical line where -- now
8 between 2 and 3, where the iron went above the aluminum?

9 A. Yeah. It was an inflection point, yes.

10 Q. You see in that region, it also has more
11 silicon?

12 A. Yes.

13 Q. Then you drew another horizontal line between
14 the numbers 3 and 4, where, again, the iron has now dipped
15 back below the aluminum?

16 A. Correct.

17 Q. The silicon has, again, dropped?

18 A. Yes.

19 Q. Then you drew a line at the very end, where the
20 iron and aluminum cross again.

21 Do you see that?

22 A. Correct.

23 Q. But just to the right of that, the iron and
24 aluminum cross also.

25 Do you see that?

1 A. Yeah.

2 Q. Just right over here.

3 A. Yes, yes.

4 Q. I think my finger is wider than the --

5 A. I can see it.

6 Q. -- what was it, the 60 microns of a human hair?

7 A. Some hair, yes.

8 Q. All right.

9 But you didn't draw a line there?

10 A. No. I could have, I guess.

11 Q. Okay. So let's move on.

12 You discussed earlier your measurement of

13 coating thickness for the Passat; am I correct?

14 A. Correct.

15 Q. For the BL1, I believe you took seven different

16 coating thickness measurements. I can put it up if it's

17 easier.

18 A. That's all right.

19 That's correct, yes.

20 Q. Okay.

21 For coupon BL5, you took four?

22 A. Correct.

23 Q. So you took a different number for those two,

24 BL1 and BL5?

25 A. Correct.

1 Q. You had testified, I believe, that you took
2 fewer measurements of the coating thickness if, in your
3 opinion, there was less variation in the total thickness of
4 the coating?

5 A. Yes. The more thickness variation in the
6 coating across the field of view, of course, the more --

7 Q. And you --

8 A. -- measurements I made, yes.

9 Q. Sorry. I didn't mean to interrupt.

10 A. All right.

11 Q. You used your personal judgment to determine
12 whether or not there was that variation?

13 A. Correct.

14 Q. When you put those lines, you didn't space them
15 evenly?

16 A. What are you talking about?

17 Q. What you talk about the measurements -- I'm
18 sorry. Why don't I put it up to make it easier.

19 So, for example, these lines are not evenly
20 spaced?

21 A. That's correct, yes.

22 Q. Okay.

23 You used your personal judgment to determine
24 where to put those measurements?

25 A. Yes. Based on my experience doing this sort of

1 thing.

2 Q. Now, let's switch gears, and talk about your
3 testing of the VinFast parts.

4 So you had indicated, I believe, in your direct,
5 that you had tested coupons from four different VinFast
6 parts?

7 A. I don't recall. That sounds about right.

8 Q. Besides the four parts that you had listed --
9 and I can pull it up from your report. If we can bring up
10 CX-20 -- I'm sorry, CPX-86, Paragraph 12. Right there.

11 These are the, I believe, four parts that you
12 had tested; is that correct?

13 A. Right.

14 Q. Besides those four parts that are listed in
15 Paragraph 12, you did not conduct tests of any other
16 VinFast coupons or parts?

17 A. Not that I recall.

18 Q. Are you aware that ArcelorMittal, that we had
19 tested 16 distinct VinFast parts?

20 A. Who is that, please?

21 Q. The Complainant.

22 A. Oh.

23 Q. We had tested 16 different parts.

24 A. No.

25 Q. Dr. Fekete. Thank you.

1 If we could just put up the demonstrative
2 slides, slide -- I believe it's 8.

3 Are you aware that these are all of the parts
4 that were tested by Dr. Fekete?

5 A. No.

6 Q. If we could go to the next slide.

7 But you understand that you only tested those
8 top four parts?

9 A. I believe so, yes.

10 Q. So as a result, you don't have any test data on
11 any of the other eight parts that have a "no" next to them?

12 A. Oh, no. I don't believe I received them.

13 Q. You can take that down, please.

14 Let's turn back to the four parts that you did
15 test, sir.

16 You did not include with your report on the
17 VinFast parts any statement or declaration from
18 Mr. Bartosek explaining how he had cut those coupons from
19 the VinFast parts?

20 A. I believe that is true, yes.

21 Q. You did not speak to anybody at Roush before
22 receiving the coupons from those VinFast parts?

23 A. Correct.

24 Q. You did not provide instructions to Roush on
25 whether to remove these coupons from the VinFast parts?

- 1 A. Correct.
- 2 Q. Or how to remove them?
- 3 A. Correct.
- 4 Q. Or where they should be cut from?
- 5 A. Correct.
- 6 Q. At the time you had tested those coupons that
- 7 you had received, you did not know where those specimens
- 8 came from; correct -- coupons, excuse me.
- 9 A. Came from? Roush, or the vehicle?
- 10 Q. You don't know what part it came from at the
- 11 time you received it?
- 12 A. That's correct, yes.
- 13 Q. In fact, you had not either evidenced -- even
- 14 seen the VinFast parts before you submitted your reports,
- 15 the full parts?
- 16 A. Well, if they're in the report, I had seen the
- 17 parts.
- 18 Q. You do not know whether additional coupons of
- 19 the VinFast parts were generated by VinFast in the course
- 20 of this investigation?
- 21 A. No.
- 22 Q. You don't know whether VinFast conducted any
- 23 additional testing on the VinFast parts before sending you
- 24 those coupons?
- 25 A. No.

1 Q. So you don't know whether the parts they tested
2 were, for example, seconds?

3 A. No.

4 Q. Or whether they passed quality control?

5 A. I'm not sure what that means.

6 Q. If we could please bring up CX-86 at
7 Paragraph 55. There we go. Could you just -- no, one
8 back. Next page, please. There we go. If we could just
9 zoom in on the whole thing. Just get rid of the white
10 space at the bottom. Thank you.

11 Now, this is your measurement thickness
12 information that you provided for one of those four VinFast
13 parts.

14 Do you recognize that?

15 A. Yes, I do.

16 Q. You see that for position 3 on there, which I
17 believe is the third one from the left --

18 A. Yes.

19 Q. -- you see 26 micrometers?

20 A. Correct.

21 Q. I'm going to use a very technical term now.

22 If you had moved your selection a smidge to the
23 right, it would have been thicker; is that correct?

24 A. Correct.

25 Q. If we look down at the table, that was the

1 overall coating thickness at the spots that you chose to
2 measure; is that correct?

3 A. The average coating thickness, correct.

4 Q. Okay.

5 So -- but for portion 2, you would agree with
6 me, or line 2, it was over 30 microns?

7 A. Yes.

8 Q. The same with -- for position number 6?

9 A. Yes.

10 Q. All right. We can take that down.

11 Now, let's turn to your testing of the domestic
12 industry parts.

13 In your discussion today, you discussed how you
14 understand that you had tested coupons from parts from a
15 number of the hot-stampers, Gestamp, Topre and several
16 others; is that correct?

17 A. Those are the companies, yes.

18 Q. For the purposes of this question, just to make
19 life easy, can I just refer to those as domestic industry
20 parts that you had tested?

21 A. Sure.

22 Q. Again, before submitting your expert report, you
23 had not spoken to Mr. Bartosek about the coupons that you
24 received on those domestic industry parts?

25 A. Correct.

1 Q. Didn't include in your report any declaration or
2 statement from Mr. Bartosek about how he cut those coupons?

3 A. Correct.

4 Q. Did not provide directions to Mr. Bartosek from
5 where those coupons should be taken?

6 A. Correct.

7 Q. Nor did you witness the coupons being extracted
8 from the parts?

9 A. That's correct.

10 Q. Before submitting your report, you had not seen
11 pictures of the domestic industry parts showing where the
12 coupons came from?

13 A. You mean on the vehicle, you mean?

14 Q. Yes.

15 A. No, I had not.

16 Q. Okay.

17 So you don't really know where the domestic
18 industry coupons that you had received actually came from?

19 A. On the vehicle, no, I don't.

20 Q. So let's talk about the Simwon, the Tesla door
21 rings. I believe we have two of them in this -- in the
22 courtroom.

23 You understand that the coupons you tested came
24 from two Tesla door rings manufactured by Simwon?

25 A. I believe so, yes.

1 Q. I believe -- I may get them confused, but I
2 believe the left-hand outer door ring --

3 MR. HERSHKOWITZ: Which, Your Honor, we did not
4 make a demonstrative of the door rings, but they're here in
5 the courtroom. I was just going to point them out.

6 BY MR. HERSHKOWITZ:

7 Q. So I'm going to show you right now that the
8 left-hand door ring that ArcelorMittal brought to the
9 hearing -- is this the first time you've seen these door
10 rings?

11 A. Yeah. Actually, to be quite honest, I didn't
12 really focus on whether it was a ring or not.

13 MR. LEE: Objection, Your Honor. Beyond the
14 scope.

15 JUDGE BHATTACHARYYA: Yes.

16 MR. HERSHKOWITZ: Your Honor, I don't see how
17 it's beyond the scope when he got done saying he received
18 testing samples from the door rings. That's exactly what
19 he testified he tested. I'm just asking a few questions
20 about the door rings themselves. It will be brief.

21 JUDGE BHATTACHARYYA: The objection is
22 overruled.

23 MR. HERSHKOWITZ: Thank you, Your Honor.

24 BY MR. HERSHKOWITZ:

25 Q. Have you seen that, for example, the outer door

1 ring, which is the one directly behind you.

2 Can you see that?

3 A. Yes.

4 Q. You provided SEM images, I believe, from two
5 coupons from the left-hand outer door ring?

6 A. So I think -- I know I prepared specimens of two
7 samples from a Tesla vehicle. Whether or not I knew at the
8 time they were rings, I don't know, I don't think I did.
9 If I were told, it didn't mean a heck of a lot to me.

10 Q. Understood.

11 Do you understand that these door rings are
12 actually manufactured from multiple components?

13 A. No.

14 Q. In other words, there's multiple parts that make
15 it up?

16 A. No.

17 Q. I believe Dr. Fekete had indicated that each of
18 these is either made of four or five separate components?

19 A. I heard that, yes.

20 Q. All right.

21 So the two coupons you tested -- I think we can
22 all agree, two is not representative of four. You only
23 tried two. You didn't test the other two?

24 A. I don't know.

25 Q. As a matter of fact, it could have been two

1 coupons from the exact same component, not even of the
2 other three components?

3 A. I don't know.

4 Q. The same thing. Here's another door ring. If
5 that one was the inner, then this is the outer. I may have
6 that backwards.

7 But again, the same thing, you, I believe, had
8 received three coupons that you tested from that door ring?

9 A. If you say so. I don't recall specifically.

10 Q. Okay.

11 But you would agree that you don't know where
12 they came from, so they all could have come from the same
13 component on the ring?

14 A. I just don't know.

15 Q. Even if they came from different ones, three --
16 it means you didn't -- there were two that you did not
17 receive coupons from?

18 A. I don't know.

19 Q. So just to shift topics again, the testing that
20 you did, you agree it takes a fair amount of time to do all
21 of the testing on those coupons and samples?

22 A. I do.

23 Q. You agree it takes a lot of time to collect and
24 synthesize those test results?

25 A. Yes, depending on the volume of data collected.

1 Q. In fact, you recall that at -- during your
2 deposition, you stated you had to remind Fish, I believe
3 Fish & Richardson, that there were only 24 hours in a
4 day --

5 A. Yes.

6 Q. Okay.

7 That's because the sheer volume of testing,
8 it's -- it would be impossible to test, for example,
9 hundreds and hundreds of parts?

10 A. I think the time taken for the procedures would
11 have -- on my own, yes, correct. Hundreds and hundreds
12 would be not feasible in the time allocated.

13 MR. HERSHKOWITZ: Bear with me one second, Your
14 Honor.

15 I have no further questions. Thank you very
16 much for your time.

17 THE WITNESS: Thank you.

18 MR. HERSHKOWITZ: I believe your counsel may
19 have some follow-up.

20 MR. LEE: May I proceed?

21 JUDGE BHATTACHARYYA: Yes.

22 MR. LEE: Mr. Sayres, could you go to
23 Dr. Bradley's demonstrative, slide 19, please.

24 REDIRECT EXAMINATION

25 BY MR. LEE:

1 Q. Dr. Bradley, you were asked some questions about
2 the BL7 and the BL9 earlier; right?

3 A. Correct.

4 Q. Do you see on the slide the sample that's
5 marked -- or rather, the coupon that's marked BL7?

6 A. Yes.

7 Q. Do you recognize the shape of the BL7 to be
8 different from BL9?

9 A. Yes.

10 Q. The BL7 has a pointed edge at the top compared
11 to the BL9?

12 A. It's tapered, yes.

13 Q. Now let's go to, Mr. Sayres, CDX-11, slide 7.
14 It might be better for me to turn on the ELM0, so I'll do
15 it on the ELM0. This is CDX-11.7.

16 You recall being asked about the BL7 sample as
17 being located at that green location at the bottom of the
18 B-Pillar?

19 A. Yes, yes.

20 Q. Also, we just talked about the shape of the BL7
21 sample, it being different from the BL9 sample?

22 A. Yes.

23 Q. I'll try to do this on this, but if not, I'll
24 point you to a different exhibit.

25 But do you see that location right there?

1 A. Nope.

2 Q. This is going to be hard to tell, so I'm going
3 to use a different exhibit.

4 (Off the record between counsel.)

5 MR. LEE: Your Honor, may I approach to hand you
6 a native copy of the RX-85, which is the Bartosek
7 declaration that Dr. Bradley relied on.

8 JUDGE BHATTACHARYYA: Are there any objections?

9 MR. HERSHKOWITZ: No objection.

10 JUDGE BHATTACHARYYA: Sure.

11 BY MR. LEE:

12 Q. Dr. Bradley, do you recall seeing this portion
13 of the Bartosek declaration?

14 A. Yes.

15 Q. You received this Bartosek declaration in this
16 color form in high resolution, not the grainy form that the
17 counsel showed you?

18 A. As I recall, yes.

19 Q. Zooming in, do you see that shape right there?

20 A. I do.

21 Q. What does that coupon indicate?

22 A. That the shape of that area is consistent with
23 the sample BL7 that I received.

24 Q. Can you also make out the writing that's written
25 on that sample? If easier, I'll try to turn it over for

1 you. Now.

2 A. Ah, yes. It looks like BL7.

3 Q. That, you see at the bottom, is not BL7;

4 correct?

5 A. You mean on the left-hand image?

6 Q. Yes.

7 A. There's something labeled BL8. Oh. Yeah.

8 Yeah. Okay.

9 Q. Please.

10 A. That's all right. It's BL8, I believe.

11 JUDGE BHATTACHARYYA: Mr. Lee, could you please

12 indicate which photo on what page of the declaration you're

13 referring to.

14 MR. LEE: Yes. This is the native of the RX-85

15 at page 7.

16 BY MR. LEE:

17 Q. So going back to CDX-11 at 7, do you agree that

18 the BL7 is at the bottom of that image, as indicated on

19 this slide?

20 A. You mean in the green area?

21 Q. Correct.

22 A. I would assume so, yes.

23 Q. But you just identified the shape of the BL7 to

24 be from a different portion?

25 A. Yes, I -- yes. I think it's clearer in the

1 image we just discussed.

2 Q. Right. So the BL7, would you agree, came from
3 this portion of that image?

4 A. Oh, yes, yes.

5 Q. And not that portion, as indicated on CDX-11?

6 A. That's correct, yeah.

7 Q. ArcelorMittal's counsel asked you about the
8 testing you did with IMR?

9 A. Yes.

10 Q. When you handed over the BL7 sample to IMR, did
11 you ask IMR to look for layers?

12 A. No. No.

13 Q. Counsel also asked you whether you saw the
14 Passat being torn down?

15 A. He did, yes.

16 Q. Would your testing have changed if you saw the
17 car being torn down?

18 A. I don't think so.

19 MR. LEE: No further questions, Your Honor.

20 JUDGE BHATTACHARYYA: Thank you.

21 RECROSS-EXAMINATION

22 BY MR. HERSHKOWITZ:

23 Q. Hello, Dr. Bradley. Just a few followups.

24 A. Sure.

25 Q. Now, you didn't ask IMR to look for layers

1 because they were not doing SEMs, were they?

2 A. I just did not think about it, frankly.

3 Q. You never saw the B-Pillar from that VinFast --
4 sorry -- let me try again.

5 You never saw the B-Pillar that was in the
6 photograph in person; is that correct?

7 A. That's correct, yes.

8 Q. And that image, the grainy image, that's what
9 was actually in your report; correct?

10 A. I don't recall.

11 Q. Do you know where the samples came from other
12 than trying to decipher that photo? Where from the part
13 the coupons came from other than trying to decipher that
14 photo?

15 A. I believe -- I assume they came from the regions
16 that were labeled, that was shabby with the appropriate BL
17 number on them.

18 MR. HERSHKOWITZ: Fair enough. Thank you.

19 No further questions, Your Honor.

20 MR. LEE: No further questions, Your Honor.

21 JUDGE BHATTACHARYYA: Thank you.

22 Thank you, Dr. Bradley. You may step down.

23 (Witness excused.)

24 JUDGE BHATTACHARYYA: Let's take a 15-minute
25 break.

1 (A recess was taken at 3:35 p.m., after which
2 the hearing resumed at 3:49 p.m.)

3 MS. PARK: Good afternoon, Your Honor. April
4 Park on behalf of Respondents.

5 Respondents would like to call Dr. Brown at this
6 time.

7 We have some demonstratives to assist with his
8 testimony.

9 May I please approach with the demonstratives?

10 JUDGE BHATTACHARYYA: Yes.

11 Good afternoon, Dr. Brown.

12 THE WITNESS: Good afternoon.

13 JUDGE BHATTACHARYYA: Could you please raise
14 your right hand.

15 Whereupon--

16 STUART BROWN, PhD,
17 having been first duly sworn, was examined and testified as
18 follows:

19 THE WITNESS: I do.

20 JUDGE BHATTACHARYYA: Thank you.

21 MS. PARK: May I please proceed?

22 JUDGE BHATTACHARYYA: Yes.

23 DIRECT EXAMINATION

24 BY MS. PARK:

25 Q. Good afternoon, Dr. Brown.

1 A. Good afternoon.

2 Q. Could you please introduce yourself.

3 A. My name is Stuart Brown.

4 Q. Did you prepare some demonstratives to help with
5 your testimony today?

6 A. I did.

7 Q. Is RDX-2 that demonstrative?

8 A. Yes.

9 Q. Dr. Brown, what is your current profession?

10 A. I am currently a consultant at Veryst
11 Engineering, which is an engineering and scientific
12 consulting firm in the Boston area.

13 Q. Could you tell us about your educational
14 background.

15 A. Certainly.

16 I have two bachelor's from Washington University
17 in St. Louis. I have a bachelor's in mechanical
18 engineering, and one in English literature.

19 I have two master's from Stanford University, a
20 master's in mechanical engineering, and an MBA.

21 Then I have a PhD in mechanical engineering from
22 the Massachusetts Institute of Technology, MIT.

23 Q. What did your PhD research consist of?

24 A. My PhD was in the area of hot forming. It was
25 trying to understand how metals behave when you heat them

1 up, and then try and shape them into a useful geometry.

2 Two materials that I used in my research were
3 steel and aluminum.

4 Q. What roles have you held?

5 A. So after finishing my PhD in mechanical
6 engineering, I walked down the hall and joined the
7 Department of Material Science and Engineering as a member
8 of the faculty. I was there from '87 to '95.

9 There, I did research -- continued to do
10 research in hot forming of metals.

11 Then I also worked in an area call MEMS,
12 microelectromechanical systems, which are small mechanical
13 devices formed using semiconductor technologies.

14 After MIT -- I'm sorry, I should back up.

15 While I was at MIT, I also did consulting with
16 Allegheny Ludlum Steel Corporation, and Alcoa, US Steel and
17 Thixomat.

18 Allegheny Ludlum, Alcoa and Thixomat provided
19 funding for some of my research.

20 Thixomat is an aluminum company, Allegheny
21 Ludlum is steel, Alcoa is obviously aluminum, and US Steel
22 is steel.

23 At the same time I was at MIT, I developed a
24 technology for aluminum manufacturing. So a group of my
25 grad students, upon finishing their degrees, started a

1 company called Semisolid Technologies. Then we did a joint
2 venture with Gibbs Die Casting making aluminum silicon
3 components for Ford Motor Company.

4 Okay. So after leaving MIT, then I went to run
5 the Boston office of a national engineering consulting firm
6 called Exponent, where I continued my consulting both in
7 metal forming and MEMS. MEMS are funded by government
8 research.

9 I ran the Boston office of Exponent for ten
10 years, and then I resigned and started Veryst Engineering,
11 and I have been a member of Veryst Engineering since
12 December 2005.

13 Q. Turning to slide 4, do you understand that there
14 were some definitions of person of ordinary skill in the
15 art in this investigation?

16 A. I do.

17 Q. Is that what you're showing here?

18 A. Yes. Yes, it is.

19 Q. In terms of your analysis, did you apply the
20 definitions that are here?

21 A. I did.

22 I consider there to be some small differences
23 between the two, but I think they are effectively the same,
24 and my opinions would be the same under either definition.

25 MS. PARK: Your Honor, at this time, Respondents

1 tender Dr. Brown as an expert in the field of hot forming
2 of metals, including steel, and the subject matter of the
3 '602 and '227 patents.

4 JUDGE BHATTACHARYYA: Any objection?

5 MR. LAMAGNA: Respectfully, Your Honor, we don't
6 believe that she's quite laid the foundation yet for as
7 broad of a proffer as what she's made, specifically with
8 the subject matter, the '602 and '227 patents.

9 I can explain, if you would like, Your Honor.

10 JUDGE BHATTACHARYYA: Is this an issue that
11 needs to be discussed?

12 MS. PARK: This issue has never been raised,
13 Your Honor.

14 MR. LAMAGNA: Well, we believe that they
15 certainly have laid a foundation for him with respect to
16 being an expert in mechanical engineering, in chemical
17 engineering, and even in hot forming basically what is the
18 base steel. There's no doubt that he's an expert in those
19 fields.

20 We also would agree that he is -- they have laid
21 a foundation that he is a person of skill in the art at
22 least under his own definition relating to the patents.

23 But the patents themselves don't involve the hot
24 forming, strictly speaking, of only the base steel. What
25 is at issue in the claims is the formation of the

1 microstructure in the aluminum coating.

2 So there's a difference, technologically
3 speaking, in the chemistry of what's going on in
4 deformation of the steel from the formation of the aluminum
5 coating, of the layers of the microstructure.

6 We haven't heard that yet in the foundation.

7 JUDGE BHATTACHARYYA: Ms. Park, how would you
8 prefer to proceed, do you want to amend the definition --
9 the area of expertise in which he's being admitted, should
10 we partially admit him, and then --

11 THE WITNESS: I can elaborate.

12 JUDGE BHATTACHARYYA: -- defer this issue until
13 later?

14 MS. PARK: Your Honor, I will ask a question to
15 Dr. Brown so that he can elaborate on his experience.

16 JUDGE BHATTACHARYYA: Okay.

17 MS. PARK: Does that work for you?

18 BY MS. PARK:

19 Q. Dr. Brown, could you tell us about your
20 experience related to the subject matter of the '602 and
21 '227 patents.

22 A. Certainly.

23 I mean, there are material science phenomenon
24 associated with the formation of these coatings,
25 particularly at high temperatures, and I would mention two

1 areas of my activities which address that.

2 One is I taught material science and material
3 kinetics while I was on the faculty at MIT, both on a
4 graduate and undergraduate level, and that includes the
5 topics of diffusion, interdiffusion and formation of
6 microstructures.

7 I also performed research on the effect of
8 microstructure on deformation behavior, because under
9 certain circumstances, the structure of the material
10 affects how it deforms. If you look at my publication
11 list, you will see some articles which address that topic.

12 Then third, the work that we did at Thixomat,
13 which was addressing the processing of aluminum silicon
14 alloys, also included issues of solidification and
15 segregation, the formation of what I would call secondary
16 phases, meaning intermetallics, which are a reaction
17 between aluminum silicon, and at the same time, we had to
18 pay attention to that process with iron -- the processing
19 equipment which was composed of steel.

20 So I would say those, in their totality, address
21 the issues in terms of interdiffusion forming
22 microstructure, and also thin films, because the work that
23 I was doing in MEMS, these microelectromechanical systems,
24 involved the characterization, measurement, and measurement
25 of properties of very thin films.

1 JUDGE BHATTACHARYYA: Thank you.

2 Does the objection stand?

3 MR. LAMAGNA: We'll lift the objection. Just
4 leave the rest for cross-examination. Thank you.

5 JUDGE BHATTACHARYYA: Ms. Park, could you please
6 repeat the area in which Dr. Brown is being offered.

7 MS. PARK: Yes, Your Honor.

8 Expert in the field of hot forming of metals,
9 including steel, and the subject matter of the '602 and
10 '227 patents.

11 JUDGE BHATTACHARYYA: Thank you.

12 At this time, Dr. Brown is admitted as an expert
13 in the field of hot forming of metals, including steel, and
14 the subject matter of the '602 and '227 patents.

15 MS. PARK: Thank you.

16 BY MS. PARK:

17 Q. Turning to slide 5, could you please summarize
18 your opinion in this investigation?

19 A. Certainly.

20 There are three broad opinions.

21 First is that the VinFast product does not
22 infringe the '227 and '602 patents; the '227 and '602
23 patents are invalid; and third, there is no technical
24 domestic industry.

25 Q. Turning to slide 6, what did you review in

1 forming your opinion?

2 A. Well, this slide just provides a summary
3 statement of what I considered.

4 Obviously, the asserted patents and file
5 histories. Hot-stamping technical specifications by OEMs
6 and Tier 1 manufacturers. A range of testing that was done
7 on different products.

8 Scientific literature, both from my library and
9 literature that I reached out to and located related to the
10 technology at issue.

11 Prior art references and publications,
12 deposition transcripts, and then, of course, the reports of
13 Doctors Fekete, Raabe and Bradley.

14 Q. Turning to slide 8, what are the products at
15 issue in this investigation?

16 A. It's my understanding that the products at issue
17 are the two vehicles that we see here, the VinFast VF 8 and
18 the VF 9.

19 Q. What is the technology at issue in this
20 investigation?

21 A. The technology that we're talking about is the
22 entire process of hot-stamping pre-coated steel. There's a
23 schematic that I prepared to illustrate that.

24 We start at the left, and go to the right. We
25 start with a pre-coated steel, aluminum alloy without

1 coatings, without layers.

2 You stamp out blanks, which are basically flat
3 geometries using a press, called blanking. You then put it
4 in a furnace. The furnace does two things.

5 One, it raises the temperature of the steel to a
6 high enough temperature that it becomes austenite. It's a
7 process we call austenitization. It changes the crystal
8 graphic structure of the metal.

9 Then you also induce diffusion between the steel
10 and the aluminum coating, the aluminum silicon coating, and
11 the atoms diffuse aluminum into the steel, steel into the
12 aluminum, and thereby forming aluminum-iron-silicon
13 compounds called intermetallics, in other words, alloying
14 the coating on the surface of the steel.

15 Once that's done, the hot blank is then
16 transferred very quickly into the press where it -- again,
17 two things happen.

18 One because it's at high temperature, it can
19 deform more easily in a lower load. That's why you hot
20 form. So the press forms the geometry of the part, but at
21 the same time, because the die is cooled, it rapidly cools
22 the sheet steel, quenching it, and that introduces the
23 transformation of the austenite into this very
24 high-strength phase, which we call martensite.

25 So we have two processes occurring in the

1 heating and two processes occurring in the hot-stamping
2 process, ending up with the product, in this case, we're
3 illustrating the B-Pillar, which is shown where it sits
4 within a vehicle.

5 Q. Does the chemical composition of the base steel
6 change during this process?

7 A. No. No.

8 The crystallographic structure and the strength
9 of the base steel changes as we go through the process, but
10 the chemistry does not change.

11 Q. Turning to slide 11, how long has hot-stamping
12 been around?

13 A. Well, hot-stamping has been around since the
14 '70s. This is, I believe, a chart that we've seen before,
15 but this is just an indication by a timeline provided by
16 ArcelorMittal that in 2001 there was the first use of a
17 pre-coated PHS, press-hardened steel, on bumper beams and
18 A-Pillars using Usibor 1500.

19 Then in 2005, there was the first use of
20 pre-coated Usibor 1500 on platform parts, meaning parts
21 which went into the frames of vehicles, the platform parts.

22 Q. Turning to slide 12, what was Arcelor presenting
23 about Usibor 1500 in the early 2000s?

24 A. There's going to be a series of references that
25 will be appearing in the next slides.

1 This is an article by Cornette, Hourman, Hudin,
2 Laurent, and Reynaert from Usinor Research, Usinor is a
3 precursor to Arcelor. It's dated from 2001, it's the
4 Society of Automotive Engineers technical paper associated
5 with a conference that was that was I believe, the SAE
6 annual technical conference in Detroit.

7 It just illustrates from that time, a set of
8 processing conditions for Usibor for hot-stamping, meaning,
9 as we see in this ellipse, this shaded ellipse, heating for
10 a certain period of time, 5 to 10 minutes, within a certain
11 temperature range, 880 to 950 Celsius. And then an
12 alloying reaction associated with the coating.

13 So this is just a schematic for the process in
14 someone 2001.

15 Q. Turning to slide 13, are there any other Arcelor
16 publications you're aware that are about hot-stamping
17 Usibor 1500 in the early 2000s?

18 A. Yes. This is another SAE paper. Again, at a
19 SAE conference in 2002. A body engineering conference. It
20 describes the development of a pre-coated boron steel.

21 This time it is coauthored by someone from
22 Renault, Laurent, who we saw in the previous paper, who to
23 my understanding is an inventor on one of the first patents
24 in the portfolio of IP associated with Usibor, and
25 Reinhardt, who is with Peugeot Citroën.

1 If you look at the drawing, it's the same
2 drawing that we saw before, which sets up processing
3 conditions for the Usibor for hot-stamping.

4 Q. Turning to slide 14, were there other
5 publications about hot-stamping of Usibor 1500 in the early
6 2000s?

7 A. Yes. This is a presentation given by
8 Kefferstein, who is one of the inventors on the named
9 patent. Again, providing the schematic for hot-stamping,
10 providing processing conditions. When I say processing
11 conditions, this is conditions in the oven before it is
12 hot-stamped.

13 Residence time of 4 to 10 minutes and a
14 temperature range from 900 to 950 C. This is a meeting
15 which took place in France in 2004.

16 Q. Do you know who R. Kefferstein is?

17 A. Yes. Yes. He is a Arcelor employee, and,
18 again, was one of the inventors on the patents we're
19 discussing.

20 Q. Let's move on to patents at issue.

21 What are the patents at issue in this
22 investigation?

23 A. The two patents are in shorthand, '602 and the
24 '227 patents. With the same title, "Coated Steel Strips,
25 Coated Stamped Products and Methods."

1 Q. Turning to slide 17. At a high level, what are
2 the asserted patents about?

3 A. The major content of the patent addresses
4 hot-stamping of, as we've seen, Usibor 1500, the aluminum
5 silicon coated steel, with claims which describe the
6 structure of the coating and properties of both the steel
7 and the coating.

8 But this is just a brief description of the --
9 basically, the hot-stamping process.

10 Q. Turning to slide 18, what are you highlighting
11 here?

12 A. This is part of the specification which
13 indicates that "The inventors have discovered that the
14 control of the homogeneity of the pre-coating thickness
15 over the entire sheet within given tolerances is an
16 important factor for obtaining the desired degree of
17 intermetallic alloying, allowing one to improve resistance
18 to the subsequent melting of the coating during travel on
19 rollers."

20 This relates to another issue, which is the
21 coating, because it an aluminum silicon alloy, in the
22 furnace, it can melt. And it can gum up the rollers of the
23 furnace as it passes through on its way to the hot-stamper.

24 Q. Turning to slide 19, we have Claim 1 of the '602
25 and '227 patents here. What did the asserted claims

1 require?

2 A. I couldn't hear you.

3 Q. What do the claims require?

4 A. Well, the claims require a strip of base steel
5 having a first and second side, a coating on at least one
6 side, with a coating resulting from interdiffusion between
7 the base steel and the aluminum or aluminum alloy
8 pre-coating, wherein the coating includes moving from the
9 base steel outwards to the outer surface, an interdiffusion
10 layer with a first -- in Claim 1 of the '602, with the
11 first mean iron composition, 1(d), an intermediate layer
12 with a second iron composition different from the first,
13 1(e), an intermetallic layer having a third mean iron
14 composition different from the first and second. The third
15 mean iron composition being higher than the second. 1(f)
16 being a superficial layer, the outermost layer having a
17 fourth mean iron composition different from the third mean
18 iron composition.

19 Then a coating, total coating thickness greater
20 than 30 microns.

21 Claim 1 of the '227 patent is less restrictive.
22 It only calls for the four layers, and each having a
23 different mean composition, one from the other.

24 Q. Do the claims talk about how one would get to
25 the four-layer structure elemental composition or the

1 coating thickness?

2 A. I'm sorry. I can't hear you again.

3 Q. Do the claims talk about how one would get to
4 the four-layer structure elemental composition or the
5 coating thickness?

6 A. No. It's purely structural. It just indicates
7 the characteristics of the final process. There's no
8 processing conditions indicated in the claims.

9 Q. Turning to slide 20, are these the asserted
10 claims?

11 A. Yes. That's my understanding, and the
12 independent claims are high bold-faced.

13 Q. We just looked at Claim 1 of the two patents.
14 Do any of the other claims talk about how one would get to
15 the four-layer structure, the thickness, or the
16 compositions?

17 A. No. No, they do not.

18 Q. What did the inventors say the -- what did the
19 inventors say about what they invented?

20 A. Well, this is -- it's my understanding, an
21 excerpt from Dr. Drillet's testimony earlier this week
22 where the question is, "The four-layer structure could be
23 created through hot-stamping before the filing of the '602
24 and '227 patents; correct?"

25 And his answer was, "I have already answered

1 your question. Yes, it could happen. It was not done on
2 purpose.

3 Sir, the invention, your invention is how you
4 generate the four layers; correct?

5 How we generate, yes, sir. I would say that."

6 Then the question following was, "Magna was able
7 to create the claimed four-layer structure before December
8 2005; correct?"

9 And the answer is "yes."

10 Q. Turning to slide 22, what other resources did
11 you rely on in forming your opinion?

12 A. Well, this is -- these are just some summary
13 slides for different pieces of prior art. Starting from
14 the upper left, we have Vaissiere, 2002, Suehiro in 2003,
15 Lachmann in 2005, Engels in 2006, Takagi in 2004, Cornette
16 in 2001, which we have already seen.

17 A physical product, the 2006 Volkswagen Passat,
18 and Hein in 2006, Engels and Hein are presentations given
19 at the same meeting in Germany in May of 2006.

20 Q. Turning to slide 23 what are you showing here?

21 JUDGE BHATTACHARYYA: There's an objection.

22 MR. LAMAGNA: I'm sorry. We're going to object
23 to hearsay on that. I think he's referring to an excluded
24 declaration.

25 JUDGE BHATTACHARYYA: Could you identify your

1 name for the record. We don't seem to have it.

2 MR. LAMAGNA: Raymond LaMagna on behalf of
3 Complainants.

4 JUDGE BHATTACHARYYA: Thank you.
5 Ms. Park.

6 MS. PARK: Your Honor, Dr. Brown will explain
7 why he believes these were presentations later on in his
8 slides, so he will have more basis to explain why --

9 JUDGE BHATTACHARYYA: Okay.
10 So you're representing that he's not relying on
11 the declaration that was excluded, or not referring to that
12 declaration?

13 MS. PARK: Right, he's not relying on that
14 declaration.

15 JUDGE BHATTACHARYYA: All right.

16 Based on the representation, you may proceed for
17 now.

18 BY MS. PARK:

19 Q. Turning to slide 23, what are you showing here?

20 A. This is a process specification for Usibor 1500
21 provided by Magna for Chrysler products. I just point out
22 that this is a set of processing conditions for the steel
23 product with an origination date of August 26, 2004.

24 Q. Why are you showing this process specification
25 for Magna?

1 A. Oh, it just demonstrates that Magna was making
2 product for Chrysler using this product before the priority
3 dates of the patent -- priority date of the patents.

4 Q. Turning to slide 24, has Dr. Fekete demonstrated
5 that the accused products infringe the asserted claims?

6 A. No, I believe that his failure to provide any
7 information or data for the VF 9 means that the VF 9 does
8 not infringe the patents.

9 Q. Turning to slide 25, what about the VF 8 parts,
10 has Dr. Fekete demonstrated that those parts infringe the
11 asserted patents?

12 A. No. It's my opinion that he has not, for two
13 reasons.

14 One is, to me, it's my opinion that you can't
15 form an opinion associated with these products by examining
16 one small microstructure from that component. The scale of
17 these components are feet, multiple feet, and the scale of
18 the images that we're looking at, as we look at these
19 scanning electron micrographs, are very small.

20 The comment has been made earlier that a human
21 hair has the width of 60 microns. I'll plead guilty. I
22 think I provided that information. It's my hair which has
23 a diameter of 60 microns. Other people may have more or
24 less.

25 But across an entire component, one image is

1 just not sufficient.

2 The other issue is I don't believe that by
3 comparing one of these images to Figure 1 of the patent is
4 adequate, either.

5 Q. Is there any other evidence to support your
6 opinion?

7 A. Well, I'll say that I believe that Dr. Drillet,
8 in his deposition, agrees with that, and this is just a
9 portion of his deposition, an excerpt.

10 "Question: How do I know whether a band of
11 intermetallic compound is a layer or a phase?"

12 And his answer is, "I can't say based on an
13 image. A multilayer structure of coating has to be the
14 same across the entire part. It's not enough, one
15 picture."

16 Q. Let's talk about your noninfringement opinion.
17 What is your opinion on whether the accused
18 products infringe the asserted claims?

19 A. It's my opinion that the product does not
20 infringe.

21 Q. Turning to slide 29, what are you showing here?

22 A. Well, this slide and the next just provides my
23 use or interpretation of the different claims -- claim
24 terms, I'm sorry -- that I use within the patent. In most
25 cases, plain and ordinary meaning.

1 Q. Turning to slide 31, what are you highlighting
2 here?

3 A. Well, this is just my highlighting of three
4 limitations of Claim 1 of the '602 patent.

5 It is a representation of the three claims which
6 I think are failed to be satisfied by Dr. Fekete's analysis
7 for the reasons which I just provided.

8 Q. Turning to slide 32, how did you come to the
9 conclusion that Dr. Fekete has not demonstrated
10 infringement for the claimed layers?

11 A. Well, this circles back to what I had said
12 earlier.

13 His statement in his report is, "I compared the
14 SEM micrographs taken of the VinFast parts with the
15 four-layer structure depicted in Figure 1 of the asserted
16 patents, which Mr. Drillet confirmed at deposition showed a
17 four-layer structure."

18 My contention is you can't do that, and I
19 believe Dr. Drillet agrees, and I believe Dr. Raabe agrees
20 that you can't do that with one image.

21 Q. How do you know he only relied on a single SEM
22 per physical part?

23 A. Well, my understanding is that this is a summary
24 of the different VinFast parts that were removed and tested
25 as part of the investigation by Dr. Fekete.

1 It's my understanding that in his report, he
2 provides one image per part to support his contentions.

3 Q. Sometime doesn't he provide two images for the
4 same part number? Why do you say he only relies on a
5 single SEM?

6 A. Oh. Right. There are multiple SEMs for the
7 same part number, but they are -- though the same part
8 number, they are different parts. So they are repeats of
9 the same part number.

10 So, I mean, to be more accurate, it's one SEM
11 per physical part examined.

12 Q. Turning to slide 35, did you rely on any other
13 testing to form your opinion?

14 A. Yes. Yes, I did.

15 I relied upon testing performed by Dr. Bradley
16 on extracted parts from a VinFast vehicle, and this
17 provides SEM images from his examination. And I consider
18 these not to be a four-layer structure. If we were to use
19 Dr. Fekete's criterion, to me, these would resemble
20 Figure 2 of the patent, not Figure 1.

21 Q. Turning to slide 36, did you rely on any other
22 SEMs to form your opinion?

23 A. Yes.

24 This is just a collage of different SEM images
25 taken by Dr. Bradley on different part numbers from the

1 VinFast vehicle -- excuse me -- again, showing the island
2 structure, rather than resembling the four-layer structure
3 claimed in the patent.

4 Q. Turning to slide 37, what are you showing here?

5 A. Well, this is just my indication of what I said
6 earlier, that the inability to prove that one infringes
7 based on one image means that limitation 1(d), (e), and (f)
8 are not met by the VinFast product.

9 Q. What are you showing here on slide 38?

10 A. This is Claim 21 and Claim 24 of the
11 '602 patent. It's my assertion that the three limitations
12 indicated in both claims fail for the same reason.

13 Q. What are you showing here on slide 39?

14 A. Then, finally, for Claim 1 of the '227 patent,
15 for the same reason, we fail to establish that the VinFast
16 product infringes based on those layers as well.

17 Q. In summary, what is your opinion on
18 noninfringement?

19 A. So in summary, I believe that the VinFast
20 product does not infringe either patent.

21 Q. Let's move on to your invalidity opinion.

22 Turning to slide 41, what did you look into as
23 part of your invalidity analysis?

24 A. Well, I looked at prior art, and we've seen this
25 slide already, and we'll go through each of these in turn.

1 Q. Turning to slide 42, let's start with Cornette.
2 What are you showing here?

3 A. This is the SAE paper from 2001, with Cornette
4 as the first author, showing processing conditions for the
5 Usibor 1500, published by Usinor, showing their labeled
6 four-layer structure.

7 Q. Turning to next slide, 43, what are you showing
8 here about Vaissiere?

9 A. This, again, is the SAE technical paper from
10 2002, once again, describing processing of Usibor 1500.
11 Again, providing processing conditions to give the desired
12 properties in terms of martensite strength for the steel
13 sheet.

14 And then once again, this is published with
15 Renault and Peugeot authors.

16 Q. Turning to slide 44, moving on to Suehiro.
17 What are you showing here?

18 A. Well, this is a Nippon Steel technical report
19 from 2003. Suehiro performed a number of studies
20 associated with the Usibor 1500 describing properties,
21 processing conditions, and microstructure.

22 JUDGE BHATTACHARYYA: I believe there's an
23 objection. Sorry to interrupt.

24 MR. LAMAGNA: Yeah. Your Honor, we object to
25 the lack of foundation with respect to the date that the --

1 the testimony from 2003.

2 JUDGE BHATTACHARYYA: From 2000, you said?

3 MR. LAMAGNA: Yes. He testified that this
4 reference was "from 2003," and we object that it lacks
5 foundation.

6 JUDGE BHATTACHARYYA: From 2003. Okay.

7 BY MS. PARK:

8 Q. Dr. Brown, why do you believe this document is
9 from 2003?

10 A. Well, I have collaborated with Nippon Steel. I
11 have worked with people from Nippon Steel, and I'm familiar
12 with the process of them producing these technical reports.

13 They are not internal technical reports.
14 They're available to download sometime after their
15 publication.

16 When I say "sometime" --

17 JUDGE BHATTACHARYYA: Mr. LaMagna.

18 MR. LAMAGNA: Yeah. We move to strike this.
19 This response is not in his report.

20 JUDGE BHATTACHARYYA: Ms. Park.

21 MR. LAMAGNA: He does not lay any of this
22 foundation in his report.

23 MS. PARK: I'll ask a different question, Your
24 Honor.

25 JUDGE BHATTACHARYYA: Excuse me?

1 MS. PARK: That's fine. I'll ask a different
2 question.

3 JUDGE BHATTACHARYYA: Okay.

4 Then shall we strike the last question and
5 answer?

6 MR. LAMAGNA: Yes. Thank you, Your Honor.

7 JUDGE BHATTACHARYYA: We're striking the last
8 question and answer, and you can reask the question.

9 BY MS. PARK:

10 Q. What is the date of this publication on the
11 face?

12 A. July 2003.

13 Q. Is that the basis of your opinion that it was
14 available from 2003?

15 A. Yes.

16 Q. Can you please proceed and explain what you're
17 showing here on slide 44.

18 A. Sure.

19 I may be repeating myself. So this is a study
20 on Usibor 1500 indicating desired strength, conversion of
21 the aluminum silicon coating to these intermetallic
22 compounds, this iron-aluminum silicon phases through hot
23 forming, and demonstrating that it has desirable
24 properties, including paintability and weldability.

25 Q. Turning to slide 45, what are you highlighting

1 here?

2 A. Well, once again, this is an indication that
3 they're working with Usibor 1500 through Nippon Steel, and
4 he just states that this paper reports the work that the
5 company has done on the characteristics required for the
6 aluminized steel sheet products.

7 Q. Turning to slide 46, what are you showing here
8 about Suehiro's disclosure?

9 A. There are two things I would like to highlight
10 on this slide.

11 One is the left-hand chart, we don't have to
12 worry about the curves on the plot, but I just wanted to
13 highlight the heating rate.

14 So this is the rate with which the blank
15 increases in temperature as it moves into the furnace, and
16 the heating rate is listed at 10 degrees Celsius per
17 second. So that's the temperature change per second, as it
18 goes into the furnace.

19 Then the image on the right describes the
20 resulting microstructure, and I would describe it as a
21 four-layer microstructure after being heated at 950 Celsius
22 for 30 seconds.

23 Q. Let's move on to Takagi.

24 What are you showing here on slide 47?

25 A. Takagi is a patent application published in

1 January of 2004. Takagi is with Nissan Motor Company and
2 he describes an aluminum-coated structural member in
3 production.

4 Q. Turning to slide 48, what does Takagi disclose?

5 A. Takagi describes an aluminum-coated steel sheet,
6 once again providing a range of heating rate, once again as
7 it goes into the furnace or the oven, in a range of 1 to 10
8 degrees Celsius per second. A temperature range of 900 to
9 950 Celsius for a duration of two to eight minutes.

10 Then, again, he describes a multi-layer
11 structure resulting from that process.

12 Q. Turning to slide 49, what are you showing here
13 about Engels?

14 A. The Engels paper is somewhat similar to the
15 Suehiro in the sense that he considers a number of
16 properties and processing conditions for a Usibor product.
17 Presented, as I said, in May of 2006, he discusses relevant
18 processing conditions.

19 JUDGE BHATTACHARYYA: Mr. LaMagna.

20 MR. LAMAGNA: Again. We don't think there's a
21 foundation that's laid for whether -- when it was
22 presented.

23 JUDGE BHATTACHARYYA: Ms. Park, would you like
24 to lay a foundation?

25 BY MS. PARK:

1 Q. Dr. Brown, what are you showing here on the
2 upper right of your slide?

3 A. I'm showing the date of the conference of May 9
4 and 10th of 2006.

5 Q. Where in the document is this information
6 present on?

7 A. Oh. Sorry. I couldn't hear your question
8 again.

9 Q. Sorry.
10 Where did you find this information from this
11 document?

12 A. Oh. On the cover pages of the paper.

13 MR. LAMAGNA: Again, so if he's limiting his
14 opinion to just that he recognizes that that's what is
15 written on the document, that's fine, but he was not
16 present at this event to testify directly that it was
17 actually presented. So we would move to strike that he is
18 testifying that it was presented.

19 JUDGE BHATTACHARYYA: The objection is
20 overruled. You can explore that issue on
21 cross-examination.

22 BY MS. PARK:

23 Q. Turning to slide 50, what are you showing here?

24 A. Well, the top chart is a table of composition
25 for different layers that Engels has evaluated, and what he

1 describes as layers on the surface of the sheet.

2 He describes five layers. Layer number 2 is so
3 thin it's unmeasurable, but he describes a layered
4 structure. The image below is not a very good quality
5 micrograph of that coating.

6 Q. Turning to slide 51, what are you showing here
7 about Hein?

8 A. Hein is a paper from that same meeting entitled
9 "Hot-Stamping of Usibor 1500P: Part and process analysis
10 based on numerical simulation." I just point out that
11 another author is Kefferstein from Arcelor.

12 In that publication, there is, again, a
13 presentation of a four-layer structure.

14 Q. Turning to slide 52, what are you showing here
15 about Lachmann?

16 A. This is a lecture by Carsten Lachmann from
17 Volkswagen at an invited meeting from January 2005. It,
18 again, describes a process flow associated with Usibor
19 where, as you can see from the highlighted condition in the
20 furnace, it provides a temperature range, 900 to 950
21 Celsius.

22 Q. Turning to slide 53, what are you showing here
23 about the Passat?

24 A. Well, this is a summary slide describing the
25 2006 Volkswagen Passat. The upper left-hand box is an

1 excerpt from a Passat 2006 service training describing
2 components that are manufactured from ultrahigh strength
3 hot-formed steel panels.

4 The one -- the image next to it is one of Dr.
5 Bradley's microstructures that he measured from a coupon
6 from that vehicle. There's an image of the vehicle
7 underneath it.

8 We have already seen images of this portion of
9 the B-Pillar from the vehicle, and that was extracted from
10 Mr. Bartosek's declaration.

11 The right-hand image is just a schematic showing
12 where the B-Pillar actually sits in the vehicle.

13 Q. Based on the prior art references that we just
14 went through, what can you tell about the state of the art?

15 A. Well, it's my opinion that the processing of
16 Usibor 1500 prior to the priority date of the patents
17 clearly demonstrates that it was possible to form a
18 four-layer structure with known processing conditions.

19 Q. Let's move on to your element-by-element
20 analysis.

21 Did you prepare some slides for the Passat?

22 A. I did.

23 Q. Turning to slide 55, what are you showing here
24 about the 2006 Passat?

25 A. So there's a number of pieces of information

1 here. There's just an image of the same vehicle, and this
2 is a collection of measurements that were taken by
3 Dr. Bradley during his investigation.

4 The upper right-hand is an image of the
5 microstructure taken with a scanning electron microscope.

6 The middle is a table of different elemental
7 compositions, weight percentage within the base steel.

8 The lower left is what we have seen before,
9 compositional profile, where we start from the left, which
10 is the external surface of the coating, moving in, and
11 until we end at the base steel.

12 Then the lower right is a series of measurements
13 of thicknesses, by coating thickness and the entire
14 coating, indicating individual measurements and averages.

15 MS. PARK: I apologize, Your Honor. The clicker
16 is not working.

17 BY MS. PARK:

18 Q. Turning to slide 56, were there any prior art
19 publications about hot-stamping of Usibor 1500 for the
20 Passat or Volkswagen?

21 A. Yes.

22 Hein, which is the article that we talked about
23 very briefly, has the -- included images in the language,
24 the pre-coated solution, Usibor 1500P is more and more
25 specified and used in the new European and US cars. For

1 example, Usibor 1500P is used in the pillars -- for the
2 pillars and sills of the new Volkswagen VW Passat, and
3 provides Figures 9 and 10 below.

4 Then as we have seen before, Lachmann also, I
5 believe, 2004, provided this presentation from Volkswagen
6 discussing the hot-stamping of Usibor.

7 Q. Turning to slide 57, how do you know the Passat
8 was sold in the US?

9 A. Well, this is a Carfax history for the
10 Volkswagen pictured on the left. In that document it
11 indicates that this vehicle was offered for sale by
12 Riverbank Volkswagen in Stamford, Connecticut, on August
13 16, 2005.

14 Q. Turning to slide 58, what does the preamble
15 state?

16 A. Preamble states "a pre-coated steel product
17 comprising" -- this is a pre-coated product.

18 Q. Is the Passat a pre-coated steel product?

19 A. Yes.

20 Q. Turning to slide 59, what are you showing here
21 about element 1(a)?

22 A. 1(a) states, "a strip of base steel having a
23 first and second side." So it's a strip of steel that, of
24 course, has to have a first and second side.

25 Q. Does the Passat have a strip of base steel

1 having a first and second side?

2 A. Yes.

3 Q. Turning to slide 60, what are you showing here
4 about element 1(b)?

5 A. Well, 1(b) requires a coating on at least one of
6 the first side strip of base steel, and the second side of
7 the base steel, where the coating results from
8 interdiffusion between the base steel and an aluminum or
9 aluminum alloy pre-coating.

10 Here we see the microstructure which results
11 from the interdiffusion of the two -- or actually, three
12 elements, aluminum and silicon moving in towards the steel,
13 iron from the steel moving into the aluminum forming these
14 layers.

15 Q. Turning to slide 61, what are you showing here?

16 A. This is just a compositional profile that
17 Dr. Bradley, I believe, already presented demonstrating
18 that this interdiffusion occurs. Because before it goes
19 into the furnace, it's all aluminum on one side -- aluminum
20 silicon, excuse me, and then all steel on the other, and
21 here we see this combination of the elements which occur
22 due to the high temperature interdiffusion of the different
23 elements, aluminum and silicon moving in from the left,
24 iron moving in from the right.

25 The interdiffusion layer that you see here under

1 1 is a canonical representation of that interdiffusion
2 process.

3 Q. Turning to slide 62, what are you showing here
4 about claim element 1(c)?

5 A. 1(c) requires an interdiffusion layer having a
6 first mean iron composition. So that would be layer 1,
7 with the mean iron composition of 83.3. So that is
8 satisfied.

9 Q. Turning to slide 63, what are you showing here
10 about claim element 1(d)?

11 A. 1(d) requires an intermediate layer having a
12 second mean iron composition different from the first mean
13 iron composition.

14 So layer 2 has a mean iron composition of 45.6,
15 which is different from 83.3, so that is satisfied.

16 Q. Turning to slide 64, what are you showing here
17 regarding element 1(e)?

18 A. 1(e) requires an intermetallic layer having a
19 third mean iron composition different from the first and
20 second mean iron compositions. The third mean iron
21 composition being higher than the second.

22 So 61.5 is different from the compositions of
23 the -- iron compositions of the previous two, and it is
24 also higher than the 45.6 of layer 2.

25 So 1(e) is satisfied.

1 Q. Turning to slide 45, what are you showing here
2 regarding claim element 1(f)?

3 A. 1(f) requires a superficial layer having a
4 fourth mean iron composition different from the third mean
5 iron composition.

6 So layer 4 has a different mean iron
7 composition, and is different from the third, 45 being
8 different from the 61.5, so that is satisfied.

9 Q. Turning to slide 66, what are you showing here
10 regarding claim element 1(g)?

11 A. 1(g) requires the coating having a thickness
12 greater than 30 microns, and this is just a representation
13 of the thickness measurements that Dr. Bradley did
14 indicating that the thickness, both at every location and
15 on average, are greater than 30 microns.

16 Q. What is the average thickness?

17 A. The average thickness being 37.9. Again,
18 greater than 30 microns.

19 Q. Turning to slide 67, in summary, what is your
20 opinion?

21 A. In summary, the Volkswagen Passat satisfies each
22 portion of Claim 1 of the '602 patent.

23 Q. Do you understand whether AM challenges these
24 claim elements are met?

25 A. Yes.

1 Q. Do you believe AM challenges these claim
2 elements are met?

3 A. Yes, I believe they do.

4 Are you saying, AM challenges whether these
5 claim elements are met?

6 Q. Let me reask that.

7 Do you understand whether Complainants challenge
8 whether these claim elements are met?

9 A. Oh, I'm sorry.

10 No. It's my understanding that Dr. Raabe has
11 not challenged whether these claim elements are met.

12 Q. Does Complainant challenge any of the other
13 asserted claims are met by the Passat?

14 A. No. It's my understanding that they do not.

15 Q. Turning to slide 68, what are you showing here
16 regarding Claim 2?

17 A. Claim 2 is a requirement that the pre-coated
18 steel product, as recited in Claim 1, wherein layers (c)
19 and (d) are quasi-continuous by occupying at least
20 90 percent of a level corresponding to each of the layers,
21 (c) and (d), wherein less than 10 percent of layer (c) is
22 present at an extreme surface of the product.

23 From this SEM, it is apparent that the layers
24 (c) and (d) are quasi-continuous because they occupy more
25 than 90 percent of a level corresponding to each layer, and

1 there is certainly less than 10 percent of layer (c) on the
2 external surface of the product.

3 So Claim 1 -- Claim 2, excuse me, is satisfied.

4 Q. How did you determine this limitation is met?

5 A. I followed the same process that Dr. Fekete did.
6 By inspection, you can see that less than 10 percent of the
7 external surface is occupied by layer 3.

8 Q. What about the level requirement?

9 A. Oh. I measured the intersection of a level line
10 across layer (c) and layer 4 separately, and determined
11 that they occupied more than 90 percent of that level,
12 respectively.

13 Q. Turning to slide 69, what are you showing here
14 regarding Claim 3?

15 A. Well, Claim 3 just sets up compositional ranges
16 for the different elements in the base steel. So now we
17 have left the coating, and now we're moving into the steel
18 underneath.

19 The table to the right provides the measured
20 compositions in the base steel provided in Dr. Bradley's
21 report.

22 Q. Turning to slide 70, what are you showing here?

23 A. So now we're just going to step through each of
24 the elements.

25 Slide 70 compares the carbon content to the

1 required range of .15 to .5. The carbon in the Passat
2 is .215, so it's satisfied.

3 71, slide 71 provides a compositional range
4 of .5 percent to 3 percent. The Passat has 1.271, so that
5 is satisfied.

6 Slide 72, the range for silicon is .1 percent
7 to .5 percent. The Passat possesses a value of .268. The
8 silicon range is satisfied.

9 Slide 73, the chromium composition has to be a
10 range of .01 percent to 1 percent. The chromium in the
11 Passat is 0.191, so that is satisfied.

12 Slide 74, titanium has to be less
13 than .2 percent. In the Passat, the percentage composition
14 is .037. That is less than .2 percent, so that is
15 satisfied.

16 Similarly, on slide 75, aluminum has to be less
17 than .1 percent. The Passat has an aluminum composition
18 of .034. That range is satisfied.

19 Slide 76, phosphorus has to be less than .01.
20 The Passat has .012, so the phosphorus requirement is
21 satisfied.

22 Slide 77, sulfur has to be less than .05
23 percent. The Passat has a sulfur concentration of .0016,
24 so the sulfur concentration requirement is satisfied.

25 And finally, for boron, the boron compositional

1 range has to be between .0005 percent and .08. The boron
2 composition of the Passat is .002, so that is satisfied.

3 Then on slide 79, the remainder is iron, and
4 then there are traces of other elements which are inherent
5 in iron because of its processing.

6 Q. Turning to slide 80, what are you showing here
7 regarding Claim 4?

8 A. Well, to shortcut this process, I have
9 color-coded the Passat chemistry values by color, comparing
10 it to the corresponding element with the colored box next
11 to it.

12 So one can go through those element -- either
13 the element ranges, and compare them with the compositional
14 values for the Passat, and see that those requirements each
15 are satisfied.

16 Q. Just for the record, if Your Honor wants to do
17 her own comparison, where could she look at?

18 Let me ask the question again.

19 Dr. Brown, if Your Honor wants to do her own
20 comparison, where could she look at?

21 A. Oh, I'm sorry.

22 On Exhibit RX-87.

23 Q. Turning to slide 81, what are you showing here
24 regarding Claims 7 and 8?

25 A. So the '602 Claims 7 and 8 set up a minimum

1 requirement for the ratio of titanium to nitrogen, and in
2 this case, the requirement is that the ratio of titanium to
3 nitrogen in weight percent has to be greater than 3.42, and
4 if one looks at the ratio in the Passat, if we divide 0.037
5 by 00 -- .006, the value is around 6.

6 So both of these claims are satisfied.

7 Q. Turning to slide 82, what are you showing here
8 regarding Claims 9, 10, 18 and 26?

9 A. Each of these claims set up specifications for
10 the thickness of layer (a), the interdiffusion layer.

11 Claim 9 requires a thickness less than
12 15 microns.

13 Claim 10 has a requirement that layer (a) have a
14 thickness less than 10 microns.

15 Claim 18 requires the interdiffusion layer,
16 which is the same, has the thickness from 1 to 15 microns.

17 And Claim 26 requires that layer (a) has a
18 thickness less than 15 microns.

19 We have seen this table that Dr. Bradley having
20 done multiple measurements across the thickness of a
21 micrograph demonstrates that the thickness is 9.4 microns,
22 each of which satisfy these -- which satisfies each one of
23 these claims.

24 Q. Turning to slide 83, what are you showing here
25 regarding Claims 20 and 22, support the mean iron

1 composition compared to the third mean iron composition?

2 A. Sure.

3 Claim 20 requires the fourth mean iron
4 composition to be lower than the third mean iron
5 composition. So layer 4, 45, is less than layer 3, 61.5.

6 Claim 22 also imposes that requirement, so both
7 claims are satisfied.

8 Q. Turning to slide 84, what are you showing here?

9 A. These are two independent claims for the
10 '602 patent.

11 We've talked through Claim 1. Claim 22 is posed
12 next to it, and I have just highlighted the one limitation
13 which is different between the two.

14 1(f) says, the superficial layer having a fourth
15 mean iron composition different from the third mean iron
16 composition. 21(f) says, a superficial layer having a
17 fourth mean iron composition different from the first,
18 second, and third mean iron compositions.

19 Q. So turning to slide 85, why do you skip 21(pre),
20 (a), (b), (c), (d) and (e), and go straight to 21(f)?

21 A. So as I've said, they're the same as Claim 1,
22 and we have already demonstrated that those are satisfied.

23 And 21(f) states that we have a fourth mean iron
24 composition different from the first, second, and third,
25 and we can see that from the average compositions provided

1 by Dr. Bradley's study, 45 is different from 61.5, which is
2 different from 45.6, which is different from 83.3.

3 So limitation 21(f) is satisfied.

4 Q. Turning to slide 86, what are you highlighting
5 here?

6 A. In slide 86, we're comparing, again, Claim 1,
7 but this time with Claim 24.

8 The preamble, 1(a), 1(b), and 1(g) are all the
9 same. And we have seen that Claim 1 already has been
10 satisfied, so those portions of Claim 24 are satisfied.

11 Then the four remaining limitations are the same
12 except Claim 1 requiring mean iron compositions and
13 relative mean iron compositions, this time, state mean
14 aluminum compositions and relative mean aluminum
15 compositions.

16 Q. So turning to slide 87, what are you showing
17 here regarding claim element 24(c)?

18 A. 24(c) sets up a requirement for having a mean
19 aluminum composition in the interdiffusion layer. 12.6
20 provides that mean composition. That is satisfied.

21 Q. Turning to slide 88, what are you showing here
22 regarding element 24(d)?

23 A. Limitation 24(d) requires a second mean aluminum
24 composition in the intermediate layer being different from
25 the first mean aluminum composition.

1 So 53.0 is different from 12.6. That limitation
2 is met.

3 Q. Turning to slide 89, what are you showing here
4 regarding element 24(e)?

5 A. 24(e) requires an intermetallic layer having a
6 third mean aluminum composition different from the first
7 and second mean aluminum compositions.

8 So here you see layer 3 has an aluminum -- mean
9 aluminum composition of 29.2, which is different from 53.0,
10 and, again, different from 12.6. So 24(e) is satisfied.

11 Q. Turning to slide 90, what are you showing here
12 regarding claim element 24(f)?

13 A. 24(f) specifies that a superficial layer, the
14 outermost layer has a fourth mean aluminum composition
15 different from the first, second, and third. Excuse me.

16 The second mean aluminum composition being
17 greater than the third mean aluminum composition. So if
18 you look at compositions, they are each different from
19 another. 52.4 versus 29.2 versus 53 versus 12.6.

20 And the second mean aluminum composition, 53.0
21 is greater than the third, which is 29.2.

22 So limitation 24(f) is satisfied.

23 Q. In summary, what is your opinion?

24 A. Well, in summary, Claim 1 is met by the Passat.
25 Claim 21 is met by the Passat, and Claim 24, the three

1 independent claims are all met by the Passat.

2 Q. What about the dependent claims?

3 A. Okay.

4 As we've gone through these, Claim 2, 3, 4, 7
5 and 8, are satisfied. Then Claims 9, 10, 18, 20, 22, and
6 26 are satisfied as well.

7 Q. Let's move on to the '227 patent. We now have
8 Claim 1 of the '602 and '227 patent. What are you
9 highlighting?

10 A. Well, I've highlighted -- color-coded a number
11 of things.

12 One is Claim 1 has limitations associated with
13 the interdiffusion, intermediate, intermetallic and
14 superficial layers in terms of mean compositions, relative
15 mean compositions.

16 Claim 1, limitations 1(e), 1(f), 1(g) and 1(h)
17 only requires that those layers exist. Therefore since we
18 have shown that the layers exist in Claim 1, those
19 limitations in Claim 1 -- I'm sorry -- shown that those
20 limitations have been satisfied in Claim 1 of the
21 '602 patent, Claim 1 of the '227 patent are automatically
22 satisfied.

23 Then we have a limitation 1(i) from Claim 1 of
24 the '227 patent where layers, (a), (b), (c), and (d) each
25 have a different mean composition from one another.

1 Q. What about the other limitations that you did
2 not describe; how did they compare to each other?

3 A. The other limitations are the same, with a
4 slight difference in language in the preamble.

5 Claim 1 dictates a pre-coated steel product.
6 Claim 1, excuse me, of the '602 patent, describes a
7 pre-coated steel product.

8 Claim 1 of the '227 describes a hot-stamped
9 coated steel product, which I consider to be automatically
10 met.

11 Q. Turning to slide 97, what does the preamble
12 state?

13 A. Well, as I stated, Claim 1 of the '227 patent
14 claims a hot-stamped coated steel product, which is
15 satisfied by a hot-stamped Usibor 1500.

16 Then 1(i) requires that the composition of
17 levels (a), (b), (c), and (d) each have different mean
18 composition from one another, and if one looks at the mean
19 iron composition, and the mean aluminum composition and the
20 four layers from the 2006 Passat, you can see that they are
21 different, and therefore -- one from the other, and
22 therefore, each of the layers have a different mean
23 composition from one another, and therefore, 1(i) is
24 satisfied.

25 Q. Is the Passat a hot-stamped coated steel

1 product?

2 A. Yes. It includes the hot-stamped coated steel
3 product.

4 Q. Turning to slide 98, what are you showing here
5 regarding Claim 2?

6 A. Claim 2 of the '227 patent requires that the
7 hot-stamped coated steel product, according to Claim 1,
8 wherein said layer (a) has a thickness that is less than
9 half the said thickness of the coating.

10 As we can see from the measurements done by
11 Dr. Bradley, 9.4 is certainly less than half of 37.9. So
12 Claim 2 is satisfied.

13 Q. Turning to slide 99, what are you showing here
14 regarding Claims 4 and 5 of layer (a) thickness.

15 A. Claim 4 requires that said layer (a) has a
16 thickness of 17 microns or less. Claim 5 requires that
17 said layer (a) has a thickness of 15 microns or less, and
18 9.4 is less than both of those values, and therefore, Claim
19 4 and Claim 5 are satisfied.

20 Q. Turning to slide 100, what are you showing here
21 regarding Claim 7?

22 A. Claim 7 of the '227 patent states that we have
23 this hot-stamped coated steel product where the
24 interdiffusion layer has a first mean iron composition.
25 The intermediate layer has a second mean iron composition

1 less than the first, so 45.6 is less than 83.3.

2 When the intermetallic layer has a third mean
3 iron composition, which is denoted as 61.5. The third mean
4 iron composition less than the first mean iron composition,
5 61.5 being less than 83.3. And greater than the second
6 mean iron composition, 61.5 being greater than 45.6, and
7 lastly, the superficial layer has a fourth mean iron
8 composition, the fourth mean iron composition being less
9 than the third mean iron composition, and 45.0 is less than
10 61.5.

11 So Claim 7 is satisfied.

12 Q. Turning to slide 101, what are you showing here
13 regarding Claims 8 and 11?

14 A. Claim 8 states that wherein layer (a) has a
15 thickness that is greater than layer (b), and one can see
16 that 9.5 is greater than 9.0, Claim 11 requires that layer
17 (a) has a thickness that is greater than layer (b), that is
18 greater than the thickness of layer (c), that is greater
19 than the thickness of layer (d). 9.5 is greater than 9.0,
20 and 6.7 and 9.2, so Claim 11 is satisfied as well.

21 Q. Turning to slide 102, what are you showing here
22 regarding Claims 9, 10, and 13?

23 A. Claim 9 requires layer (a) have a thickness that
24 is greater than the thickness of layer (c), so 9.4 is
25 greater than 3.9.

1 Claim 10 requires layer (a) has a thickness that
2 is greater than the thickness of layer (d), 9.4 greater
3 than 9.3.

4 Claim 13 requires layer (a) have a thickness
5 that is more than twice the thickness of layer (c).

6 So layer (c) is 3.9. We doubled that. That is
7 7.8.

8 So layer (a) has a thickness that is greater
9 than twice the thickness of layer (c). So Claim 13 is
10 satisfied.

11 Q. Turning to slide 103, what are you showing here
12 regarding Claim 15.

13 A. Claim 15, so now we're leaving the coating, and
14 we're going back to the base steel.

15 Claim 15 requires the hot-stamped coated steel
16 product is fully martensitic. I'm providing an image of
17 the microstructure of the underlying steel, which is
18 characteristic of a martensitic microstructure.

19 So Claim 15 is satisfied.

20 Q. How do you know it is fully martensitic?

21 A. The microstructure is characteristic.
22 Martensite has a special acicular. I think people have
23 used that term before microstructure. We can see that in
24 this microstructure.

25 Q. What are some of those characteristics?

1 A. I'm sorry. Can I hear that again?

2 Q. What are some of those characteristics of a
3 fully martensitic structure?

4 A. Oh, it's this layered acicular, sort of
5 needle-like features.

6 Q. Turning to slide 104, what are you showing
7 regarding Claim 16 of the '227 patent?

8 A. Well, this is Claim 16, which provides
9 compositional requirements for the base steel in terms of
10 elemental ingredients. If you compare that with Claim 3 of
11 the '602 patent, you can see that they're identical.

12 Since we've already demonstrated that the Passat
13 satisfies Claim 3 of the '602, it also satisfies Claim 16
14 of the '227.

15 Q. Now, on slide 105, what are you showing here
16 regarding Claim 17?

17 A. In a parallel way, to make this process more
18 straightforward, I have color-coded the element in the
19 compositional table from the Passat, and color-coded the
20 compositional range in the -- in Claim 17, so one can just
21 match the colors, and determine that the Passat satisfies
22 the compositional ranges of Claim 17, which is included in
23 Exhibit -- I'm sorry, RX-87.

24 Q. Turning to slide 106, what are you showing here
25 about Claim 24?

1 A. Claim 24 just sets a minimum mechanical strength
2 for the hot-stamped coated steel product, to be better --
3 I'm sorry, greater than 1000 megapascals.

4 Dr. Bradley had a sample from the material that
5 he was sent tested, and the strength was measured to be
6 1,434.11 megapascals, which is substantially greater than a
7 thousand, and therefore Claim 24 is satisfied.

8 Q. In summary, what is your opinion?

9 A. It's my opinion that Claim 1 the '227 patent is
10 satisfied by the Passat.

11 Then the subsequent Claims 2, 4, 5, 7, 8, 9, and
12 10 are satisfied as well. Then, moving on if you look at
13 Claims 11, 13, 15, 16, 17, and 24, those are also
14 satisfied.

15 Q. Do Complainants challenge any of the claim
16 limitations are met by the Passat?

17 A. It's my understanding they have not.

18 Q. Let's move on to your next reference.

19 MS. PARK: Your Honor, we are about to move on
20 to a different ground. Would you like to break for the
21 day?

22 JUDGE BHATTACHARYYA: I think that's a good
23 idea. It's two minutes early. We'll go an extra two
24 minutes tomorrow. How is that? Thank you.

25 Thanks, everybody. We're adjourned for the day.

1 (Whereupon, recess was taken at 5:14 p.m., to
2 resume the following day at 9:30 a.m.)
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1	C O N T E N T S				
2	WITNESS	DIRECT	CROSS	REDIRECT	RE CROSS
3	James Fekete PhD	588	607		
4	Nguyen Thi Lan Anh	731	737		
5	John Phillip	740	764	797	801
6	Bradley PhD				
7	Stuart Brown, PhD	803			

8

9 AFTERNOON SESSION: 730

10 CONFIDENTIAL SESSIONS: 588-606; 676-682; 707-714;

11 762-763;

12

13

14 E X H I B I T S

15 EXHIBIT NO: RECEIVED

16 JOINT

17 The Parties' Joint List 730

18 Of Admitted Exhibits, Day 2

19

20

21

22

23

24

25

1 E X H I B I T S

2 EXHIBIT LISTS SUBMITTED FOR ADMISSION:

3 The Joint List of Admitted Exhibits (Day 2)

4 CX-0031C
5 CX-0034C
6 CX-0035C
7 CX-0090
8 CX-0092C
9 CX-0093C
10 CX-0094C
11 CX-0095C
12 CX-0096C
13 CX-0097C
14 CX-0098C
15 CX-0099C
16 CX-0100C
17 CX-0101C
18 CX-0103C
19 CX-0104C
20 CX-0105C
21 CX-0106C
22 CX-0108C
23 CX-0109C
24 CX-0111C
25 CX-0113

1 CX-0114C
2 CX-0118C
3 CX-0121C
4 CX-0122C
5 CX-0123C
6 CX-0124C
7 CX-0125C
8 CX-0126C
9 CX-0127C
10 CX-0128C
11 CX-0129C
12 CX-0130C
13 CX-0131C
14 CX-0132C
15 CX-0133C
16 CX-0134C
17 CX-0135C
18 CX-0136C
19 CX-0137C
20 CX-0138C
21 CX-0139C
22 CX-0140C
23 CX-0141C
24 CX-0142C
25 CX-0143C

1 CX-0144C
2 CX-0145C
3 CX-0146C
4 CX-0147C
5 CX-0148C
6 CX-0149C
7 CX-0150C
8 CX-0151C
9 CX-0152C
10 CX-0153C
11 CX-0154C
12 CX-0156C
13 CX-0158C
14 CX-0159C
15 CX-0160C
16 CX-0161C
17 CX-0162C
18 CX-0163C
19 CX-0164C
20 CX-0165C
21 CX-0166C
22 CX-0167C
23 CX-0168C
24 CX-0169C
25 CX-0170C

1 CX-0171C
2 CX-0172C
3 CX-0173C
4 CX-0174C
5 CX-0175C
6 CX-0176C
7 CX-0177C
8 CX-0178C
9 CX-0179C
10 CX-0180C
11 CX-0181C
12 CX-0182C
13 CX-0183C
14 CX-0184C
15 CX-0185C
16 CX-0186C
17 CX-0187C
18 CX-0188C
19 CX-0189C
20 CX-0190C
21 CX-0191C
22 CX-0192C
23 CX-0193C
24 CX-0194C
25 CX-0195C

1 CX-0196C
2 CX-0197C
3 CX-0198C
4 CX-0199C
5 CX-0200C
6 CX-0201C
7 CX-0202C
8 CX-0203C
9 CX-0204C
10 CX-0205C
11 CX-0206C
12 CX-0207C
13 CX-0208C
14 CX-0209C
15 CX-0210C
16 CX-0211C
17 CX-0212C
18 CX-0213C
19 CX-0214C
20 CX-0215C
21 CX-0216C
22 CX-0217C
23 CX-0218C
24 CX-0219C
25 CX-0220C

1 CX-0221C
2 CX-0222C
3 CX-0223C
4 CX-0224C
5 CX-0225C
6 CX-0226C
7 CX-0227C
8 CX-0228C
9 CX-0229C
10 CX-0230C
11 CX-0231C
12 CX-0232C
13 CX-0233C
14 CX-0234C
15 CX-0235C
16 CX-0236C
17 CX-0237C
18 CX-0238C
19 CX-0239C
20 CX-0240C
21 CX-0241C
22 CX-0242C
23 CX-0243C
24 CX-0244C
25 CX-0245C

1 CX-0246C
2 CX-0247C
3 CX-0248C
4 CX-0249C
5 CX-0250C
6 CX-0251C
7 CX-0252C
8 CX-0253C
9 CX-0254C
10 CX-0255C
11 CX-0256C
12 CX-0257C
13 CX-0258C
14 CX-0259C
15 CX-0260C
16 CX-0261C
17 CX-0262C
18 CX-0263C
19 CX-0264C
20 CX-0265C
21 CX-0266C
22 CX-0267C
23 CX-0268C
24 CX-0269C
25 CX-0270C

1 CX-0271C
2 CX-0272C
3 CX-0273C
4 CX-0274C
5 CX-0275C
6 CX-0276C
7 CX-0277C
8 CX-0278C
9 CX-0279C
10 CX-0280C
11 CX-0281C
12 CX-0468C (redacted)
13 CX-0481C
14 CX-0482C
15 CX-0489C
16 CX-0559C
17 CX-0566C
18 CX-0613C
19 CX-0635C
20 CX-0638C
21 CX-0652C
22 CX-0653C
23 CX-0654C
24 CX-0657C
25 CX-0661C

1 CX-0662C
2 CX-0663C
3 CX-0664C
4 CX-0665C
5 CX-0666C
6 CX-0667C
7 CX-0668C
8 CX-0676C
9 CX-0685C
10 CX-0686C
11 CX-0687C
12 CX-0688C
13 CX-0689C
14 CX-0692C
15 CX-0709C
16 CX-0710C
17 CX-0711C
18 CX-0712C
19 CX-0719C
20 CX-0727C
21 CX-0729C
22 CX-0730C
23 CX-0777
24 CX-0778
25 CX-0779

1 CX-0782
2 CX-0785
3 CX-0788
4 CX-0789
5 CX-0790
6 CX-0794
7 CX-0796
8 CX-0802
9 CX-0806
10 CX-0815
11 CX-0820
12 CX-0821C
13 CX-0822
14 CX-0823
15 CX-0824
16 CX-0825
17 CX-0826C
18 CX-0827C
19 CX-0828C
20 CX-0829C
21 CX-0830C
22 CX-0831C
23 CX-0832C
24 CX-0833C
25 CX-0834C

1 CX-0835C
2 CX-0836C
3 CX-0837C
4 CX-0838C
5 CX-0839C
6 CX-0840C
7 CX-0841C
8 CX-0842C
9 CX-0843C
10 CX-0844C
11 CX-0845C
12 CX-0846C
13 CX-0847C
14 CX-0848C
15 CX-0849C
16 CX-0850C
17 CX-0851C
18 CX-0852C
19 CX-0853C
20 CX-0854C
21 CX-0855C
22 CX-0856C
23 CX-0857C
24 CX-0858C
25 CX-0859C

1 CX-0860C
2 CX-0861C
3 CX-0862C
4 CX-0863C
5 CX-0864C
6 CX-0865C
7 CX-0866C
8 CX-0869C
9 CX-0870C
10 CX-0871C
11 CX-0872C
12 CX-0874C
13 CX-0875C
14 CX-0876C
15 CX-0877C
16 CX-0878C
17 CX-0879C
18 CX-0880C
19 CX-0926
20 CX-0927
21 CX-0928
22 CX-0931
23 RX-1009C
24 RX-1010C
25 RX-1245C

1 CPX-0001
2 CPX-0002
3 CPX-0003
4 CPX-0004
5 CPX-0005
6 CPX-0006
7 CPX-0007
8 CPX-0008
9 CPX-0009
10 CPX-0010
11 CPX-0011
12 CPX-0012
13 CPX-0013
14 CPX-0014
15 CPX-0015
16 CPX-0016
17 CPX-0017
18 CPX-0018
19 CPX-0019
20 CPX-0020
21 CPX-0021
22 CPX-0022
23 CPX-0023
24 CPX-0024
25 CPX-0025

1 CPX-0026
2 CPX-0027
3 CPX-0028
4 CPX-0029
5 CPX-0030
6 CPX-0031
7 CPX-0032
8 CPX-0033
9 CPX-0034
10 CPX-0035
11 CPX-0036
12 CPX-0037
13 CPX-0038
14 CPX-0039
15 CPX-0040
16 CPX-0041
17 CPX-0044
18 CPX-0045
19 CPX-0046
20 CPX-0047
21 CPX-0049
22 CPX-0050
23 CPX-0051
24 CPX-0052
25 CPX-0053

1 CERTIFICATE OF REPORTER

2 TITLE: CERTAIN HIGH-STRENGTH ALUMINUM OR ALUMINUM-ALLOY
3 COATED STEEL, AND AUTOMOTIVE PRODUCTS AND
4 AUTOMOBILES CONTAINING SAME

5 INVESTIGATION NO: 337-TA-1402


6 HEARING DATE: Wednesday, March 5, 2025

7 LOCATION: Courtroom A

8 NATURE OF HEARING: Evidentiary Hearing

9 I hereby certify that the foregoing/attached
10 transcript is a true, correct and complete record of the
11 above-referenced proceedings of the U.S. International
12 Trade Commission.

13 Date: March 5, 2025

14 SIGNED: 


15 Signature of the Contractor of the
16 Authorized Contractor's Representative
17 1150 Connecticut Avenue, N.W., Suite 305
18 Washington, D.C. 20036

19 I hereby certify that I am not the Court Reporter
20 and that I have proofread the above-referenced transcript
21 of the proceedings of the U.S. International Trade
22 Commission, against the aforementioned Court Reporter's
23 notes and recordings, for accuracy in transcription in the
24 spelling, hyphenation, punctuation and speaker
25 identification and did not make any changes of a
substantive nature. The foregoing/attached transcript is a
true, correct and complete transcription of the
proceedings.

26 SIGNED: 

27 Natalie Bourlier, Proofreader

28 I hereby certify that I reported the above-referenced
29 proceedings of the U.S. International Trade Commission and
30 caused to be prepared from my tapes and notes of the
31 proceedings a true, correct and complete verbatim recording
32 of the proceedings.

33 SIGNED: 

34 Marjorie Peters, FAPR, RMR, CRR Court Reporter