

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

---o0o---

SAMSUNG ELECTRONICS CO. LTD.,	)	IPR2024-00010
	)	Patent 9,615,192
Petitioner,	)	
	)	
v.	)	
	)	
HEADWATER RESEARCH LLC,	)	
Patent Owner.	)	
		)

---o0o---

REMOTE DEPOSITION OF DR. PATRICK TRAYNOR

WEDNESDAY, AUGUST 28, 2024

7:02 A.M. PDT

PAGES 1 - 20

---o0o---

Reported by:

JULIE RUMSEY, CSR 14144

Job No. 102548

1 UNITED STATES PATENT AND TRADEMARK OFFICE
2 BEFORE THE PATENT TRIAL AND APPEAL BOARD

3 ---o0o---

4 SAMSUNG ELECTRONICS CO. LTD.,)IPR2024-00010
5 Petitioner,)Patent 9,615,192
6 v.)
7 HEADWATER RESEARCH LLC,)
8 Patent Owner.)
_____)

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

REMOTE DEPOSITION OF DR. PATRICK TRAYNOR, located
in Gainesville, Florida, commencing at 7:02 A.M. PDT on
Wednesday, August 28, 2024, before JULIE RUMSEY, Certified
Shorthand Reporter 14144, in and for the State of
California.

1 APPEARANCES VIA ZOOM:

2

3 FOR THE PETITIONER:

4 FISH & RICHARDSON P.C.
5 BY: KARAN JHURANI, ESQ.
6 PARVIN GHANE, ESQ.
7 60 SOUTH SIXTH ST., STE. 3200
8 MINNEAPOLIS, MN 55402
9 (612) 335-5070
10 jhurani@fr.com
11 ghane@fr.com
12

13 FOR THE PATENT OWNER:

14 RUSS, AUGUST & KABAT
15 BY: JAMES A. MILKEY, ESQ.
16 12424 WILSHIRE BLVD., 12TH FL.
17 LOS ANGELES, CA 90025
18 (310) 826-7474
19 jmilkey@raklaw.com
20

21 REMOTE TECHNICIAN:

22 KORYN COALSON

23 ---o0o---

24

25

1	INDEX		
2	---o0o---		
3	EXAMINATION		
4			PAGE
5	BY ATTORNEY MILKEY		5
6			
7	---o0o---		
8	EXHIBITS		
9	EXHIBIT	DESCRIPTION	PAGE
10	Exhibit 1003	4/4/2017 Declaration of	
11		Dr. Patrick Traynor	
		247 Pages	6
12	Exhibit 1004	3GPP TS-23.140	
13		Technical Specification	
		218 Pages	6
14	Exhibit 1007	"Houghton"	
15		International Publication Number	
		WO 2006/077283 A1	
16		56 Pages	6
17	Exhibit 1017	"Munson"	
		Patent Application Publication	
18		Pub. No.: US 2009/0240807 A1	
		12 Pages	20
19	---o0o---		
20			
21			
22			
23			
24			
25			

1 WEDNESDAY, AUGUST 28, 2024, 7:02 A.M. PDT

2 ---o0o---

3 CERTIFIED STENOGRAPHER: Good morning. My name is
4 Julie Rumsey, California Certified Shorthand Reporter
5 Number 14144. The stenographic record is the official
6 record pursuant to C.C.P. 2025.

7 Before we proceed, I will ask counsel to agree on
8 the record that there is no objection to this deposition
9 officer administering a binding oath to a witness not
10 appearing personally before me and located in Florida.

11 ATTORNEY MILKEY: No objection by patent owner.

12 ATTORNEY JHURANI: No objection by petitioners
13 either.

14 ---o0o---

15 DR. PATRICK TRAYNOR,
16 called as a witness herein, having been
17 administered an oath remotely in accordance with C.C.P.
18 Section 2096, was examined and testified as follows:

19 ---o0o---

20 EXAMINATION

21 BY ATTORNEY MILKEY:

22 Q. Good morning, Dr. Traynor. Do you understand that
23 this is a deposition regarding -- a proceeding regarding
24 the '192 patent?

25 A. Yes, I do.

1 Q. Okay. And you issued a declaration in this
2 proceeding; is that correct?

3 A. Yes. That's correct.

4 Q. Do you have a copy of that declaration available?

5 A. I do.

6 ATTORNEY MILKEY: Okay. You can refer to that.
7 I'm uploading a copy in the chat for the benefit of the
8 reporter, but that's Exhibit 1003 in this proceeding.

9 (Exhibit 1003 was presented and subsequently
10 marked for identification.)

11 ATTORNEY MILKEY: I'm also -- just in the interest
12 of efficiency -- going to upload Exhibit 1004, which is a
13 3GPP TS-23.140 reference.

14 (Exhibit 1004 was presented and subsequently
15 marked for identification.)

16 ATTORNEY MILKEY: As well as Exhibit 1007, which
17 has been referred to as "Houghton" in this proceeding.

18 (Exhibit 1007 was presented and subsequently
19 marked for identification.)

20 ATTORNEY MILKEY: And Exhibit 1017, which has been
21 referred to as "Munson" in this proceeding.

22 (Exhibit 1017 was presented and subsequently
23 marked for identification.)

24 BY ATTORNEY MILKEY:

25 Q. Dr. Traynor, feel free to reference any, you know,

1 clean copies that you have or any -- you know, the exhibits
2 that I've uploaded into the chat throughout your
3 deposition.

4 **A. Thank you.**

5 Q. So what did you do to prepare for your deposition
6 today?

7 **A. I reread my declaration. Looked back through the**
8 **documents that I cited.**

9 Q. Okay. Do you have any corrections to make to your
10 declaration at this time?

11 **A. No, I do not.**

12 Q. So in your first ground, you rely on the TS-23.140
13 specification. What is that reference at a high level?

14 **A. At a high level --**

15 ATTORNEY JHURANI: Objection to form.

16 **THE WITNESS: At a high level, TS-23.140 is a**
17 **standards document for telephony networks specifically**
18 **related to MMS.**

19 BY ATTORNEY MILKEY:

20 Q. Okay. Is this a real-time system?

21 ATTORNEY JHURANI: Objection to form.

22 **THE WITNESS: Sorry. MMS is part of larger**
23 **cellular standards. Which part are you asking about?**

24 BY ATTORNEY MILKEY:

25 Q. I'm asking about the MMS portion of the

1 specifications that are real-time MMS system.

2 A. Sorry to keep pulling this apart, because I think
3 there were a few things in here. Can you -- can you be a
4 little more clear about what you mean by "real time"?

5 Q. Do you have an understanding of what "real time"
6 means in the context of messaging services?

7 A. Yes. I do.

8 Q. So would you -- in your understanding, would you
9 understand the TS-23.140 specification to provide for
10 real-time messaging?

11 A. MMS is a store-and-forward protocol. So messages
12 are generally delivered very quickly. It's not appropriate
13 for things like real-time video and real-time audio. So if
14 you mean instantaneous real time, then no. But if you mean
15 often very quickly, then it could be.

16 Q. Okay. Thank you.

17 Could you go to page 19 of Exhibit 1004, the
18 TS-23.140 specification.

19 A. Just confirming. Looks like 19 is both the PDF
20 page and printed page, correct?

21 Q. Correct.

22 A. Okay. I'm there.

23 Q. Okay. And do you see, under section 5.1.1, the
24 first statement is (as read):

25 The MMS user agent shall provide the following

1 application layer functionalities: the retrieval
2 of MMs.

3 A. Yes, I do see that.

4 Q. Okay. What does "shall" mean in the TS-23.140
5 specification?

6 A. This is a core feature of the -- of the
7 specification. So this is a core service. This is part of
8 what the specification and the products that implement it
9 provide.

10 Q. Is that different than -- strike that. Yeah.
11 Is that different than the phrasing in the next
12 non-bullet line that says (as read):

13 The MMS user agent may provide additional
14 application layer functionality.

15 ATTORNEY JHURANI: Objection to form.

16 THE WITNESS: So, again, "shall" tends to indicate
17 core requirements. "May" are features that are useful.
18 But in my experience working with 3GPP standards, these
19 "may" clauses are implemented by commercial products.

20 BY ATTORNEY MILKEY:

21 Q. Okay. If you could go to page 29 of Exhibit 1004.

22 A. Page 29.

23 Q. Do you see the third paragraph under section
24 7.1.3? It states:

25 Upon retrieval request, the recipient MMS

1 relay/server shall deliver the MM to the recipient
2 MMS user agent.

3 A. I see that text. Yes.

4 Q. Okay. Are you aware of any other instances in
5 this document that -- under which the MMS relay server
6 shall deliver the MM to the recipient MMS user agent?

7 A. I haven't memorized the document. Are there other
8 particular places you'd like me to read?

9 Q. Sitting here today, are you aware of any instances
10 in Exhibit 1004 -- you can look through the document if you
11 want. But are you aware of any other instances in which
12 the MMS relay server shall deliver the MM to the recipient
13 MMS user agent other than upon a retrieval request?

14 A. Again, in context, a message arriving at the MMS
15 relay server would, in a real 3GPP system, cause the system
16 to attempt to reach out and see that the device was
17 available, that the UE was available. And so that first
18 message then would spawn the request -- the retrieval
19 request from the phone, the UE, to receive this.

20 So that's just sort of the standard. When an MMS
21 comes in, the target UE is alerted if it's available, and
22 then it makes a request if it's capable of downloading that
23 message.

24 Q. Okay. So the process is that the MMS comes in --
25 sorry. Strike that. Yeah.

1 So the process is the MMS comes into the MMS relay
2 server. The MMS relay server notifies the UE that a
3 message is available. The UE provides a retrieval request,
4 and it's at that point that the MMS relay server delivers
5 that MM to the UE?

6 ATTORNEY JHURANI: Objection to form. Compound.

7 **THE WITNESS:** So there are a lot of caveats along
8 the way. Your question assumes, number one, that the
9 device is available. So the network may -- the MMS may try
10 to deliver. A device could be out of coverage, for
11 example, so it may not hear that request.

12 Similarly, even if it reaches -- if the request
13 does reach the device, whether by user preference, by
14 platform limitation, by perhaps the -- an older phone,
15 certainly, if storage for MMS messages is exceeded. The
16 phone may elect to not receive that message. And so there
17 are a number of caveats along the way that could cause a
18 message not to be delivered.

19 Q. Are you aware of any instances in which the MMS
20 will be delivered without a retrieval request?

21 A. There are some additional standards on broadcasts.
22 Those would send to every -- every device, you know, at a
23 specific tower, for example, that's like in a separate
24 standard, but those are cases in which things might be
25 pushed down to a phone.

1 Q. Okay. But in the TS-23.140 standard, are there
2 any instances in which the MMS will be delivered without a
3 retrieval request?

4 A. Again, as I've said before, the -- you know, the
5 network will attempt to contact the target UE, and if it's
6 available, it will then request that the message be sent to
7 it.

8 Q. Understood.

9 My specific question is are there any instances in
10 which the MMS will be delivered but there has been no
11 retrieval requests by the UE?

12 A. Again, as I said before, there may be some
13 broadcast cases that are covered in additional standards.
14 Here, we -- as I said before -- send a request, and then
15 the device, if it's capable and ready and online, will then
16 request delivery.

17 Q. Okay. Okay. If you could go to page 94 of your
18 declaration. This is regarding Claim 9.

19 A. One moment, please. Let me get there. I'm going
20 to take a moment to just read this.

21 Q. Yeah.

22 A. Okay. I've read that text.

23 Q. Okay. And turning to paragraph 197 of your
24 declaration. That's on page 95. This refers to --
25 actually, paragraphs 196 and 197 refer to an "earliest

1 desired time of delivery."

2 And this is, I believe, an earliest desired time
3 of delivery that's provided to the MMS relay server.

4 Do you see that?

5 **A. Yes, I see that in paragraph 196.**

6 **Q.** Yeah.

7 In your view, does Claim 9 require that the
8 claimed expiration of a periodic timer referred to a timer
9 that is known to the message link server of Claim 1?

10 ATTORNEY JHURANI: Objection to form.

11 **THE WITNESS: Can you please break that down for**
12 **me a little bit? That's kind of a complicated multipart**
13 **question.**

14 ATTORNEY MILKEY: Yeah.

15 BY ATTORNEY MILKEY:

16 **Q.** So in your paragraph-196-and-197 example, my
17 understanding is that the MMS relay server is aware of the
18 timer.

19 And my question is could Claim 9 be satisfied if
20 the MMS relay server is not aware of the timer?

21 ATTORNEY JHURANI: Objection to form.

22 **THE WITNESS: Sorry. Just a bit of a**
23 **hypothetical. I need to think about this for a minute.**

24 **So I'm looking at the language of the claim, and**
25 **it talks about message delivery triggers. As I say in 196,**

1 that's -- the trigger here is something that is at the MMS
2 relay server. But, you know, because the language is
3 fairly general in Claim 9, I go on to talk about periodic
4 polling in paragraph 198.

5 And so, in this case, the phone, the UE, is with
6 some regularity polling for messages. Checking to see if
7 anything is waiting for it. And so, when the timer on the
8 phone expires -- the periodic timer -- it's then going and
9 seeing if a message is available. That would then trigger
10 the release of that message, if one was available.

11 BY ATTORNEY MILKEY:

12 Q. Okay. So I do want to ask about paragraph 198,
13 which you just mentioned. You said that the periodic
14 polling would trigger the message delivery.

15 How does the periodic polling trigger message
16 delivery?

17 A. So we spoke about this a little bit earlier.
18 Periodic polling would be retrieval requests. So the UE
19 could reach out to the MMS and say, do you have anything
20 for me? It could do so on a regular basis.

21 Knowing that the state in these networks is not
22 always consistent, you could imagine that I can pass in and
23 out of coverage areas. And so the MMS may not -- server
24 may not know that I'm available right now or possibly that
25 I've just come back into an available range. So this kind

1 of behavior is a pretty normal thing in that scenario.

2 Q. Okay. So the periodic polling is a request from
3 the UE to the MMS relay server to see if any messages are
4 available for the UE?

5 A. Yes. That's correct. That's what I say here.

6 Q. So just to be clear, this is not polling that's
7 done by the MMS relay server. It's polling that's done by
8 the UE itself?

9 A. As I say in paragraph 198, that's the MMS user
10 agent that's doing the periodic polling.

11 Q. Okay. Where in TS-23.140 does it say that the MMS
12 user agent performs the periodic polling?

13 A. This is just a standard function that happens in
14 the network. I can spend some time going to try and root
15 it out, but this is how -- this is how phones work.

16 So would you like me to spend some time going
17 through the document?

18 Q. Yeah.

19 A. Okay. So just really quickly. I mean, I say
20 right here in paragraph 198 -- I have a pointer to pages 90
21 and 91. If you are on those pages -- if not, I have, I
22 think, most of a quote here, but there is a bullet point at
23 the top of 91 that says (as read):

24 Periodic polling for messages on external
25 server.

1 **So right there.**

2 Q. It talks about retrieval by the MMS user agent in
3 that bullet point. But it doesn't specify -- that bullet
4 point itself doesn't specify what component is performing
5 the periodic polling, correct?

6 A. **I don't see an explicit discussion, but this is a**
7 **practice that phones do all the time. Again, because they**
8 **move in and out of coverage areas, this is a regular**
9 **function of UEs and has been for a long time.**

10 Q. So it's your understanding that the MMS user agent
11 is going to contact the external server via periodic
12 polling, correct?

13 A. **Could, potentially.**

14 Q. And your understanding is that's what the
15 TS-23.140 specification discloses?

16 A. **The TS -- sorry.**

17 **The specification here discloses many things.**
18 **Here, we're talking about periodic polling. As I mentioned**
19 **to you, at the time of the patent in question, this sort of**
20 **polling behavior by UEs was very well known.**

21 Q. And it's disclosed by the TS-23.140
22 specification -- correct? -- that the MMS user agent polls
23 for messages on the external server?

24 ATTORNEY JHURANI: Objection to form.

25 **THE WITNESS: Those are the literal words here.**

1 Again, I'm looking at the teachings of this and my
2 understanding of the broad set of 3GPP specifications.

3 Polling by user devices for MMS messages is a
4 normal practice. It -- periodically doing so ensures
5 robustness. So I'm just pointing to a place where one kind
6 of periodic polling happens. But this is not unique.

7 BY ATTORNEY MILKEY:

8 Q. Okay. If you could go to paragraph 389 of your
9 declaration. This is on page 173.

10 A. I'm there. If you just give me a moment to read
11 around this area.

12 Q. Absolutely.

13 A. Okay. I've read the text around 389.

14 Q. Okay. And is it your contention that pushing
15 content according to a schedule of time or event is
16 necessarily as a result of the expiration of a periodic
17 timer?

18 A. Yes. I believe so. As I talk about here -- I am
19 not sure if you remember, because the providers don't work
20 like this anymore. But once upon a time, if you sent
21 messages during a certain time of the day, it cost more
22 money, but after -- it's generally 9:00, messaging and
23 voice would become free. And so a timer set for 9:00 would
24 satisfy that.

25 Q. Okay. And would a user waiting until, say, 9:00,

1 10:00 p.m. in order to -- you know, until they hit send on
2 their message -- would that constitute expiration of a
3 periodic timer?

4 ATTORNEY JHURANI: Objection. Form. Scope.

5 THE WITNESS: If I could just ask. Did the user
6 set some kind of a timer on their phone, or was just --
7 this just looking at the clock and waiting?

8 BY ATTORNEY MILKEY:

9 Q. Just looking at the clock and waiting.

10 ATTORNEY JHURANI: Objection. Scope.

11 THE WITNESS: It's hard to say. Maybe. I tend to
12 think of these triggers as something that's set in the
13 technology, but there might be -- there might be a case to
14 be made for a user doing it. It's a hypothetical, and I
15 apologize.

16 BY ATTORNEY MILKEY:

17 Q. What does "periodic" mean?

18 A. I mean, I believe the dictionary definition is of
19 or related to a time period. I could...

20 ATTORNEY MILKEY: All right. I have no further
21 questions.

22 CERTIFIED STENOGRAPHER: Anything else on the
23 record? Karan?

24 ATTORNEY JHURANI: Maybe give us ten minutes, and
25 then we'll come back.

1 CERTIFIED STENOGRAPHER: Okay to go off the
2 record, Jim?

3 ATTORNEY MILKEY: Yeah.

4 (Time noted 7:41 a.m. PDT.)

5 (Recess taken.)

6 (Time noted 7:50 a.m. PDT.)

7 ATTORNEY JHURANI: No further questions on our
8 end.

9 ATTORNEY MILKEY: All right. Thank you very much.

10 CERTIFIED STENOGRAPHER: Karan, I'll get your
11 transcript order on the record, please.

12 ATTORNEY JHURANI: Sure.

13 CERTIFIED STENOGRAPHER: Anything else on the
14 record?

15 ATTORNEY JHURANI: Well, I guess we don't need the
16 transcript rushed or anything like that. We'll take it in
17 due course, or I'm sure patent owners can submit that with
18 their materials. So we don't necessarily need that.

19 CERTIFIED STENOGRAPHER: Okay to go off record?

20 ATTORNEY MILKEY: Yeah.

21 CERTIFIED STENOGRAPHER: Okay, Karan?

22 ATTORNEY JHURANI: Yeah.

23 (Time noted 7:51 a.m. PDT.)

24 ---o0o---

25

1 CERTIFICATE OF REPORTER

2 ---oOo---

3 I, the undersigned, a Certified Shorthand
4 Reporter, Licensed by the State of California, being
5 empowered to administer oaths and affirmations remotely
6 pursuant to Section 2093(b) of the Code of Civil Procedure,
7 do hereby certify:


8 That the foregoing proceedings were taken remotely
9 before me on 08-28-24 and commenced at 7:02 a.m.; that the
10 witness in the foregoing proceedings, prior to testifying, were
11 placed under oath; That Counsel for all parties were present
12 at the time of the deposition; that a verbatim record of the
13 proceedings was made by me using machine shorthand which was
14 thereafter transcribed under my direction; further, that the
15 foregoing is an accurate transcription thereof.

16 I further certify that I am neither financially
17 interested in the action nor a relative or employee of any
18 attorney or any of the parties.

19 Further, that if the foregoing pertains to the
20 original transcript of a deposition in a Federal Case,
21 before completion of the proceedings, review of the
22 transcript [] was [X] was not requested.

23 IN WITNESS WHEREOF, I have this date subscribed my
24 name.

25 DATED: 8/29/2024



JULIE RUMSEY, CSR No. 14144

Exhibits	19 8:17,19	7	additional 9:13 11:21 12:13	24 7:15,19, 21,24 9:15,20 11:6 13:10, 14,15,21
Exhibit 1003 4:10 6:8,9	192 5:24	7.1.3 9:24	administered 5:17	14:11 16:24 17:7 18:4,8, 10,16,20,24 19:3,7,9,12, 15,20,22
Exhibit 1004 4:12 6:12,14 8:17 9:21 10:10	196 12:25 13:5,25	7:02 5:1	administering 5:9	
Exhibit 1007 4:14 6:16,18	197 12:23,25	7:41 19:4	agent 8:25 9:13 10:2,6,13 15:10,12 16:2,10,22	audio 8:13
Exhibit 1017 4:16 6:20,22	198 14:4,12 15:9, 20	7:50 19:6		AUGUST 5:1
	2	7:51 19:23	agree 5:7	aware 10:4,9,11 11:19 13:17, 20
-	2024 5:1	9	alerted 10:21	
---o0o---	2025 5:6	9 12:18 13:7,19 14:3	anymore 17:20	B
5:2,14,19 19:24	2096 5:18	90 15:20	apologize 18:15	back 7:7 14:25 18:25
1	28 5:1	91 15:21,23	appearing 5:10	basis 14:20
1003 6:8,9	29 9:21,22	94 12:17	application 9:1,14	behavior 15:1 16:20
1004 6:12,14 8:17 9:21 10:10	3	95 12:24	area 17:11	benefit 6:7
1007 6:16,18	389 17:8,13	9:00 17:22,23,25	areas 14:23 16:8	binding 5:9
1017 6:20,22	3GPP 6:13 9:18 10:15 17:2	A	arriving 10:14	bit 13:12,22 14:17
10:00 18:1	5	a.m. 5:1 19:4,6,23	assumes 11:8	break 13:11
14144 5:5	5.1.1 8:23	Absolutely 17:12	attempt 10:16 12:5	broad 17:2
173 17:9		accordance 5:17	ATTORNEY 5:11,12,21 6:6,11,16,20,	

broadcast 12:13	19,24 14:3	6:4,7	25 14:14,16	elect 11:16
broadcasts 11:21	claimed 13:8	core 9:6,7,17	deposition 5:8,23 7:3,5	end 19:8
bullet 15:22 16:3	clauses 9:19	correct 6:2,3 8:20,21 15:5 16:5,12, 22	desired 13:1,2	ensures 17:4
C	clean 7:1	corrections 7:9	device 10:16 11:9, 10,13,22 12:15	event 17:15
C.C.P. 5:6,17	clear 8:4 15:6	cost 17:21	devices 17:3	EXAMINATIO N 5:20
California 5:4	clock 18:7,9	counsel 5:7	dictionary 18:18	examined 5:18
called 5:16	commercial 9:19	coverage 11:10 14:23 16:8	disclosed 16:21	exceeded 11:15
capable 10:22 12:15	complicated 13:12	covered 12:13	discloses 16:15,17	exhibit 6:8,9,12,14, 16,18,20,22 8:17 9:21 10:10
case 14:5 18:13	component 16:4	D	discussion 16:6	exhibits 7:1
cases 11:24 12:13	Compound 11:6	day 17:21	document 7:17 10:5,7, 10 15:17	experience 9:18
caveats 11:7,17	confirming 8:19	declaration 6:1,4 7:7,10 12:18,24 17:9	documents 7:8	expiration 13:8 17:16 18:2
cellular 7:23	consistent 14:22	definition 18:18	downloading 10:22	expires 14:8
Certified 5:3,4 18:22 19:1,10,13, 19,21	constitute 18:2	deliver 10:1,6,12 11:10	due 19:17	explicit 16:6
chat 6:7 7:2	contact 12:5 16:11	delivered 8:12 11:18,20 12:2,10	E	external 15:24 16:11, 23
Checking 14:6	content 17:15	definition 18:18	earlier 14:17	F
cited 7:8	contention 17:14	delivers 11:4	earliest 12:25 13:2	fairly
claim 12:18 13:7,9,	context 8:6 10:14	delivery 12:16 13:1,3,	efficiency 6:12	
	copies 7:1			
	copy			

14:3			13:9	8:11 11:15
feature	H	J	literal	14:6 15:3,24
9:6	hard	JHURANI	16:25	16:23 17:3,21
features	18:11	5:12 7:15,21	located	messaging
9:17	hear	9:15 11:6	5:10	8:6,10 17:22
feel	11:11	13:10,21	long	MILKEY
6:25	high	16:24 18:4,	16:9	5:11,21 6:6,
Florida	7:13,14,16	10,24 19:7,	Looked	11,16,20,24
5:10	hit	12,15,22	7:7	7:19,24 9:20
form	18:1	Jim	lot	13:14,15
7:15,21 9:15	Houghton	19:2	11:7	14:11 17:7
11:6 13:10,21	6:17	Julie		18:8,16,20
16:24 18:4	hypothetical	5:4	M	19:3,9,20
free	13:23 18:14	K	made	minute
6:25 17:23	I	Karan	18:14	13:23
function	identification	18:23 19:10,	make	minutes
15:13 16:9	6:10,15,19,23	21	7:9	18:24
functionalitie	imagine	kind	makes	MM
s	14:22	13:12 14:25	10:22	10:1,6,12
9:1	implement	17:5 18:6	marked	11:5
functionality	9:8	Knowing	6:10,15,19,23	MMS
9:14	implemented	14:21	materials	7:18,22,25
G	9:19	L	19:18	8:1,11,25 9:2,
general	instances	language	means	13,25 10:2,5,
14:3	10:4,9,11	13:24 14:2	8:6	6,12,13,14,
generally	11:19 12:2,9	larger	memorized	20,24 11:1,2,
8:12 17:22	instantaneou	7:22	10:7	4,9,15,19
give	s	layer	mentioned	12:2,10 13:3,
17:10 18:24	8:14	9:1,14	14:13 16:18	17,20 14:1,
Good	interest	level	message	19,23 15:3,7,
5:3,22	6:11	7:13,14,16	10:14,18,23	9,11 16:2,10,
ground	issued	limitation	11:3,16,18	22 17:3
7:12	6:1	11:14	12:6 13:9,25	moment
guess		link	14:9,10,14,15	12:19,20
19:15			18:2	17:10
			messages	money
				17:22
				morning
				5:3,22

move 16:8	official 5:5	PDF 8:19	point 11:4 15:22 16:3,4	21
multipart 13:12	older 11:14	PDT 5:1 19:4,6,23	pointer 15:20	process 10:24 11:1
Munson 6:21	online 12:15	performing 16:4	pointing 17:5	products 9:8,19
N	order 18:1 19:11	performs 15:12	polling 14:4,6,14,15, 18 15:2,6,7, 10,12,24 16:5,12,18,20 17:3,6	protocol 8:11
necessarily 17:16 19:18	owner 5:11	period 18:19	polls 16:22	provide 8:9,25 9:9,13
network 11:9 12:5 15:14	owners 19:17	periodic 13:8 14:3,8, 13,15,18 15:2,10,12,24 16:5,11,18 17:6,16 18:3, 17	portion 7:25	provided 13:3
networks 7:17 14:21	P	periodically 17:4	possibly 14:24	providers 17:19
non-bullet 9:12	p.m. 18:1	personally 5:10	potentially 16:13	pulling 8:2
normal 15:1 17:4	pages 15:20,21	petitioners 5:12	practice 16:7 17:4	pursuant 5:6
noted 19:4,6,23	paragraph 9:23 12:23 13:5 14:4,12 15:9,20 17:8	phone 10:19 11:14, 16,25 14:5,8 18:6	preference 11:13	pushed 11:25
notifies 11:2	paragraph-196-and-197 13:16	phones 15:15 16:7	prepare 7:5	pushing 17:14
number 5:5 11:8,17	paragraphs 12:25	phrasing 9:11	presented 6:9,14,18,22	Q
O	part 7:22,23 9:7	place 17:5	pretty 15:1	question 11:8 12:9 13:13,19 16:19
oath 5:9,17	pass 14:22	places 10:8	printed 8:20	questions 18:21 19:7
objection 5:8,11,12 7:15,21 9:15 11:6 13:10,21 16:24 18:4,10	patent 5:11,24 16:19 19:17	platform 11:14	proceed 5:7	quickly 8:12,15 15:19
officer 5:9	PATRICK 5:15		proceeding 5:23 6:2,8,17,	quote 15:22

R	6:17,21 13:8	9:17	separate 11:23	spoke 14:17
range 14:25	refers 12:24	reread 7:7	server 10:5,12,15 11:2,4 13:3,9, 17,20 14:2,23	standard 10:20 11:24 12:1 15:13
reach 10:16 11:13 14:19	regular 14:20 16:8	result 17:16	15:3,7,25 16:11,23	standards 7:17,23 9:18 11:21 12:13
reaches 11:12	regularity 14:6	retrieval 9:1,25 10:13, 18 11:3,20 12:3,11 14:18 16:2	service 9:7	state 14:21
read 8:24 9:12 10:8 12:20,22 15:23 17:10, 13	related 7:18 18:19	robustness 17:5	services 8:6	statement 8:24
ready 12:15	relay 10:5,12,15 11:1,2,4 13:3, 17,20 14:2 15:3,7	root 15:14	set 17:2,23 18:6, 12	states 9:24
real 8:4,5,14 10:15	relay/server 10:1	Rumsey 5:4	Shorthand 5:4	STENOGRAPHER 5:3 18:22 19:1,10,13, 19,21
real-time 7:20 8:1,10, 13	release 14:10	rushed 19:16	Similarly 11:12	stenographic 5:5
receive 10:19 11:16	rely 7:12	S	Sitting 10:9	storage 11:15
recess 19:5	remember 17:19	satisfied 13:19	sort 10:20 16:19	store-and-forward 8:11
recipient 9:25 10:1,6, 12	remotely 5:17	satisfy 17:24	spawn 10:18	strike 9:10 10:25
record 5:5,6,8 18:23 19:2,11,14,19	reporter 5:4 6:8	scenario 15:1	specific 11:23 12:9	submit 19:17
refer 6:6 12:25	request 9:25 10:13, 18,19,22 11:3,11,12,20 12:3,6,14,16 15:2	schedule 17:15	specifically 7:17	subsequently 6:9,14,18,22
reference 6:13,25 7:13	requests 12:11 14:18	Scope 18:4,10	specification 7:13 8:9,18 9:5,7,8 16:15, 17,22	system 7:20 8:1 10:15
referred	require 13:7	section 5:18 8:23 9:23	specifications 8:1 17:2	
	requirements	send 11:22 12:14 18:1	spend 15:14,16	

T	timer 13:8,18,20 14:7,8 17:17, 23 18:3,6 today 7:6 10:9 top 15:23 tower 11:23 transcript 19:11,16 Traynor 5:15,22 6:25 trigger 14:1,9,14,15 triggers 13:25 18:12 TS 16:16 TS-23.140 6:13 7:12,16 8:9,18 9:4 12:1 15:11 16:15,21 turning 12:23	5:22 8:9 understandin g 8:5,8 13:17 16:10,14 17:2 Understood 12:8 unique 17:6 upload 6:12 uploaded 7:2 uploading 6:7 user 8:25 9:13 10:2,6,13 11:13 15:9,12 16:2,10,22 17:3,25 18:5, 14	words 16:25 work 15:15 17:19 working 9:18	
talk 14:3 17:18 talking 16:18 talks 13:25 16:2 target 10:21 12:5 teachings 17:1 technology 18:13 telephony 7:17 ten 18:24 tend 18:11 testified 5:18 text 10:3 12:22 17:13 thing 15:1 things 8:3,13 11:24 16:17 time 7:10 8:4,5,14 13:1,2 15:14, 16 16:7,9,19 17:15,20,21 18:19 19:4,6, 23	U UE 10:17,19,21 11:2,3,5 12:5, 11 14:5,18 15:3,4,8 UES 16:9,20 understand	V video 8:13 view 13:7 voice 17:23 W waiting 14:7 17:25 18:7,9 WEDNESDAY 5:1		