

UNITED STATES PATENT AND TRADEMARK OFFICE
BEFORE THE PATENT TRIAL AND APPEAL BOARD

GODBERSEN-SMITH CONSTRUCTION	)	
COMPANY d/b/a GOMACO CORPORATION,	)	Case
	)	PGR2024-00026
Petitioner,	)	
	)	U.S. Patent No.
v.	)	11,772,723
	)	
GUNTERT & ZIMMERMAN CONST. DIV.,	)	
INC.,	)	
	)	
Patent Owner.	)	
	)	

ZOOM VIDEOCONFERENCE DEPOSITION OF
WILLIAM SINGHOSE, Ph.D.

February 13, 2025
10:03 a.m. (EST)
Meeting ID: 898 3748 1792

Reported by:
KIM RUPIPER, CR, CRR, RPR
Certified Realtime Reporter
Certificate No. 50456

1	INDEX TO EXAMINATIONS		
2	WITNESS		PAGE
3	WILLIAM SINGHOSE, Ph.D.		
4	Examination by Mr. Price.....		4
5			
6	INDEX TO EXHIBITS		
7	NO.		IDENTIFIED
8	GOMACO Exh. 1001	'723 Patent.....	37
9	GOMACO Exh. 1002	Declaration of William Singhose...	6
10	GOMACO Exh. 1006	Rio, '995 Patent.....	12
11	GOMACO Exh. 1008	Miller, '165 Patent.....	21
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1 ZOOM VIDEOCONFERENCE DEPOSITION OF
2 WILLIAM SINGHOSE, Ph.D., commenced at 10:03 a.m. (EST)
3 on February 13, 2025, with all participants appearing
4 remotely via Zoom, Meeting ID: 898 3748 1792, before
5 Kim Rupiper, a Certified Reporter, CR No. 50456, in and
6 for the County of Maricopa, State of Arizona, appearing
7 remotely via Zoom.

8

9 APPEARANCES:

10 For Patent Owner:

11 KRAMER LEVIN NAFTALIS & FRANKEL LLP
12 By: Jeffrey H. Price, Esq.
13 1177 Avenue of the Americas
14 New York, New York 10036
15 jprice@kramerlevin.com
16 212.715.9000

17 For Petitioner:

18 FINNEGAN HENDERSON FARABOW GARRETT & DUNNER LLP
19 By: Robert K. High, Esq.
20 David Mroz, Esq.
21 901 New York Avenue NW
22 Washington, D.C. 20001-4413
23 robert.high@finnegan.com
24 David.Mroz@finnegan.com
25 202.408.4000

<p>1 WILLIAM SINGHOSE, Ph.D., 2 a witness herein, having been first duly sworn to speak 3 the truth and nothing but the truth, was examined and 4 testified as follows: 5 6 EXAMINATION 7 BY MR. PRICE: 8 Q. Good morning, Dr. Singhose. How are you 9 today? 10 A. Good morning. I'm doing pretty well. How 11 about you? 12 Q. I'm good. Thank you. 13 A. Are you out in California? 14 Q. I am in New York. 15 A. Oh, okay. So it's not so early for you. 16 Q. No. My poor colleague yesterday was dragged 17 out of bed early, but... 18 A. Yeah, I bet. 19 Q. This is a good time for me. 20 All right. So, Dr. Singhose, approximately 21 how many times have you been deposed before? 22 A. I'm going to guess 35 to 40. 23 Q. And how many of those times were in IPR 24 proceedings? 25 A. Maybe 10 to 15.</p>	<p>Page 4 Page 6 1 Q. BY MR. PRICE: You can download them all. One 2 is the Rio reference, one is the '723 patent, and one 3 is your declaration. You can download them now, or you 4 can wait. Right now I'm specifically asking a question 5 about your declaration. 6 A. Okay. I think I have them, and you're asking 7 me to open up Exhibit 1002? 8 Q. That's it. 9 A. Yeah, that's it. Okay. I just want to make 10 sure it's not like from yesterday, because the 11 computer's still cluttered up. 12 Okay. Yeah. So this is -- the one I just 13 opened is the declaration for the '723 patent. I've 14 got it. 15 Q. Okay. You recognize this document? 16 A. Yes, I do. 17 Q. And that's your signature there at the end on 18 Page 152? 19 A. Yes, it is. And I also have a hard copy next 20 to me. 21 Q. You can feel free to use the hard copy -- 22 A. Okay. 23 Q. -- so long as there aren't any markings on it, 24 there aren't any notes. There aren't any extraneous... 25 A. Yeah, they just gave it to me a few minutes</p>
<p>Page 5 1 Q. Okay. I'll just give you, you know, a quick 2 reminder that I'll be asking questions of you this 3 morning. I need your full and truthful answers to 4 those questions. Especially because this is a remote 5 interview, it's especially important that we just not 6 talk over each other for the sake of the record. So 7 can you just agree that you'll let me finish my 8 questions before you start answering, and I'll extend 9 you the same courtesy to let you finish your answer 10 before I jump into the next question? 11 A. Okay. 12 Q. Okay. Great. 13 Dr. Singhose, can you turn to Paragraph 113 of 14 your declaration which I have uploaded into the chat. 15 MR. HIGH: Counsel, I think you might need to 16 re-upload it. We're not seeing anything in our chat. 17 MR. PRICE: Meeting group chat. Should be 18 everyone. I just put it in. Can anyone see it? 19 THE WITNESS: I don't see it. 20 MR. HIGH: We only see exhibits that uploaded 21 after we joined. 22 MR. PRICE: Okay. I think that should work 23 now. 24 THE WITNESS: Okay. Now I have three things. 25 Do you want me to download them all?</p>	<p>Page 7 1 ago. I just got a hard copy of it. 2 Q. Just, generally speaking, I'm okay with you 3 using any hard copies of documents that are in the 4 record so long as you tell me that there are no notes 5 or markings. 6 A. Yeah. So if I'm leafing through something, 7 it's right here. It's a stack right next to me. I'll 8 show you. They just gave me this, as you know, like a 9 binder of stuff. 10 Q. If you make that representation to me, I'll 11 believe you. 12 A. Okay. 13 Q. All right. 14 Okay. So my question is going to be about 15 Paragraphs 112 and 113 of your declaration. So if you 16 want to get there and just review those two paragraphs. 17 You can let me know when you're finished. 18 A. Okay. Just to verify, you said 112 and 113 -- 19 Q. Correct. 20 A. -- paragraphs? Okay. 21 Q. Yep. So Pages 86 to 87 of the document; 22 native is 91 and 92. 23 A. Okay. (Reviewing document.) 24 Okay. I reviewed those. 25 Q. Okay. What is the relevance of Rio's rotary</p>

<p style="text-align: right;">Page 8</p> <p>1 encoders to your opinion that the claims of the '723 2 patent are unpatentable? 3 MR. HIGH: Objection. Form. 4 THE WITNESS: Well, Rio's rotary -- I think 5 you said rotary sensor? 6 Q. BY MR. PRICE: I said rotary encoder. I think 7 is -- 8 A. Yeah, okay. Yeah. So rotary encoder. 9 So the rotary encoder is a device that allows 10 the controller to know how much the actuator has turned 11 the crawler tracks. So you're going to need that 12 information to coordinate with the swing leg. So if 13 you know how much the swing leg has rotated in one 14 direction, that rotary encoder allows you to turn 15 the -- the actuator for the crawler track sort of the 16 opposite direction. That measures how far you're going 17 so that you know that you've gone far enough. 18 Q. Are you citing the rotary encoder to meet a 19 particular claim limitation of the '723 patent? 20 A. I mean, the rotary encoder would generally be, 21 I think, the claim limitation is the second sensor or a 22 sensor associated with the second actuator. 23 Q. Do you need a copy? Do you want to download 24 the '723 patent and just look at it -- 25 A. Sure.</p>	<p style="text-align: right;">Page 10</p> <p>1 swing leg. 2 A. The swing leg. Okay. Well, that would be the 3 first one. 4 So that appears, I believe, in Limitation 1D. 5 Yeah. 1D says, "wherein a first angular position 6 transducer is disposed on the modular frame or the 7 swing leg to measure an angular position between the 8 swing leg and the modular frame..." 9 That would be one place that Rio's sensor is 10 being used, or could be used. 11 Q. Okay. So my question is, you're pointing to 12 Rio's rotary encoder as the first angular position 13 transducer; is that correct? 14 A. That is one -- yeah, that's one place that it 15 could be used in this combination. It's also being 16 used out of the crawler track to measure the crawler 17 track relative rotation. 18 Q. Okay. I understand. 19 Were rotary encoders like those used in Rio 20 known in the art before Rio? 21 A. Yes. 22 Q. Okay. And is it generally true, as you say in 23 Paragraph 112 that "Rotary encoders measure the amount 24 of rotation of one component relative to another by 25 converting angular motion about the pivot signal" --</p>
<p style="text-align: right;">Page 9</p> <p>1 Q. -- and point me to the actual language? 2 A. It's probably in the binder here, but if you 3 want to... 4 Q. It's uploaded to the chat, too, so if you want 5 to -- 6 A. Oh, it is? Oh, yeah. 7 Q. -- download it, that's fine. If you want to 8 find it in your binder, that's... 9 A. Here, let me just open it up here. 10 I believe you're asking about the one 11 that's -- there's basically two sensors or two 12 transducers in the claims. You want to talk about the 13 second one? The one that's -- 14 Q. So I'm asking specifically about the one that 15 you are -- I assume you're citing Rio's rotary encoder 16 for one of them. So whichever one you're citing it 17 for. 18 A. Well, yeah, just to clarify. The question is 19 a little confusing to me because Rio discloses two 20 rotary encoders. So it discloses a rotary encoder 21 that's out measuring the crawler track, and it also 22 discloses another one that measures the swing leg. 23 That's what I was trying to ask you, which one you 24 wanted to talk about. 25 Q. I'm asking about the one that measures the</p>	<p style="text-align: right;">Page 11</p> <p>1 "about the pivot point into a signal"? 2 A. Yes. 3 Q. Okay. So a POSITA would understand that when 4 they're reading about a rotary encoder? 5 A. Yes. 6 Q. And then turning to Paragraph 113, you also 7 say, "A POSITA would have been familiar with other ways 8 to associate a rotary encoder with a pivot point"; is 9 that right? 10 A. Yes. 11 Q. And what are those other ways to associate a 12 rotary encoder with a pivot point that a POSITA would 13 have been familiar with? 14 MR. HIGH: Objection. Form. 15 THE WITNESS: Well, there's a number of ways. 16 I can start explaining it, but I'm not sure I have all 17 day. 18 But a rotary encoder essentially has to be in 19 contact with two different things. One, we'll call it 20 the reference body, and the other one is the rotating 21 body. And if it's able to essentially be attached 22 or -- to those two bodies, then one of those bodies 23 rotates, it causes part of the sensor to turn inside, 24 and that turning inside of the sensor causes either 25 some light changes or some -- it could even be magnetic</p>

<p style="text-align: right;">Page 12</p> <p>1 changes, but ultimately that causes an electrical 2 signal to come out of the sensor. And that signal is 3 going up and down and up and down, and the controller 4 is basically able to count that. And, basically, it 5 counts how many times the signal goes up and down and 6 up and down, and it converts that to, for example, a 7 number of degrees. 8 So that's ultimately how these work. They 9 have to be attached to sort of a -- two different 10 things, and it measures that relative rotation. 11 Q. BY MR. PRICE: And that was well known to a 12 person of ordinary skill in the art at the time? 13 A. Yes. Just so we're talking about it, you're 14 talking about the time of Rio, which I think is 2005 or 15 something. I'll have to look it up, but you're talking 16 about the time of Rio? 17 Q. That's correct. 18 A. Yes, it was definitely known at that time. 19 Q. Can we turn to Rio? 20 A. Sure. 21 Q. I uploaded it to the chat. It's Exhibit 1006. 22 A. Okay. I have it open. 23 Q. Do you recall talking to my colleague about 24 this reference yesterday? 25 A. Yes, I do.</p>	<p style="text-align: right;">Page 14</p> <p>1 Q. Are you aware of any road milling machines 2 that were ever built that include legs that could be 3 retracted under the machine and that used rotary 4 actuators to turn a crawler track? 5 A. I'm certainly aware of milling machines that 6 have the legs that retract inside the frame. Sitting 7 here, without consulting any material, I'm not sure 8 that I know of one that uses a rotary actuator in the 9 way it's being used here. I mean, obviously, it's an 10 actuator that rotates it, but I can't remember the 11 exact mechanisms that were used. 12 Q. If the machine that's described here that has 13 those retractable legs and that are -- with crawler 14 tracks that are turned by rotary actuators didn't work, 15 would that alter your opinion on whether or not it 16 would have been obvious to implement the teachings of 17 Rio inside a larger machine like a slipform paver? 18 MR. HIGH: Objection. Form. 19 THE WITNESS: So it's hard for me to answer 20 that question, because I know they do work, but you're 21 saying I am supposed to assume they don't work? That 22 was your question? 23 Q. BY MR. PRICE: Yeah, if you were to find out 24 that what was described in Rio did not work for its 25 milling machine, would that affect your opinion about</p>
<p style="text-align: right;">Page 13</p> <p>1 Q. And that was in relation to a related inter 2 partes review case? 3 A. Yes, it was. 4 Q. Are you aware of whether or not Rio, the 5 machine described in Rio was ever built? 6 MR. HIGH: Objection. Form. 7 THE WITNESS: I didn't do that investigation. 8 I just kind of looked through Rio to see if it's saying 9 this is corresponding to any particular model, you 10 know, the Caterpillar model or something. I don't see 11 it in there. So I didn't do an investigation, and I 12 don't think Rio is actually disclosing a specific 13 machine. I think it's disclosing some technology. 14 Q. BY MR. PRICE: Okay. But you're not aware of 15 any physical machines that have ever been implemented 16 that implement this -- the technology described and 17 claimed in Rio; correct? 18 MR. HIGH: Objection. Form. 19 THE WITNESS: Yeah. There's a lot of 20 technology disclosed in Rio. I don't know of a machine 21 that was built that practices all of it. How about 22 that? 23 Q. BY MR. PRICE: Are you aware of -- 24 A. Like, certainly, there's a lot of things in 25 there that are in machines.</p>	<p style="text-align: right;">Page 15</p> <p>1 whether or not it would be obvious to implement the 2 teachings of Rio in a larger slipform paver? 3 MR. HIGH: Objection. Form. 4 THE WITNESS: Can you describe to me -- 5 explain to me in what way it wouldn't work? Do you 6 have a specific way of not working in mind? Like 7 you're just thinking it doesn't work. I don't know 8 what it's not doing; right? 9 Q. BY MR. PRICE: Sure. If it wasn't, for 10 example, able to steer crawler tracks with one of the 11 legs in the protracted position as it claims. 12 MR. HIGH: Same objection. 13 THE WITNESS: I just -- I can't fathom that, 14 because I know it works -- I know it does work. So I'm 15 trying to understand how I can go along with that. If 16 I can't make sense of the hypothetical, it's hard for 17 me then to follow on from the hypothetical. 18 So I guess I just disagree with the 19 hypothetical so much I can't go to step 2. Sorry. 20 Q. BY MR. PRICE: Well, I mean, you told me 21 you've never actually seen one of these machines with a 22 rotary actuator in real life; isn't that correct? 23 A. Well, I've seen these machines with the swing 24 leg swung out, and I -- in that configuration I've seen 25 them steering.</p>

<p style="text-align: right;">Page 16</p> <p>1 What I've testified to is I wasn't sure</p> <p>2 exactly what mechanism was on that machine that was</p> <p>3 doing that. Like it could have been a piston with a</p> <p>4 linkage rather than a rotary actuator like a worm gear.</p> <p>5 But combined with that is my knowledge that worm gears</p> <p>6 can create incredibly high amounts of torque, so it</p> <p>7 just doesn't make any sense that a worm gear would not</p> <p>8 be able to rotate the crawler track.</p> <p>9 Q. Okay. And if it wasn't, you would have been</p> <p>10 surprised?</p> <p>11 MR. HIGH: Objection. Form.</p> <p>12 THE WITNESS: I wouldn't be surprised if there</p> <p>13 was one particular prototype or one particular model</p> <p>14 where the engineers selected a rotary drive that was</p> <p>15 underpowered. Like that could happen. But because</p> <p>16 rotary slew drives, worm gears can have a very high,</p> <p>17 what we call gear ratios, I would have known just to</p> <p>18 swap out that one with one that provides you higher</p> <p>19 torque.</p> <p>20 Q. BY MR. PRICE: And can you always just put in</p> <p>21 a worm gear that gives you higher torque given the</p> <p>22 design constraints of machines?</p> <p>23 A. Not --</p> <p>24 THE COURT REPORTER: (Requests clarification.)</p> <p>25 Q. BY MR. PRICE: I said, and can you always just</p>	<p style="text-align: right;">Page 18</p> <p>1 Rio describes the second actuator to 44?</p> <p>2 A. Yes, I do.</p> <p>3 Q. Does Rio disclose any embodiments where the</p> <p>4 second actuator is not adapted to cause at least a</p> <p>5 portion of the lifting column 46 to rotate about a</p> <p>6 lifting column axis 48?</p> <p>7 A. Well, the particular sentence that you've</p> <p>8 pointed me to says, "The second actuator 44 is</p> <p>9 connected to the lifting column 46 and is adapted to</p> <p>10 cause at least a portion of the lifting column 46 to</p> <p>11 rotate about a lifting column axis 48..."</p> <p>12 So that particular sentence doesn't, but the</p> <p>13 disclosure on its own -- the whole of the disclosure</p> <p>14 does because it indicates that I could put that second</p> <p>15 actuator at the bottom of the lifting column. And for</p> <p>16 it to work down there, you would have to lock all of</p> <p>17 the portions of the lifting column above it so that it</p> <p>18 could push against that to rotate the crawler track.</p> <p>19 Q. You would have to; is that correct? Is that</p> <p>20 your testimony, that there's no other way to put a</p> <p>21 rotary actuator on a lifting -- at the bottom of a</p> <p>22 lifting column that would not cause a portion of the</p> <p>23 lifting column to rotate? That's impossible?</p> <p>24 MR. HIGH: Objection. Form.</p> <p>25 THE WITNESS: Well, in truth, anytime that you</p>
<p style="text-align: right;">Page 17</p> <p>1 swap in a worm gear with higher torque given the design</p> <p>2 constraints in any given machine?</p> <p>3 MR. HIGH: Objection. Form.</p> <p>4 THE WITNESS: Well, any realistic design</p> <p>5 constraints, yes, because the way it increased the</p> <p>6 torque -- there's many ways to increase the torque of a</p> <p>7 worm gear. We covered some of those yesterday.</p> <p>8 But one simple way is you simply change the</p> <p>9 number of teeth that's on the gear, and you increase</p> <p>10 the number of gear teeth, so the actuator turns slower</p> <p>11 because it has to go through more teeth, say to rotate</p> <p>12 once, but the amount of torque goes up.</p> <p>13 So given the same size and configuration and</p> <p>14 really even cost, you could just swap out those gears</p> <p>15 to a higher gear ratio, and you could always do it.</p> <p>16 The price you would be paying is that you would be</p> <p>17 rotating a little bit slower.</p> <p>18 Q. BY MR. PRICE: Go to Column 4 of Rio.</p> <p>19 A. Okay.</p> <p>20 Q. Can you turn to Lines 51 through 60 or so.</p> <p>21 A. Okay. Basically, all of Column 4?</p> <p>22 Q. No, no, no. Lines 51 through 60.</p> <p>23 A. Oh, Line 51. I thought you said Line 1.</p> <p>24 Okay. I'm at 51.</p> <p>25 Q. All right. Do you see in this paragraph where</p>	<p style="text-align: right;">Page 19</p> <p>1 rotate two objects relative to each other, they're both</p> <p>2 going to move. There's no way to have perfect</p> <p>3 stiffness. And given these lifting columns are many</p> <p>4 feet tall, when I twist the crawler track at the</p> <p>5 bottom, the bottom portion of the lifting column is</p> <p>6 going to be pushed backwards a little bit.</p> <p>7 So to that extent, just the physics of the</p> <p>8 real world, a portion of the lifting column is always</p> <p>9 going to be rotating backwards compared to the crawler</p> <p>10 track. That's just the physics of materials and</p> <p>11 Newton's method.</p> <p>12 But I think you're asking me, would there be</p> <p>13 large amounts of rotation in the lifting column and</p> <p>14 also large amounts of rotation in the crawler track?</p> <p>15 And it strikes me that you'd have to come up with some</p> <p>16 kind of weird non-useful mechanism to do that.</p> <p>17 The standard implementation of putting a</p> <p>18 rotary actuator at the bottom would tell a person of</p> <p>19 ordinary skill in the art to lock the parts of the</p> <p>20 lifting column rotationally together. They still have</p> <p>21 to slide up and down, so there's always that relative</p> <p>22 rotation, but as far as the torsional motion, that</p> <p>23 needs to be all locked together for this to work well.</p> <p>24 Q. BY MR. PRICE: It needs to be? That's your</p> <p>25 testimony? That it's impossible for it to work any</p>

<p style="text-align: right;">Page 20</p> <p>1 other way?</p> <p>2 A. No, that's what I'm saying. I don't like the</p> <p>3 idea of impossibility because I can come up with some</p> <p>4 kind of weird mechanism where as I'm rotating the</p> <p>5 crawler track, I have some linkage that's forcing some</p> <p>6 small part of the lifting column to go backwards. It</p> <p>7 just wouldn't be particularly useful, and it would not</p> <p>8 be like the standard understood way of building a</p> <p>9 machine in this configuration.</p> <p>10 Q. Do you have evidence that there was a standard</p> <p>11 way of connecting a rotary actuator to the bottom of a</p> <p>12 jacking column?</p> <p>13 MR. HIGH: Objection. Form.</p> <p>14 THE WITNESS: Well, there's lots of prior art</p> <p>15 in this case that shows that, and when you're at the</p> <p>16 bottom, you -- these actuators have -- they're kind of</p> <p>17 like the sensors. They have two parts. You've got to</p> <p>18 connect one to one object and then the other to</p> <p>19 another.</p> <p>20 So if you're going to put the actuator at the</p> <p>21 bottom lifting column, you're going to have to bolt it</p> <p>22 or attach it or weld it or rigidly connect it to the</p> <p>23 lifting column so it stays there.</p> <p>24 And the other side of the actuator that turns</p> <p>25 has to be rigidly attached to the crawler track, such</p>	<p style="text-align: right;">Page 22</p> <p>1 tracks.</p> <p>2 Q. Is Miller's rotary actuator bolted on to some</p> <p>3 part of the jacking column, or is it connected to the</p> <p>4 walking beam?</p> <p>5 A. So in Figure 20 you see how it's deployed in</p> <p>6 the full machine, and the jacking column is -- there's</p> <p>7 a couple of them there, but they're 60 and 62. And</p> <p>8 those jacking columns come down and attach to sort of</p> <p>9 this yoke structure that's over the top of that</p> <p>10 actuator. So it's securely connected to the actuator</p> <p>11 through that -- and I'll call that yoke. So, yeah,</p> <p>12 it's securely coupled to the bottom of the lifting</p> <p>13 column.</p> <p>14 Q. That's through another element; is that</p> <p>15 correct?</p> <p>16 A. Yeah, I think that -- again, I'm calling it</p> <p>17 kind of a yoke. There's actually two yokes there.</p> <p>18 There's a yoke that secures the crawler track, and then</p> <p>19 there's a yoke that secures the lifting column to the</p> <p>20 rotary actuator. So that's the -- and it's not bolted</p> <p>21 or welded. It's secured to that yoke. That's the way</p> <p>22 that it's being attached to the bottom of the lifting</p> <p>23 column in that case.</p> <p>24 Q. Well, and that yoke is also just completely</p> <p>25 tying that jacking column to the frame; correct?</p>
<p style="text-align: right;">Page 21</p> <p>1 as a yoke. That would be, yeah, the standard way of</p> <p>2 putting a rotary actuator between two objects.</p> <p>3 Q. BY MR. PRICE: Can you show me any evidence</p> <p>4 that you're citing of this prior art that shows that</p> <p>5 arrangement?</p> <p>6 A. I think we have to go to the, like, exhibits</p> <p>7 probably if you want to -- you're saying you want to</p> <p>8 see the exhibits to this case?</p> <p>9 Q. I have the exhibits. I mean, you can tell</p> <p>10 me -- I have -- I can put any exhibit in the chat,</p> <p>11 so...</p> <p>12 A. Okay. I mean, they're all in my report, if</p> <p>13 you want to start walking through them.</p> <p>14 But Miller, for example, has one like that.</p> <p>15 Q. Let's start with Miller, then.</p> <p>16 A. Okay.</p> <p>17 Q. It should be there. Do you have --</p> <p>18 A. Yeah. I've got -- I've that now. But I think</p> <p>19 I pointed to -- I'm trying to find it in my report as</p> <p>20 well, but I think it's Figure 7, if I recall.</p> <p>21 Yeah, so Figure 7 -- actually, in my report I</p> <p>22 talk about this in Paragraph 70, Page 53. So Figure 3</p> <p>23 of -- Figure 7 of Miller shows kind of a close-up view</p> <p>24 of the rotary actuator, and then Figure 20 shows it</p> <p>25 being deployed in sort of the full frame with four</p>	<p style="text-align: right;">Page 23</p> <p>1 A. Yes. I think it's doing both of those things.</p> <p>2 That yoke is holding the machine together on the frame,</p> <p>3 that walking beam that you're talking about, but it's</p> <p>4 also holding it to the bottom of the jacking column.</p> <p>5 Q. Okay. So this standard way of connecting a</p> <p>6 rotary actuator to a jacking column in Miller is not</p> <p>7 where you are actually connecting one side of the</p> <p>8 rotary actuator bolting it on to another side of -- to</p> <p>9 the bottom of the jacking column? Is that --</p> <p>10 MR. HIGH: Objection. Form.</p> <p>11 THE WITNESS: That's exactly what I'm talking</p> <p>12 about, is that this rotary actuator has its top side</p> <p>13 rigidly connected to the jacking column, the bottom of</p> <p>14 it. The other side of the actuator is rotationally</p> <p>15 attached to the crawler track. This is, again, a</p> <p>16 standard implementation.</p> <p>17 One side is rigidly attached to the bottom</p> <p>18 lifting column. The other side is rotationally</p> <p>19 attached to the crawler track. And then when you power</p> <p>20 the actuator, that rotational motion comes into play,</p> <p>21 and it turns the crawler track relative to the lifting</p> <p>22 columns.</p> <p>23 Q. BY MR. PRICE: There are no bolts connecting</p> <p>24 the actuator itself to the jacking column, are there?</p> <p>25 There's a physical separation between those two</p>

<p>Page 24</p> <p>1 components?</p> <p>2 MR. HIGH: Objection. Form.</p> <p>3 THE WITNESS: The top -- from this picture it</p> <p>4 shows that there is a small gap, apparently, between</p> <p>5 the top of the actuator to the bottom of the lifting</p> <p>6 column if you go straight up. But this yoke comes</p> <p>7 around the side, and that yoke has some bolts or some</p> <p>8 pins. You can actually see them in there.</p> <p>9 So, essentially, the design is that you</p> <p>10 rigidly, firmly attach the rotary actuator to the</p> <p>11 bottom of the lifting column.</p> <p>12 Q. BY MR. PRICE: To the yoke which is connected</p> <p>13 to the lifting column; correct?</p> <p>14 A. That's right. The yoke is sort of the</p> <p>15 attachment mechanism, the bolt, if you want. You know,</p> <p>16 it's the thing that's rigidly connecting them together.</p> <p>17 Q. Okay.</p> <p>18 A. But your question was, do I have evidence?</p> <p>19 And if we proceed on through my report, then there's</p> <p>20 additional evidence.</p> <p>21 For example, Whitaker is the next one I talk</p> <p>22 about, and then I can talk about Johns as well. So</p> <p>23 this is where I laid out that evidence for you.</p> <p>24 Q. In Whitaker, is that inner shaft circular or</p> <p>25 square?</p>	<p>Page 26</p> <p>1 MR. HIGH: Objection. Form.</p> <p>2 THE WITNESS: Sure. I mean, it doesn't use</p> <p>3 those exact words. It says that you can put the rotary</p> <p>4 actuator anywhere along the lifting column axis which</p> <p>5 includes from the top, in the middle, and at the</p> <p>6 bottom.</p> <p>7 Q. BY MR. PRICE: Can you show me where the word</p> <p>8 "anywhere" is in Rio? Because I was looking in your</p> <p>9 report and I saw the word "anywhere," but then I looked</p> <p>10 at Rio, and I didn't see it in there, so...</p> <p>11 A. Yeah. I may not use that word. I'll look it</p> <p>12 up. If I can search. I had trouble searching</p> <p>13 yesterday.</p> <p>14 I think it says it in a couple places, but,</p> <p>15 for example, one is in Column 5, starting on Line 8.</p> <p>16 I'll let you get there, if you want to get there and</p> <p>17 read along with me.</p> <p>18 Q. I'm there.</p> <p>19 A. Okay. It says, "In another of the preferred</p> <p>20 embodiments, the second actuator 44" -- and that's the</p> <p>21 actuator you're asking me about -- "is positioned on</p> <p>22 the lifting column 46 at a location spaced apart from</p> <p>23 the first actuator 42 along the lifting column axis 48.</p> <p>24 So it doesn't say "anywhere" along. It just</p> <p>25 says "along the lifting column axis 48." That's the</p>
<p>Page 25</p> <p>1 A. Well, there's actually multiple inner shafts,</p> <p>2 if I understand your question. It's a telescoping</p> <p>3 device, and it can have sort of any number, but those</p> <p>4 are rectangles.</p> <p>5 Q. Is the bottom one that connects to the crawler</p> <p>6 track, is that circular or square?</p> <p>7 A. Well, down at the part where the gear is, the</p> <p>8 highlighted gear part, that portion is circular. The</p> <p>9 part that -- it's the inner race of the gear, that</p> <p>10 portion of it's circular, but the telescoping parts</p> <p>11 above that are rectangles.</p> <p>12 Q. Okay. Now, you also say Johns. Does Johns</p> <p>13 have a jacking column?</p> <p>14 A. I don't think that Johns has a jacking column.</p> <p>15 It's just another kind of construction machine which</p> <p>16 has a rotary actuator that turns its grounding gauging</p> <p>17 members relative to the structure above it.</p> <p>18 Q. Okay. And it has wheels, right, not crawler</p> <p>19 tracks?</p> <p>20 A. Yeah, the picture that Johns shows, it does</p> <p>21 have wheels.</p> <p>22 Q. You told me earlier -- and correct me if I'm</p> <p>23 wrong -- that Rio actually discloses putting a rotary</p> <p>24 actuator on the bottom of the jacking column. Can you</p> <p>25 point me to where it says that?</p>	<p>Page 27</p> <p>1 exact words.</p> <p>2 Q. Okay. So the word "anywhere" that's used in</p> <p>3 the report is not in Rio?</p> <p>4 A. Yeah, I don't think it uses that word, but it</p> <p>5 teaches a person of ordinary skill in the art it could</p> <p>6 be anywhere. It's just suggesting that you don't put</p> <p>7 it right where the other -- where another piece of --</p> <p>8 where another mechanism is coming in. It just wants</p> <p>9 you to space it apart from other things that may be</p> <p>10 attaching to lifting column.</p> <p>11 So that teaches a person of ordinary skill in</p> <p>12 the art essentially anywhere, except don't put it where</p> <p>13 there's another mechanism.</p> <p>14 Q. Now, this paragraph starts off with the</p> <p>15 phrase, "In another of the preferred embodiments..."</p> <p>16 Do you see that?</p> <p>17 A. Yes.</p> <p>18 Q. What do you think that means? What</p> <p>19 embodiment? Do you see this paragraph as being somehow</p> <p>20 different from some other embodiment that was disclosed</p> <p>21 already in the Rio patent?</p> <p>22 MR. HIGH: Objection. Form.</p> <p>23 THE WITNESS: Yeah, I mean, I'm taking it on</p> <p>24 its face value. It's telling me that it's -- in this</p> <p>25 paragraph it's providing something different than</p>

<p>Page 28</p> <p>1 perhaps previous paragraphs.</p> <p>2 Q. BY MR. PRICE: And what do you think is</p> <p>3 different in this paragraph? What do you think that</p> <p>4 the author was actually trying to get to when using</p> <p>5 this phrase "In another of the preferred embodiments"?</p> <p>6 MR. HIGH: Dr. Singhose, I just wanted to make</p> <p>7 sure you finished your answer to the last question.</p> <p>8 Counsel, like, I know it's hard; we're on</p> <p>9 Zoom, but please don't interrupt the witness.</p> <p>10 MR. PRICE: Note my apologies if I did.</p> <p>11 THE WITNESS: It's okay.</p> <p>12 Well, before we get to this paragraph, Rio is</p> <p>13 talking -- Rio is teaching to Figures 2 through 4. And</p> <p>14 so Figures 2 through 4 are one embodiment, and these</p> <p>15 pictorially or they pictorially represented this</p> <p>16 particular embodiment in Figures 2 through 4.</p> <p>17 And particularly in Figure 2 they've shown us</p> <p>18 that in this embodiment the actuator is at the top of</p> <p>19 the lifting column. That's pretty clearly shown in</p> <p>20 Figure 2.</p> <p>21 And so they're explaining their invention to a</p> <p>22 person of ordinary skill in the art with Figures 2 and</p> <p>23 3 and 4 in this text, but they don't want to limit it</p> <p>24 to just that.</p> <p>25 So here they're actually pointing out we have</p>	<p>Page 30</p> <p>1 A. Anywhere. That's what I'm saying. It's -- it</p> <p>2 does not say --</p> <p>3 Q. Where does it say "anywhere," Doctor?</p> <p>4 A. No, I already told you, it doesn't use those</p> <p>5 words. This is a teaching to like an engineer. And if</p> <p>6 you're telling an engineer along an axis, the engineer</p> <p>7 is not going to say, I have to put it here. They're</p> <p>8 going to look at the whole axis and they're going to</p> <p>9 say, well, for my particular use of this technology</p> <p>10 where would I put it along the axis? And it would be</p> <p>11 obvious to put it at the bottom.</p> <p>12 Q. It would be obvious to put it at the bottom.</p> <p>13 Does Rio actually disclose putting it at the bottom, or</p> <p>14 are you saying it would be obvious to put it at the</p> <p>15 bottom?</p> <p>16 A. Well, Rio does not have any words that say</p> <p>17 place it at the bottom. It has these words that we're</p> <p>18 looking at that says place it -- I know you don't like</p> <p>19 "anywhere," so I won't use that -- place it along the</p> <p>20 axis. And one way -- one place along the axis is at</p> <p>21 the bottom. And as a person of ordinary skill in the</p> <p>22 art, we would know that the easiest places would be at</p> <p>23 the top and the bottom. Those would be the two easiest</p> <p>24 places, but then we could also put it somewhere in the</p> <p>25 middle.</p>
<p>Page 29</p> <p>1 other embodiments, and another embodiment is you don't</p> <p>2 have to put that actuator at the top. You can put it</p> <p>3 along the lifting column axis.</p> <p>4 Q. BY MR. PRICE: I mean, but doesn't the next</p> <p>5 line say that preferably you're putting it at the top?</p> <p>6 A. Yeah. That's -- it's saying that we prefer</p> <p>7 this at the top, but you could put it anywhere.</p> <p>8 Q. But --</p> <p>9 A. Understanding that depending on how you want</p> <p>10 to use their invention, you may not want to put it at</p> <p>11 the top, which is where they're preferring to do it,</p> <p>12 but you could have a reason to put it somewhere else,</p> <p>13 and so put it somewhere else.</p> <p>14 Q. Okay. But isn't this somewhere else that</p> <p>15 they're referring to in this paragraph somewhere that's</p> <p>16 just different from where the first actuator is? Isn't</p> <p>17 that what the first sentence of this paragraph is</p> <p>18 saying?</p> <p>19 A. Yes. Different and spaced apart from the</p> <p>20 first actuator.</p> <p>21 Q. And where does Rio talk -- suggest spacing</p> <p>22 this second actuator in this particular embodiment?</p> <p>23 A. Along the lifting column axis spaced apart</p> <p>24 from the first actuator.</p> <p>25 Q. Where?</p>	<p>Page 31</p> <p>1 Q. Okay. And if you are putting it at the top or</p> <p>2 somewhere in the middle, it would continue to work as</p> <p>3 described in Column 4, Lines 51 through 60, right,</p> <p>4 where it is adapted to cause at least a portion of the</p> <p>5 lifting column to rotate about the lifting column axis?</p> <p>6 A. That's right. That part that we talked about</p> <p>7 before where part of the lifting column is rotated,</p> <p>8 that's associated with that previous embodiment.</p> <p>9 Q. Okay.</p> <p>10 A. That's sort of one version of this. And so</p> <p>11 when you get to this and they say this is a different</p> <p>12 embodiment, you can place it along the lifting column</p> <p>13 axis, a person of ordinary skill in the art would then</p> <p>14 reflect back and say, yeah, all those details that were</p> <p>15 above don't have to be in this new embodiment. Those</p> <p>16 details were associated with the first preferred</p> <p>17 embodiment.</p> <p>18 Q. Where does it say in this second preferred</p> <p>19 embodiment that you can do away with how the actuator</p> <p>20 is actually described to work by turning the portion of</p> <p>21 the lifting column axis, or is that just something else</p> <p>22 an engineer is supposed to understand from reading Rio?</p> <p>23 A. Well, when you put it at the bottom, you can't</p> <p>24 attach it to two parts of the lifting column. The</p> <p>25 reason why in the previous embodiment you could attach</p>

<p style="text-align: right;">Page 32</p> <p>1 it to two parts of the lifting column is because it was 2 at the top or somewhere in the middle, and those 3 configurations, one part of the actuator has to attach 4 to the portion of lifting column that's kind of above 5 it and one below it. 6 When you get to the bottom, the only thing 7 that's below it is the crawler track or the yoke of the 8 crawler track. So when you get to that configuration, 9 you know that the flexibility, the rotating part is 10 below you and the fixed part is above you. 11 So that concept of having the fixed part above 12 and the rotating part below, that's the part that 13 doesn't change. But when you get down there, you're at 14 the end of the lifting column and there's nothing left 15 for you to grab on and twist except the crawler track. 16 Q. Okay. And Rio doesn't actually tell you how 17 its machine would have to be modified in order to put 18 it down at the bottom, right, in the way that you're 19 suggesting? 20 A. I kind of don't understand the setup of that 21 question, because it's -- it's not -- you know, Rio is 22 not disclosing just a machine; right? We've talked 23 about various embodiments of this technology. That's 24 the way I view patents; right? It's not a specific 25 machine, but it's technology.</p>	<p style="text-align: right;">Page 34</p> <p>1 rotary actuator and then the bottom of the rotary 2 actuator would connect to 54. 3 Q. Okay. And is that something Rio discloses or 4 something an engineer is supposed to take from, you 5 know, reading Rio in the way that you suggest? 6 A. Yeah, a person of ordinary skill in the art 7 would know that. This would be adjusted just by 8 sliding the actuator in between the end of the lifting 9 column and the top of the crawler track. Here I'm 10 speaking as sort of the bracket being part of the 11 crawler track, the yoke of the crawler track. 12 Q. And that's -- that's not what's disclosed in 13 Miller, correct, for example? 14 MR. HIGH: Objection. Form. 15 THE WITNESS: Well, Miller has, you know, some 16 slightly different parts, so it's not exactly what's 17 disclosed in Miller, but Miller is disclosing something 18 very similar in it's disclosing a way of connecting the 19 bottom of a lifting column to a rotary actuator which 20 then connects to the top of the crawler track. 21 Q. BY MR. PRICE: Are you sitting on a chair 22 today? 23 A. Yes. 24 Q. Are you sitting on a chair even though you're 25 wearing pants? I assume you're wearing pants?</p>
<p style="text-align: right;">Page 33</p> <p>1 And so this is just saying this is a different 2 flavor of our technology. And so when it introduces a 3 new flavor of a technology, it doesn't have to go 4 through every specific implementation detail because 5 they're speaking to a person of ordinary skill in the 6 art, and a POSITA just knows how to attach rotary 7 actuators to two objects. 8 Q. So if we turn to Column 5, Lines 39 through 9 43, and can you tell me when you're there. 10 A. Okay. 11 Q. So this aspect of the machine as disclosed in 12 Rio would have to be modified, right, in order to 13 implement the actuator in the manner that you're 14 suggesting? 15 MR. HIGH: Objection. Form. 16 THE WITNESS: No, this part would work the 17 same. 18 Q. BY MR. PRICE: You wouldn't have to change 19 what is connected to that bracket in order to implement 20 this in a way that satisfies the claims? 21 A. Oh, I see what you're saying. It says that 22 the bottom part is connected to bracket 54, which is 23 the yoke that goes around the crawler track. 24 Yeah, you wouldn't -- you wouldn't connect it 25 to 54 directly. You would connect it to the top of the</p>	<p style="text-align: right;">Page 35</p> <p>1 A. How do you know I'm wearing pants? I am. 2 Yes. 3 MR. PRICE: All right. I think it's a good 4 time for a break. 5 THE WITNESS: Okay. Any -- 6 MR. PRICE: Maybe 10 minutes? 7 THE WITNESS: -- particular time? Okay. 8 MR. PRICE: 10 minutes or so. 9 (A recess was taken from 10:52 a.m. to 10 11:03 a.m.) 11 Q. BY MR. PRICE: Dr. Singhose, can you turn to 12 Column 6 of Rio. 13 A. Okay. 14 Q. Around Line 27 begins a paragraph talking 15 about an anti-swing device. Do you see that? 16 A. Yes, I do. 17 Q. What does Rio's anti-swing device do? 18 A. The anti-swing device helps to keep the swing 19 arm in a desired position. 20 Q. Any desired position or particular desired 21 positions? 22 A. Well, it's any desired position that the 23 operator wants to put it in. I'm not sure that the 24 operator can put it in every .1 degree, if that's the 25 question you're asking.</p>

<p style="text-align: right;">Page 36</p> <p>1 Like, there may be sort of specific positions</p> <p>2 that they can put it in, and if the operator desires it</p> <p>3 to be in one of those, then it locks into that</p> <p>4 position.</p> <p>5 Q. And what are those two positions?</p> <p>6 A. Well, I'm not sure there's just two. You can</p> <p>7 move the arm to various places.</p> <p>8 Q. But what does Rio actually say? What two</p> <p>9 positions?</p> <p>10 A. Well, it generally talks about a retracted</p> <p>11 position and a projecting position. So certainly in</p> <p>12 those two places you could engage it, but I don't see</p> <p>13 any reason why you couldn't engage it in other places</p> <p>14 as well.</p> <p>15 Q. But Rio doesn't disclose engaging it in any</p> <p>16 other places; right?</p> <p>17 A. You know, I'm not exactly sure what you mean</p> <p>18 by discloses. I think that's kind of a legal term. If</p> <p>19 you're looking for like it exactly expressly says</p> <p>20 different positions, I don't think it says different</p> <p>21 positions.</p> <p>22 But the way it works is that it projects</p> <p>23 out -- the pin ejects out into a hole or some recess.</p> <p>24 And so you could put those holes or recesses wherever</p> <p>25 you want, but it does specifically show them</p>	<p style="text-align: right;">Page 38</p> <p>1 transducer to any particular location at the pivot</p> <p>2 connection for the swing leg and the frame?</p> <p>3 A. Well, it says it provides an angular position</p> <p>4 transducer at the pivot connection for the swing leg at</p> <p>5 the hinge bracket. So it's placing it at the hinge</p> <p>6 bracket.</p> <p>7 Q. Is it your position that if it's connected at</p> <p>8 all to a hinge bracket that it cannot be disposed on</p> <p>9 the things that the hinge bracket is connected to?</p> <p>10 MR. HIGH: Objection. Form.</p> <p>11 THE WITNESS: Can you repeat that question?</p> <p>12 Q. BY MR. PRICE: Are you construing the term</p> <p>13 "disposed on" to mean disposed directly on?</p> <p>14 MR. HIGH: Objection. Form.</p> <p>15 THE WITNESS: Yeah, I don't think I'm doing</p> <p>16 any construing here. I'm just using the plain ordinary</p> <p>17 meaning. I don't really know what directly disposed</p> <p>18 on. I didn't find that in the specification. Is it in</p> <p>19 there somewhere? Is that a term that's used in the</p> <p>20 specification?</p> <p>21 Q. BY MR. PRICE: No, I'm trying to understand</p> <p>22 why you think that the hinge plate that's connected to</p> <p>23 the jack -- to the swing leg and the frame means that</p> <p>24 something that's on that hinge bracket cannot also be</p> <p>25 on the hinge bracket -- on the swing leg or the frame.</p>
<p style="text-align: right;">Page 37</p> <p>1 corresponding to two positions: the retracted position</p> <p>2 and the projecting position.</p> <p>3 Q. Can you open up the '723 patent, please,</p> <p>4 Exhibit 1001.</p> <p>5 A. Okay. I have it open.</p> <p>6 Q. Just give me a moment.</p> <p>7 Turn to Column 6, Lines 19 through 25. Let me</p> <p>8 know when you're there.</p> <p>9 A. Okay. I'm there.</p> <p>10 Q. Okay. Have you read that paragraph?</p> <p>11 A. Oh, let me read it. Just a second.</p> <p>12 Okay. I've read that.</p> <p>13 Q. Okay. I understand that you take the position</p> <p>14 that Claims 1 through 7 lack written-description</p> <p>15 support for a first angular position transducer</p> <p>16 disposed on the modular frame or the swing leg; is that</p> <p>17 correct?</p> <p>18 A. Yes.</p> <p>19 Q. I don't see this aspect of the disclosure</p> <p>20 cited in this section. Did you miss it?</p> <p>21 MR. HIGH: Objection. Form.</p> <p>22 THE WITNESS: No, I didn't miss it. This</p> <p>23 seems to sort of reinforce my position.</p> <p>24 Q. BY MR. PRICE: Does this disclosure limit the</p> <p>25 positioning of the first -- of the angular position</p>	<p style="text-align: right;">Page 39</p> <p>1 MR. HIGH: Objection. Form.</p> <p>2 Q. BY MR. PRICE: Right? I mean, I --</p> <p>3 A. I think I'm just using the plain, ordinary</p> <p>4 meaning of 'disposed on.'</p> <p>5 As you hinted before, I'm disposed on this</p> <p>6 chair, I suppose, but the chair is sitting on the floor</p> <p>7 and I'm not sitting on the floor; right? I'm -- I'm</p> <p>8 disposed on the chair.</p> <p>9 Q. Are your pants part of you? Are your pants</p> <p>10 part of you?</p> <p>11 A. Hopefully they stay on me.</p> <p>12 Q. Are they part of you?</p> <p>13 A. They're certainly part of my housing that I</p> <p>14 walk around in --</p> <p>15 Q. But they're not part of you.</p> <p>16 A. -- so when I'm sitting on the chair, you know,</p> <p>17 obviously I know there's a layer of material between me</p> <p>18 and the chair, but obviously I'm sitting on the chair.</p> <p>19 Q. Okay. And so if something is disposed on</p> <p>20 something else, even though there's an intervening</p> <p>21 part, are you saying that "disposed on" means something</p> <p>22 different in the context of the '723 patent? And if</p> <p>23 so, I'm wondering why that's the case.</p> <p>24 MR. HIGH: Objection. Form.</p> <p>25 THE WITNESS: No, I think I'm using the plain</p>

<p style="text-align: right;">Page 40</p> <p>1 and ordinary meaning of a phrase "disposed on," as a 2 person of ordinary skill in the art would understand 3 it, and they would understand it to include layers of 4 material, or in the case of a sensor, they would know 5 that would include the housing of the sensor. 6 But they're certainly not going to think that 7 my butt is disposed on the floor. They are going to 8 think it's disposed on the chair. 9 Q. BY MR. PRICE: But you agree that the plain 10 and ordinary meaning of "disposed on" means that you 11 can have intervening material between the thing that is 12 being disposed on and the thing that it is disposed on; 13 correct? 14 MR. HIGH: Objection. Form. 15 THE WITNESS: Yeah. I mean, it depends on the 16 kind of material; right? Like in the case of my pants, 17 obviously that's just a thin material; that's 18 considered part of me as I'm walking around the world. 19 In the case of a sensor, the sensor is 20 normally going to be in a housing, and so a person of 21 ordinary skill in the art would think that if the 22 sensor housing was on something, the sensor would be 23 disposed on that something. 24 Q. BY MR. PRICE: Are there different ways that a 25 pivot connection can be implemented between a swing leg</p>	<p style="text-align: right;">Page 42</p> <p>1 "multitude." I would say it's a small number of ways 2 to do it in this application. You have to have a 3 really strong connection, a really reliable connection. 4 So you can't get too esoteric or crazy with that. It's 5 got to be a connection that can support a lot of loads. 6 Q. Is there anything limiting the fixed pivot 7 connection being part of the frame? 8 MR. HIGH: Objection. Form. 9 THE WITNESS: Can you repeat the question? I 10 didn't quite understand it. 11 Q. BY MR. PRICE: Is there anything that limits 12 the disclosure of the '723 patent from having a pivot 13 connection that's integrally part of the frame? 14 MR. HIGH: Same objection. 15 THE WITNESS: I didn't do an analysis for that 16 particular point, to be honest. I was doing my 17 analysis mostly to go along with the claim language 18 that's in there, which requires a hinge bracket. And 19 so the bracket would be the thing that's attaching to 20 the swing leg, and the bracket -- the other side of the 21 bracket is attaching to the frame. 22 MR. PRICE: I don't have any other further 23 questions this morning. 24 Thank you, Dr. Singhose. 25 THE WITNESS: Thank you.</p>
<p style="text-align: right;">Page 41</p> <p>1 and a frame? 2 A. Yes. 3 Q. Okay. So do you see that, you know, any part 4 of the '723 patent limiting the way that the pivot 5 connection between the frame and the swing leg is 6 implemented? 7 MR. HIGH: Objection. Form. 8 THE WITNESS: I don't recall there being a 9 specific limitation of these are the only ways that you 10 can do it. There would only be a finite number of ways 11 to do it realistically. And then as we, you know, look 12 at the written description, there's kind of only two 13 ways that it would do it. 14 One is if that pivot pin that the swing leg is 15 pivoting around is either fixed to the bracket and 16 sticks with the bracket or is kind of bolted onto the 17 swing leg and rotates onto the swing leg. 18 So there's only those kind of two variations 19 that fits the written description. One is the fixed 20 rotational pin, and one is a moving rotational pin. 21 Q. BY MR. PRICE: But a person of ordinary skill 22 in the art is aware of a multitude of ways to implement 23 a pivot connection between a frame and a swing leg; 24 correct? 25 A. I don't know exactly what you mean by</p>	<p style="text-align: right;">Page 43</p> <p>1 MR. HIGH: Can you give me two minutes? 2 MR. PRICE: Sure. 3 (A recess was taken from 11:17 a.m. to 4 11:19 a.m.) 5 MR. HIGH: No questions here. So I think that 6 concludes the deposition. 7 MR. PRICE: Thank you, Dr. Singhose. 8 Appreciate it. 9 (11:19 a.m.) 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p> <p style="text-align: center;">WILLIAM SINGHOSE, Ph.D.</p>

1 BE IT KNOWN that the foregoing proceeding
2 was taken before me; that the witness before testifying
3 was duly sworn by me to testify to the whole truth;
4 that the questions propounded to the witness and the
5 answers of the witness thereto were taken down by me in
6 shorthand and thereafter reduced to typewriting under
7 my direction; that the foregoing pages are a true and
8 correct transcript of all proceedings had upon the
9 taking of said proceeding, all done to the best of my
10 skill and ability.

11 I CERTIFY that I am in no way related to any of
12 the parties hereto nor am I in any way interested in
13 the outcome hereof.

14 [X] Review and signature was requested.
15 [] Review and signature was waived.
16 [] Review and signature was not requested.

17 I CERTIFY that I have compiled with the ethical
18 obligations in ACJA 7-206(F)(3) and 7-206(J)(1)(g)(1)
19 and (2).

20 Kim Rupiper, CRR, CR
21 AZ CR No. 50456

22 I CERTIFY that Thomas & Thomas Court Reporters
23 has complied with the ethical obligations in ACJA
24 7-206(J)(1)(g)(1) through (6).

25 Thomas & Thomas Court Reporters
Registered Reporting Firm R1133

<u>WORD INDEX</u>			
< 1 >	1	17:23 35:24 37:14	35 4:22
	10	4:25 35:6, 8	3748 1:1 3:4
< 2 >	10:03	1:1 3:2	39 33:8
	10:52	35:9	< 4 >
< 3 >	1001	2:1 37:4	4 17:18, 21 28:13,
	1002	2:1 6:7	14, 16, 23 31:3
< 4 >	10036	3:12	40 4:22
	1006	2:1 12:21	42 26:23
< 5 >	1008	2:1	43 33:9
	11,772,723	1:1	44 18:1, 8 26:20
< 6 >	11:03	35:10	46 18:5, 9, 10
	11:17	43:3	26:22
< 7 >	11:19	43:4, 9	48 18:6, 11 26:23,
	112	7:15, 18	25
< 8 >	10:23		< 9 >
	113	5:13 7:15, 18	901 3:17
< 9 >	11:6		91 7:22
	1177	3:12	92 7:22
< A >	13	1:1 3:3	995 2:1
	15	4:25	a.m 1:1 3:2
< B >	152	6:18	35:9, 10 43:3, 4, 9
	165	2:1	ability 44:5
< C >	1792	1:1 3:4	able 11:21 12:4
	19	37:7	15:10 16:8
< D >	1D	10:4, 5	about 4:11 6:5
			7:14 9:10, 12, 14,
< E >			24, 25 10:25 11:1,
			4 12:13, 14, 16, 23
< F >			13:21 14:25 18:5,
			11 21:22 23:3, 12
< G >			24:22 26:21 31:5,
			6 32:23 35:15
< H >			36:10
			above 18:17
< I >			25:11, 17 31:15
			32:4, 10, 11
< J >			ACJA 44:11, 17
			actual 9:1
< K >			actuary 18:1
			19:18
< L >			actuator 8:10, 15,
			22 14:8, 10 15:22
< M >			16:4 17:10 18:4,
			8, 15, 21 20:11, 20,
< N >			24 21:2, 24 22:2,
			10, 20 23:6, 8, 12,
< O >			14, 20, 24 24:5, 10
			25:16, 24 26:4, 20,
< P >			21, 23 28:18 29:2,
			16, 20, 22, 24
< Q >			31:19 32:3 33:13
			34:1, 2, 8, 19
< R >			actuators 14:4, 14
			20:16 33:7
< S >			adapted 18:4, 9
			31:4
< T >			additional 24:20
			adjusted 34:7
< U >			affect 14:25
			after 5:21
< V >			again 22:16 23:15
			against 18:18
< W >			ago 7:1
			agree 5:7 40:9
< X >			allows 8:9, 14
			along 15:15 26:4,
< Y >			17, 23, 24, 25 29:3,
			23 30:6, 10, 19, 20
< Z >			31:12 42:17
			already 27:21
< AA >			30:4
			alter 14:15
< AB >			always 16:20, 25
			17:15 19:8, 21
< AC >			Americas 3:12
			amount 10:23
< AD >			17:12
			amounts 16:6
< AE >			19:13, 14
			analysis 42:15, 17
< AF >			angular 10:5, 7,
			12, 25 37:15, 25
< AG >			38:3
			another 9:22
< AH >			10:24 20:19
			22:14 23:8 25:15
< AI >			26:19 27:7, 8, 13,
			15 28:5 29:1
< AJ >			answer 5:9 14:19
			28:7
< AK >			answering 5:8
			answers 5:3 44:3
< AL >			anti-swing 35:15,
			17, 18
< AM >			any 6:23, 24 7:3
			13:9, 15 14:1, 7
< AN >			16:7 17:2, 4 18:3
			19:25 21:3, 10
< AO >			25:3 30:16 35:5,
			20, 22 36:13, 15

<p>38:1, 16 41:3 42:22 44:5, 7 anyone 5:18 anything 5:16 42:6, 11 anytime 18:25 anywhere 26:4, 8, 9, 24 27:2, 6, 12 29:7 30:1, 3, 19 apart 26:22 27:9 29:19, 23 apologies 28:10 apparently 24:4 APPEAL 1:1 APPEARANCES 3:9 appearing 3:3, 6 appears 10:4 application 42:2 Appreciate 43:8 approximately 4:20 are 4:8, 13 7:3, 4 8:2, 18 9:15 11:11 13:4, 23, 25 14:1, 13, 14 19:3 23:7, 23, 24 25:4, 11 28:14 30:14 31:1 34:21, 24 36:5 38:12 39:9, 12, 21 40:7, 24 41:9 44:4 aren't 6:23, 24 Arizona 3:6 arm 35:19 36:7 arrangement 21:5 art 10:20 12:12 19:19 20:14 21:4 27:5, 12 28:22 30:22 31:13 33:6 34:6 40:2, 21 41:22 asking 5:2 6:4, 6 9:10, 14, 25 19:12 26:21 35:25</p>	<p>aspect 33:11 37:19 associate 11:8, 11 associated 8:22 31:8, 16 assume 9:15 14:21 34:25 attach 20:22 22:8 24:10 31:24, 25 32:3 33:6 attached 11:21 12:9 20:25 22:22 23:15, 17, 19 attaching 27:10 42:19, 21 attachment 24:15 author 28:4 Avenue 3:12, 17 aware 13:4, 14, 23 14:1, 5 41:22 axis 18:6, 11 26:4, 23, 25 29:3, 23 30:6, 8, 10, 20 31:5, 13, 21 AZ 44:14</p> <p>< B > back 31:14 backwards 19:6, 9 20:6 basically 9:11 12:4 17:21 beam 22:4 23:3 bed 4:17 begins 35:14 believe 7:11 9:10 10:4 best 44:5 bet 4:18 binder 7:9 9:2, 8 bit 17:17 19:6 BOARD 1:1 bodies 11:22 body 11:20, 21 bolt 20:21 24:15</p>	<p>bolted 22:2, 20 41:16 bolting 23:8 bolts 23:23 24:7 bottom 18:15, 21 19:5, 18 20:11, 16, 21 22:12, 22 23:4, 9, 13, 17 24:5, 11 25:5, 24 26:6 30:11, 12, 13, 15, 17, 21, 23 31:23 32:6, 18 33:22 34:1, 19 bracket 33:19, 22 34:10 38:5, 6, 8, 9, 24, 25 41:15, 16 42:18, 19, 20, 21 break 35:4 building 20:8 built 13:5, 21 14:2 butt 40:7</p> <p>< C > California 4:13 call 11:19 16:17 22:11 calling 22:16 can 5:7, 13, 18 6:1, 3, 4, 21 7:17 11:16 12:19 15:4, 15 16:6, 16, 20, 25 17:20 20:3 21:3, 9, 10 24:8, 22 25:3, 24 26:3, 7, 12 29:2 31:12, 19 33:9 35:11, 24 36:2, 6 37:3 38:11 40:11, 25 41:10 42:5, 9 43:1 cannot 38:8, 24 can't 14:10 15:13, 16, 19 31:23 42:4</p>	<p>Case 1:1 13:2 20:15 21:8 22:23 39:23 40:4, 16, 19 Caterpillar 13:10 cause 18:4, 10, 22 31:4 causes 11:23, 24 12:1 certainly 13:24 14:5 36:11 39:13 40:6 Certificate 1:1 Certified 1:1 3:5 CERTIFY 44:5, 9, 17 chair 34:21, 24 39:6, 8, 16, 18 40:8 change 17:8 32:13 33:18 changes 11:25 12:1 chat 5:14, 16, 17 9:4 12:21 21:10 circular 24:24 25:6, 8, 10 cited 37:20 citing 8:18 9:15, 16 21:4 claim 8:19, 21 42:17 claimed 13:17 claims 8:1 9:12 15:11 33:20 37:14 clarification 16:24 clarify 9:18 clearly 28:19 close-up 21:23 cluttered 6:11 colleague 4:16 12:23 Column 17:18, 21 18:5, 6, 9, 10, 11, 15, 17, 22, 23 19:5, 8, 13, 20 20:6, 12,</p>
--	---	--	---

<p>21, 23 22:3, 6, 13, 19, 23, 25 23:4, 6, 9, 13, 18, 24 24:6, 11, 13 25:13, 14, 24 26:4, 15, 22, 23, 25 27:10 28:19 29:3, 23 31:3, 5, 7, 12, 21, 24 32:1, 4, 14 33:8 34:9, 19 35:12 37:7 columns 19:3 22:8 23:22 combination 10:15 combined 16:5 come 12:2 19:15 20:3 22:8 comes 23:20 24:6 coming 27:8 commenced 3:2 COMPANY 1:1 compared 19:9 compiled 44:9 completely 22:24 complied 44:17 component 10:24 components 24:1 computer's 6:11 concept 32:11 concludes 43:6 configuration 15:24 17:13 20:9 32:8 configurations 32:3 confusing 9:19 connect 20:18, 22 33:24, 25 34:2 connected 18:9 22:3, 10 23:13 24:12 33:19, 22 38:7, 9, 22 connecting 20:11 23:5, 7, 23 24:16 34:18</p>	<p>connection 38:2, 4 40:25 41:5, 23 42:3, 5, 7, 13 connects 25:5 34:20 considered 40:18 CONST 1:1 constraints 16:22 17:2, 5 CONSTRUCTION 1:1 25:15 construing 38:12, 16 consulting 14:7 contact 11:19 context 39:22 continue 31:2 controller 8:10 12:3 converting 10:25 converts 12:6 coordinate 8:12 copies 7:3 copy 6:19, 21 7:1 8:23 CORPORATION 1:1 Correct 7:19 10:13 12:17 13:17 15:22 18:19 22:15, 25 24:13 25:22 34:13 37:17 40:13 41:24 44:4 corresponding 13:9 37:1 cost 17:14 could 10:10, 15 11:25 14:2 16:3, 15 17:14, 15 18:14, 18 27:5 29:7, 12 30:24 31:25 36:12, 24 couldn't 36:13</p>	<p>Counsel 5:15 28:8 count 12:4 counts 12:5 County 3:6 couple 22:7 26:14 coupled 22:12 COURT 16:24 44:17, 18 courtesy 5:9 covered 17:7 CR 1:1 3:5 44:14 crawler 8:11, 15 9:21 10:16 14:4, 13 15:10 16:8 18:18 19:4, 9, 14 20:5, 25 22:18 23:15, 19, 21 25:5, 18 32:7, 8, 15 33:23 34:9, 11, 20 crazy 42:4 create 16:6 CRR 1:1 44:14 < D > D.C 3:18 d/b/a 1:1 David 3:17 David.Mroz@finne gan.com 3:19 day 11:17 Declaration 2:1 5:14 6:3, 5, 13 7:15 definitely 12:18 degree 35:24 degrees 12:7 depending 29:9 depends 40:15 deployed 21:25 22:5 deposed 4:21 DEPOSITION 1:1 3:1 43:6 describe 15:4</p>	<p>described 13:5, 16 14:12, 24 31:3, 20 describes 18:1 description 41:12, 19 design 16:22 17:1, 4 24:9 desired 35:19, 20, 22 desires 36:2 detail 33:4 details 31:14, 16 device 8:9 25:3 35:15, 17, 18 did 14:24 28:10 37:20 didn't 13:7, 11 14:14 26:10 37:22 38:18 42:10, 15 different 11:19 12:9 27:20, 25 28:3 29:16, 19 31:11 33:1 34:16 36:20 39:22 40:24 direction 8:14, 16 44:4 directly 33:25 38:13, 17 disagree 15:18 disclose 18:3 30:13 36:15 disclosed 13:20 27:20 33:11 34:12, 17 discloses 9:19, 20, 22 25:23 34:3 36:18 disclosing 13:12, 13 32:22 34:17, 18 disclosure 18:13 37:19, 24 42:12 disposed 10:6 37:16 38:8, 13, 17</p>
---	--	--	---

<p>39:4, 5, 8, 19, 21 40:1, 7, 8, 10, 12, 23 DIV 1:1 Do 5:25 6:16 8:23 12:23, 25 13:7, 11 14:20 15:5 17:15, 25 18:2 19:16 20:10 21:17 24:18 27:16, 18, 19 28:2, 3 29:11 31:19 35:1, 15, 16, 17 41:3, 10, 11, 13 42:2, 15 Doctor 30:3 document 6:15 7:21, 23 documents 7:3 does 15:14 18:3, 14 25:12, 20 29:21 30:2, 3, 13, 16 31:18 35:17 36:8, 25 37:24 doesn't 15:7 16:7 18:12 26:2, 24 29:4 30:4 32:13, 16 33:3 36:15 doing 4:10 15:8 16:3 23:1 38:15 42:16 done 44:5 don't 5:19 13:10, 12, 20 14:21 15:7 20:2 25:14 27:4, 6, 12 28:9, 23 29:1 30:18 31:15 32:20 36:12, 20 37:19 38:15, 17 41:8, 25 42:22 down 12:3, 5, 6 18:16 19:21 22:8 25:7 32:13, 18 44:3 download 5:25 6:1, 3 8:23 9:7</p>	<p>Dr 4:8, 20 5:13 28:6 35:11 42:24 43:7 dragged 4:16 drive 16:14 drives 16:16 duly 4:2 44:2 DUNNER 3:16 < E > earlier 25:22 early 4:15, 17 easiest 30:22, 23 either 11:24 41:15 ejects 36:23 electrical 12:1 element 22:14 embodiment 27:19, 20 28:14, 16, 18 29:1, 22 31:8, 12, 15, 17, 19, 25 embodiments 18:3 26:20 27:15 28:5 29:1 32:23 encoder 8:6, 8, 9, 14, 18, 20 9:15, 20 10:12 11:4, 8, 12, 18 encoders 8:1 9:20 10:19, 23 engage 36:12, 13 engaging 36:15 engineer 30:5, 6 31:22 34:4 engineers 16:14 esoteric 42:4 Especially 5:4, 5 Esq 3:11, 16, 17 essentially 11:18, 21 24:9 27:12 EST 1:1 3:2 ethical 44:9, 17 ever 13:5, 15 14:2</p>	<p>every 33:4 35:24 everyone 5:18 evidence 20:10 21:3 24:18, 20, 23 exact 14:11 26:3 27:1 exactly 16:2 23:11 34:16 36:17, 19 41:25 Examination 2:1 4:6 EXAMINATIONS 2:1 examined 4:3 example 12:6 15:10 21:14 24:21 26:15 34:13 except 27:12 32:15 Exh 2:1 Exhibit 6:7 12:21 21:10 37:4 EXHIBITS 2:1 5:20 21:6, 8, 9 explain 15:5 explaining 11:16 28:21 expressly 36:19 extend 5:8 extent 19:7 extraneous 6:24 < F > face 27:24 familiar 11:7, 13 far 8:16, 17 19:22 FARABOW 3:16 fathom 15:13 February 1:1 3:3 feel 6:21 feet 19:4 Figure 21:20, 21, 22, 23, 24 22:5 28:17, 20</p>	<p>Figures 28:13, 14, 16, 22 find 9:8 14:23 21:19 38:18 fine 9:7 finish 5:7, 9 finished 7:17 28:7 finite 41:10 FINNEGAN 3:16 Firm 44:21 firmly 24:10 first 4:2 10:3, 5, 12 26:23 29:16, 17, 20, 24 31:16 37:15, 25 fits 41:19 fixed 32:10, 11 41:15, 19 42:6 flavor 33:2, 3 flexibility 32:9 floor 39:6, 7 40:7 follow 15:17 follows 4:4 forcing 20:5 foregoing 44:1, 4 Form 8:3 11:14 13:6, 18 14:18 15:3 16:11 17:3 18:24 20:13 23:10 24:2 26:1 27:22 33:15 34:14 37:21 38:10, 14 39:1, 24 40:14 41:7 42:8 four 21:25 frame 10:6, 8 14:6 21:25 22:25 23:2 37:16 38:2, 23, 25 41:1, 5, 23 42:7, 13, 21 FRANKEL 3:11 free 6:21 full 5:3 21:25 22:6</p>
---	---	---	---

<p>further 42:22</p> <p>< G ></p> <p>gap 24:4</p> <p>GARRETT 3:16</p> <p>gauging 25:16</p> <p>gear 16:4, 7, 17, 21 17:1, 7, 9, 10, 15 25:7, 8, 9</p> <p>gears 16:5, 16 17:14</p> <p>generally 7:2 8:20 10:22 36:10</p> <p>give 5:1 37:6 43:1</p> <p>given 16:21 17:1, 2, 13 19:3</p> <p>gives 16:21</p> <p>go 15:15, 19 17:11, 18 20:6 21:6 24:6 33:3 42:17</p> <p>GODBERSEN-SMITH 1:1</p> <p>goes 12:5 17:12 33:23</p> <p>going 4:22 7:14 8:11, 16 12:3 19:2, 6, 9 20:20, 21 30:7, 8 40:6, 7, 20</p> <p>GOMACO 1:1 2:1</p> <p>Good 4:8, 10, 12, 19 35:3</p> <p>grab 32:15</p> <p>Great 5:12</p> <p>grounding 25:16</p> <p>group 5:17</p> <p>guess 4:22 15:18</p> <p>GUNTERT 1:1</p> <p>< H ></p> <p>had 26:12 44:4</p> <p>happen 16:15</p>	<p>hard 6:19, 21 7:1, 3 14:19 15:16 28:8</p> <p>has 8:10, 13 11:18 14:12 17:11 20:25 21:14 23:12 24:7 25:14, 16, 18 30:17 32:3 34:15 44:17</p> <p>have 4:21 5:14, 24 6:6, 19 11:7, 13, 16 12:9, 15, 22 13:15 14:6, 16 15:6 16:3, 9, 16, 17 18:16, 19 19:2, 15, 20 20:5, 10, 16, 17, 21 21:6, 9, 10, 17 24:18 25:3, 13, 21 28:25 29:2, 12 30:7, 16 31:15 32:17 33:3, 12, 18 37:5, 10 40:11 42:2, 22 44:9</p> <p>helps 35:18</p> <p>HENDERSON 3:16</p> <p>hereof 44:7</p> <p>hereto 44:7</p> <p>High 3:16 5:15, 20 8:3 11:14 13:6, 18 14:18 15:3, 12 16:6, 11, 16 17:3 18:24 20:13 23:10 24:2 26:1 27:22 28:6 33:15 34:14 37:21 38:10, 14 39:1, 24 40:14 41:7 42:8, 14 43:1, 5</p> <p>higher 16:18, 21 17:1, 15</p> <p>highlighted 25:8</p> <p>hinge 38:5, 8, 9,</p>	<p>22, 24, 25 42:18</p> <p>hinted 39:5</p> <p>holding 23:2, 4</p> <p>hole 36:23</p> <p>holes 36:24</p> <p>honest 42:16</p> <p>Hopefully 39:11</p> <p>housing 39:13 40:5, 20, 22</p> <p>hypothetical 15:16, 17, 19</p> <p>< I ></p> <p>ID 1:1 3:4</p> <p>idea 20:3</p> <p>IDENTIFIED 2:1</p> <p>if 7:6, 10, 15 8:12 9:2, 4, 7 11:21 13:8 14:12, 23 15:9, 15 16:9, 12 20:20 21:7, 12, 20 24:6, 15, 19 25:2, 22 26:12, 16 28:10 30:5 31:1 33:8 35:24 36:2, 18 38:7 39:19, 22 40:21 41:14</p> <p>implement 13:16 14:16 15:1 33:13, 19 41:22</p> <p>implementation 19:17 23:16 33:4</p> <p>implemented 13:15 40:25 41:6</p> <p>important 5:5</p> <p>impossibility 20:3</p> <p>impossible 18:23 19:25</p> <p>include 14:2 40:3, 5</p> <p>includes 26:5</p> <p>increase 17:6, 9</p> <p>increased 17:5</p> <p>incredibly 16:6</p> <p>INDEX 2:1</p>	<p>indicates 18:14</p> <p>information 8:12</p> <p>inner 24:24 25:1, 9</p> <p>inside 11:23, 24 14:6, 17</p> <p>integrally 42:13</p> <p>inter 13:1</p> <p>interested 44:7</p> <p>interrupt 28:9</p> <p>intervening 39:20 40:11</p> <p>interview 5:5</p> <p>introduces 33:2</p> <p>invention 28:21 29:10</p> <p>investigation 13:7, 11</p> <p>IPR 4:23</p> <p>its 14:24 18:13 23:12 25:16 27:24 32:17</p> <p>< J ></p> <p>jack 38:23</p> <p>jacking 20:12 22:3, 6, 8, 25 23:4, 6, 9, 13, 24 25:13, 14, 24</p> <p>Jeffrey 3:11</p> <p>Johns 24:22 25:12, 14, 20</p> <p>joined 5:21</p> <p>jprice@kramerlevi n.com 3:13</p> <p>jump 5:10</p> <p>< K ></p> <p>keep 35:18</p> <p>KIM 1:1 3:5 44:14</p> <p>kind 13:8 19:16 20:4, 16 21:23 22:17 25:15 32:4, 20 36:18 40:16 41:12, 16, 18</p>
---	---	--	---

<p>know 5:1 7:8, 17 8:10, 13, 17 13:10, 20 14:8, 20 15:7, 14 24:15 28:8 30:18, 22 32:9, 21 34:5, 7, 15 35:1 36:17 37:8 38:17 39:16, 17 40:4 41:3, 11, 25 knowledge 16:5 known 10:20 12:11, 18 16:17 44:1 knows 33:6 KRAMER 3:11</p> <p>< L ></p> <p>lack 37:14 laid 24:23 language 9:1 42:17 large 19:13, 14 larger 14:17 15:2 last 28:7 layer 39:17 layers 40:3 leafing 7:6 left 32:14 leg 8:12, 13 9:22 10:1, 2, 7, 8 15:24 37:16 38:2, 4, 23, 25 40:25 41:5, 14, 17, 23 42:20 legal 36:18 legs 14:2, 6, 13 15:11 LEVIN 3:11 life 15:22 lifting 18:5, 6, 9, 10, 11, 15, 17, 21, 22, 23 19:3, 5, 8, 13, 20 20:6, 21, 23 22:12, 19, 22 23:18, 21 24:5, 11, 13 26:4, 22, 23, 25 27:10 28:19 29:3,</p>	<p>23 31:5, 7, 12, 21, 24 32:1, 4, 14 34:8, 19 light 11:25 limit 28:23 37:24 limitation 8:19, 21 10:4 41:9 limiting 41:4 42:6 limits 42:11 Line 17:23 26:15 29:5 35:14 Lines 17:20, 22 31:3 33:8 37:7 linkage 16:4 20:5 little 9:19 17:17 19:6 LLP 3:11, 16 loads 42:5 location 26:22 38:1 lock 18:16 19:19 locked 19:23 locks 36:3 long 6:23 7:4 look 8:24 12:15 26:11 30:8 41:11 looked 13:8 26:9 looking 26:8 30:18 36:19 lot 13:19, 24 42:5 lots 20:14</p> <p>< M ></p> <p>machine 13:5, 13, 20 14:3, 12, 17, 25 16:2 17:2 20:9 22:6 23:2 25:15 32:17, 22, 25 33:11 machines 13:15, 25 14:1, 5 15:21, 23 16:22 magnetic 11:25 manner 33:13 Maricopa 3:6</p>	<p>markings 6:23 7:5 material 14:7 39:17 40:4, 11, 16, 17 materials 19:10 mean 8:20 14:9 15:20 21:9, 12 26:2 27:23 29:4 36:17 38:13 39:2 40:15 41:25 meaning 38:17 39:4 40:1, 10 means 27:18 38:23 39:21 40:10 measure 10:7, 16, 23 measures 8:16 9:22, 25 12:10 measuring 9:21 mechanism 16:2 19:16 20:4 24:15 27:8, 13 mechanisms 14:11 meet 8:18 Meeting 1:1 3:4 5:17 members 25:17 method 19:11 middle 26:5 30:25 31:2 32:2 Miller 2:1 21:14, 15, 23 23:6 34:13, 15, 17 Miller's 22:2 milling 14:1, 5, 25 mind 15:6 minutes 6:25 35:6, 8 43:1 model 13:9, 10 16:13 modified 32:17 33:12 modular 10:6, 8</p>	<p>37:16 moment 37:6 morning 4:8, 10 5:3 42:23 motion 10:25 19:22 23:20 move 19:2 36:7 moving 41:20 Mroz 3:17 multiple 25:1 multitude 41:22 42:1</p> <p>< N ></p> <p>NAFTALIS 3:11 native 7:22 need 5:3, 15 8:11, 23 needs 19:23, 24 never 15:21 New 3:12, 17 4:14 31:15 33:3 Newton's 19:11 No 1:1 2:1 3:5 4:16 7:4 17:22 18:20 19:2 20:2 23:23 30:4 33:16 37:22 38:21 39:25 43:5 44:5, 14 non-useful 19:16 normally 40:20 Note 28:10 notes 6:24 7:4 number 11:15 12:7 17:9, 10 25:3 41:10 42:1 NW 3:17</p> <p>< O ></p> <p>object 20:18 Objection 8:3 11:14 13:6, 18 14:18 15:3, 12 16:11 17:3 18:24 20:13 23:10 24:2</p>
---	---	---	---

26:1 27:22 33:15 34:14 37:21 38:10, 14 39:1, 24 40:14 41:7 42:8, 14 objects 19:1 21:2 33:7 obligations 44:11, 17 obvious 14:16 15:1 30:11, 12, 14 obviously 14:9 39:17, 18 40:17 off 27:14 OFFICE 1:1 Oh 4:15 9:6 17:23 33:21 37:11 okay 4:15 5:1, 11, 12, 22, 24 6:6, 9, 12, 15, 22 7:2, 12, 14, 18, 20, 23, 24, 25 8:8 10:2, 11, 18, 22 11:3 12:22 13:14 16:9 17:19, 21, 24 21:12, 16 23:5 24:17 25:12, 18 26:19 27:2 28:11 29:14 31:1, 9 32:16 33:10 34:3 35:5, 7, 13 37:5, 9, 10, 12, 13 39:19 41:3 once 17:12 open 6:7 9:9 12:22 37:3, 5 opened 6:13 operator 35:23, 24 36:2 opinion 8:1 14:15, 25 opposite 8:16 order 32:17 33:12, 19 ordinary 12:12 19:19 27:5, 11	28:22 30:21 31:13 33:5 34:6 38:16 39:3 40:1, 2, 10, 21 41:21 outcome 44:7 Owner 1:1 3:10 < P > PAGE 2:1 6:18 21:22 Pages 7:21 44:4 pants 34:25 35:1 39:9 40:16 Paragraph 5:13 10:23 11:6 17:25 21:22 27:14, 19, 25 28:3, 12 29:15, 17 35:14 37:10 Paragraphs 7:15, 16, 20 28:1 part 11:23 20:6 22:3 25:7, 8, 9 31:6, 7 32:3, 9, 10, 11, 12 33:16, 22 34:10 39:9, 10, 12, 13, 15, 21 40:18 41:3 42:7, 13 partes 13:2 participants 3:3 particular 8:19 13:9 16:13 18:7, 12 28:16 29:22 30:9 35:7, 20 38:1 42:16 particularly 20:7 28:17 parties 44:7 parts 19:19 20:17 25:10 31:24 32:1 34:16 PATENT 1:1 3:10 6:2, 13 8:2, 19, 24 27:21 37:3 39:22 41:4 42:12 Patent..... 37 2:1	Patent..... 12 2:1 Patent..... 21 2:1 patents 32:24 paver 14:17 15:2 paying 17:16 perfect 19:2 person 12:12 19:18 27:5, 11 28:22 30:21 31:13 33:5 34:6 40:2, 20 41:21 Petitioner 1:1 3:15 PGR2024-00026 1:1 Ph.D 1:1 2:1 3:2 4:1 43:9 phrase 27:15 28:5 40:1 physical 13:15 23:25 physics 19:7, 10 pictorially 28:15 picture 24:3 25:20 piece 27:7 pin 36:23 41:14, 20 pins 24:8 piston 16:3 pivot 10:25 11:1, 8, 12 38:1, 4 40:25 41:4, 14, 23 42:6, 12 pivoting 41:15 place 10:9, 14 30:17, 18, 19, 20 31:12 places 26:14 30:22, 24 36:7, 12, 13, 16 placing 38:5 plain 38:16 39:3,	25 40:9 plate 38:22 play 23:20 please 28:9 37:3 point 9:1 11:1, 8, 12 25:25 42:16 pointed 18:8 21:19 pointing 10:11 28:25 poor 4:16 portion 18:5, 10, 22 19:5, 8 25:8, 10 31:4, 20 32:4 portions 18:17 POSITA 11:3, 7, 12 33:6 position 10:5, 7, 12 15:11 35:19, 20, 22 36:4, 11 37:1, 2, 13, 15, 23, 25 38:3, 7 positioned 26:21 positioning 37:25 positions 35:21 36:1, 5, 9, 20, 21 37:1 power 23:19 practices 13:21 prefer 29:6 preferably 29:5 preferred 26:19 27:15 28:5 31:16, 18 preferring 29:11 pretty 4:10 28:19 previous 28:1 31:8, 25 Price 3:11 4:7 5:17, 22 6:1 8:6 12:11 13:14, 23 14:23 15:9, 20 16:20, 25 17:16, 18 19:24 21:3 23:23 24:12 26:7 28:2, 10 29:4
--	---	--	---

33:18 34:21 35:3, 6, 8, 11 37:24 38:12, 21 39:2 40:9, 24 41:21 42:11, 22 43:2, 7	questions 5:2, 4, 8 42:23 43:5 44:2 quick 5:1 quite 42:10 < R > R1133 44:21 race 25:9 ratio 17:15 ratios 16:17 read 26:17 37:10, 11, 12 reading 11:4 31:22 34:5 real 15:22 19:8 realistic 17:4 realistically 41:11 really 17:14 38:17 42:3 Realtime 1:1 reason 29:12 31:25 36:13 recall 12:23 21:20 41:8 recess 35:9 36:23 43:3 recesses 36:24 recognize 6:15 record 5:6 7:4 rectangles 25:4, 11 reduced 44:3 reference 6:2 11:20 12:24 referring 29:15 reflect 31:14 Registered 44:21 reinforce 37:23 related 13:1 44:5 relation 13:1 relative 10:17, 24 12:10 19:1, 21 23:21 25:17 relevance 7:25 reliable 42:3 remember 14:10	reminder 5:2 remote 5:4 remotely 3:4, 7 repeat 38:11 42:9 report 21:12, 19, 21 24:19 26:9 27:3 Reported 1:1 Reporter 1:1 3:5 16:24 Reporters 44:17, 18 Reporting 44:21 representation 7:10 represented 28:15 requested 44:7, 9 Requests 16:24 requires 42:18 retract 14:6 retractable 14:13 retracted 14:3 36:10 37:1 re-upload 5:16 review 7:16 13:2 44:7, 9 reviewed 7:24 Reviewing 7:23 right 4:20 6:4 7:7, 13 11:9 15:8 17:25 24:14 25:18 27:7 31:3, 6 32:18, 22, 24 33:12 35:3 36:16 39:2, 7 40:16 rigidly 20:22, 25 23:13, 17 24:10, 16 Rio 2:1 6:2 9:19 10:19, 20 12:14, 16, 19 13:4, 5, 8, 12, 17, 20 14:17, 24 15:2 17:18 18:1, 3 25:23 26:8, 10 27:3, 21 28:12, 13 29:21	30:13, 16 31:22 32:16, 21 33:12 34:3, 5 35:12 36:8, 15 Rio's 7:25 8:4 9:15 10:9, 12 35:17 road 14:1 Robert 3:16 robert.high@finne gan.com 3:18 rotary 7:25 8:4, 5, 6, 8, 9, 14, 18, 20 9:15, 20 10:12, 19, 23 11:4, 8, 12, 18 14:3, 8, 14 15:22 16:4, 14, 16 18:21 19:18 20:11 21:2, 24 22:2, 20 23:6, 8, 12 24:10 25:16, 23 26:3 33:6 34:1, 19 rotate 16:8 17:11 18:5, 11, 18, 23 19:1 31:5 rotated 8:13 31:7 rotates 11:23 14:10 41:17 rotating 11:20 17:17 19:9 20:4 32:9, 12 rotation 10:17, 24 12:10 19:13, 14, 22 rotational 23:20 41:20 rotationally 19:20 23:14, 18 RPR 1:1 RUPIPER 1:1 3:5 44:14 < S > sake 5:6 satisfies 33:20 saw 26:9
--	--	---	---

<p>saying 13:8 14:21 20:2 21:7 29:6, 18 30:1, 14 33:1, 21 39:21 says 10:5 18:8 25:25 26:3, 14, 19, 25 30:18 33:21 36:19, 20 38:3 search 26:12 searching 26:12 second 8:21, 22 9:13 18:1, 4, 8, 14 26:20 29:22 31:18 37:11 section 37:20 secured 22:21 securely 22:10, 12 secures 22:18, 19 see 5:18, 19, 20 13:8, 10 17:25 21:8 22:5 24:8 26:10 27:16, 19 33:21 35:15 36:12 37:19 41:3 seeing 5:16 seen 15:21, 23, 24 selected 16:14 sense 15:16 16:7 sensor 8:5, 21, 22 10:9 11:23, 24 12:2 40:4, 5, 19, 22 sensors 9:11 20:17 sentence 18:7, 12 29:17 separation 23:25 setup 32:20 shaft 24:24 shafts 25:1 shorthand 44:3 Should 5:17, 22 21:17 show 7:8 21:3 26:7 36:25 shown 28:17, 19</p>	<p>shows 20:15 21:4, 23, 24 24:4 25:20 side 20:24 23:7, 8, 12, 14, 17, 18 24:7 42:20 signal 10:25 11:1 12:2, 5 signature 6:17 44:7, 9 similar 34:18 simple 17:8 simply 17:8 SINGHOSE 1:1 2:1 3:2 4:1, 8, 20 5:13 28:6 35:11 42:24 43:7, 9 Singhose...6 2:1 Sitting 14:6 34:21, 24 39:6, 7, 16, 18 size 17:13 skill 12:12 19:19 27:5, 11 28:22 30:21 31:13 33:5 34:6 40:2, 21 41:21 44:5 slew 16:16 slide 19:21 sliding 34:8 slightly 34:16 slipform 14:17 15:2 slower 17:10, 17 small 20:6 24:4 42:1 Sorry 15:19 sort 8:15 12:9 21:25 22:8 24:14 25:3 31:10 34:10 36:1 37:23 space 27:9 spaced 26:22 29:19, 23 spacing 29:21 speak 4:2</p>	<p>speaking 7:2 33:5 34:10 specific 13:12 15:6 32:24 33:4 36:1 41:9 specifically 6:4 9:14 36:25 specification 38:18, 20 square 24:25 25:6 stack 7:7 standard 19:17 20:8, 10 21:1 23:5, 16 start 5:8 11:16 21:13, 15 starting 26:15 starts 27:14 State 3:6 STATES 1:1 stay 39:11 stays 20:23 steer 15:10 steering 15:25 step 15:19 sticks 41:16 stiffness 19:3 straight 24:6 strikes 19:15 strong 42:3 structure 22:9 25:17 stuff 7:9 suggest 29:21 34:5 suggesting 27:6 32:19 33:14 support 37:15 42:5 suppose 39:6 supposed 14:21 31:22 34:4 sure 6:10 8:25 11:16 12:20 14:7 15:9 16:1 26:2</p>	<p>28:7 35:23 36:6, 17 43:2 surprised 16:10, 12 swap 16:18 17:1, 14 swing 8:12, 13 9:22 10:1, 2, 7, 8 15:23 35:18 37:16 38:2, 4, 23, 25 40:25 41:5, 14, 17, 23 42:20 sworn 4:2 44:2 swung 15:24 < T > take 34:4 37:13 taken 35:9 43:3 44:1, 3 talk 5:6 9:12, 24 21:22 24:21, 22 29:21 talked 31:6 32:22 talking 12:13, 14, 15, 23 23:3, 11 28:13 35:14 talks 36:10 tall 19:4 teaches 27:5, 11 teaching 28:13 30:5 teachings 14:16 15:2 technology 13:13, 16, 20 30:9 32:23, 25 33:2, 3 teeth 17:9, 10, 11 telescoping 25:2, 10 tell 7:4 19:18 21:9 32:16 33:9 telling 27:24 30:6 term 36:18 38:12, 19 testified 4:4 16:1</p>
---	---	--	---

<p>testify 44:2 testifying 44:1 testimony 18:20 19:25 text 28:23 Thank 4:12 42:24, 25 43:7 thereto 44:3 thin 40:17 thing 24:16 32:6 40:11, 12 42:19 things 5:24 11:19 12:10 13:24 23:1 27:9 38:9 think 5:15, 22 6:6 8:4, 6, 21 12:14 13:12, 13 19:12 21:6, 18, 20 22:16 23:1 25:14 26:14 27:4, 18 28:2, 3 35:3 36:18, 20 38:15, 22 39:3, 25 40:6, 8, 21 43:5 thinking 15:7 Thomas 44:17, 18 thought 17:23 three 5:24 time 4:19 12:12, 14, 16, 18 35:4, 7 times 4:21, 23 12:5 today 4:9 34:22 told 15:20 25:22 30:4 top 22:9 23:12 24:3, 5 26:5 28:18 29:2, 5, 7, 11 30:23 31:1 32:2 33:25 34:9, 20 torque 16:6, 19, 21 17:1, 6, 12 torsional 19:22</p>	<p>track 8:15 9:21 10:16, 17 14:4 16:8 18:18 19:4, 10, 14 20:5, 25 22:18 23:15, 19, 21 25:6 32:7, 8, 15 33:23 34:9, 11, 20 tracks 8:11 14:14 15:10 22:1 25:19 TRADEMARK 1:1 transcript 44:4 transducer 10:6, 13 37:15 38:1, 4 transducers 9:12 TRIAL 1:1 trouble 26:12 true 10:22 44:4 truth 4:3 18:25 44:2 truthful 5:3 trying 9:23 15:15 21:19 28:4 38:21 turn 5:13 8:14 11:23 12:19 14:4 17:20 33:8 35:11 37:7 turned 8:10 14:14 turning 11:6, 24 31:20 turns 17:10 20:24 23:21 25:16 twist 19:4 32:15 two 7:16 9:11, 19 11:19, 22 12:9 19:1 20:17 21:2 22:17 23:25 30:23 31:24 32:1 33:7 36:5, 6, 8, 12 37:1 41:12, 18</p>	<p>43:1 tying 22:25 typewriting 44:3 < U > U.S 1:1 ultimately 12:1, 8 underpowered 16:15 understand 10:18 11:3 15:15 25:2 31:22 32:20 37:13 38:21 40:2, 3 42:10 Understanding 29:9 understood 20:8 UNITED 1:1 unpatentable 8:2 uploaded 5:14, 20 9:4 12:21 use 6:21 26:2, 11 29:10 30:4, 9, 19 useful 20:7 uses 14:8 27:4 < V > value 27:24 variations 41:18 various 32:23 36:7 verify 7:18 version 31:10 VIDEOCONFERENCE 1:1 3:1 view 21:23 32:24 < W > wait 6:4 waived 44:9 walk 39:14 walking 21:13 22:4 23:3 40:18 want 5:25 6:9 7:16 8:23 9:3, 4, 7, 12 21:7, 13</p>	<p>24:15 26:16 28:23 29:9, 10 36:25 wanted 9:24 28:6 wants 27:8 35:23 Washington 3:18 way 14:9 15:5, 6 17:5, 8 18:20 19:2 20:1, 8, 11 21:1 22:21 23:5 30:20 32:18, 24 33:20 34:5, 18 36:22 41:4 44:5, 7 ways 11:7, 11, 15 17:6 40:24 41:9, 10, 13, 22 42:1 wearing 34:25 35:1 weird 19:16 20:4 weld 20:22 welded 22:21 well 4:10 8:4 9:18 10:2 11:15 12:11 15:20, 23 17:4 18:7, 25 19:23 20:14 21:20 22:24 24:22 25:1, 7 28:12 30:9, 16 31:23 34:15 35:22 36:6, 10, 14 38:3 We're 5:16 12:13 28:8 30:17 We've 32:22 wheels 25:18, 21 whichever 9:16 Whitaker 24:21, 24 WILLIAM 1:1 2:1 3:2 4:1 43:9 without 14:7 WITNESS 2:1 4:2 5:19, 24 8:4 11:15 13:7, 19</p>
---	--	--	---

<p>14:19 15:4, 13 16:12 17:4 18:25 20:14 23:11 24:3 26:2 27:23 28:9, 11 33:16 34:15 35:5, 7 37:22 38:11, 15 39:25 40:15 41:8 42:9, 15, 25 44:1, 2, 3 wondering 39:23 won't 30:19 word 26:7, 9, 11 27:2, 4 words 26:3 27:1 30:5, 16, 17 work 5:22 12:8 14:14, 20, 21, 24 15:5, 7, 14 18:16 19:23, 25 31:2, 20 33:16 working 15:6 works 15:14 36:22 world 19:8 40:18 worm 16:4, 5, 7, 16, 21 17:1, 7 would 8:20 10:2, 9 11:3, 7, 12 14:15, 16, 25 15:1 16:7, 9, 17 17:16 18:16, 19, 22 19:12, 18 20:7 21:1 30:10, 12, 14, 22, 23 31:2, 13 32:17 33:12, 16, 25 34:2, 7 40:2, 3, 4, 5, 21, 22 41:10, 13 42:1, 19 wouldn't 15:5 16:12 20:7 33:18, 24 written 41:12, 19 written- description 37:14 wrong 25:23</p>	<p>< Y > Yeah 4:18 6:9, 12, 25 7:6 8:8 9:6, 18 10:5, 14 13:19 14:23 21:1, 18, 21 22:11, 16 25:20 26:11 27:4, 23 29:6 31:14 33:24 34:6 38:15 40:15 Yep 7:21 Yes 6:16, 19 10:21 11:2, 5, 10 12:13, 18, 25 13:3 17:5 18:2 23:1 27:17 29:19 34:23 35:2, 16 37:18 41:2 yesterday 4:16 6:10 12:24 17:7 26:13 yoke 21:1 22:9, 11, 17, 18, 19, 21, 24 23:2 24:6, 7, 12, 14 32:7 33:23 34:11 yokes 22:17 York 3:12, 17 4:14 < Z > ZIMMERMAN 1:1 ZOOM 1:1 3:1, 4, 7 28:9</p>		
---	--	--	--